

44F5NAT1

1 UNITED STATES DISTRICT COURT
1 SOUTHERN DISTRICT OF NEW YORK
2 -----x

2
3 NATIONAL ABORTION FEDERATION,
3 MARK I. EVANS, M.D.,
4 CAROLINE WESTHOFF, MD, MSC;
4 CASSING HAMMOND, MD,
5 MARK HELLER, MD,
5 TIMOTHY R.B. JOHNSON, MD,
6 STEPHEN CHASEN, MD,
6 GERSON WEISS, MD,
7 on behalf of themselves and
7 their patients,

8
8 Plaintiffs,

9
9 v.

03 Civ. 8695 (RCC)

10
10 JOHN ASHCROFT, in his official
11 capacity as Attorney General
11 of the U.S., along with his
12 officers, agents, servant,
12 employees, and successors
13 in office,

13
14 Defendants.

14
15 -----x

New York, N.Y.
April 15, 2004
9:55 a.m.

16
17
17 Before:

18
18 HON. RICHARD CONWAY CASEY

District Judge

19
20
20
21 APPEARANCES

22
22 AMERICAN CIVIL LIBERTIES UNION FOUNDATION
23 Attorneys for Plaintiffs

23 125 Broad Street, 18th floor
24 New York, New York 10004-2400
24 (212) 549-2600

25 BY: JULIE STERNBERG, ESQ.
SOUTHERN DISTRICT REPORTERS (212) 805-0300

44F5NAT1

1 APPEARANCES (continued)
2 WILMER CUTLER PICKERING LLP
2 Attorneys for Plaintiffs
3 2445 M Street, N.W.
3 Washington, D.C., 20037-14 20
4 (202) 663-6235
4 BY: A. STEPHEN HUT, JR.
5 AMY KREIGER WIGMORE
5 KIMBERLY A. PARKER
6
7 ROGER BALDWIN FOUNDATION OF ACLU, INC.
7 Attorneys for Plaintiffs
8 180 North Michigan Avenue, Suite 2300
8 Chicago, IL 60601-1287
9 (312) 288-5225
9 BY: LORIE CHAITEN, ESQ.
10
11 ELIZABETH WOLSTEIN, ESQ.
11 SHEILA M. GOWAN, ESQ.
12 SEAN LANE
12 JOSPEH PANTOJA
13 Attorneys for Defendants
13 U.S. Department of Justice
14 86 Chambers Street, 3rd floor
14 New York, New York 10007
15 (212) 637-2800
15
16
17
18
19
20
21
22
23
24
25

SOUTHERN DISTRICT REPORTERS (212) 805-0300

44F5NAT1

1 (Trial resumed)
 2 THE COURT: Good morning. Please, be seated.
 3 Ms. Gowan, are we continuing with the transcript or
 4 are we calling a witness?
 5 MS. GOWAN: Good morning, your Honor.
 6 THE COURT: Good morning.
 7 MS. GOWAN: The government would like to call
 8 Dr. Clark. However, if the Court would prefer the conclusion
 9 of the ACOG testimony, we would be prepared to do that as well.
 10 THE COURT: It's a hard choice, Ms. Gowan.
 11 MS. GOWAN: I think I know the answer.
 12 THE COURT: But let us not say that we dictate how you
 13 try your case, so go right ahead with whatever you want to do.
 14 MS. GOWAN: The government calls Dr. Steven Clark.
 15 THE COURT: All right.
 16 STEVEN L. CLARK,
 17 called as a witness by the Government,
 18 having been duly sworn, testified as follows:
 19 DIRECT EXAMINATION
 20 BY MS. GOWAN:
 21 Q. Dr. Clark, are you presently employed?
 22 A. Yes.
 23 Q. Where?
 24 A. Inner Mountain Health Care at the University of Utah.
 25 Q. And where is the Inner Mountain Health Care at University

2271

44F5NAT1

Clark - direct

1 of Utah.
 2 A. Salt lake city, Utah.
 3 Q. In what capacity are you employed there?
 4 A. I am a practicing maternal fetal medicine specialist at one
 5 of the Inner Mountain Health Care facilities, LDS Hospital, and
 6 professor of obstetrics and gynecology at the Utah School of
 7 Medicine.
 8 Q. How long have you held the position as professor of
 9 obstetrics and gynecology at the Utah School of Medicine?
 10 A. 14 years.
 11 Q. And would you briefly describe your duties and
 12 responsibilities as professor of obstetrics and gynecology?
 13 A. Yes.
 14 In my role as professor I oversee the portion of the
 15 medical school teaching program that occurs at LDS Hospital,
 16 which is a private community facility.
 17 The medical students, residents and fellows in the
 18 University of Utah School of Medicine divide their time between
 19 the University Hospital and LDS Hospital. So, I oversee that
 20 portion of their obstetrical training at LDS Hospital. That
 21 involves patient care, it involves didactic teaching, lecturing
 22 and, of course, on-the-job teaching as I care for patients,
 23 along with the residents and students.
 24 Q. Where did you receive your medical training?
 25 A. University of Wisconsin in Madison.

44F5NAT1

Clark - direct

- 1 Q. And when did you graduate from the University of Wisconsin?
 2 A. Undergraduate, the degree in chemistry, 1975; and I
 3 graduated from medical school also from the University of
 4 Wisconsin in 1979.
 5 Q. And where did you do your postgraduate training?
 6 A. University of Southern California.
 7 Q. And what type of postgraduate training did you do?
 8 A. Internship and residency in obstetrics and gynecology and
 9 fellowship in maternal fetal medicine.
 10 Q. What is maternal fetal medicine?
 11 A. Maternal fetal medicine is the subspecialty of obstetrics
 12 that deals specifically with what you might call problem
 13 pregnancies or high risk pregnancy. So, this would be women
 14 who have medical complications of their pregnancy or who
 15 develop new pregnancy complications that could jeopardize the
 16 mother's life or health, and also fetal problems that develop
 17 in the range of lethal fetal abnormalities to fetal problems
 18 that can actually be treated while the baby is in utero and is
 19 life-prolonged in that way.
 20 So, a gamut of fetal or maternal complications or
 21 pregnancy.
 22 Q. As a maternal fetal specialist, do you consider both the
 23 woman -- the mother and the fetus, to be your patient?
 24 A. Yes.
 25 Q. Are you licensed to practice medicine?

2273

44F5NAT1

Clark - direct

- 1 A. Yes.
 2 Q. And where?
 3 A. Utah and Montana.
 4 Q. Are you board certified?
 5 A. Yes.
 6 Q. And in what speciality or specialities?
 7 A. In the speciality of obstetrics and gynecology, and in the
 8 subspecialty of maternal fetal medicine.
 9 Q. Do you have a further speciality within the subspecialty of
 10 maternal fetal medicine?
 11 A. No, I wouldn't say it's a subspecialty, but it's a special
 12 interest.
 13 There are no more defined subspecialties than just
 14 maternal fetal medicine. But within the area of maternal fetal
 15 medicine, one of my principal interests and experience has been
 16 care of the critically ill mother.
 17 Q. Is that called critical care obstetrics?
 18 A. Critical care obstetrics, yes.
 19 Q. Would you please tell the Judge what critical care
 20 obstetrics is?
 21 A. Well, it's a term that I think was formally coined when we
 22 published the first edition of the textbook, Critical Care
 23 Obstetrics, back in the late 1980s.
 24 It is a special interest within maternal fetal
 25 medicine which deals exclusively with women who have conditions

2274

44F5NAT1

Clark - direct

1 that might kill them complicated by pregnancy where in fact the
2 very fact of them being pregnant places their life or health at
3 significant risk, places them at risk for becoming critically
4 ill and how to prevent that from happening. Or women who show
5 up critically ill and are pregnant and how to prevent them from
6 dying or to treat them.

7 Obviously there are a number of very complex issues
8 when we have both critically mother and a baby, and they kind
9 of are both in the same package, you might say. The treatment
10 of one has to be the same as the treatment for the other. And
11 so, that speciality, that area is what we call critical care
12 obstetrics.

13 Heart disease in pregnancy, bad diabetes, bad high
14 blood pressure, cancer in pregnancy, lung disease in pregnancy,
15 allergic anaphylactic pregnancy reactions, these sorts of
16 things are what we deal with in critical care obstetrics.

17 Q. Is your work in critical care obstetrics?

18 A. Today?

19 Q. Yes.

20 A. A lot of it is, but fortunately there aren't enough
21 critically ill pregnant women to keep me busy and so I do a lot
22 of standard high-risk obstetrics, preterm labor, this sort of
23 thing, which really wouldn't involve direct critical care.

24 But I certainly do see probably more than my fair
25 share of critically ill women because they are transported in

2275

44F5NAT1

Clark - direct

1 from outlying states for us to care for.

2 Q. Were you involved in writing the critical care obstetrics
3 textbook that you mentioned?

4 A. Yes.

5 I was the lead editor for that book. In fact, it was
6 my original concept. The lead editor for the first three
7 editions, now the fourth edition which has just come out we
8 have stepped back, we are still editors but we have chosen some
9 of the young people in the field now to actually be the lead
10 editors, so I'm still an editor of the fourth edition that just
11 came out.

12 But we have turned over the major leadership of that
13 to some people that I trained.

14 Q. Do you have an active medical practice in maternal fetal
15 medicine and critical care obstetrics?

16 A. Yes.

17 Q. What percent of your time is spent in active clinical
18 practice?

19 A. About half my time, plus night and weekend call would be me
20 taking care of sick or potentially sick women and their unborn
21 children.

22 The other, the remainder of the time is the formal
23 teaching. My work as quality assurance chairman and things
24 like that. Some research.

25 Q. Where are you quality assurance chairman?

44F5NAT1 Clark - direct

1 A. At LDS Hospital.

2 Q. And what are your responsibilities as quality assurance
3 chairman?

4 A. Well, there are certain criteria that have been set forth
5 in advance which cause a medical chart to do what we call to
6 fall out, that is, a bad maternal outcome or bad newborn
7 outcome.

8 If those things happen in a chart, the charts fall out
9 for review. I chair the committee that reviews those and then
10 decides whether something should have been done differently
11 that could have resulted in a better outcome for the mother and
12 baby. Then the committee makes recommendations to the
13 department in terms of whether a doctor needs to be proctored
14 more, or that the care was perfectly fine, whether privileges
15 need to be suspended. This sort of thing based upon those.

16 So I reviewed bad outcomes -- or my committee does --
17 and then recommend potential changes to avoid those in the
18 future.

19 Q. How long have you chaired that committee?

20 A. Since I began in Salt Lake City in 1990.

21 Q. Do you consult on cases in addition to treating your own
22 patients?

23 A. Yes.

24 Q. And could you briefly tell me what type of consulting work
25 you do?

2277

44F5NAT1 Clark - direct

1 A. All sorts of -- many types.

2 The most common type would simply be women who are
3 referred to me for, not for me to care for their entire
4 pregnancy but who have a potential problem and they're referred
5 to me by their obstetrician.

6 And I will see the patient, review the history, at
7 times do examinations or tests, and then recommend back to the
8 doctor: This is how you've got to handle this pregnancy.
9 These are things you've got to do in addition to your standard
10 pregnancy care to try to achieve the best outcome.

11 I am called on the telephone. I do the first thing,
12 that is, hands-on consulting on patients virtually every day.

13 Secondly, I receive telephone calls from doctors who
14 want a less formal consultation. They just call me and say,
15 Steve, I've got this patient whose got such and such, what do
16 you suggest? And that's both locally and nationally, and in
17 fact internationally.

18 I get phone calls a couple times a month from someone
19 in the country or someone in the world who's got a particularly
20 complex maternal situation and just wants my two cents' worth
21 on it.

22 And then, I reviewed a number of cases and consulted
23 for lawyers or insurance companies in terms of medical
24 malpractice litigation.

25 Q. Now, you mentioned that you have some teaching

44F5NAT1 Clark - direct

1 responsibilities?

2 A. Yes.

3 Q. In connection with your work as a professor, could you
4 please describe for us what you do in teaching the residents?

5 A. Yes.

6 My teaching would -- one of two types. The most
7 common type, which I do almost every day, would be the hands-on
8 care. So, tomorrow morning, for example, at 7:00 a.m., I will
9 show up on the high-risk anti-partum or, that is which is
10 pre-delivery women or the high-risk women who need to be
11 hospitalized are.

12 There will be a bunch of medical students and
13 residents there and we will spend an hour or two seeing all of
14 the high risk patients who have been admitted to the hospital
15 or who are on labor and delivery. I'm the attending for the
16 day, I will ask the residents and students what would you do
17 and then tell them if they would do the right thing or they
18 would do the wrong thing. They'll see what I actually do do
19 and learn in that fashion.

20 Tomorrow at noon, at 12:15 actually, I will give a
21 lecture, say formal didactic lecture which I do a couple of
22 times a week, and that's pretty characteristic of my teaching
23 activities.

24 Q. Are you a member of any professional societies?

25 A. Yes.

2279

44F5NAT1 Clark - direct

1 Q. And which ones?

2 A. The Society for Maternal Fetal Medicine, the ACOG, the
3 American Institute of Ultrasound in Medicine.

4 I believe those.

5 Q. What is ACOG?

6 A. The American College of Obstetricians and Gynecologists.

7 Q. And within the past five years or so, have you been a
8 visiting professor at any medical schools?

9 A. Yes.

10 Q. And which medical schools?

11 A. Well, Brown University, Vanderbilt, a couple of schools
12 within the University of California system, U.C. San Francisco,
13 for example, University of Oregon.

14 Those are some I can think about.

15 Q. How does it come that you take on the role of visiting
16 professor at various institutions in the United States?

17 A. Well, generally the chairman or division head will call me
18 up and say, Steve, why don't you come out in October and give
19 us some talks.

20 Or, the visiting professor activities can vary from
21 talks to conferences with residents to occasionally even rounds
22 with residents. It's a fairly informal situation.

23 Q. Can you give us an idea of the subject matter upon which
24 you teach when you are a visiting professor?

25 A. In the vast majority of cases it will involve care of

44F5NAT1 Clark - direct

1 critically ill women.
2 MS. GOWAN: Your Honor, may I approach?
3 THE COURT: You may.
4 Q. Dr. Clark, I'm showing you what has been marked as
5 Government Exhibit Z4, would you please take a look at it?
6 A. Yes.
7 Q. Do you recognize this document?
8 A. Yes.
9 Q. What is it?
10 A. This is a copy of my curriculum vitae.
11 Q. Is it up to date?
12 A. No. But it's pretty close.
13 Q. And what is not up to date about it? What's missing?
14 A. Well, it says Revised 12 of 2002, so there are possibly --
15 I have given a few more talks, grand rounds. I may have
16 published an additional chapter or paper or two, but not --
17 there is not much.
18 For the most part, this would hold.
19 MS. GOWAN: Your Honor, the government moves admission
20 of Exhibit Z4.
21 THE COURT: Any objection?
22 MS. PARKER: No objection, your Honor.
23 THE COURT: It will be received.
24 (Government's Exhibit Z4 received in evidence)
25 BY MS. GOWAN:

44F5NAT1 Clark - direct

1 Q. Dr. Clark, you mentioned you published some articles; are
2 you referring to articles published in the medical literature?
3 A. Yes.
4 Q. Can you tell me how many, approximately, articles you have
5 published?
6 A. Between -- around 175.
7 Q. Are these articles identified in your curriculum vitae?
8 A. Up through about a year ago, yes, they are.
9 Q. And are any of these articles, approximately 173,
10 peer-reviewed?
11 A. Yes.
12 Q. Can you give us an idea of approximately how many have been
13 peer-reviewed?
14 A. I believe a half to two thirds, that sort of number. A
15 number of them are book chapters and those book chapters are
16 review articles that are not necessarily reviewed.
17 But all of the articles that are published in
18 peer-reviewed journals have been peer-reviewed.
19 Q. And can you tell us just generally some of the journals in
20 which you have published peer-reviewed articles?
21 A. Sure. The American Journal of Obstetrics and Gynecology.
22 Obstetrics and Gynecology. The Journal of Reproductive
23 Medicine.
24 Q. Anything else?
25 A. Yes. I'm sure there are but those would be the main ones.

44F5NAT1 Clark - direct

1 Q. And do you serve as editorial consultant for peer-reviewed
2 journals?

3 A. Yes, I do.

4 Q. And which ones?

5 A. Well, over the years, the New England Journal of Medicine.
6 The Obstetrics and Gynecology Journal, American Journal of
7 Obstetrics and Gynecology.

8 All of the major journals in my speciality and some
9 minor ones as well. I have reviewed for critical care
10 journals, just general medical critical care journals as well
11 and they're listed there on the CV. I think about 13 different
12 peer-reviewed journals periodically sent me papers for
13 peer-review and comment prior to publication.

14 Q. And are these papers that are prepared by other authors who
15 seek to have their work published in the journal?

16 A. Yes.

17 Q. Have you received any honors for your review of articles
18 that are submitted by other authors for publication?

19 A. Yes.

20 Q. And can you tell us what honor you have received?

21 A. Well, yes.

22 Last year the journal of the American College of
23 Obstetrics and Gynecology, which is called Obstetrics and
24 Gynecology, published a review. They reviewed the reviewers,
25 put it that way. And I got a letter saying you have been named

2283

44F5NAT1 Clark - direct

1 in the top 10 percent of peer reviewers in terms of
2 scientific -- I forgot exactly what terms they used but
3 something to the effect of scientific accuracy and integrity,
4 and you're --

5 so, I'm in the top 10 percent and that's it.

6 Q. Is that identified in your curriculum vitae?

7 A. Yes.

8 Q. And let's take a look at page number 4, item number 14,
9 could you read that for us?

10 A. Named top 10 percent of special expert referees for
11 obstetrics and gynecology in terms of manuscript quality
12 reviews.

13 Q. Have you presented any research at scientific meetings?

14 A. Yes.

15 Q. Approximately how many times have you done that?

16 A. Oh, I think a dozen or so.

17 Let me be specific here for you. You know, I can't
18 find that page, but somewhere in here it will list those, and I
19 think --

20 THE COURT: Ms. Gowan, maybe you can point it out to
21 the Doctor.

22 Q. Page 17, Doctor, starting at the bottom going on to page
23 19.

24 A. Oh, yes. Okay.

25 Okay, 26.

44F5NAT1 Clark - direct

1 Q. Now, were you the principal author of the presentations at
2 the meetings that are identified in your curriculum vitae?

3 A. They weren't yet published yet. I was the principal, I
4 think more accurate would be that I was the principal author of
5 the work that was, as it was presented, and that is to say I
6 was the presenter.

7 There obviously have been many other articles that I
8 have worked on and perhaps even been the senior investigator
9 where I have let more junior people do the presenting to
10 further their careers. But these would be situations in which
11 I was at least the principal author.

12 Q. Can you give us a general idea of those types of
13 presentations where you may have been a lead investigator or
14 you may have been a co-author or worked on it but you allowed
15 someone else to go ahead and take the credit as the principal
16 author of the paper?

17 A. Well, in terms of presentation, sure.

18 I may have, for example, my fellow, he's now my
19 partner, Dr. Porter, when he was a young -- when he was working
20 with me on a fellowship we did some research and I would kind
21 of direct that research, got the idea, put him on it, kind of
22 oversaw things.

23 But he did much of the work involved in that and it
24 was, I think, important from his career standpoint to get up in
25 front of the national audience and present that research. And

2285

44F5NAT1 Clark - direct

1 so, he would have then actually done the presentation even
2 though I was the senior investigator on the project.

3 And in fact I think it's been a few years, obviously,
4 if you look at this list, earlier on I did more of these. More
5 recently I do very few.

6 I would anticipate I may never present a paper again
7 in my life because my task now academically is to bring other
8 young investigators along. I don't need -- 170-plus
9 publications, I don't need any more and I don't need any more
10 oral presentations at meetings.

11 So, I think you can see that trend and that would be
12 an example of why that would be done that way.

13 Q. Do you have specific areas of interest in research?

14 A. Yes.

15 Q. And what are those?

16 A. One, again, critically ill pregnant women, that is heart
17 disease in pregnancy, severe infections in pregnancy, this sort
18 of thing.

19 And a second has been how the fetus gets oxygen while
20 it is inside the mother and what factors might deprive that
21 baby of oxygen and how doctors might tell whether babies are
22 getting enough oxygen and doing well inside or whether it's not
23 doing well inside.

24 I have also done quite a bit of research on the
25 concept of vaginal birth after caesarean. I have a number of

44F5NAT1 Clark - direct

1 papers that were in the first batch back in the '80s that
2 really introduced that concept to American medicine and I
3 continue to publish on that, even in recent years.

4 Q. In addition to the critical care obstetrics textbook, are
5 you the editor or are involved in any other books relating to
6 obstetrics?

7 A. I have been, yes.

8 Q. And which books are those?

9 A. The -- well, there is a text called Williams Obstetrics, I
10 was asked to join the 20th edition a few years ago and as an
11 editor with some specific tasks in terms of bringing some of
12 those areas in that textbook up-to-date.

13 I was also an editor of a text called Operative
14 Obstetrics that described procedures.

15 And a text called Caesarian Delivery.

16 Q. In addition to the research, writing, clinical and teaching
17 activities, have you participated in other professional
18 activities?

19 A. Yes.

20 Q. And could you tell us briefly what some of those are?

21 A. I can tell you some of them, yes.

22 I have worked for the National Institutes of Health,
23 the NIH on a number of occasions, both as a grant reviewer
24 helping decide which university should get the money to do the
25 research on specific projects that the NIH has set forth, which

2287

44F5NAT1 Clark - direct

1 universities are likely to do a good job and get a usable
2 answer.

3 I served, a number of years ago, on an expert panel of
4 the NIH on asthma in pregnancy, and a couple of years ago
5 actually co-chaired an international symposium sponsored by the
6 NIH on hemorrhage in pregnancy.

7 I served on a number of positions for the American
8 College of Obstetricians and Gynecologists. I served on their
9 technical bulletin committee for these years, served as
10 chairman of the technical bulletin committee for an additional
11 two years; have served both as chairman and as a writer for a
12 little text they put on periodically called Prologue, which is
13 kind of a summary you might say, of the top 150 or so topics in
14 obstetrics -- what doctors need to know, to some extent, for
15 the board exams.

16 I served on the board of directors for the Society for
17 Maternal Fetal Medicine, which is the National Organization of
18 Board Certified Maternal Fetal Medicine Specialists and served
19 as their president in, I think it was '97 or '98, for my term
20 there.

21 I headed a project for the U.S. Agency for
22 International Development and it was jointly between a group
23 called Feed the Children and the U.S. Agency for International
24 Development to improve maternal child health care in Siberia.
25 Made a couple of trips to Siberia, operated with the doctors

44F5NAT1 Clark - direct

1 there, brought them over to the United States. They lived in
2 my home and worked with me for about a month. We got them some
3 equipment and so forth.

4 Those are some things.

5 Q. Can you just very briefly tell us about your work on the
6 ACOG technical bulletin committee?

7 A. Sure.

8 The American College of OB/GYN has a committee which
9 puts out educational bulletins. These are short, five to eight
10 page documents which pick a topic, let's say, diabetes in
11 pregnancy or heart disease in pregnancy, something like that,
12 and set forth what is known as a summary of what is known about
13 that condition and often will set forth one acceptable way to
14 manage that condition.

15 There are, it's about a seven or eight member
16 committee who chooses the topics, writes the documents, and
17 then those documents are sent to all -- they were sent to all
18 members of the American College of OB/GYN. They're now
19 published in the journal rather than in a separate mailing.

20 So, that's the work of that committee.

21 Q. Can you, very briefly, tell us about the work of the ACOG
22 Prologue committee?

23 A. Yes, that's -- it's somewhat similar. The two major
24 differences are that the Prologue is not sent out periodically
25 five or six times a year, it is redone every three or four

2289

44F5NAT1 Clark - direct

1 years and the coverage of the topics is much shorter. Rather
2 than five to eight pages on a topic there may be one to two
3 pages on each topic, a much more concise summary.

4 Also, the Prologue generally contains a question that
5 is abused.

6 A patient comes in with such and such condition, what
7 would be the best treatment, A, B, C, D, and then an
8 explanation of why what the answer is.

9 Q. Have you recently written a Prologue sheet for ACOG?

10 A. Yes.

11 I'm writing a sheet -- I'm writing a topic right now,
12 it's either Prologue or a similar document called Precis,
13 P-R-E-C-I-S, and to be honest I can't remember which one it is
14 for -- on heart disease and pregnancy. That's on my desk right
15 now, reworking the final draft for the American College; yes.

16 Q. I see that your CV says that you have been named to the
17 list of best doctors in America from 1992 to the present; how
18 does somebody get on that list?

19 A. Well, I get -- every year I get a -- there is some
20 organization and I don't know what the name of it is. So, they
21 send out this little questionnaire to doctors and say why don't
22 you list the top X doctors, five or six or 10 doctors in your
23 speciality nationally.

24 And then they, my understanding is they get all of
25 these back so I will list doctors I know are good doctors, I

44F5NAT1 Clark - direct

- 1 will send it in. Then they take all of these and compile them
2 and I guess you might say the top vote getters or something
3 then get this little letter that says you've been named as the
4 top doctors in America. And they make a little book that has
5 that list then, I think.
6 Q. So, it is something that your peers have identified you as
7 being?
8 A. Yes, correct.
9 Q. Have you received any awards for teaching?
10 A. Yes.
11 Q. And what awards have you received for teaching?
12 A. A number of years ago I received an award as the top
13 medical educator. The past couple of years I received a
14 national award called the American Professors of Gynecology and
15 Obstetrics Award for Teaching.
16 Q. Besides your work in this case, have you ever testified in
17 any court case involving challenges to partial-birth abortion?
18 A. No.
19 Q. Besides your work in this case, have you ever been involved
20 in any way in a court case involving challenges to
21 partial-birth abortion?
22 A. No.
23 Q. Have you ever been consulted by any state or local official
24 concerning abortion laws?
25 A. Yes.

2291

44F5NAT1 Clark - direct

- 1 Q. And could you tell us about that?
2 A. Yes.
3 A number of years ago Utah had passed an abortion law
4 and it was challenged and I got -- I was asked by the Utah
5 Supreme Court to come away with them to the mountains for a
6 week and talk to them.
7 And so we went up to some retreat in the mountains and
8 spent a weekend -- did I say a week? If I did, I'm wrong. A
9 weekend, and spent a weekend with the Supreme Court Justice and
10 they just asked me all sorts of questions -- basic pregnancy
11 questions and maternal health questions and I think just wanted
12 to be informed about the basic issues. I believe they wanted
13 to do that, before they heard this case, for some background.
14 But, for whatever reason, I spent a weekend with the
15 Supreme Court Justice and we met and talked and I just answered
16 their questions.
17 Q. Have you been consulted by any other government officials
18 in connection with abortion laws?
19 A. Yes.
20 Q. And could you tell us about that?
21 A. Yes.
22 Utah just passed another kind of abortion law dealing
23 with funding, public funding of abortions, and I was asked by
24 the University to go, you might say, talk some sense into them.
25 The laws were, this new potential law was very

44F5NAT1 Clark - direct

1 restrictive, it left no provision in there for terminating
2 pregnancy for fetal abnormalities.

3 As it currently stands it would prevent federal
4 funding -- excuse me, state funding for a woman, for example,
5 who had a baby without a brain that was developing, that woman
6 could not achieve, could not be funded and any institution that
7 performed that abortion even for free would lose all state
8 funding for all procedures. In my mind, a very unreasonable
9 sort of thing.

10 And I went down and talked with the, some of the
11 sponsors of the bill in the state legislature and tried to, as
12 I say, to make them see that this was not a reasonable -- not a
13 reasonable bill and was not a reasonable thing to restrict
14 abortion in that fashion.

15 That's all.

16 Q. Have you ever been sued for malpractice?

17 A. Yes.

18 Q. How many times?

19 A. Once.

20 Q. What was the result?

21 A. Very early in the case -- I was named with a bunch of
22 doctors, my insurance company gave them \$1,000 and I was out of
23 the case. That was back in about '86.

24 I understand there were other allegations against
25 primary doctors who cared for this woman but for a thousand

2293

44F5NAT1 Clark - direct

1 bucks I didn't care to hang around and find out.

2 Q. Have you ever testified as an expert witness before in
3 other kinds of cases?

4 A. Yes. Many times.

5 Q. And what kinds of cases? Medical malpractice cases?

6 A. Yes, cases that basically involve my research.

7 Lawyers seem to have been very interested over the
8 years in those same topics I have written about medically --
9 critical ill mothers who may die and fetuses who, how fetuses
10 get oxygen during labor and what events might result in brain
11 damaged babies, for example.

12 Those have been areas I have written about for 20
13 years and lawyers seem as interested as doctors, or at least
14 have a great interest in those. So, I have been asked to
15 review and have testified on many occasions.

16 Q. Can you give us an idea of when you say many, approximately
17 how many times have you done this over the years?

18 A. Oh, I'm sure over the years certainly I have reviewed
19 hundreds of cases. I have testified in well over a hundred.

20 Q. Dr. Clark, did you testify in Illinois in a case involving
21 the death of a 13-year-old girl from amniotic fluid embolism?

22 A. Yes.

23 Q. Do you have a special area of research into amniotic fluid
24 embolism?

25 A. Yes.

44F5NAT1 Clark - direct

1 Q. Could you just briefly describe for us, very briefly, what
2 your work has been in amniotic fluid embolism?

3 A. Well, this is a devastating condition that is one of the
4 leading causes of death among, in any western industrialized
5 country where other causes have been reduced.

6 And back in the mid-'80s I and a number of
7 co-investigators did some research which really showed that
8 traditional concepts of this disease were probably not valid
9 about how it happened, and we have published a lot on that over
10 the years.

11 My co-investigators have done a lot of the work but I
12 have kind of been the lead investigator on most of this work.

13 Q. Do you have a registry of amniotic fluid embolism?

14 A. Yes, and I was kind of the leader of the national amniotic
15 fluid embolism registry.

16 Q. Did you receive peer-reviewed publication for your work in
17 connection with that registry?

18 A. Yes. In fact it was more than peer reviewed. I was asked
19 to present that work.

20 There is a very prestigious research organization
21 called -- well, professional research organization, the name is
22 probably irrelevant, American Gynecologic and Obstetric Society
23 which is by invitation only, and you're asked to present a
24 major piece of work there and it's not only peer reviewed in
25 the standard fashion but they get right to the nitty-gritty and

2295

44F5NAT1 Clark - direct

1 grind it up and spit people back out. But, if it's successful
2 then you are in that organization.

3 And they asked me to come present that work, present
4 and defend that work to that organization and in fact it
5 received high accolades and really --

6 Q. Did you receive an award in connection with your work in
7 connection with the amniotic fluid embolism?

8 A. I think so, yeah.

9 Q. In that Illinois case, was your work on the amniotic fluid
10 embolism registry challenged?

11 A. Sure.

12 Q. Do you recall very briefly what the issue was?

13 A. Yes. I know the only people who -- this has never been
14 challenged in a scientific forum but by lawyers who don't like
15 the results sometimes -- I mean, some of the results are good
16 for the defense, some of the results are bad for the defense
17 and good for the plaintiff, either way. And so, there have
18 been obviously a lot of pot shots taken about this in legal
19 matters.

20 My understanding -- I'm not sure, I think what they
21 were belly aching about in this case was the fact that after
22 doing the research -- or that a number of the cases were in
23 litigation and in fact a couple of the cases not only had I
24 collected this data but actually had been asked to serve as an
25 expert. There was a statement made as I recall that I was an

44F5NAT1 Clark - direct

1 expert in 75 percent of the cases, that's absolutely wrong, but
2 I believe 75 percent of the cases were in litigation and in
3 some of those I had asked to be an expert on.

4 So, they dug into that in an attempt to discredit the
5 research but, again, no credible scientific group has ever --
6 you know, that's -- that's lawyers.

7 Q. Do you know what happened to that Illinois case?

8 A. Yes. They found for the defense, that was decided. Some
9 Court said send it back and then it was ultimately dismissed.

10 Q. Why did you agree to testify on behalf of the government in
11 this case?

12 A. Well, I think fairly simply I am trying to bring some
13 scientific rationale into this, into this whole question.

14 I mean, there may be -- it's a big issue, it's a big
15 issue socially, and I can see reasons that some people might
16 favor this bill and I can see some reasons that people might
17 oppose this bill, but there may be valid reasons to oppose it
18 but don't give me this health of the mother nonsense.

19 That's the basic thing. That's absolute hype.
20 Absolute spin. I did in fact write the book on the subject in
21 this case and I just hate to see the scientific accuracy
22 compromised for the sake of politics and my -- again, I'm
23 trying to bring some -- people can't discuss abortion
24 rationally in America because everybody takes an extreme
25 position and I'm just trying to bring the scientific truth of

2297

44F5NAT1 Clark - direct

1 this matter in terms of the effect of this procedure on
2 maternal health and I think that may be a contribution to the
3 overall debate in the country.

4 Q. Are you being paid for your work on behalf of the
5 government in this case?

6 A. No.

7 Q. And was that your decision, Doctor?

8 A. Yes.

9 Q. Do you provide abortions as part of your patient care?

10 A. Yes, if they're medically needed.

11 Q. Can you just tell us briefly under what circumstances would
12 an abortion be medically needed in terms of the kinds of care
13 that you provide to patients?

14 A. Well, there would be three categories.

15 One would be a situation where the fetus has died
16 already inside and obviously there is no point in the mother
17 carrying that fetus further if the child is already dead, and
18 so -- yes. Since most of my patients would be potentially
19 seriously ill in addition to having the dead fetus you might
20 require some special expertise to take care of that.

21 There would be other conditions where the baby is
22 perfectly fine but in fact carrying that pregnancy further
23 places the mother's life and health in jeopardy and in fact
24 under those circumstances it may absolutely be necessary to
25 preserve the life and health of the mother to terminate the

44F5NAT1 Clark - direct

1 pregnancy.

2 And so, when that's necessary we do it at all
3 gestational ages prior to viability, the baby can't live on the
4 outside. After viability the baby can live but we put about
5 maybe 15 to 20 percent of new babies in a newborn intensive
6 care unit, they're put there on purpose by people like me who
7 have delivered this child early because continuing to get this
8 baby further time for maturity would place the mother's life
9 and health in jeopardy.

10 And so, we do that all the time, deliver the babies
11 early when the mother's health is in risk, or if it happens
12 very early in pregnancy, actually do a termination.

13 The third category would be where there are fetal
14 abnormalities not compatible with life on the outside. The
15 baby developing without a brain, for example, where continuing
16 that pregnancy may have some particular hazards to the mother
17 and there are no benefits to the baby -- whether it's born now
18 or born later it will die and we can tell that by prenatal
19 testing.

20 And those would be, that would be the third category
21 of conditions where I might provide, either provide or assist
22 in providing pregnancy termination.

23 Q. What kinds of pregnancy termination methods have you used?

24 A. Well, D&C early on, medical induction of labor later on, or
25 this D&E procedure later on.

2299

44F5NAT1 Clark - direct

1 And of course, once you get to the point where the
2 baby can live on the outside, again medical induction of labor
3 in most cases. And, at times, caesarian section.

4 Q. Can you just give us an idea of approximately how many
5 labor induction abortions you have performed?

6 A. Sure.

7 You say labor induction abortions and so I'm
8 restricting this answer then to those situations that occur
9 prior to what might be called fetal viability, prior to 23-24
10 weeks.

11 Q. Are you doing that because you refer to labor induction
12 then for purposes of live birth?

13 A. Yes.

14 Q. Okay.

15 A. Beyond that we try to save -- we try to save the baby.
16 Right. Even though we may have to deliver because the mother
17 is really sick we, of course, try to save the baby.

18 Certainly less than 20 labor induction abortions, in
19 my life.

20 Q. And what about abortions by D&E?

21 A. At most, a dozen.

22 Q. When you say D&E, are you referring to D&E by
23 dismemberment?

24 A. Yes.

25 Q. Do you review the literature concerning abortion?

44F5NAT1 Clark - direct

- 1 A. Sure.
2 Q. And why do you do that?
3 A. Well, if it's in any of the leading journals I read them
4 every month so I can't help it.
5 Furthermore, of course this is important to somebody
6 who spends his life caring for -- really to a large extent --
7 really, really sick mothers. Knowing the latest info on ways
8 to terminate the pregnancy in those rare cases where it is
9 necessary to preserve the health of the mother is an important
10 thing.
11 Q. Have you ever provided care to patients who had a
12 miscarriage or spontaneous abortion?
13 A. Sure.
14 Q. And in those circumstances, what method have you used to
15 complete the miscarriage?
16 A. Well, either D&E or medical inductions. I think for the --
17 well, let me put it this way, D&C would certainly be the most
18 common. Medical induction is probably second-most common.
19 Q. Can you give us an idea of approximately how many times you
20 provided care to patients who have had miscarriage or
21 spontaneous abortion?
22 A. Sure, hundreds of times in women where the fetus was
23 already dead or they're miscarrying right now. Lots of times.
24 And I'm not including that in my previous answer that only
25 dealt with live fetuses.

2301

44F5NAT1 Clark - direct

- 1 Q. Can you just, very briefly describe for the Court, how D&E
2 by dismemberment is performed?
3 A. Well, sure.
4 The cervix is dilated, there are different ways this
5 can be done and then the, an instrument is introduced into the
6 uterus and the fetus is pulled out in parts.
7 Q. After the fetus is removed, is there any other steps taken
8 to complete the procedure?
9 A. Well, sure. Then you've got to deal with the placenta and
10 typically the placenta does not deliver quickly in these early
11 pregnancies and no matter how you, once the baby is out either
12 medically or by D&E, then you're in the same situation. There
13 is the empty uterus except for the placenta and then you have
14 to wait for the placenta to come out and often that also has to
15 be taken out also with instruments.
16 Q. How do you remove the placenta with instruments?
17 A. By pulling it out with the same sort of forceps instruments
18 called sulfur forceps.
19 THE COURT: Doctor, when you say this procedure that
20 parts of the fetus are pulled out, they have to be torn off, do
21 they not?
22 THE WITNESS: Yes, they do.
23 THE COURT: I mean, it's not in parts in the mother's
24 uterus, right?
25 THE WITNESS: That's correct.

44F5NAT1

Clark - direct

1 THE COURT: They have to be torn off and removed?

2 THE WITNESS: Yes, they do.

3 THE COURT: I know it's harder to say, but that's the
4 fact of how it's done?

5 THE WITNESS: That's the absolute fact. The baby is
6 torn limb from limb and, if it's alive, it dies as its limbs
7 are being torn off.

8 THE COURT: That's what I want this record to be clear
9 on.

10 THE WITNESS: Absolutely true.

11 THE COURT: Thank you.

12 BY MS. GOWAN:

13 Q. Doctor, what do you tell your patients if you are going to
14 perform a D&E by dismemberment procedure on them?

15 A. Well, remember we only are going to do this if -- I'm only
16 going to do this if the mother has a good chance of dying if we
17 don't, in which case the baby will die too.

18 And so, it's really not mother versus baby, it's the
19 baby is going to die either now or after its mother dies. Or,
20 the baby will die now but the mother will live, okay?

21 So, I just think I want to make that very clear that I
22 do not do these things electively, only when it is absolutely
23 necessary.

24 So, the first thing we do is try to make sure it is in
25 fact necessary, and that's very, very rare. In most cases the

2303

44F5NAT1

Clark - direct

1 medical conditions can be treated, it's not.

2 But when in fact those situations arise, and they do
3 arise and I have this conversation with women where in fact
4 there is an excellent chance they will die unless they
5 terminate this pregnancy, I simply explain to them the
6 procedure in just the way I have explained it to you.

7 The cervix is dilated and then the baby is pulled out
8 in pieces.

9 And very often they will specifically ask the question
10 that the Judge just asked now and, yes, I tell them in exactly
11 those words, yes, we will pull it apart limb by limb and that
12 will kill it.

13 THE COURT: Is that your obligation, to tell a patient
14 under medical ethics, complete disclosure?

15 THE WITNESS: Absolutely.

16 THE COURT: Thank you.

17 Go ahead, Ms. Gowan.

18 BY MS. GOWAN:

19 Q. Would you please describe for us medical induction
20 abortion, briefly?

21 A. Sure. That simply involves administering some sort of
22 drug, and there are a variety of things that can be used, which
23 cause the uterus to contract and you might say mimic the more
24 natural process of labor in which case the fetus is delivered.

25 So, the same sort of labor that would occur under most

44F5NAT1 Clark - direct

1 circumstances at full-term occur, are made to occur very much
2 earlier.

3 Q. What are these agents called that you have just referred
4 to?

5 A. Well, there are two basic classes. One is called oxytocin.
6 Oxytocin is simply a synthetic version of a hormone
7 which is naturally released to cause labor but it's not
8 generally released until later on and we inject it earlier on.
9 The second are a group of agents called prostaglandins
10 and there are two classes E prostaglandin and F prostaglandins.
11 And the difference between E and F might not seem like much but
12 actually there are some major physiologic distinctions between
13 which patients can use E prostaglandins and F prostaglandins.

14 Q. Can some patients use E but not F prostaglandins?
15 A. Yes.

16 Q. Would that then be called the patient having a
17 contraindication for F prostaglandin?
18 A. Yes.

19 Q. But it would be safe to use E prostaglandin for that
20 patient?
21 A. Yes.

22 Q. Have you ever heard of something called misoprostol?
23 A. Sure.

24 Q. What is that?
25 A. PGE-1, so that's one of the E prostaglandins.

2305

44F5NAT1 Clark - direct

1 Q. Is misoprostol used for medical induction abortion?
2 A. Yes.

3 Q. Have you ever heard of the expression "off-label" in
4 connection with a drug?
5 A. Yes. Sure.

6 Q. What does that mean?
7 A. That just means that the drug is being used for a purpose
8 for which the drug company did not develop it.
9 Misoprostol, for example, was developed to treat
10 ulcers but it was found to be very effective in causing
11 contractions and therefore is used widely both in full-term --
12 full-term pregnancies when something comes up and you want to
13 induce labor, misoprostol is used all the time. And it's also
14 used in earlier terminations, both when the baby is dead and in
15 the rare case where the baby is still alive.

16 Q. So then would its use in connection with terminations be
17 called an off-label use --
18 A. Sure.

19 Q. -- of misoprostol?
20 A. Sure, it would.

21 Q. And are drugs commonly used off-label by physicians
22 practicing in the United States?
23 A. Well, sure they are.

24 I think that the best example of that would be for
25 preterm birth. There is one drug approved for use in treating

44F5NAT1 Clark - direct

1 preterm labor in the United States. It is a drug called
2 Ritadrin and I haven't seen that drug used for preterm labor in
3 almost a decade now and I treat preterm labor all the time and
4 so does everything else.

5 All of the drugs that are commonly used in America
6 today to treat preterm labor are off-label drugs. Every single
7 one of them.

8 Again, I'm not even sure if they make Ritadrin
9 anymore, so that's just an example of drugs that were -- all
10 these drugs were initially developed and labeled for use in
11 other conditions but have been found, for example, to be useful
12 in delaying pre-term labor and so they're used off-label, yes.
13 Another example.

14 Q. In your view, is abortion by medical induction a safe
15 abortion procedure?

16 A. Sure.

17 Q. And is it a recognized abortion procedure?

18 A. Yes.

19 Q. And has it been studied?

20 A. Yes.

21 Q. And is it safely documented in the literature?

22 A. Yes.

23 MS. PARKER: Your Honor, I think Ms. Gowan is
24 offering, is asking for opinions before she's qualified this
25 witness as an expert. We have no objection to him being

2307

44F5NAT1 Clark - direct

1 qualified.

2 THE COURT: I wouldn't think you would, but I don't
3 know that it's necessarily an expert opinion at this level.
4 But if you wish to go through the procedure, or is it an
5 exercise because it's done in the movies regularly, objections?

6 Do you wish to offer the witness as expert, Ms. Gowan?

7 MS. GOWAN: Your Honor, I was going to do that very
8 shortly after I ask the witness to tell us.

9 THE COURT: Overruled then. Go at your own pace.

10 BY MS. GOWAN:

11 Q. Dr. Clark, are you familiar with the dilation and
12 extraction abortion method or D&X?

13 A. Yes.

14 Q. And what's the basis of your familiarity with that
15 procedure?

16 A. I have read about it.

17 Q. What have you read?

18 A. Well, I have read the original descriptions of the, I think
19 it was Dr. McMahon and Haskell who, my understanding, kind of
20 developed this procedure, and I have read how the fathers of
21 that procedure described it and how it's done.

22 I have read a number of expert disclosures in this
23 case, I think they were called expert disclosures, something
24 from plaintiff experts who performed the procedure, and they
25 talked about all sorts of things.

44F5NAT1 Clark - direct

1 Q. Are you referring to the plaintiff's declarations that were
2 provided to you by the government in connection with your work
3 in this case?

4 A. I think I am, yes.

5 And then I reviewed kind of a pre-publication draft of
6 an article by Dr. Chasen and colleagues and, you know, have --
7 those would be the main sources of my information on this
8 topic.

9 Q. Have you ever witnessed the performance of the D&X
10 procedure?

11 A. No.

12 Q. Do you know anyone who performs it?

13 A. I'm not sure that I do. I mentioned in deposition that I
14 know this Dr. Evans and so if he -- if he performs them then I
15 know someone.

16 Q. You think he may but you are not sure?

17 A. I'm not sure, yes.

18 Q. Do you have an understanding of the approximate gestational
19 age at which the procedure is performed?

20 A. Yes, second trimester.

21 And in reading the, my recollection is that one of
22 these -- one of these McMahon or Haskell things, I think there
23 was a fetus out to 32 weeks. I mean, it seems unbelievable but
24 as I recall there was some description that it was performed
25 that late. My understanding is that these days that would be

2309

44F5NAT1 Clark - direct

1 very unusual but I believe it's performed out to 27, 28 weeks
2 sometimes.

3 Basically second trimester and occasionally beyond, is
4 my understanding.

5 (Continued on next page)

6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

44frnat2

Clark - direct

- 1 Q. Can you describe very briefly what you understand the
2 procedure to involve.
3 A. Sure. The cervix is dilated by, again, a variety of
4 methods. The fetus is then extracted feet first. Once the
5 fetus has been extracted to the point of the head, a hole is
6 put in the head, you put in a suction device, suck out the
7 brain, pull out the baby. Then you have still got the placenta
8 the same way you would regardless of how the fetus was brought
9 out.
10 Q. Have you ever heard the term "partial-birth abortion"?
11 A. Yes.
12 Q. What does "partial-birth abortion" mean to you, that term?
13 A. I think it is this procedure we are talking about in this
14 case that I have just briefly described.
15 Q. So in your mind partial-birth abortion is the same as D&X?
16 A. Yes.
17 MS. GOWAN: Your Honor, pursuant to Federal Rule of
18 Evidence 702, the government offers Dr. Clark as an expert in
19 obstetrics and gynecology, maternal fetal medicine, and
20 critical care obstetrics, with the knowledge, skill,
21 experience, training, and education to testify and form an
22 opinion about maternal health and abortion.
23 THE COURT: Any objection?
24 MS. PARKER: No objection.
25 THE COURT: The Court will so recognize the doctor.

2311

44frnat2

Clark - direct

- 1 Q. Doctor, have you been asked to render an expert opinion in
2 this case concerning maternal health and D&X abortion?
3 A. Yes.
4 Q. Have you formed an expert opinion concerning maternal
5 health and D&X abortion?
6 A. Yes.
7 Q. What is your opinion, in summary?
8 A. Twofold. Number one, under no circumstance is D&X abortion
9 necessary to preserve the life or health of the mother.
10 Corollary to that: Under no circumstance would the abolition
11 of this procedure in any way jeopardize the life or health of
12 any mother regardless of what medical condition she may have.
13 Number two, there are in fact grave concerns regarding
14 the long-term safety of this procedure regarding the use of
15 this procedure. To date, there are no publications even
16 describing this procedure. There will be one coming out. And
17 once that data is out and appropriately analyzed, the data from
18 that procedure regarding rate of subsequent preterm birth is so
19 incredibly disturbing that it makes -- I think it confirms what
20 is suspected might result from this procedure in terms of long-
21 term outcomes, and therefore it would be inappropriate to be
22 used in the general sense.
23 MS. PARKER: Your Honor, I object to that last piece
24 of the answer regarding Dr. Chasen's study and I ask that it be
25 stricken from the record. This expert did not opine on the

44frnat2

Clark - direct

1 Chasen study in his expert report. No supplemental expert
2 report has been submitted, and we have not had an opportunity
3 to depose this expert on this topic.

4 THE COURT: Is that Ms. Parker?

5 MS. PARKER: Yes.

6 THE COURT: Calm down. I can hear you.

7 Ms. Gowan?

8 MS. GOWAN: Your Honor, indeed, as I advised
9 plaintiffs' counsel this morning, the government is not
10 offering Dr. Clark's expert opinion as to any of the substance
11 or the underlying methodology or in a way to critique Dr.
12 Chasen's paper. Certainly Dr. Clark has looked at Dr. Chasen's
13 paper. It is going to be out there in the medical literature.
14 He will testify just in the way that he has read that and
15 understands that there are certain facts or conclusions pressed
16 in that paper and that he is applying those to his opinion. He
17 is not being offered in any way to challenge that paper.

18 THE COURT: I will allow it.

19 Q. Doctor, does your opinion in this case in any way depend on
20 the gestational age of the fetus?

21 A. No. You mean the two opinions I have just given you?

22 Q. Yes.

23 A. No, they do not. That would hold without respect to the
24 gestational age of the fetus.

25 Q. Could you very briefly tell us why.

2313

44frnat2

Clark - direct

1 A. Sure. I can't imagine any medical condition -- I have
2 tried hard to think of one, even a hypothetical one -- in which
3 this D&X procedure might be helpful to me, as someone who spent
4 my life caring for critically ill women, in which it might be
5 helpful to me in preserving the life or health or well-being of
6 the mother. I can't come up with one. I can't come up with
7 one at 6 weeks, I can't come up with one at 18 weeks, I can't
8 come up with one at 22 weeks. I can't come up with one at any
9 gestational age.

10 Q. Does your opinion apply to those circumstances where the
11 woman is experiencing a maternal medical complication that
12 requires termination of her pregnancy?

13 A. Yes.

14 Q. Does your opinion apply to those circumstances where the
15 woman is carrying a fetus with an anomaly that is incompatible
16 with life?

17 A. Yes.

18 Q. Does your opinion apply generally to the issue of safety as
19 it may relate to the procedure?

20 A. Yes.

21 Q. Are there available methods of abortion in the second
22 trimester that may be used to safely terminate a pregnancy of a
23 woman who is experiencing a maternal medical complication?

24 A. Yes.

25 Q. What are those methods?

44frnat2

Clark - direct

- 1 A. Basically, one can induce labor with any one of those drugs
2 we mentioned, or in the second trimester one can do this D&E,
3 extraction dismemberment procedure. Those are available. In
4 the vast majority of cases, either one is equally acceptable.
5 There are certainly situations where the extraction may be
6 somewhat preferable, and there are other cases where the
7 medical induction may be somewhat preferable.
- 8 Q. When you say "extraction," are you referring to the D&E by
9 dismemberment?
- 10 A. Yes.
- 11 Q. The procedure you described for the judge, the tearing of
12 the fetus in parts?
- 13 A. Yes.
- 14 Q. I'm sorry. I didn't mean to interrupt. You were telling
15 us that there may be certain circumstances where one may be
16 contraindicated or the other may be preferable?
- 17 A. Absolutely. Not many, again, but there are some cases
18 where one is preferable to the other. But there are no cases
19 where the D&X, partial-birth abortion, is preferable to the
20 D&E.
- 21 Q. Or the medical induction?
- 22 A. Right.
- 23 Q. If the D&E by dismemberment is contraindicated.
24 What is the physician's first goal when confronted
25 with a pregnant woman who is ill?

2315

44frnat2

Clark - direct

- 1 A. To try to make her un-ill.
- 2 Q. What do you mean by that?
- 3 A. To try to treat her medical condition that is making her
4 sick and get her better.
- 5 Q. So you try to stabilize the patient?
- 6 A. Stabilize and, if possible, improve and help cure, if that
7 can be done to the patient.
- 8 Q. Do you do that for the purpose of assisting her in
9 proceeding to term with her delivery, if possible?
- 10 A. Sure.
- 11 Q. Are you sometimes able to safely stabilize an ill patient
12 through to live birth?
- 13 A. I think "sometimes" would be incorrect. Almost always
14 would be correct, yes, the vast majority of situations no
15 matter what the medical condition is. Yes, indeed, the mother
16 can be treated medically, she can be treated surgically. Her
17 condition can be stabilized. Again, I spend my days taking
18 women with potentially serious conditions or serious conditions
19 right up through the end of pregnancy and sending home healthy
20 mother with healthy baby, yes.
- 21 Q. Is it fair to say that it would be rare that a termination
22 would be required in order to preserve the health of an ill
23 mother?
- 24 A. Very rare.
- 25 Q. Can you give us some idea based on your experience of how

44frnat2 Clark - direct

1 often such circumstances might occur that a termination of a
2 pregnancy would be required to preserve maternal health?

3 A. Sure, especially in the second trimester. Let me give you
4 some numbers. About 30,000 deliveries occur each year in Utah,
5 and my colleagues and I would see, I expect without exception,
6 all of those mothers who might require termination for medical
7 indication.

8 In addition, we would see a large number of such
9 patients from the states of Nevada, Montana, Idaho, and Wyoming
10 who would be referred into the University of Utah if they had a
11 medical condition that required that sort of situation. So I
12 suspect we are dealing with you might say a catchment area of
13 50, 60,000 patients a year.

14 We probably perform at all gestational ages now 2 or 3
15 a year, 2 or 3 terminations a year where the maternal medical
16 condition warrants a termination. I suspect we would average
17 zero to 1 a year where this would occur in the second
18 trimester.

19 Q. Why would it be more rare in the second trimester?

20 A. For the following reasons, I think with the exception of
21 one condition. I can think of occasionally a condition called
22 preeclampsia which may suddenly blow up in the second
23 trimester. This would be late second trimester. Still, that
24 is very rare.

25 But most of these medical conditions, the very fact

2317

44frnat2 Clark - direct

1 that the woman is alive in the second trimester means that, by
2 definition, she must have had access to really good healthcare
3 throughout the first trimester or she would be dead by now.
4 And if she had access to really good healthcare in the first
5 trimester and the pregnancy was threatening her life, she would
6 have terminated the pregnancy if she was going to already in
7 the first trimester.

8 So the concept that a woman might have a really
9 serious medical condition which is going to kill her and she
10 suddenly drops out of the sky in the late second trimester
11 needing a second trimester termination is simply one that is
12 kind of a hypothetical construct that in truth doesn't happen,
13 doesn't happen for the most part. Of course, there are
14 exceptions to everything and occasionally it does happen. I
15 gave you the idea of the frequency of that.

16 Q. What about where the medical condition isn't so severe that
17 it might kill her but that the medical condition might
18 jeopardize or negatively impact her health? Is that similarly
19 rare or is that less rare, in your experience, in second
20 trimester?

21 A. No, it is similarly rare, and I think for similar reasons.
22 If a woman has a serious medical condition but now she is alive
23 in reproductive age, she is going to have had for the most part
24 access to mainstream American medicine and will have made that
25 decision to terminate her pregnancy if it is going to affect

44frnat2 Clark - direct

1 her health in the first trimester, when she can have a quick,
2 easy, simple, safer D&C procedure.

3 The other reason, of course, is the woman has to make
4 not only -- well, I think I have explained it.

5 Q. Doctor, is that why you don't have much experience
6 performing abortions?

7 A. Yes, absolutely. The situation simply does not arise in
8 the context of a medical indication. It is rare as hens'
9 teeth. It is rare as hens' teeth for that to occur.

10 Q. Doctor, before we focus on specific conditions, can you
11 just tell us very generally what types of maternal medical
12 conditions might indicate that a termination would be necessary
13 to preserve a woman's health.

14 A. Sure. I would say heart disease probably accounts for the
15 vast majority of conditions where we find ourselves counseling
16 the woman that if you carry this pregnancy further on you're
17 going to die or you have a good chance of dying or your health
18 being severely affected. So maternal heart disease is, I
19 believe, the most common.

20 The next two would probably be cancer in pregnancy and
21 hypertensive disease in pregnancy. Then rare cases of
22 everything else probably would be less than 5 percent of the
23 situations where medically indicated termination becomes an
24 issue.

25 Those would be the major categories. Certainly there

2319

44frnat2 Clark - direct

1 are blood clotting disorders, bleeding disorders, pulmonary
2 disorders, disorders of most of the organ systems of the body
3 which might occasionally pose a risk to the mother's health.

4 Q. When you say occasionally for those types of disorders, can
5 you give us any kind of rate of occurrence that you might see
6 or have seen?

7 A. I have given you kind of the whole thing. I think less
8 than 5 percent. And I want to make it clear here I am not
9 speaking scientifically. I do not have data. This is just
10 what seems to me when I give you these figures, so take these
11 figures with a large grain of salt, please.

12 Q. When you say --

13 A. I think 90 percent of the cases where medical termination
14 is necessary we are talking heart disease, 5 percent is cancer,
15 hypertensive disease, and probably 5 percent is everything else
16 under the sun combined. That is my thoughts as I sit here
17 today but speaking without -- in answer to your question. But
18 those numbers do need to be taken with a grain of salt, please.

19 Q. That is based on your experience working in critical care
20 obstetrics, right?

21 A. Only my experience, yes.

22 Q. I want to focus first on cardiac conditions. Have you
23 published any articles or books that deal with cardiac
24 conditions in pregnancy?

25 A. Yes, both. That is articles, a number of peer-reviewed

44frnat2

Clark - direct

- 1 articles, a number of review articles, and a number of book
2 chapters.
- 3 Q. Are those listed on your curriculum vitae?
4 A. Yes, including this most recent thing with ACOG. When they
5 wanted someone to write the summary for cardiac disease in
6 pregnancy, they asked me to do so.
- 7 Q. If we turn very briefly, I would like to go through and
8 identify some of the publications that you have had that relate
9 to cardiac conditions in pregnancy. If you would turn to page
10 7, item number 13.
- 11 A. OK.
- 12 Q. Does that relate to cardiac conditions in pregnancy?
13 A. Yes.
- 14 Q. Can you read the title of that article, please.
15 A. "Obstetric Uses for the Pulmonary Artery Catheter."
16 Q. Does item number 14 relate to cardiac conditions in
17 pregnancy?
18 A. Yes.
- 19 Q. Can you read the title of that item, please.
20 A. "Transient Ventricular Dysfunction Associated with Cesarean
21 Section in a Patient with Hyperthyroidism."
22 Q. Could you look at item number 19. Does that relate to
23 cardiac conditions in pregnancy?
24 A. Yes.
- 25 Q. Could you read the title, please.

2321

44frnat2

Clark - direct

- 1 A. "Experience with the Pulmonary Artery Catheter in
2 Obstetrics and Gynecology."
3 Q. Could you refer to item number 21.
4 A. Yes. "Labor and Delivery in the Presence of Mitral
5 Stenosis: Central Hemodynamic Observations."
6 Q. Does that relate to cardiac conditions in pregnancy?
7 A. Yes.
- 8 Q. Could you look at item number 26.
9 A. "Cardiac Arrest in Pregnancy: What to Do."
10 Q. Obviously, that relates to cardiac conditions in pregnancy?
11 A. Yes.
- 12 Q. Could you look at item number 44, please.
13 A. Yes.
- 14 Q. Does that relate to cardiac conditions in pregnancy?
15 A. Yes. "Structural Cardiac Disease in Pregnancy."
16 Q. Could you look at item number 86.
17 A. 86?
18 Q. Yes. Does that relate to cardiac disease in pregnancy?
19 A. Yes. It's called "Valvular Cardiac Disease in Pregnancy."
20 Q. Does item number 87 relate to cardiac disease in pregnancy?
21 A. Yes.
- 22 Q. Could you read the title, please?
23 A. "Cardiac Disease in Pregnancy."
24 Q. Item number 88?
25 A. Also titled, for a different journal, "Cardiac Disease in

44frnat2 Clark - direct

- 1 Pregnancy."
2 Q. Are those two different articles, Doctor?
3 A. Hard to say. I suspect to a large extent they are very,
4 very similar. Two journals asked me to write articles on the
5 same topic in the same year, review articles. These weren't
6 original research articles. I can't tell you they are exactly
7 the same, but I bet you they are awful similar.
8 Q. How about item number 119, does that relate to cardiac
9 conditions in pregnancy?
10 A. Yes. It is called "Cardiac Surgery During Pregnancy."
11 Q. Item number 127, does that relate to cardiac conditions in
12 pregnancy?
13 A. Yes. It is called "Maternal Cardiac Arrest."
14 Q. Item number 144, does that relate to cardiac conditions in
15 pregnancy?
16 A. Yes. It is called "Cardiac Disease." It is a textbook
17 chapter.
18 Q. That was a chapter in the Critical Care Obstetrics
19 textbook, third edition?
20 A. Yes.
21 Q. Did you write that chapter?
22 A. Yes.
23 Q. Would you refer to item number 150. Does that relate to
24 cardiac conditions in pregnancy?
25 A. Yes. It is called "Cardiopulmonary Bypass."

2323

44frnat2 Clark - direct

- 1 Q. Was that another chapter in critical care obstetrics?
2 A. Yes.
3 Q. Did you write that chapter?
4 A. Yes. Well, no -- well, I wrote it. I'm the sole author on
5 that. I thought I might have had co-authors, but it looks like
6 I did not.
7 Q. Item number 154, does that relate to cardiac conditions in
8 pregnancy?
9 A. It is called "Cardiac Disease in Pregnancy." That is a
10 different textbook of maternal fetal medicine that I was asked
11 to -- headed by Al Reese, who was the chairman at Yale at that
12 point, either Yale or -- anyway.
13 Q. You wrote a chapter in the book?
14 A. I wrote a chapter for his textbook, wherever he was at that
15 point, yes.
16 Q. I think item number 164, what is that?
17 A. That is called "Cardiac Disease in Pregnancy" for the 2000
18 edition of the ACOG's precis book. I explained what that was.
19 Q. So that is something that you wrote for the American
20 College of Obstetrics and Gynecology that was included in the
21 precis that they distributed to the membership?
22 A. Right. That is the 2000 edition. Then the one I am doing
23 now will be I guess 2004 or 2005, whenever they finish it up.
24 Q. Doctor, why would like to do is direct your attention to
25 some of the testimony that we have received in this case in

44frnat2

Clark - direct

1 connection with cardiac conditions and pregnancy termination.
2 Dr. Timothy Johnson, a plaintiff in this case, testified at
3 page 438/lines 2 through 5 at trial as follows. This was
4 concerning the issue of termination for cardiac conditions.

5 "I guess my response would be that in certain medical
6 conditions, like heart conditions, the fluid shifts and the
7 prolonged stress and pain of a medical induction would make a
8 D&E procedure a preferable procedure in some patients."

9 Doctor, what kind of fluid shifts, if any, are there
10 in pregnant patients with heart conditions?

11 A. The major fluid shifts occur after delivery. In the full-
12 term patient you have a great big baby who has been to some
13 extent blocking off the free return of blood from the lower
14 extremities. That is why women get swollen legs, because they
15 have increased pressure, increased pooling of blood in the
16 lower extremities, and that gives rise to some of the edema
17 that we commonly see in pregnancy.

18 When you deliver that baby, suddenly this partial
19 obstruction to the return of blood to the heart is gone, and so
20 once the baby is delivered, you get some fluid shift. It is
21 called autotransfusion, where the heart now has to deal with a
22 sudden increase in the amount of blood coming back to it.

23 Q. Would fluid shifts have anything to do with the selection
24 of an abortion procedure in the second trimester?

25 A. No. Those fluid shifts are going to be minimal anyway in

2325

44frnat2

Clark - direct

1 the second trimester. Furthermore, it doesn't matter how you
2 get the baby out; once the baby is out, the fluid shifts occur.
3 So the fluid shifts would be completely irrelevant to the
4 method of delivery.

5 Q. In your opinion, would any prolonged stress associated with
6 medical induction in a cardiac patient make a D&E procedure
7 preferable?

8 A. Yes, unless you gave the mother an epidural, epidural
9 anesthesia during her labor. So I would say there would be
10 some stress on a mother's heart if she were for some reason
11 made to have a medical induction and wasn't given pain relief,
12 yes. But if you give her an epidural, as we do -- that has
13 actually been studied. The effects of epidural on fluid
14 shifts, and so forth, has all been studied, and you can take
15 care of it that way. So either labor induction with adequate
16 pain relief or the extraction dismemberment procedure would be
17 fine.

18 Q. So the giving of an epidural would satisfy Dr. Johnson's
19 concern about pain associated with medical induction in these
20 circumstances that he was describing, is that right?

21 A. Sure. It would more than satisfy that. Because Dr.
22 Johnson has of course left out the other part of that, and that
23 is the fact that any sort of surgical procedure which might
24 result in, for example, perforation of the uterus or blood
25 loss -- there are many heart conditions' where what is going to

44frnat2

Clark - direct

1 kill the mother is sudden unexpected blood loss in a surgical
2 complication.

3 As a general principle, we try to deliver women with
4 heart disease vaginally even as opposed to by Cesarean section
5 at term. For example, the most serious form of heart disease,
6 pulmonary hypertension, the data is very clear that there is a
7 dramatic increased risk of death when the mother is delivered
8 surgically than when she is delivered medically.

9 Q. Dr. Westhoff testified at page --

10 THE COURT: Ms. Gowan, is this a convenient time for
11 you or do you have a little bit more in this area, for our
12 morning break?

13 MS. GOWAN: This would be a convenient time, your
14 Honor. I have a little bit more on cardiac conditions. It
15 would probably be too long before the break.

16 THE COURT: So take the break?

17 MS. GOWAN: Yes.

18 THE COURT: We will stand in recess.

19 (Recess)

20 THE COURT: Ms. Gowan, you may inquire.

21 Q. Dr. Clark, Dr. Westhoff testified at page 819/3 through 9
22 of the transcript: "I want to rely on the cardiologists who
23 refer such patients to me. Because of the cardiac condition of
24 these patients, these doctors will tell me whether it is second
25 trimester or in fact for childbirth at term that prolonged

2327

44frnat2

Clark - direct

1 labor is dangerous to their patients because of the change in
2 the dynamics of the blood supply. So they refer patients to me
3 for a D&E."

4 Are there changes in the dynamics of blood supply in
5 patients with cardiac conditions during the second trimester?

6 A. Sure.

7 Q. What does that mean, changes in dynamics of blood supply?

8 A. The only thing it could mean, the only true thing it could
9 mean is that there is more blood, the woman has more blood in
10 her body in the second trimester than she did when she was not
11 pregnant. That would have nothing to do with termination,
12 method of termination, delivery, nothing to do with nothing,
13 actually. But, in truth, the woman does have more blood in the
14 second trimester than in the first trimester or when she is not
15 pregnant.

16 Q. So the fact of the existence of additional blood is not an
17 indication for a particular abortion procedure in the second
18 trimester, is that what you are saying?

19 A. That is exactly what I am saying.

20 Q. In your opinion, is prolonged labor dangerous to cardiac
21 patients because of the increased blood supply that they may be
22 experiencing in the second trimester of pregnancy?

23 A. No, not in the second trimester. I have said certainly
24 there are changes, but those changes are not great by the
25 second trimester. They have become significant by term, in

44frnat2 Clark - direct

1 fact by 32 to 34 weeks is where they actually peak. But the
2 hemodynamic changes are really much less in the second
3 trimester. And I don't see how -- it is a true statement woman
4 have more blood, but I see no way in which there is any -- that
5 is some hand-waving there -- any relevance to the discussion of
6 the method of termination.

7 Q. In your opinion, is labor induction abortion a safe method
8 of termination for cardiac patients in the second trimester of
9 pregnancy?

10 A. For most of them it is. As I mentioned, under most
11 circumstances that is preferable. But in fact in many
12 situations the D&E dismemberment procedure would also be safe.
13 I would say 9 times out of 10 with a heart disease patient,
14 either of those techniques would be equal preferable. I guess
15 I can think of some situations in which one might be preferable
16 slightly to the other, but in most cases either one would be
17 just fine.

18 Q. What about D&X? Can you think of any circumstances in
19 which D&X would be necessary to preserve the health of a
20 cardiac patient?

21 A. Of course not. You don't even have to be a doctor to
22 figure this out. Give me any changes in a mother, all the
23 hormonal changes, all the blood supply changes, all the cardiac
24 output changes, all the changes, the method by which you
25 extract the baby -- either pull it apart piece by piece or pull

2329

44frnat2 Clark - direct

1 it out and suck its brains out and then pull it out -- does not
2 affect the hormones of the mother, it doesn't affect the blood
3 supply of the mother. It doesn't affect anything going on
4 within the mother. That's smoke is what we are talking about.

5 Q. In your opinion, should D&X be performed on cardiac
6 patients?

7 A. I guess the true answer is I don't know and neither does
8 anyone else, because as it stands today there is no published
9 data demonstrating just what happens and what the risks are to
10 this D&X procedure.

11 Now, I understand that in a few weeks supposedly this
12 one article will be published. But that is, I think, 120
13 women, 120 or 130 women, a relatively small number who
14 underwent this procedure, and they looked at a limited number
15 of complications.

16 Certainly for me, as someone who is concerned with the
17 mother's health, to take a woman who is potentially critically
18 ill with heart disease and subject her to a new procedure
19 where, as it stands today, there is no published data telling
20 what the complications are and there may be a tiny smattering
21 of data at some point in the future, would frankly be
22 irresponsible.

23 We have a procedure, D&E, in which there are a series
24 of over 10,000 patients, where I can precisely know what the
25 complications are and precisely inform the patient what the

44frnat2 Clark - direct

1 complications and risks are and precisely inform myself so as
2 to advise the patient. In some future day maybe we will know
3 exactly what the risks are of this D&X in the same way that we
4 know the risks are of D&E, and under those circumstances it may
5 be that it would be an appropriate technique to use. It may be
6 that it would be as safe to use. But currently I don't know,
7 neither does anybody else.

8 Even that limited amount of data that is about to come
9 out suggests that while in the small sample they couldn't
10 discern any benefit or any change in risk, you look at the
11 preterm birth rate that came after that, and it is downright
12 shocking.

13 I think that is the limited information that is
14 available today.

15 MS. PARKER: Your Honor, I once again object to the
16 doctor's discussion of the Chasen study, his characterization
17 of it, his criticisms of it, which was not in the doctor's
18 expert report.

19 THE COURT: Thank you, Ms. Parker. Overruled. Next
20 question.

21 Q. Doctor, previously in the declaration submitted in this
22 case by Dr. Westhoff, she stated that women who develop
23 peripartum cardiomyopathy are at a serious risk for cardiac
24 failure and require an abortion because their pregnancy has
25 compromised their health. Then at page 1009/lines 4 through 6

44frnat2 Clark - direct

1 at trial she testified that she had a patient with this
2 condition once but that she couldn't remember anything about
3 the patient without resort to the medical records.

4 First of all, can you tell us, what is peripartum
5 cardiomyopathy?

6 A. Yes, peripartum cardiomyopathy is a very specific
7 condition, well defined by international criteria, which
8 requires not only a certain type of heart failure but also
9 requires that this come on in the last few weeks of pregnancy
10 or in the first month or so post-partum. That is peripartum
11 cardiomyopathy.

12 Q. Is that what "peripartum" means?

13 A. That is what peripartum is, around the time of delivery.
14 So by definition, a woman could not develop peripartum
15 cardiomyopathy in the second trimester of pregnancy. There are
16 other forms of cardiomyopathy distinct from peripartum cardio-
17 myopathy that any woman could have, including the pregnant
18 woman. But any peripartum cardiomyopathy, that and second
19 trimester termination can't come together by definition.

20 THE COURT: Ms. Gowan, whose testimony were you
21 referring to?

22 MS. GOWAN: Dr. Westhoff's, your Honor.

23 THE COURT: I want to make sure I had that in mind.
24 Thank you. You may proceed.

25 Q. Let's focus just briefly on cardiomyopathy generally. What

44frnat2

Clark - direct

- 1 is that?
- 2 A. That is a condition in which, for unknown reasons,
3 generally unknown reasons -- occasionally it is infectious but
4 mostly unknown -- the heart muscle just basically turns to
5 mush, and instead of pumping in a nice vigorous way, it doesn't
6 pump very well at all. The heart muscle gets sick. That is
7 cardiomyopathy. That occurs in people, and in fact
8 unfortunately it particularly occurs in young people. In fact,
9 we do run into it in women who coincidentally happen to be
10 pregnant, yes, we do.
- 11 Q. Can you tell me at all in your experience what the rate of
12 occurrence of cardiomyopathy might be in a woman in her second
13 trimester of pregnancy?
- 14 A. No, I can't. We have treated -- I have personally treated
15 three patients within the past year with cardiomyopathy in
16 pregnancy. But the past couple of years before that I didn't
17 treat any patients. It was a bad year under those
18 circumstances. So it is uncommon, but I can't give you an
19 accurate figure.
- 20 Q. In your opinion, if a woman is suffering from cardio-
21 myopathy in her second trimester, would labor and delivery
22 through the induction abortion method be contraindicated?
- 23 A. No. All three of these women were carried to full term.
- 24 Q. I see.
- 25 THE COURT: Your patients you are talking about?

2333

44frnat2

Clark - direct

- 1 THE WITNESS: Right.
- 2 A. Two of them ended up in the hospital for the last couple of
3 months of their pregnancy. This was not an easy pregnancy.
4 But ultimately they all went to term, they delivered vaginally,
5 they took home healthy babies. They still have sick hearts,
6 but we were able to, but treating the mother's heart with
7 various pacemakers and drugs, able to carry her through so she
8 could take home a healthy baby at the end of pregnancy.
- 9 But I want to make it clear that is not always the
10 case. In fact, a serious cardiomyopathy is a perfectly fine,
11 acceptable, wonderful reason to terminate the pregnancy. First
12 or second trimester, that would be one of the reasons that you
13 might terminate a pregnancy medically, yes.
- 14 THE COURT: Would that be to save her life?
- 15 THE WITNESS: That would be to save her life.
- 16 THE COURT: The heart condition is that serious?
- 17 THE WITNESS: Yes, that is true. Or the medical
18 treatment to try to fix the heart condition just doesn't work
19 and in fact she is going downhill despite it, yes.
- 20 Q. So that would be in a circumstance to advance maternal
21 health, is that right?
- 22 A. Sure, exactly.
- 23 Q. In those circumstances where there would be a termination,
24 would termination by medical induction abortion be appropriate?
- 25 A. Yes, it would. But, in fairness, the extraction procedure

44frnat2 Clark - direct

1 would also be appropriate. This would be one of those
2 situations where it wouldn't matter one way or the other.

3 Q. By "extraction," you mean the D&E by dismemberment
4 procedure?

5 A. Yes.

6 Q. Doctor Hammond testified at trial at page 229/line 20
7 through 24 that, "There are certain circumstances where women
8 have medical conditions where they are not supposed to go
9 through labor. Some women have heart disease. Some women have
10 lung disease. In those circumstances, to go through a long
11 period of labor which can be associated sometimes with stress
12 and pain, there are women who can't stand pain, so in those
13 circumstances the D&E is advantageous to the induction and
14 termination of pregnancy."

15 Doctor, can you give us an idea of the rate at which
16 lung disease occurs as a medical complication in pregnancy?

17 A. Sure. It occurs quite commonly in the form of asthma.
18 Roughly 34 percent of pregnant women have asthma. All other
19 forms of lung disease are so small as to be essentially not
20 worth considering. Young women just don't have lung disease.

21 Of course, there are exceptions, yes. We have a woman
22 with cystic fibrosis. We have a woman with some rare sort of
23 lung disease, sure. I've seen it. But for the most part, lung
24 disease in pregnancy is asthma, and it occurs. 4 percent, is
25 fairly significant.

2335

44frnat2 Clark - direct

1 Q. Have you written about asthma in pregnancy, Doctor?

2 A. Yes, I have.

3 Q. If you would take a look at page 12, item 110 on your
4 curriculum vitae. Could you take a look at that.

5 A. Sure.

6 Q. Does that presentation relate to asthma in pregnancy?

7 A. Yes.

8 Q. Could you read that for us.

9 A. It says, "Asthma in Pregnancy. National Asthma Education
10 Program Working Group on Asthma and Pregnancy, National
11 Institutes of Health, National Heart Lung Blood Institute."
12 This was the report of that NIH panel that I was asked to sit
13 on, developing recommendations for managing asthma in
14 pregnancy, which we then published in ACOG's journal Obstetrics
15 and Gynecology.

16 Q. Would you turn to item number 121 on your curriculum vitae
17 and read that to yourself.

18 A. OK.

19 Q. Is that an article that relates to asthma in pregnancy?

20 A. Yes.

21 Q. Could you read the title of that article for us and where
22 it was published.

23 A. Yes. "Severe Acute Asthma: Contemporary OB-GYN."

24 Q. If you would take a look at item number 153, does that
25 publication relate to asthma in pregnancy?

44frnat2

Clark - direct

1 A. Yes.
2 Q. Could you read the title of that article for us.
3 A. Yes. "Out-patient Management of Asthma in Pregnancy."
4 Those last, two, though, I had a co-author.
5 Q. OK?
6 A. It wasn't solely my work.
7 Q. Were both of those peer-reviewed articles?
8 A. No. The first one was peer-reviewed. The second was a
9 review article. This third one is kind of halfway in between,
10 actually. It is kind of a strange journal.
11 Q. What is the name of the journal in which the third one was
12 published?
13 A. Clinics in Obstetrics and Gynecology. There is some
14 element of peer review, but it is not nearly what that first
15 article would have gone through.
16 Q. Dr. Westhoff testified at page 819/line 20 through page
17 820/line 20 that, "For patients with pulmonary disease, I'd
18 prefer to do a D&E, because fundamentally the anesthesia care
19 during the D&E can protect the rest of the body systems,
20 including their lung, and also because some of the drugs that
21 are used for induction, the prostaglandins, have a risk of
22 causing bronchospasm, which would interfere with the patient's
23 breathing and oxygenation."
24 Dr. Westhoff went on to explain in the transcript that
25 bronchospasm is asthma. Is that your understanding as well,

2337

44frnat2

Clark - direct

1 Doctor, is bronchospasm asthma?
2 A. Well, I guess from a lay perspective that is not bad.
3 There are other reasons for bronchospasm, but certainly one
4 reason for bronchospasm is asthma, sure.
5 Q. Is there a prostaglandin that is contraindicated for use in
6 medical induction abortion where a woman has asthma?
7 A. Sure.
8 Q. Are there other prostaglandins that are not contraindicated
9 for use in termination for women who have asthma?
10 A. Yes. The F series prostaglandins, so the PGF2 alpha, which
11 is an F prostaglandin, would be contraindicated in women with
12 asthma. You just can't use it. The F prostaglandins cause
13 bronchospasms. The breathing tubes clamp down and you get a
14 bad asthma attack in the middle of things.
15 But the E series prostaglandins don't cause broncho
16 anything, and we use them all the time in patients with asthma.
17 As well as oxytocin, of course, which can be used, which has no
18 effect either.
19 So he is correct, he is partially correct there.
20 There is one method of medical induction or medical abortion in
21 women with asthma which is contraindicated. The others are
22 fine.
23 But, you see, the premise is wrong. Asthma is not a
24 reason for medical termination of pregnancy. Generally,
25 pregnancy has no effect on the outcome of asthma.

44frnat2

Clark - direct

- 1 Q. Why do you say that?
2 A. A woman may have asthma and be pregnant, that is true. But
3 terminating the pregnancy doesn't make her asthma any better.
4 In fact, all of the medications that can be used to treat
5 asthma are perfectly safe at all stages of pregnancy. So there
6 is no reason to think a woman's asthma will get better or get
7 worse whether she is pregnant or not. Asthma is not an
8 indication for pregnancy termination, although the two may
9 coexist.
10 Q. Let's assume that the woman is terminating the pregnancy
11 for purely elective reasons but she happens to have asthma.
12 A. OK.
13 Q. In those circumstances, would labor induction abortion
14 using a prostaglandin PGE2 or PGE1 in the second trimester be a
15 safe method of abortion?
16 A. Absolutely, as long as they don't use PGF, yes.
17 Q. In your opinion, would D&E by dismemberment be a safe and
18 accepted procedure for women wishing to terminate who may have
19 asthma or other lung disease in the second trimester pregnancy?
20 A. Yes.
21 Q. I take it your opinion would be that D&X would never be
22 necessary to preserve the health of a woman with lung disease
23 or asthma seeking to terminate pregnancy in the second
24 trimester?
25 A. No. Even if we accept all of the available and about to be

2339

44frnat2

Clark - direct

- 1 published data, which I am happy to accept in terms of the
2 short-term safety, let's accept those, we are still completely
3 blank in terms of any benefit of that procedure in a woman with
4 asthma or any other conditions.
5 Q. Any other lung condition?
6 A. Lung condition or human condition.
7 Q. Dr. Hammond testified at page 691/line 20 through 692/line
8 2 that he believed that D&X offered advantages to women with
9 bleeding disorders and low platelets. He referenced a patient
10 whom he had treated with leukemia and low blood platelets.
11 First of all, could you tell us what low blood platelets are.
12 A. Yes. The platelets are certain cells within our blood
13 streams which help us clot if we get a cut. If the platelets
14 are low, we don't clot as well. If there were a tear or a cut
15 in our body, in our finger, in our head, anywhere, if there
16 were a tear, then there would be more bleeding.
17 Q. Would that be so if there were a tear or cut in connection
18 with the use of surgical instruments in performing an abortion
19 in the second trimester of pregnancy?
20 A. Yes.
21 Q. In your opinion, should any kind of surgical abortion at 20
22 weeks or greater be used on a woman with, as Dr. Hammond gave
23 as an example, leukemia with low blood platelets?
24 A. It would depend upon how low the blood platelets are.
25 Luckily, we have got lots of extra platelets in our bodies.

44frnat2 Clark - direct

1 Most of us have over 200,000 per cc. You don't really have an
2 increased risk of surgical bleeding unless it is less than
3 30,000. So for most women with low platelets, due to anything,
4 they could have either a dismemberment procedure or a medical
5 induction and it would not matter.

6 But if, in fact, they had hardcore low platelets where
7 the platelets are so low that bleeding would be a risk, then
8 the last thing you want to do is any form of surgical procedure
9 on these patients. We greatly prefer to deliver babies
10 vaginally under all circumstances when the platelets are low as
11 opposed to anything that would possibly tear, cut, poke a hole,
12 and cause more bleeding.

13 So to say you need a surgical procedure to treat
14 someone with low platelets, is probably the very worst example
15 I have heard yet this morning in terms of somehow trying to
16 justify this other procedure. Either the extraction or the
17 D&X, anything that requires instrument manipulation of the
18 uterus would be less preferable with low platelets. But in
19 most cases it would probably be not a big deal either way.

20 Q. Doctor Hammond also testified at page 693/lines 12 through
21 16 that he preferred D&X for patients with HELLP syndrome.

22 First of all, how do you spell HELLP syndrome?

23 A. It is an acronym. Capital H-E-L-L-P stands for hemolysis
24 elevated liver function test, low platelets. It is a variant
25 of severe toxemia which gets its own acronym for some very

2341

44frnat2 Clark - direct

1 obscure reasons.

2 Q. Have you written about patients with HELLP syndrome?

3 A. Yes.

4 Q. Take a look at your curriculum vitae. Would you look at
5 item number 30.

6 A. OK.

7 Q. Does that item reflect your writing on HELLP syndrome?

8 A. Yes.

9 Q. Could you please read for us the title of that article.

10 A. "Antepartum Reversal of Hematologic Abnormalities
11 Associated with HELLP Syndrome: A Report of Three Cases."

12 Q. I beg your pardon if I already asked this question. Does
13 the LP at the end of HELLP stand for low platelets?

14 A. Yes.

15 Q. What would be, in your opinion, an appropriate, accepted,
16 safe method of abortion in the second trimester for a woman
17 with HELLP syndrome?

18 A. The same answer as with your prior question on leukemia.
19 The low platelets are the key, whether due to HELLP syndrome or
20 whether it is due to a blood dyscrasia, a blood disease like
21 leukemia. If the platelets are low but not less than 30,000,
22 then either your dismemberment procedure or your medical
23 induction would be perfectly appropriate; it doesn't really
24 matter. If the platelets are really low, less than 30,000,
25 then the medical induction would be vastly preferable to any

44frnat2

Clark - direct

1 form of surgical manipulation of the uterus.
2 Q. When asked to identify examples of medical conditions for
3 which D&X would offer additional safety advantages, Dr.
4 Frederiksen testified at page 1142/lines 3 through 5, "Acute
5 fatty liver of pregnancy sepsis, where you have an unstable
6 patient and significant infection within the uterus." First of
7 all, what is acute fatty liver of pregnancy?
8 A. It is a very uncommon condition that causes the liver to
9 fail, and it is because of pregnancy and it comes on only
10 during pregnancy, virtually always third trimester of
11 pregnancy. It has nothing to do with infection whatsoever.
12 But in fact I guess somewhere in the world there may have been
13 a patient who had both acute fatty liver and also for some
14 completely separate reason happened to have an infection of the
15 uterus. I suppose it could happen.
16 (Continued on next page)

17
18
19
20
21
22
23
24
25

2343

44F5NAT3

Clark - direct

1 BY MS. GOWAN:
2 Q. Have you ever seen that happen?
3 A. No, I have never seen it, I have never heard about it.
4 But two rare things could theoretically, at some
5 point, occur together, yeah.
6 Q. Have you ever seen a patient with acute fatty liver
7 pregnancy?
8 A. Yes, I have seen lots of patients with acute fatty liver
9 pregnancy.
10 Q. How do you treat those patients?
11 A. We induce labor.
12 I have never seen one in the second trimester, that's
13 why I am trying to -- I don't think acute -- acute fatty liver
14 does not require third trimester for it's diagnosis like
15 peripartum cardiomyopathy does. And I will bet somewhere there
16 has been a case report of a second trimester acute fatty liver
17 pregnancy but it is very rare.
18 Generally this occurs at-term or late in the third
19 trimester. It's a rare condition to start with but most of
20 them occur very late.
21 Q. When you say that you had patients with acute fatty liver
22 pregnancy and you have induced them through labor, did you
23 induce to live birth?
24 A. Sure, sure.
25 I don't recall I have ever had one prior to about 32

44F5NAT3

Clark - direct

1 weeks' gestation so, yes, we induce them, they deliver a live
2 baby.

3 That's right.

4 Q. If such a circumstance existed that there was a woman with
5 acute fatty liver pregnancy that was adversely affecting her
6 health during the second trimester of her pregnancy such that
7 termination was indicated, in your opinion what would be the
8 method of termination?

9 A. Well, it would depend upon one thing, and that is to
10 what -- see, the liver makes other clotting factors other than
11 platelets which are also important to help us clot our blood.

12 If the liver were not so badly affected that the
13 clotting factors were low, then either method is fine. In that
14 case.

15 Q. By dismemberment or medical induction?

16 A. Or medical induction.

17 Again, if it was hard core acute fatty liver and the
18 liver was failing and the other clotting proteins made by the
19 liver were in low supply because the liver was failing, then of
20 course the dismemberment, any sort of surgical procedure would
21 be relatively contraindicated and the medical induction would
22 be vastly preferable.

23 Q. Can you envision a circumstance in which medical -- excuse
24 me, termination would be indicated in a woman with fatty liver
25 pregnancy?

2345

44F5NAT3

Clark - direct

1 A. Well, sure.

2 If somewhere in the universe there was a woman who got
3 acute fatty liver at what, 23, 24 weeks -- say 23 weeks'
4 gestation where we have, where the baby had no chance of
5 survival -- I don't know that that's ever even been reported
6 but I'm not sure of that, I mean somewhere it could have --
7 then termination might be a perfectly appropriate -- whenever
8 you diagnose it you must deliver the baby, that's for sure.

9 And so, if there ever was a woman on our planet who
10 had it at a time when D&X or D&E was, were potential options,
11 then certainly the D&E would be appropriate to do, unless she
12 really had it bad and had really -- and her clotting factors
13 were affected, in which case that procedure would be
14 contraindicated and you want to go medically.

15 Q. Let's focus on sepsis. Have you published any articles
16 relating to sepsis in pregnant women? If you take a look at
17 perhaps at item number 52 on your curriculum vitae?

18 A. Yes.

19 Q. Does that article relate to sepsis in pregnancy?

20 A. Yes. It's called septic shock during pregnancy.

21 Q. What is -- first of all what is septic shock, what is that?

22 A. When you say I have written, here is one where I had many
23 co-authors.

24 Q. Okay.

25 A. What is septic shock? Septic shock is the worst form of

44F5NAT3

Clark - direct

1 sepsis.

2 Sepsis, it means in a situation in which you have an
3 infection somewhere, whether it's in the foot, the uterus, the
4 lung, and now that infection is not just affecting that organ
5 or that limb but actually the bacteria have spread and are now
6 affecting the entire body.

7 So, septic shock is what happens before a person dies
8 of infection. They have the infection, if untreated they go
9 into septic shock, if untreated they die.

10 Q. What is uterine sepsis?

11 A. That simply means a situation where the infection began in
12 the uterus and is now spread and affecting the entire body.

13 Q. Are you familiar with the term chorioamnionitis?

14 A. Yes.

15 Q. Is that the same as uterine sepsis or is that something
16 different?

17 A. No, intrauterine infection in pregnancy and
18 chorioamnionitis are identical.

19 Q. Okay.

20 Now, Dr. Hammond testified at page 589, 5 through 14,
21 that, "A patient with chorioamnionitis would benefit from an
22 intact approach to D&E." In other words D&X, partial-birth
23 abortion.

24 Do you agree with him? Well, I guess I should say, in
25 your opinion what method of abortion would be safe and

2347

44F5NAT3

Clark - direct

1 acceptable in cases where a woman has uterine sepsis or
2 chorioamnionitis?

3 A. Well, this would be a similar situation. In most cases to
4 my previous answers. No, most cases either would be
5 acceptable.

6 Q. D&E by dismemberment or medical induction?

7 A. Or medical induction.

8 Now, there is a particular situation in general. The
9 reason we know a woman has infection in her uterus, the most
10 common presenting symptom is labor and contractions.

11 So, typically, these women are already laboring if
12 they have infection in the uterus -- not always, but typically
13 they are -- and so they are notoriously easy to put into labor
14 since the infection in the uterus kind of starts that process
15 anyway.

16 In the vast majority of cases we would deliver these
17 women with medical induction if they had an infection in the
18 uterus real early. And, if they had a really bad infection,
19 that also can affect both the platelets and the other clotting
20 factors.

21 Q. And so then you might not want to do a D&E dismemberment?

22 MS. PARKER: Objection, your Honor.

23 THE COURT: Just -- please.

24 Yes?

25 MS. PARKER: Leading.

44F5NAT3

Clark - direct

1 THE COURT: Would you or wouldn't you, Doctor?

2 THE WITNESS: Then you would not wish to do any sort
3 of surgical procedure such as the D&E by dismemberment.

4 But, having said all that, in the vast majority of
5 cases in intrauterine infection either the D&E by dismemberment
6 or medical induction, either of those would be acceptable,
7 appropriate medical choices in the majority of cases.

8 Q. The plaintiffs and their experts have testified generally
9 about various auto-immune disorders and have expressed the
10 opinion that D&X abortion might be preferable where such
11 disorders are present.

12 For example, Dr. Hammond mentioned von Willebran's
13 disease and identified it as a disease involving a problem with
14 the factor 8 complex as in the case of hemophilia. Dr. Johnson
15 referred generally to auto-immune disorders.

16 What's meant by that, auto-immune disorders?

17 A. Well, one thing that's not meant by that is von Willebran's
18 disease, that is a congenital disease.

19 Auto-immune disorder is a situation in which a person
20 develops antibodies that attack their own cells, like
21 rheumatoid arthritis, Lupus, are common auto-immune disorders.
22 And auto-immune disorders are bad things, no question about it.

23 Auto-immune disorders are -- the only auto-immune
24 disorder which we -- well, auto-immune disorders themselves
25 have nothing to do with pregnancy. That is to say, a woman may

2349

44F5NAT3

Clark - direct

1 have one, it's like cancer in pregnancy, she may have one and
2 be pregnant but pregnancy neither helps nor hurts most women
3 with auto-immune disorders.

4 And, in general, an auto-immune discord would not be a
5 medical case for termination.

6 But, if a woman did have an auto-immune disorder and
7 then wanted to electively terminate the pregnancy, in the vast
8 majority -- I don't want to sound like a broken record but
9 unless an -- auto-immune disorders can affect your platelets
10 too.

11 So, same answer. Vast majority of auto-immune
12 disorders, if a woman chose to he electively terminate, either
13 one would be acceptable.

14 If the platelets were low medical induction would be
15 preferable.

16 Q. I don't want to mischaracterize in any way Dr. Hammond's
17 testimony and I understand you have explained now that von
18 Willebran's disease is not an auto-immune disease, is
19 hemophilia an auto-immune disease?

20 A. No.

21 Q. Okay.

22 A. Neither of those are. Those are inherited disorders of
23 clotting where women have trouble bleeding.

24 Q. So, in and of itself, if you have von Willebran's disease,
25 is that an indication for termination of pregnancy?

44F5NAT3 Clark - direct

1 A. No, absolutely not. That's neither made worse nor made
2 better by pregnancy, it just coexists. The woman's life will
3 not be at an increased risk if she continues the pregnancy so
4 it's irrelevant. But if she chooses to electively terminate,
5 legally she can do so like any other woman.

6 Q. So, in those circumstances, would it be your opinion that
7 D&E by dismemberment or medical induction abortion would be
8 appropriate?

9 A. In the vast majority of cases, unless she's got it really
10 bad and has a very bad bleeding tendency, in which case the
11 medical route would be preferable.

12 THE COURT: When you say the medical, you mean medical
13 induction?

14 THE WITNESS: Medical induction; yes, sir.

15 Q. And medical induction is the same as labor induction
16 abortion?

17 A. Yes. Yes, that's what I'm talking about.

18 Q. What's placenta previa?

19 A. That is a condition in which the placenta has chosen to --
20 as the embryo comes from the fallopian tube after being
21 fertilized tumbles into the uterine cavity, the placenta -- it
22 happens to land real low right down by the cervix.

23 So, as the placenta grows the placenta covers the
24 cervix. The cervix is where the baby has to get out of once it
25 is ready to deliver. And, obviously, the baby cannot come out

2351

44F5NAT3 Clark - direct

1 vaginally under most circumstances or there would be, the
2 placenta would tear and there would be a whole lot of bleeding.

3 And so, that -- it's a complication of pregnancy and
4 women with placenta previa end up being delivered by caesarean
5 section and then they generally don't have any problems at all.

6 Q. And when you say they're delivered by caesarean section,
7 you are referring to live birth?

8 A. Sure. Sure.

9 Q. Have you written on placenta previa?

10 A. Yes.

11 Q. Will you take a look at item number 20 in your curriculum
12 vitae? Would you take a look at that?

13 A. Yes.

14 Q. Is that an article that you have written related to
15 placenta previa and pregnancy?

16 A. Yes.

17 Q. And what is that article?

18 A. It's called Placenta Previa Accreta and Prior Caesarian
19 Section.

20 Q. Would you take a look at item number 172; does that relate
21 to placenta previa?

22 A. Yes.

23 Q. And what is that?

24 A. It's a book chapter in the Maternal Fetal Text of
25 Dr. Creasy and Resnick called Placenta Previa and Abruptio

44F5NAT3

Clark - direct

1 Placenta.
2 Q. Have you received any awards in connection with your
3 writing on placenta previa?
4 A. I think so. Yes.
5 Q. What awards have you received on your papers relating to
6 placenta previa?
7 A. There were two awards. There was a district -- an American
8 College of OB/GYN ACOG district award, prize paper award in
9 1984, and then there was the national ACOG research award
10 called the Perdue Frederick Award, which I got for my work on
11 placenta previa accreta in 1985.
12 Q. Now, Dr. Westhoff and others have testified in this that in
13 cases of placenta previa they would prefer to perform a D&E
14 abortion technique.
15 Now, they didn't specify which D&E abortion technique,
16 by that I mean they didn't say D&E by dismemberment or D&X; but
17 would you agree that in cases of placenta previa that D&E by
18 dismemberment would be a safe and acceptable method of
19 termination?
20 A. Well, keeping in mind that that's never an indication for
21 termination but if a woman decided to electively terminate and
22 at the time of termination was found to have a placenta previa,
23 then they're exactly right. In fact, then an attempt at labor
24 induction could cause all sorts of bleeding and you would then
25 want to do a surgical procedure where you very quickly reach in

2353

44F5NAT3

Clark - direct

1 and pull out that placenta which is right there by the cervix,
2 pull out the placenta so that the mother doesn't bleed to
3 death.
4 Q. And so, a D&E by dismemberment would be appropriate in that
5 circumstance?
6 A. Yes. That would be more appropriate than medical in this
7 circumstance; yes.
8 Q. Why do you say placenta previa is not an indication for
9 termination?
10 A. Well --
11 Q. A medical indication?
12 A. First of all because women, I mean the overwhelming
13 majority of women with placenta previa carry normal pregnancy,
14 they go to term, and then they get a c-section and they and
15 their baby lives happily ever after and that's the end of the
16 story.
17 It's even more so in this situation because, remember,
18 the last thing you want to do is mess with the placenta in any
19 way because you are going to cause bleeding.
20 And so, this would be, if a woman came in and said I
21 want to terminate my pregnancy just 'cause I feel like it, and
22 we say, whoa, you have a placenta previa at 20 weeks, this
23 would be an additional risk of the pregnancy termination.
24 Because even the surgical procedure now requires you
25 to go in and start poking around on the placenta.

44F5NAT3

Clark - direct

1 Generally we, I have done that procedure when the baby
2 is dead, the baby has died and she has got a placenta previa
3 and you don't want to do a c-section if the baby is already
4 dead and that's where you, you can inject some medicine to
5 shrink up the blood supply to the uterus for a brief time and
6 then quickly dilate the cervix and pull out the placenta and
7 then you've got breathing time and the woman won't bleed to
8 death.

9 So, it would never be an indication for termination.
10 Of all the things you've mentioned this would be a relative
11 contraindication, that is, there would be more risk to
12 termination than to going to term and getting a c-section.

13 Nevertheless, it could be done safely and if a woman
14 were to choose termination with a placenta previa in the second
15 trimester, clearly the extraction procedure would be a safer
16 way to go than the medical procedure.

17 Q. And, again, when you say the extraction procedure, are you
18 referring to D&E by dismemberment?

19 A. Dismemberment, yes.

20 Q. Is dilation and evacuation, or D&E by dismemberment,
21 sometimes also referred to as dilation and extraction?

22 A. Yes.

23 Q. Have you written about some of the medical issues relating
24 to caesarean section?

25 A. Many.

2355

44F5NAT3

Clark - direct

1 Q. If you would take a look at item number 5 on your
2 curriculum vitae, does that relate to caesarean section?

3 A. You are talking about prior caesarean section?

4 Q. Correct.

5 A. A prior uterine scar?

6 Q. Correct?

7 A. Yes. Yes.

8 Q. What is that?

9 A. Effect of indication for previous caesarian section on
10 subsequent delivery outcome in patients undergoing trial of
11 labor.

12 Q. Would you take a look at item number 7?

13 A. Yes.

14 Six or seven, they both have to do with --

15 Q. Why don't you tell us what both of those are.

16 A. Six is previous caesarean section, trial of labor in the
17 macrosomic infant.

18 Seven is vaginal delivery after caesarean in women
19 with unknown types of uterine scar.

20 Q. If you would take a look at number 10, does that relate to
21 prior caesarian birth?

22 A. Yes. Prior caesarian birth, management conversation in the
23 patient with acute puerperal -- that means around the time of
24 delivery -- uterine inversion.

25 Q. And does that relate to around the time of the second

44F5NAT3 Clark - direct

- 1 delivery?
2 A. Yes.
3 Q. So the --
4 A. They've had a scarred uterus and now they're pregnant
5 again.
6 Q. Okay.
7 And would you take a look at number 20, does that
8 relate to prior caesarian section and subsequent delivery?
9 A. Yes. Placenta previa accreta in prior caesarian
10 connection.
11 Q. And item number 41, does that relate to subsequent birth
12 after prior caesarian section?
13 A. That's the title, vaginal birth after caesarian.
14 Q. Now, what is meant by a classical caesarian section?
15 A. Most caesarian sections are performed by making a crosswise
16 incision in the low part of the uterus.
17 A classical caesarian, on the other hand, is made when
18 you make an up and down incision on the upper portion of the
19 uterus.
20 Q. And the up and down incision, is that sometimes referred to
21 as a vertical incision?
22 A. Yes.
23 Q. Okay.
24 A. In fact that's much more appropriate.
25 Q. I'm learning.

2357

44F5NAT3 Clark - direct

- 1 And you were talking previously about something in the
2 lower portion of the uterus, is that a lower segment scarring?
3 A. Yes, or low transverse.
4 So, low transverse versus classical, or versus
5 vertical incision, sure.
6 Q. What's a myomectomy?
7 A. That's a situation when a woman has a benign tumor in the
8 uterus called a fibroid, and under certain circumstances those
9 are removed surgically so you have to cut a hole in the uterus
10 to do it and generally the myomectomies occur in that upper
11 portion of the uterus.
12 So, a woman who has had a myomectomy in many
13 situations is treated in the same way as if she had had a prior
14 classical caesarian section.
15 Q. And will there, in some cases, be scarring associated with
16 the prior treatment of myomectomy?
17 A. There will always be scarring either way.
18 Q. What is the percentage of caesarian sections performed in
19 the United States that result in vertical scarring,
20 approximately?
21 A. I suspect one or two percent, maybe less.
22 Q. And do women with vertical scarring from prior classical
23 caesarian sections sometimes carry their fetuses to term?
24 A. Sure. Almost always.
25 Q. Is there some percentage, if you know, where women with a

44F5NAT3

Clark - direct

1 prior classical caesarian may seek termination of pregnancy in
2 the second trimester?

3 A. Well, sure. But again, that's kind of like the placenta
4 previa issue. That's not a medical indication to do so. She's
5 really not a danger of carrying the pregnancy, she needs to be
6 delivered by c-section if she does because she can't labor.

7 But, a woman with a prior classical incision might
8 electively choose to terminate the pregnancy.

9 Q. And do have you any idea what the rate of occurrence of
10 that would be?

11 A. It would be real small.

12 If you have a very, a couple percent even have that
13 incision; and then a small percentage of them will get pregnant
14 again and a small percentage of them will get pregnant and
15 choose to terminate; and a small percentage of them will get
16 pregnant, choose to terminate but not terminate in the first
17 trimester and, so, they would be a candidate for second
18 trimester termination.

19 I'm sure it happens but not very often.

20 Q. We would be talking about a low number?

21 A. Sure.

22 Q. In your opinion what would be a safe and receivable method
23 of abortion in cases where the woman had a prior vertical scar
24 and sought an elective termination in the second trimester of a
25 pregnancy?

2359

44F5NAT3

Clark - direct

1 A. Well, here is another indication where -- I can't prove
2 this is true by data but I believe it to be true that in fact
3 the extraction procedure would probably be safer than the, that
4 is the dismemberment procedure than the medical induction.

5 We know that at full term when there is a lot of
6 tension on that uterus it is not appropriate to induce labor,
7 that's primarily because the uterus can rupture and the baby
8 can be damaged or killed.

9 Now, in a woman who is purposely terminating early on
10 and the baby doesn't matter also, there is less tension on the
11 uterus. I can't prove to you that there is any greater risk
12 with medical induction but it would be a reasonable thing to
13 think there might be, and so I would say as a general principle
14 I would feel more comfortable in such women if they chose to
15 terminate or we had to do it medically if they had some other
16 condition, doing the dismemberment extraction procedure rather
17 than medical.

18 Q. Does the fact of a prior uterine scar present any risk in
19 and of itself to using D&E by dismemberment in the second
20 trimester?

21 A. No. I can't think of any data that would support the fact
22 that D&E is any riskier in those women. In fact, I guarantee
23 that there is no data to support the fact, the contention that
24 a D&E or extraction or dismemberment procedure is any more
25 hazardous in those women than in the general population of

44F5NAT3 Clark - direct

1 women getting that procedure.

2 Q. Does that have to do, if you know, with the nature of how
3 these scars heal?

4 A. Well, I suppose. I mean they're just, in a sense it's
5 apples and oranges. The scar is healed and that scar may not
6 be healed well enough to withstand 15 hours of intense
7 contractions when distended to full-term size to force a baby
8 through the vagina.

9 But there is not a whole lot of tension on that scar
10 during the second trimester and I don't see any reason to think
11 why since a -- any of these procedures you shouldn't be poking
12 the uterus anyway, reaching inside the uterus and extracting
13 the fetus. There is no reason to think that would be any more
14 hazardous than if the woman didn't have a scar.

15 And again, the converse would be completely
16 unsupported by data.

17 Q. So, assuming that the physician who is performing the D&E
18 by dismemberment was not going to be malpracticed or was
19 skilled in performing the procedure, there would be no danger
20 in and of itself presented by the fact of the existence of the
21 prior uterine scar?

22 A. Correct.

23 I mean, if the doctor pokes his instrument, rams it
24 through the uterus either through that scarred tissue or
25 through the soft normal tissue that surrounds it, that's bad.

2361

44F5NAT3 Clark - direct

1 If the doctor doesn't ram an instrument through the
2 uterus then what percentage is scar and what percentage is the
3 soft uterine tissue doesn't matter.

4 Q. I want to just sort of very quickly go through some medical
5 conditions, throw them at you that the plaintiffs have
6 mentioned during the course of the case, either in connection
7 with their TRO affidavits or at their depositions or at trial.

8 Thrombo embolic disease --

9 THE COURT: Ms. Gowan, excuse me. If we are going to
10 get into a series of questions, it is 12:30, is this a
11 convenient place for you to break?

12 MS. GOWAN: Sure, your Honor.

13 THE COURT: We will take our luncheon recess and
14 reconvene at 2:00.

15 (Luncheon recess)

16 (Continued on next page)

17

18

19

20

21

22

23

24

25

44frnat4

Clark - direct

AFTERNOON SESSION

2:00 p.m.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STEVEN L. CLARK, resumed.

THE COURT: Good afternoon. Ms. Gowan, you may continue with your examination.

MS. GOWAN: Thank you, your Honor.

DIRECT EXAMINATION (continued)

BY MS. GOWAN:

Q. Doctor, we were about to start discussing some very serious medical conditions that have been mentioned by the plaintiffs during the course of the case that I wanted to ask you about. The first one was thromboembolic disease. What is thromboembolic disease?

A. Blood clots that either form in the leg and stay in the leg or pelvis or break off and go to the lung and can be lethal.

Q. Have you written about thromboembolic disease?

A. Yes.

Q. Could you take a look at item number 114 in your curriculum vitae. Is that an article that your name is on relating to thromboembolic disease?

A. Yes. It is called venous thromboembolism.

Q. Have you ever seen patients in your practice with this condition?

A. Sure. As complications of pregnancy go, this is one of the more common ones.

2363

44frnat4

Clark - direct

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Q. Have you had patients with this complication whom you have been able to stabilize their condition and you have taken them to delivery of a live infant?

A. Sure, the vast majority of women. They need blood thinners, but they give themselves blood thinners and go to full term. So, yes, generally. I have had one exception in my life. But as a general rule, this is not an indication for pregnancy termination, because it can be successfully treated during pregnancy.

Q. When you say you have had one exception in your life, what are you referring to?

A. This was a woman who had some -- there were some particular reasons why the medication wouldn't work for her, some unrelated reasons, the blood thinners. She had this clot in the early pregnancy, and it was a huge one. So in fact there wasn't a really good way to treat her, and continuing the pregnancy would have presented a fairly significant risk of a blood clot breaking off and going to the lungs. She chose to terminate with first trimester D&C.

That is the only case I have seen where termination was even considered. Generally, we make the diagnosis, we treat with blood thinners, healthy mother delivers healthy baby at term.

Q. Are you aware of any circumstance, a case or a study, in which there was a patient with thromboembolic disease who

44frnat4

Clark - direct

1 proceeded to second trimester and then required a termination
2 to advance her health for medical reasons relating to the
3 disease?

4 A. No, I am not, but I am certain that it could happen.

5 THE COURT: Doctor, the patient you mentioned in that
6 case where the termination was done, was that in a case where
7 there was a threat to her life?

8 THE WITNESS: Yes. We could not treat it, and the
9 pregnancy makes the blood clots worse. Since for other reasons
10 we could not treat her with the medication, the pregnancy then
11 posed a threat, ongoing threat, to her life. So she terminated
12 the pregnancy.

13 THE COURT: Thank you.

14 Q. When you say it posed an ongoing threat to her life, what
15 about to her health; and at what point do you make the
16 distinction as between a threat to health and a threat to life,
17 if you do, Doctor?

18 A. Generally, you don't, because there are very few
19 conditions. I can think of certain maybe uncontrolled high
20 blood pressure would be the exception. As a general rule, if
21 the mother survives the pregnancy, she is going to do well.
22 That is to say, a mother who goes through a pregnancy with an
23 identifiable risk factor and survives it is not going to be
24 really any worse off for having gone through the pregnancy.

25 Obviously, if she dies, she will be much worse off.

2365

44frnat4

Clark - direct

1 But in most of the cases we are dealing, the idea that a
2 pregnancy would be detrimental to a mother's health but not be
3 risky to her life is really something that is really an
4 artificial construct here.

5 Cases of uncontrolled hypertension where the mother
6 might have a stroke but not die, certainly that would be an
7 example of an exception to what I just said. But for the most
8 part, when we talk about and think about a pregnancy being
9 risky to a mother, we are talking about a risk of her dying,
10 not a risk of her having something that will give her long-term
11 problems short of death. With some exceptions, of course.

12 Q. Are you talking about the risk of her dying right now?

13 A. Yes.

14 Q. Are you also talking about a risk of her dying potentially
15 in two, three months if the medical condition, the problem, is
16 not addressed today or next week by pregnancy termination?

17 A. No, I can't think of any condition where, having
18 successfully completed a pregnancy, you are now going to be
19 worse off for your future health than if you had terminated the
20 pregnancy. I just don't think there is such a situation. It
21 either would be lethal to the mother during pregnancy -- and
22 you don't want to minimize that, it is a horrible sort of
23 thing. But in fact if she survives the pregnancy, there really
24 aren't conditions where having been pregnant now has a
25 detrimental long-term effect on her health.

44frnat4 Clark - direct

1 Q. Are you suggesting that by terminating the pregnancy you
2 have advanced her health to the point where now her life is not
3 in jeopardy?

4 A. Which patient?

5 Q. Any patient. We are talking about termination of pregnancy
6 for medical necessity.

7 A. Right.

8 Q. For health reasons as opposed to just for saving a life
9 today. Are you suggesting that a termination for a health
10 reason today will necessarily preserve the woman's life after
11 the termination? Or do you not make a distinction between the
12 two?

13 A. I think I understand what you are saying. The answer is
14 no. I think I am disagreeing in this sense. A woman is
15 pregnant and the things that are going to happen to her during
16 the rest of that pregnancy coupled with the disease she has may
17 kill her. Now, she may have a medical indication for
18 termination. If those things do not happen, though, once she
19 delivers, she is going to be in the same condition she was in
20 if she had never been pregnant, if she terminated, if she
21 carried to term.

22 I am just not aware of conditions where the mother
23 makes it through the pregnancy without any bad things having
24 happened but now is somehow weakened chronically by virtue of
25 having gone through the pregnancy.

2367

44frnat4 Clark - direct

1 As a general rule, real doctors in real hospitals are
2 counseling real patients, and we talk about risk of life. That
3 is, you might die from this pregnancy. We simply do not find
4 ourselves counseling women: You are not going to die from this
5 pregnancy but, boy, will you be sick for the rest of your life
6 unless you terminate it. It is generally either death or they
7 do fine. It is not to minimize that, but that is generally it.

8 People are making distinctions between health and
9 life, and I am happy to talk about such distinctions, but in
10 truth they are more theoretical.

11 Q. In the cases of thromboembolic disease, in your opinion
12 would a safe and acceptable method of abortion be a medical
13 induction abortion?

14 A. Yes. Now I am a broken record. Remember, we are back to
15 the problems of blood clotting. If you are on a blood thinner,
16 it is best not to have any surgical type of procedure where you
17 might get a tear or a cut; it is better to deliver vaginally.
18 This would be another situation, women who are on blood
19 thinners.

20 If they did choose to terminate, women who are on
21 blood thinners would be best to do it medically rather than
22 surgically, although with some certain complex procedures you
23 could do it surgically safely. But it would take a whole bunch
24 of placing other things called vena cava filters, and so forth,
25 that might be necessary if you are going to do it with blood

44frnat4 Clark - direct

1 thinners.

2 Q. There has been mention generally of kidney disease, kidney
3 related conditions, as being an indication for abortion for
4 medical reasons. Does pregnancy make kidney disease worse in
5 any way?

6 A. No. That is one that really doesn't apply at all. A woman
7 can have bad toxemia and part of that toxemia can be kidney
8 disease, and yes, continuing the pregnancy may pose a risk to
9 her, true. But a woman who starts out with some sort of kidney
10 disease is not going to be --

11 I couldn't think of a single exception to this, where
12 having kidney disease makes a pregnancy more risky to the
13 mother's life, aside from the toxemia considerations. As a
14 general principle, kidney function improves dramatically during
15 pregnancy in all women.

16 Q. Why is that?

17 A. Part of it has to do with increased blood flow to the
18 kidneys so they filter, the kidneys can filter easier. I have
19 no idea and neither does anyone else. It just does. When you
20 measure kidney function during pregnancy, it generally
21 improves, both in normal women and in women with kidney
22 disease. Not always.

23 What I am saying is being pregnant with kidney disease
24 or being not pregnant with kidney disease really has no effect
25 on the kidney disease except for the kind associated with

2369

44frnat4 Clark - direct

1 toxemia. So as a rule, kidney disease is not something that
2 would be a medical indication for termination.

3 Q. Have you carried women to term who have suffered from
4 kidney disease?

5 A. Sure. Every form from mild to severe to kidney transplant.

6 Q. In cases of toxemia occurring with kidney disease, what is
7 the rate of occurrence of that, if you can quantify it?

8 A. Actually, in women who have kidney disease, which isn't
9 many women, they do have a higher risk of toxemia. I don't
10 know a number, but it is not as -- if you start off with a
11 population of kidney disease, a quarter to a third of them are
12 going to get toxemia.

13 Q. That would be indication for termination of a pregnancy, if
14 I understand what you are saying, is that right?

15 A. If it were severe enough, yes.

16 Q. In those cases, what method of abortion in the second
17 trimester would be safe and acceptable?

18 A. Generally, either the dismemberment procedure or the
19 medical procedure. Usually, you could do either.

20 THE COURT: Again, when you say "medical," that means
21 induction?

22 THE WITNESS: Yes, sir.

23 THE COURT: Thank you.

24 Q. Why do some physicians refer to it as "medical abortion"
25 and others refer to it as "labor induction abortion," do you

44frnat4

Clark - direct

1 know?

2 A. No.

3 THE COURT: That makes me feel better. Thank you,
4 Doctor. Go ahead, Ms. Gowan.5 Q. We have also heard a lot in this case about hypertension
6 and preeclampsia. Are those two associated with each other?

7 A. Yes.

8 Q. How are they related?

9 A. The major abnormality in most women with preeclampsia is
10 hypertension, high blood pressure. That is a disease which is
11 unique to pregnancy. By definition, it does not occur with a
12 living fetus prior to 20 weeks of gestation. So it has to
13 occur after 20 weeks. And the risk of it occurring increases
14 with gestational age.15 The vast majority of toxemia occur near term, most of
16 the rest occur later in the third trimester. I can think of a
17 couple in my life that have occurred between 20 and 23 weeks,
18 when fetal viability would not be an issue.

19 Q. You just said "toxemia." Did you mean "preeclampsia"?

20 A. Same thing. Those are two synonymous terms.

21 Q. I see. I'm sorry I cut you off.

22 A. So occasionally we might see a woman with toxemia,
23 preeclampsia, which is so bad that we need to end it in order
24 to avoid a bad thing happening to mother. The range of when we
25 might be talking about these procedures, these termination

2371

44frnat4

Clark - direct

1 procedures: Very rare, but it surely could occur. Under those
2 circumstances, unless they had the low platelet version we
3 talked about this morning, either dismemberment or induction
4 would be appropriate procedures.

5 Q. Have you written, Doctor, articles about preeclampsia?

6 A. Yes.

7 Q. If you take a look at --

8 A. Many.

9 Q. -- item number 22 on your curriculum vitae. Does that
10 article relate to your study of preeclampsia?

11 A. Yes.

12 Q. What is that article called?

13 A. "Preeclampsia, Eclampsia, Hemodynamic and Neurologic
14 Correlations."

15 Q. What is eclampsia?

16 A. That is when a woman has toxemia or preeclampsia and also
17 has seizures, then we say you have eclampsia.18 Q. Is that something that would occur in the second trimester
19 period we are talking about, the 20- to 24-week period?20 A. That would be even rarer than preeclampsia, in the
21 pre-24-week period, but it surely could occur.22 Q. In those cases, if it did occur, would that be an
23 indication for termination of the pregnancy?

24 A. Definitely. If eclampsia occurred, yes.

25 Q. What method of abortion would you want to use in that case?

44frnat4 Clark - direct

1 A. Same story. Unless she also has bad platelets with this
2 disease, either is acceptable. If she does have bad platelets,
3 medical would be preferable.

4 Q. There has also been some talk generally in the case about
5 cancer, and I know we spoke about leukemia earlier today. As a
6 general matter, in your opinion, is the fact that a woman is
7 suffering from cancer an indication, medical indication, for
8 termination of pregnancy?

9 A. No.

10 Q. Why do you say that?

11 A. Put it this way. Pregnancy doesn't make cancer better and
12 pregnancy doesn't make cancer worse. Pregnancy and cancer may
13 coexist. As a general principle, one does not interfere with
14 the other.

15 But there certainly are times when being pregnant may
16 interfere with therapy for cancer. That is to say, a patient
17 might need a surgical procedure for her cancer, but you've got
18 this big uterus blocking the surgical approach, so you've got
19 to empty the uterus before you can operate on the cancer.
20 There are other times when the patient might require radiation
21 therapy for the cancer, but that radiation therapy will kill
22 the baby, so she might terminate before the radiation therapy.

23 There are other situations -- surprisingly, most
24 chemotherapeutic agents don't hurt the baby. But there are
25 some chemotherapeutic agents which reliably do kill the baby.

2373

44frnat4 Clark - direct

1 If a woman needs that sort of agent to help her in her
2 chemotherapy during her pregnancy, that might be another
3 situation where she might choose to terminate, which even if
4 she doesn't the baby will die due to the chemo. So there are
5 certainly some times when cancer will require or certainly
6 justify a medically indicated termination of pregnancy.

7 Q. When you talk about certain kinds of chemotherapy that
8 might be lethal, lethal to the fetus, is that right?

9 A. Yes.

10 Q. When that is the only kind of chemotherapy that the woman
11 could use, is that right?

12 A. That sometimes happens, yes.

13 Q. How often does that happen?

14 A. Very rarely. Cancer in young people is, fortunately,
15 uncommon. Most forms of cancer don't require therapy that
16 would be harmful to the fetus. Frankly, I can't give you a
17 realistic number. Surely less than 1 in 1,000. But there are
18 cases where pregnancy and cancer coexist and termination is in
19 the best interests of the health of the mother, absolutely.

20 Q. In those cases, depending on circumstances, would either
21 medical abortion or D&E by dismemberment be appropriate?

22 A. I can't think of any circumstance in which one would be
23 preferable to another. There probably are, if I thought hard
24 enough, but in general either one would be appropriate.

25 Q. Very briefly, we saw early on, I think in some of the TRO

44frnat4 Clark - direct

1 declarations in this case, an issue with organ transplants.

2 A. Yes.

3 Q. Is the fact that the woman has had an organ transplant ever
4 something that would be an indication for a termination of a
5 pregnancy for maternal health reasons?

6 A. No, it wouldn't be. The best way to put this is pregnancy
7 does not help a woman with organ transplantation, it doesn't
8 hurt her. It doesn't make it any more likely that her organs
9 will be rejected or that she will have complications of that
10 organ transplantation. Those are separate issues. I can't
11 think of any type of organ transplantation in which pregnancy
12 termination would improve the long-term function of that woman.

13 Probably the only exception is if you had a kidney
14 transplant, you might get toxemia, and then we go back to
15 everything I just said about toxemia. But it would be the
16 fault of the toxemia, not the fault of the organ transplant.

17 We carry women all the time with kidney transplants,
18 pancreas transplants, heart-lung transplants, plain old heart
19 transplants. They can terminate if they want, but it has
20 nothing to do with their medical condition.

21 Q. When you say "we carry women all the time," are you talking
22 about carrying women to term and delivery of a live infant?

23 A. Sure.

24 Q. Have you ever in your practice had a termination in a case
25 where there has been organ transplant?

2375

44frnat4 Clark - direct

1 A. Not that I have performed. I have heard of them. But
2 these are situations where the mother does not want -- I mean,
3 these are elective terminations, not terminations because if
4 she continues the pregnancy it will make her organs sicker or
5 have anything to do with the transplantation.

6 Q. In those cases would it be true that the accepted, studied,
7 safe methods of abortion -- D&E by dismemberment and medical
8 induction abortion -- that are available in the second
9 trimester could be used by that woman?

10 A. Either one, yes, because these are elective procedures to
11 start with.

12 Q. Proliferative retinopathy, what is that?

13 A. This is a condition that occurs in individuals with
14 diabetes in which the blood vessels on the retina react because
15 of the diabetes, undergo certain changes which can lead to
16 visual impairment, ultimately could lead to blindness. In most
17 pregnant women it doesn't matter. A pregnant woman with
18 diabetes is not an issue.

19 But there are a number of case reports of women with
20 preexisting retinopathy, they then become pregnant, and for
21 some reason the pregnancy seems to set up just a rip-roaring
22 progression of that retinopathy, which would require treatment,
23 either laser photocoagulation -- which is fine. You can do
24 that in pregnancy and then the patient is fine. You can also
25 certainly terminate the pregnancy, and that may halt that rapid

44frnat4 Clark - direct

1 progression of retinopathy.

2 Q. Why wouldn't the photocoagulation be done in circumstances
3 where a woman had the preexisting retinopathy and it advanced
4 to the proliferative retinopathy with pregnancy?

5 A. Have no idea. Maybe she doesn't want to undergo photo-
6 coagulation. I have never seen or heard of it happening that
7 way, of needing to terminate because of that. But we have been
8 in the range of theoretical things that could sometime happen
9 to some woman somewhere for most of my testimony here, and this
10 is another one.

11 This is a condition which is worsened by pregnancy.
12 In fact, this is an exception to what I said before, where in
13 fact it won't lead to death but could lead to permanent
14 disability.

15 Q. That would be loss of eyesight?

16 A. Loss of eyesight. Hence, a situation which, as I said in
17 deposition, would be an acceptable -- which, for whatever
18 reason she wanted to keep the baby and undergo a photo-
19 coagulation, it would be acceptable medically for a
20 termination.

21 Q. There would be nothing about that condition that would
22 dictate the method of termination in the second trimester, is
23 that right?

24 A. No. Any method that is available could be used.

25 Q. We have gone over quite a few maternal conditions today,

2377

44frnat4 Clark - direct

1 and I am sure that we could spend a long time going through
2 additional ones. I think it might be appropriate to get down
3 to the bottom line and ask you: Can you envision any
4 circumstance at all in which the D&X procedure would be
5 necessary to preserve the health of a woman who was terminating
6 her pregnancy for purposes of, because of, a maternal medical
7 complication or health reason?

8 A. No. I have read all of the plaintiffs' experts' reports.
9 The fact is that 99 percent of the substance of those reports
10 don't address the issue. That is, they talk about how certain
11 medical conditions are indications for abortion. True, true.
12 And they talk about how sometimes D&E, the dismemberment
13 procedure, is preferable to medical induction of abortion.
14 True. No argument. That is 99 percent of what they are saying
15 and that is all absolutely fine. But it doesn't address the
16 issue I have been asked to address.

17 There still remains neither any convincing -- reading
18 all those reports, nothing came to mind which is known to
19 have -- in which the outcome is improved, in which there is any
20 data to suggest the outcome is improved by the D&X procedure as
21 opposed to some other method of pregnancy termination.

22 So having read all that and agreeing with 99 percent
23 of what they say, it doesn't change the issue one bit that
24 there simply still remains no condition, no maternal medical
25 condition for which D&X would be necessary to preserve the life

44frnat4

Clark - direct

1 or health of the mother. There are always equally if not more
2 safe alternatives that do not involve D&X.

3 Q. I would like to turn very briefly to the issue of fetal
4 anomalies. The fact that a woman is carrying a fetus with an
5 anomaly, does that require termination of the pregnancy in
6 order to advance the health interests of the mother?

7 A. No.

8 Q. Why do you say that, Doctor?

9 A. Because most anomalies are certainly bad things but it
10 doesn't place the mother's health at risk. We have different
11 issues here, and that is the fetal health. I think a very
12 neglected, severe hydrocephalus might be the only case I can
13 think of where there would be a fetal anomaly which, if not
14 terminated, could result in the need for a more extensive type
15 of C-section at term which could adversely affect the woman's
16 future reproduction. Hence, this would be a fetal abnormality
17 in which termination might ultimately have some positive impact
18 upon the mother's future health.

19 Maybe conjoined twins would be another example. But
20 for the most part, fetal anomalies have nothing to do with
21 maternal health. That is a different issue altogether.

22 Q. Let's for a moment take those two, hydrocephalus and
23 conjoined twins. Fetuses that are hydrocephalic, are they
24 sometimes delivered to live birth?

25 A. Usually they are. Usually they are. We are talking a

2379

44frnat4

Clark - direct

1 small portion of hydrocephalic babies whose heads are so big,
2 if allowed to go to term, that in fact the only way to get that
3 baby out is to really do a very extensive incision on the
4 uterus, which then puts her into that vertical incision
5 classical Cesarean situation where she must have all her other
6 babies by C-section. That could impact things, so she may
7 choose to terminate early.

8 Q. When you are talking about a small number, Doctor, can you
9 put a figure on that for us at all, or is that not possible?

10 A. To say less than 1 in 1,000 pregnancies is a vast over-
11 exaggeration. I think less than 1 in 10,000 pregnancies still
12 is a vast overexaggeration. This is the sort of thing where I
13 can think of a couple of occasions in my life, in my career, my
14 patients, my partners' patients, where we have seen a head
15 blowing up before our eyes in the second trimester and we have
16 counseled the woman, look, this baby has no prognosis anyway
17 because it is just all water in there, and going to term is
18 going to mean we have to do the big incision on the uterus; you
19 may want to consider terminating now before the head gets so
20 big. Very rare.

21 Q. Aside from termination by Cesarean section -- would that
22 also be called a hysterotomy?

23 A. They call it hysterotomy if it is done before the fetus is
24 24 weeks' gestation or 23 weeks. If there is no intention to
25 save the life of the baby, the C-section is called hysterotomy.

44frnat4

Clark - direct

- 1 If there is an intention to save the life of the baby, they
2 call it C-section. It may be the very same operation.
- 3 Q. Is there any way to deliver the fetus where the intended
4 purpose is to abort the hydrocephalic fetus aside from the
5 performance of a hysterotomy?
- 6 A. Well, sure. In most cases, remember, even a small fetal
7 head which is rapidly expanding and you know what it is going
8 to look like in 4 months is still way smaller than a normal
9 fetal head. So a medical induction is just fine. In other
10 cases, the dismemberment deal, procedure, would be acceptable.
- 11 If there is some concern about the size of the head,
12 you could do what is called a cephalocentesis. You are not
13 suctioning out the brain, but what you are simply doing is
14 placing a needle into the large fluid-filled spaces and taking
15 off that abnormal fluid and then allowing the baby to be
16 delivered.
- 17 Any of those would generally be appropriate.
- 18 Q. If you did the cephalocentesis procedure to draw some fluid
19 from the brain, could you then do the medical induction
20 abortion procedure?
- 21 A. Or the D&E, sure.
- 22 Q. By dismemberment?
- 23 A. Sure, either one.
- 24 Q. How quickly does fluid accumulate, reaccumulate I should
25 say, in the head after performance of the cephalocentesis to

2381

44frnat4

Clark - direct

- 1 drain fluid out?
- 2 A. It is extremely variable, but I think if you did that
3 probably within the next couple of weeks you would be back to
4 where you were to start with.
- 5 Q. So it wouldn't reaccumulate with an hour?
- 6 A. Oh, no.
- 7 Q. Or two?
- 8 A. No, no. Not even within a day or two. There would be some
9 fluid within a day or two, but it would not be anything
10 significant. In most cases, for weeks.
- 11 Q. If there was some fluid that accumulated within a couple of
12 days, would that interfere in any way with the safe performance
13 of a medical induction abortion or a D&E by dismemberment two
14 or three days later?
- 15 A. No. If what you are saying is if there is some reason to
16 object to cephalocentesis, again, don't give me the story that
17 it is going to accumulate so darned fast you couldn't do a
18 medical induction of a labor. That's nonsense.
- 19 Q. That was my question, because we have heard something along
20 those lines, and I do want to make sure I get the facts
21 straight on that.
- 22 You also mentioned conjoined twins. Have you ever had
23 any circumstances where you have encountered conjoined twins?
- 24 A. Sure.
- 25 Q. Is that rare?

44frnat4 Clark - direct

- 1 A. Yes. 1 in 10,000 or less, I think.
2 Q. How many times have you encountered that situation in your
3 practice?
4 A. It seems like my partners or I, maybe 3 times in my life.
5 Q. Have you delivered to live birth?
6 A. Sure.
7 Q. Or has that been abortion?
8 A. Yes. I think those, if I recall, have been live birth.
9 The mothers always wanted to go for the babies. We took the
10 baby along and delivered by C-section.
11 Q. Is the fact, the existence, of conjoined twins an
12 indication for abortion to preserve maternal health?
13 A. Yes, I think you could stretch things to make a case
14 that that might be the case. If you have conjoined twins,
15 there are certain cases where, for example, they both share one
16 heart and the heart is abnormal, it is not going to be good
17 enough for either of them. So this is lethal.
18 Q. But that doesn't have anything to do with the mother?
19 A. But if it were allowed to get bigger and bigger, sometimes
20 the C-sections that are necessary to deliver conjoined twins
21 have to be the big classical major incisions, which means you
22 would always have to have C-sections with other babies. So it
23 could impact her health.
24 So I would disagree. Conjoined twins could in fact
25 impact the mother's health in that she might need C-sections

2383

44frnat4 Clark - direct

- 1 for her other babies. We are not talking serious. She is not
2 going to die from carrying the conjoined twins. But it could
3 have a health impact, and accordingly she might choose to
4 terminate rather than undergo a C-section.
5 Q. What would be an appropriate method, a safe, accepted
6 method of termination for a woman carrying conjoined twins in
7 the second trimester of her pregnancy?
8 A. I can't give you one answer on that. It depends how they
9 are hooked together. There may be time when it could be done
10 vaginally. But here would be one example where, as a general
11 principle, the dismemberment procedure would probably be
12 generally preferable to medical induction in most cases of
13 conjoined twins.
14 Q. Again, I think we could probably go through various other
15 fetal anomalies, the trisomies-- which trisomy is Down
16 syndrome?
17 A. 21.
18 Q. Is Down's, the fact that a fetus has trisomy 21, is that an
19 indication for abortion to preserve maternal health?
20 A. No.
21 Q. Would you say that abortion in the context of trisomy 21
22 would be elective?
23 A. Yes.
24 Q. As a general matter, and without going through
25 additional --

44frnat4

Clark - direct

1 A. It would be elective in that it doesn't risk the mother's
2 health. On the other hand, I do think it is very different
3 than just I've got a normal baby but I don't feel like being
4 pregnant so let's terminate the thing. I think that would be a
5 very different situation with Down syndrome.

6 So I don't want to put any moral judgments on. When I
7 say elective, it is a very difficult decision for any parent
8 with a Down syndrome, what to do. Having never been in that
9 situation as a parent myself, I would not want to tell a woman
10 with Down syndrome that this is in the same camp as I'm just
11 sick of being pregnant sort of thing. But neither could I tell
12 her that it is risky to her health. I think that may be an
13 in-between category, somewhere in between elective and
14 indicated.

15 Q. Would this be a circumstance in which you would believe
16 that a woman's right to choose is very important?

17 A. Sure. If I were king of the world, I would not touch Down
18 syndrome. If I were making all the rules, I certainly would
19 not say you should or should not abort Down syndrome. That is
20 an individual decision. I would really, if you want,
21 personally be opposed to any sort of politicians stepping in to
22 make that decision for a mother with Down syndrome, if you want
23 my two cents worth on it.

24 Q. I would like your two cents worth on method of abortion.

25 A. Irrelevant. Any method there is.

2385

44frnat4

Clark - direct

1 Q. Medical induction abortion?

2 A. Yes.

3 Q. D&C?

4 A. Right.

5 Q. Can you think of any fetal anomaly that may exist in a
6 fetus at the second trimester, in the 20 to 24 weeks'
7 gestational age range, where termination of the fetus would not
8 be appropriate through either D&E by dismemberment or medical
9 induction abortion?

10 A. No. Although, again, I would have to say there would be
11 one situation. If there were a huge, huge head, I think either
12 of those should probably be preceded by the cerebral spinal
13 fluid decompression before either of those procedures was done.
14 But given that decompression of the cerebral spinal fluid,
15 either would be appropriate.

16 Q. You are talking about the hydrocephalus situation?

17 A. Yes, in the case of hydrocephalus, where it may already be
18 too big to fit through, so one would simply drain the abnormal
19 fluid off and then deliver the baby.

20 Q. We have been talking about maternal medical complications
21 and fetal anomalies in terms of the medical necessity of the
22 D&X abortion procedure. I would like to talk a little bit
23 about your view as to whether the D&X procedure presents safety
24 advantages such that there would be a medical necessity for its
25 performance.

44frnat4

Clark - direct

1 Dr. Clark, are the risks associated with D&X known?
2 A. Not very well.
3 Q. What do you mean by that?
4 A. In fact, officially, no, they are absolutely unknown. I
5 understand this first paper that is going to be published will
6 be the first peer-reviewed publication of risks, which in a
7 very small group of women suggests that the short-term risks,
8 at least in 120 patients, were not statistically different
9 regardless of whether dismemberment or this D&X procedure were
10 used but have a threefold increased risk of premature birth
11 along with a plausible biologic explanation for why that might
12 occur, namely, twice the dilatation which accompanies this
13 procedure. That trend is very worrisome but not proven.
14 MS. PARKER: Objection, your Honor.
15 A. And that is all we can say about the procedure today.
16 THE COURT: Overruled.
17 MS. PARKER: Your Honor, can I state my objection for
18 the record?
19 THE COURT: Ma'am, you stated it more than once. Do
20 you think a third time will help? Go right ahead if you wish.
21 By the way, I'm blind, not deaf. Go right ahead.
22 MS. PARKER: Your Honor, my objection is that this
23 study was not discussed in the witness's expert report and we
24 did not have notice that the witness would be making
25 substantive characterizations about the results of the study.

2387

44frnat4

Clark - direct

1 THE COURT: Sounds repetitious to me. Overruled.
2 Q. We have heard the claim in this case that D&X is safer than
3 D&E by dismemberment because there are less passes with
4 instruments in the uterus, through the cervix into the uterus.
5 What is your view, your opinion, on whether the issue of less
6 passes with instruments has any role at all in assessing the
7 safety as between intact D&X and D&E by dismemberment?
8 A. Three things. First of all, when we talk about D&X being
9 potentially safer, it is very difficult to believe anything
10 could be safer, because of course proponents of this procedure
11 have for two decades now put forth D&E as the absolute end of
12 the story in terms of safety.
13 11,000 patients delivered with D&E, aborted with D&E,
14 negligible risks involved. Up until this, that was this
15 incredibly safe procedure that had an almost negligible risks
16 of complications. Now of course it has become -- now it is a
17 very dangerous procedure with all these problems. But if you
18 look at the data which does exist for the dismemberment D&E, it
19 is very difficult to imagine that there could be any procedure
20 which is safer than that.
21 The previous position of proponents of D&E was exactly
22 correct. It is in fact a documented, incredibly safe procedure
23 in huge numbers of patients.
24 The second thing is that while on a theoretical basis,
25 yes, less passes, doesn't it make some sense that less passes

44frnat4

Clark - direct

1 might cause you less problems or less jaggedy bones from broken
 2 bones might make it safer, I guess in some sense it makes
 3 sense. But if I drive in and out of my driveway a hundred
 4 times, if I do it properly, that really doesn't increase the
 5 risk that I am going to hit the side of the garage.

6 The same situation. If you do it right and do it
 7 carefully, there is no reason to think that passes should be
 8 hazardous. Once again, look at the D&E data in 11,000 patients
 9 who underwent this extraction dismemberment procedure. It
 10 would appear that all of the passes, however many passes you
 11 need to do this in 11,000 patients, was not associated with an
 12 increase risk of problems.

13 Theoretically, could that be safer because of less
 14 passes? Theoretically, it could be. But actually, as I said
 15 in deposition, theoretically dilating the cervix further could
 16 be an increased risk of subsequent preterm birth. And what do
 17 you know, when I finally saw the data after I said that is what
 18 I suspect it is going to show, it is there, a threefold higher
 19 risk of subsequent preterm birth. So it is very hazardous to
 20 say something is safer based on some sort of theory.

21 Finally, I think this current data, we now have data
 22 to say is it safer. Is it safer? This paper that is going to
 23 be published compares those two procedures and shows no
 24 difference in outcome. So you start off with 11,000-plus
 25 patients, incredibly safe procedure. You now have a study

2389

44frnat4

Clark - direct

1 comparing the two which shows no improvement in outcome with
 2 this procedure. How you could say yes, it is safer, when there
 3 is volumes of data suggesting -- all the data there that
 4 suggest it is not? This is not believable to me.

5 For those reasons, I don't find that for me as a
 6 scientist to be a credible argument to say this is a safer
 7 procedure, when the only published data on it shows no
 8 differences in outcome.

9 MS. PARKER: Your Honor, may I have a standing
 10 objection to reference to the Chase study?

11 THE COURT: Yes, ma'am, you may.

12 Q. Is a sort of theoretical advantage in any way similar to
 13 what some people have characterized as an intuitive advantage
 14 to the procedure? Are they sort of the same things?

15 A. Sure.

16 Q. Have there been examples in medicine where something
 17 theoretically seems safer or medical science's intuition was
 18 that it would be safer and people went ahead and used the
 19 treatment, and it turned out in the end not to be so?

20 A. Medicine is full of that. There were theoretical
 21 reasons -- in 1970 the C-section rate was 5 percent. This year
 22 it will be 25 percent. Much of that increase has been based
 23 upon a really good theoretical assumption that if certain heart
 24 rate patterns identify on the fetal monitor at term, if that
 25 baby is quickly delivered by C-section it will prevent cerebral

44frnat4

Clark - direct

1 palsy.

2 Literally millions of women have been sectioned over
3 that time, undergone a major abdominal operation on that really
4 good theory. It has been a total bust. We are realizing that
5 now. The rate of cerebral palsy hasn't done anything over the
6 years. One instance where theory has turned out not to be so.

7 We used to treat threatened miscarriage with DES, a
8 form of synthetic estrogen. Very good theoretical reasons why
9 additions of estrogens should support that pregnancy. As it
10 turns out, it didn't. But what it did do is cause vaginal
11 cancer in women once they get into their twenties and also
12 cause other sorts of reproductive problems in these women who
13 were exposed to DES as fetuses.

14 We could go on and on. I am not claiming -- I suspect
15 that there will -- when we have cases, if there ever were cases
16 of millions of extraction -- excuse me -- D&X's, I don't
17 suspect that in skilled hands there would be more short-term
18 complications than the D&E procedure. I suspect it will turn
19 out to be exactly the same in terms of short-term safety.

20 This preterm birth thing I think is dynamite waiting
21 to go as soon as it is published. People are going to jump on
22 this and require informed consent once this preterm birth rate
23 is out there.

24 I am not claiming that this would be like DES or like
25 fetal monitoring, but what I am saying is there are many

2391

44frnat4

Clark - direct

1 examples of something that seems intuitively obvious that turns
2 out to be just the opposite. Until and unless, when you have
3 got a very safe procedure that works, until you have some real
4 good data suggesting that a new, different procedure is better,
5 you ought not take the chance with women's health based on
6 theory.

7 THE COURT: Doctor -- excuse me. I didn't mean to
8 interrupt you. Go right ahead.

9 THE WITNESS: I'm finished, sir.

10 THE COURT: I want to make sure I understand what you
11 just said. Are you saying that once this report, being the
12 Chasen report, is out, the profession is going to be demanding
13 that part of informed consent is that you warn a patient that
14 this procedure could threaten her with premature births in
15 future pregnancies?

16 THE WITNESS: I wouldn't say it that strongly, your
17 Honor, because obviously I would be speculating to say what the
18 profession is going to say. But I know that the letters to the
19 editor are going to come, assuming it is published in that
20 form, are going to look at this and say this is politically
21 motivated, how can you possibly publish something that has
22 shown no benefit and a threefold risk of preterm birth without
23 having the final sentence being, therefore, we ought to be very
24 cautious about doing this procedure until this has been sorted
25 out.

44frnat4

Clark - direct

1 I think certainly, if I were counseling such a
2 patient, I would hate to find myself in the situation of having
3 done this procedure on a woman who subsequently gave birth
4 prematurely and be asked, Doctor, did you inform her that the
5 only available data on the planet today showed a threefold
6 increased risk of preterm birth compared to the safe
7 alternative form procedure? Did you inform her of that? I
8 wouldn't want to be in that position.

9 THE COURT: You personally would feel it would be your
10 ethical obligation to warn her?

11 THE WITNESS: Yes, because that is what the data
12 shows, and I am assuming that data is exactly correct. If a
13 woman wondered what are the risks or potential risks of that
14 procedure, I would feel obligated to tell her the complete,
15 whole truth about what risks there are. Whether others will or
16 will not, I can't speak to that.

17 THE COURT: But you would?

18 THE WITNESS: I surely would. I surely would.

19 Q. Does the fact of what you learned from your survey of the
20 medical literature or other data, does that inform the advice
21 that you give to your patients as a general matter when you are
22 giving informed consent?

23 A. Of course it does. Whatever literature is available, you
24 don't have to give every detail, but I think certainly have to
25 give the gist of that. And when there is peer-reviewed data

2393

44frnat4

Clark - direct

1 available on this D&X procedure, it will of course be incumbent
2 upon doctors performing it to accurately portray the results of
3 that procedure to the woman and contrast it with the
4 alternative and the proven complications of the alternative.
5 That is the basis of informed consent.

6 Q. Is preterm birth a big problem or a small problem?

7 A. If 1,000 obstetricians and 1,000 pediatricians came into
8 this room and were asked the question, what is the number one
9 problem in obstetrics and pediatrics, 1,000 of 1,000 would say
10 prematurity. Prematurity accounts for more morbidity and
11 mortality, that is, long-term sickness and death, than any
12 other single condition in all of obstetrics and all of
13 pediatrics. To say it is a big thing is a huge understatement.
14 Premature birth is a huge, huge problem.

15 Q. We have also heard, Doctor, that D&X may have safety
16 advantages over induction abortion and D&E by dismemberment
17 because there are fewer bony parts that are left in the uterus.

18 A. Right.

19 Q. Do you have a view as to the risk presented by bony parts
20 vis-a-vis no bony parts left in the uterus?

21 A. I don't have a view. We've got data. Look at the Peterson
22 article, 11,000 D&E's, and see what the risks were of all this
23 stuff together. They have a nice table. Pick a complication.
24 You will see what the risk of that was. In fact, the
25 complications are so low that this procedure absolutely

44frnat4 Clark - direct

1 correctly was touted for 20 years as an incredibly safe
2 procedure with negligible risk of serious complications in
3 skilled hands, and rightly so.
4 Q. You are talking about D&E?
5 A. I am talking about the D&E. People say, well, now suddenly
6 the jagged parts are a big problem. Show me the data. Show me
7 the data. The old data doesn't suggest they were much of a
8 problem. The new data comparing, this is Chasen's study,
9 comparing the two doesn't show any improvement in outcome
10 between the two procedures. Before you claim this is a safer
11 procedure, I want to see some data, and the data that exists
12 suggest it is not so.
13 Q. I want to very briefly go back to the fetal anomaly issue
14 and ask you very briefly about the diagnostic pathology and the
15 assessment of genetic, chromosomal abnormalities --
16 A. Yes.
17 Q. -- for purposes of genetic counseling or counseling women
18 for future pregnancies.
19 A. Right.
20 Q. In your opinion, would D&X be necessary for purposes of
21 diagnostic pathology?
22 A. It could be done for purposes of diagnostic pathology. It
23 would not be the only acceptable way to proceed. What you are
24 saying is there are some conditions where we know the baby has
25 a real big problem by ultrasound but we don't know exactly what

2395

44frnat4 Clark - direct

1 that is until we do an autopsy of the baby. Doing that autopsy
2 may allow us to pin a name on the syndrome, and with that name
3 then goes a known recurrence risk that might be helpful to the
4 parents in planning future pregnancies.
5 In fact, if you dismember the baby, you may be losing
6 important data which will not allow you to put a name on the
7 syndrome, hence will deprive the parents of information that
8 might help them in planning future pregnancies. That is an
9 absolutely true concept and I have actually even seen it a
10 couple of times in the many, many patients that I have dealt
11 with.
12 Under those circumstances, the best thing would be
13 medical induction, because then the baby is delivered
14 completely intact and you can examine the brain, all of the
15 body, to try to put a name on that syndrome. Sucking out the
16 brain removes the possibility of doing autopsy on the brain or
17 any sorts of examinations, and may hinder your ability to place
18 a name on that in the same way that pulling apart all the limbs
19 would be. So this would be a situation where medical induction
20 would be the best thing.
21 Now, what about a woman who had a rare birth defect
22 and it was so rare that we needed to have an autopsy and she
23 did want to terminate even though we couldn't tell her exactly
24 what she had, and she also had a previous C-section and that
25 previous C-section was a classical section, so she couldn't

44frnat4 Clark - direct

1 have a medical induction? I'm sure somewhere on earth such a
2 person will be found at some point. For that woman, if you
3 couldn't do the medical induction but you were going to
4 terminate the pregnancy, you would have to, say, do potassium
5 injection into the baby. Then you could do your, for example,
6 D&X procedure. But it wouldn't really be a D&X procedure.
7 Q. What would a potassium injection do?
8 A. That would kill the baby. Then this would not be a D&X
9 procedure, at least as defined in my humble way as I read
10 the -- my understanding is there is no proposed law which would
11 prohibit how a dead baby is delivered.
12 Q. Are there risks to the injection of potassium chloride?
13 A. With skilled hands, no, no. It would require
14 amniocentesis. But the risks of amniocentesis are primarily of
15 causing premature labor or complications with the baby.
16 Obviously, the woman doing this is not concerned about
17 complications with the baby.
18 Q. When you say premature delivery, that is the risk. The
19 intention here would be to abort, so therefore you are saying
20 there is no --
21 A. Right.
22 Q. Premature delivery doesn't cause any danger?
23 A. Right. Even for that theoretical patient we are talking
24 about, where medical induction would not be appropriate, D&X as
25 described would not be the only safe alternative for

2397

44frnat4 Clark - direct

1 terminating that pregnancy.
2 MS. GOWAN: Thank you, Doctor. I have no further
3 questions.
4 THE COURT: You may cross-examine.
5 MS. PARKER: Thank you, your Honor.
6 CROSS-EXAMINATION
7 CROSS-EXAMINATION
8 BY MS. PARKER:
9 Q. Good afternoon, Dr. Clark.
10 A. Good afternoon.
11 Q. My name is Kimberly Parker. We met at your deposition.
12 A. Yes.
13 Q. Dr. Clark, you have never written any article about
14 abortion procedures, have you?
15 A. Correct.
16 Q. You have never written any article about abortion
17 techniques, have you?
18 A. Correct.
19 Q. You have never conducted any studies of any method of
20 abortion, is that right?
21 A. Correct.
22 Q. You have never participated in any studies of any method of
23 abortion?
24 A. Correct.
25 (Continued on next page)

44F5NAT5

Clark - cross

- 1 BY MS. PARKER:
2 Q. You performed only approximately a dozen first trimester
3 abortions on live fetuses in your career, is that right?
4 A. Yes.
5 Q. You haven't done many labor induction abortions, have you,
6 either?
7 A. Correct.
8 Q. In fact, you've done under 20 labor induction abortions in
9 your entire career --
10 A. Yes.
11 Q. -- is that right?
12 You've performed only a handful of D&Es on live
13 fetuses?
14 A. Yes.
15 Q. You've performed at most a dozen D&Es over the course of
16 your career on live fetuses?
17 A. Yes.
18 Q. The last D&E you performed on a live fetus was one or two
19 years ago, is that right?
20 A. Yes.
21 Q. You've performed D&Es on live fetuses only up to a
22 gestational age of 20 weeks, is that right?
23 A. Yes.
24 Q. One of the reasons for that is because you lack experience
25 in doing D&Es after 20 weeks?

2399

44F5NAT5

Clark - cross

- 1 A. Yes.
2 Q. You testified on direct examination about the procedure
3 referred to as D&X?
4 A. Yes.
5 Q. You've never performed a D&X, have you?
6 A. Correct.
7 Q. You have never seen one performed, have you?
8 A. Correct.
9 Q. You haven't had any conversations with anyone who performs
10 D&X, have you?
11 A. That's where I don't know because I've talked with Mark
12 Evans. In fact, I even talked with Marilyn Frederikson now
13 that you bring it up.
14 So, if they do D&Xs then sure enough I've talked to
15 someone but I can't --
16 Q. You haven't talked to anyone when performs D&X about the
17 technique, is that right?
18 A. About the technique, exactly. Exactly correct.
19 Q. Dr. Clark, you consider yourself only moderately skilled in
20 the provision of abortion, isn't that right?
21 A. Yes.
22 Q. You wouldn't consider yourself as expert in performing
23 abortions as those who do it all the time, isn't that right?
24 A. By definition.
25 Q. You're ethically opposed to elective abortion, correct?

44F5NAT5

Clark - cross

- 1 A. Correct.
2 Q. You're morally opposed to elective abortion?
3 A. Correct.
4 Q. Dr. Clark, you've testified extensively as an expert
5 witness in medical cases, is that right?
6 A. Yes.
7 Q. In fact, you've given deposition or trial testimony more
8 than 160 times in the last four years, is that right?
9 A. Boy, that seems like a lot for four years but certainly
10 extend that -- extend that back a few years and that wouldn't
11 be -- I don't know. If you've got one of these searches that
12 says that's how many it was then I certainly believe you.
13 It has been a lot. The more I write and publish the
14 more it seems that lawyers want my opinion, as well as doctors.
15 So if you've got a count I believe your count but it's
16 been a whole lot until I stopped doing that a couple years ago,
17 stopped taking cases as a potential expert. Throughout the
18 '80s I reviewed and testified in many malpractice cases; yes,
19 indeed.
20 Q. You provided expert disclosures in this case, didn't you?
21 A. Yes, I did.
22 Q. Part of those disclosures was listing the cases in which
23 you testified or gave a deposition in the last four years, is
24 that right?
25 A. I think so, yeah. I think so.

2401

44F5NAT5

Clark - cross

- 1 Q. Okay. You get paid for the testimony that you give in
2 these medical cases, is that right?
3 A. Not for this case, but in medical malpractice cases I
4 charge for my time, yes.
5 Q. And you charge \$400 an hour for that time?
6 A. Yes.
7 Q. So, assuming that I'm right that in fact you've given a
8 deposition or provided testimony 160 times in the past four
9 years, assuming you spent five hours on each of those occasions
10 preparing, that would be about \$300,000 in income from
11 testimony in medical cases, is that right?
12 A. Over the past-- well, I -- if you've done the math
13 correctly you certainly do have the correct beginnings.
14 THE COURT: Is there some relevance here, Ms. Parker?
15 MS. PARKER: Your Honor, the relevance is discussing
16 the doctor's extensive experience providing testimony in cases.
17 THE COURT: What he has been paid as expert witness in
18 malpractice cases, you find some relevance to this case?
19 MS. PARKER: I do, your Honor.
20 THE COURT: I'm not shocked but I don't agree with
21 you, but we'll see how far you go with it. At some point I
22 assure you it does not have a whole lot of relevance.
23 Go ahead.
24 BY MS. PARKER:
25 Q. Dr. Clark, in none of the cases in which you've testified

44F5NAT5

Clark - cross

1 as an expert did you testify about abortion method or
2 techniques, is that right?
3 A. I believe that's right.
4 Q. You've been barred from testifying in three of the cases in
5 which you were retained as an expert?
6 A. Yes, because I would not provide my wife's income tax
7 information on our joint income tax returns and the judge in
8 those cases said everybody's got to give their income tax
9 returns. So, I said well, if that's the case I'm out of your
10 case.
11 And I would do it tomorrow a hundred times again.
12 That's exactly right.
13 Q. Okay.
14 In one of those cases the Court held that you
15 destroyed or failed to produce evidence.
16 THE COURT: Excuse me, did you know this from
17 deposition, ma'am?
18 MS. PARKER: I did.
19 THE COURT: And you think it's relevant?
20 MS. PARKER: I do.
21 THE COURT: Well, it isn't. That's the end of that
22 line.
23 BY MS. PARKER:
24 Q. In one of the cases in which you testified, did the court
25 hold that you destroyed or failed to produce evidence?

2403

44F5NAT5

Clark - cross

1 A. I think, in all -- I think probably in all three because I,
2 the Court was right, I failed to produce my income tax -- my
3 wife's and my joint income tax return so I'm -- I suspect it
4 was all three of those cases because that would be a true
5 finding. I in fact failed to provide my income tax returns and
6 any other personal information about my wife and myself so I
7 think that all three but I'm sure in one.
8 Q. In one did the Court hold that you destroyed or failed to
9 produce evidence?
10 A. I'm saying I'll bet -- I'll bet all three that that was the
11 finding because -- I mean the "destroy" wouldn't be true but
12 the "or failed to produce" is absolutely true in spades.
13 Q. Dr. Clark, you would agree, wouldn't you, that there are
14 some maternal health conditions that could, under some
15 circumstances, be indications for termination of pregnancy,
16 right?
17 A. Of course.
18 Q. You think that certain types of heart disease could be an
19 indication for termination of pregnancy?
20 A. Yes.
21 Q. You think certain kinds of hypertensive disease could be
22 indication for termination of pregnancy?
23 A. Yes.
24 Q. How about malignant maternal cancer where the
25 chemotherapeutic agents or continuing pregnancy would interfere

44F5NAT5

Clark - cross

- 1 with appropriate therapy; that's a case where you think
2 indication for termination, correct?
3 A. Exactly.
4 Q. Those are some of the examples, correct?
5 A. Yes.
6 Q. There are other ones?
7 A. Yes, there are.
8 Q. You would agree that D&E is a safe procedure, correct?
9 A. Yes.
10 Q. In fact, you think it's an incredibly safe procedure, is
11 that right?
12 A. Well, I guess that's not the complete story. I do but it's
13 not because I think of it. It's because the D&E data documents
14 it to be so, yes.
15 Q. Right. You agree that the safety of D&E is well
16 documented?
17 A. Absolutely.
18 Q. You think it is incredibly well documented?
19 A. Yes.
20 Q. In fact, working on this case has shown you that D&E is
21 even safer than you thought, is that right?
22 A. Yes. Yes.
23 Q. That's an opinion of yours that changed from working on
24 this case, correct?
25 A. I don't think it changed but it was enhanced. I knew it

2405

44F5NAT5

Clark - cross

- 1 was safe but until I reviewed the D&E literature I didn't
2 realize how incredibly safe it was, so.
3 Q. You weren't aware before this case of how incredibly safe
4 D&E was, right?
5 A. Sure. You might say I knew it was really, really safe but
6 now I know it's really, really, really safe. Absolutely.
7 Q. You think that a D&E, in most cases, is preferable to an
8 induction abortion before 18 weeks, correct?
9 A. Barring any medical complications that would make me choose
10 one or the other, I would say most women would choose that,
11 yes, and hence it would be preferable.
12 Q. Well, putting aside what women would choose, you currently
13 probably would not recommend a D&E before 18 weeks, is that
14 right?
15 A. That's different than the question you just asked.
16 I do not recommend medical induction before 18 weeks.
17 Q. Sorry, induction.
18 A. Right, that was the question you meant to ask. Yes.
19 Q. You wouldn't recommend induction before 18 weeks. Exactly.
20 A. Right. All things being equal, if a woman asked my
21 opinion, exactly.
22 Q. If a woman asked your opinion, you wouldn't recommend an
23 induction before 18 weeks?
24 A. You've got it right.
25 Q. Is that right?

44F5NAT5

Clark - cross

- 1 A. Yes.
- 2 Q. And that's because an induction is usually much more
- 3 difficult prior to 18 weeks, is that right?
- 4 A. Much more difficult for the mother.
- 5 Easier for the doctor, difficult for the mother and so
- 6 I would, that's why I would not recommend it, because I would
- 7 say her, how it is for her is more important than how it is for
- 8 me.
- 9 Q. D&Es would always take substantially less time than a
- 10 medical induction at the same gestational age, correct?
- 11 A. True. True.
- 12 Q. A D&E usually takes less than an hour, is that right?
- 13 A. True.
- 14 Q. And an induction can take up to 36 or 48 hours, is that
- 15 right?
- 16 A. Not very often but occasionally it surely may. And it's
- 17 always going to be longer than an hour, guaranteed.
- 18 Q. So the time difference between a D&E and an induction is
- 19 the difference between within an hour and up to 48 hours;
- 20 that's the difference, right?
- 21 A. That's fair.
- 22 Q. Labor induction abortions can be prolonged, right?
- 23 A. Yes.
- 24 Q. And induction is often uncomfortable, correct?
- 25 A. Until the epidural is in, yes.

2407

44F5NAT5

Clark - cross

- 1 Q. And it's a psychologically draining experience, is that
- 2 right?
- 3 A. I believe it is from my observation of women undergoing it,
- 4 yes.
- 5 Q. And for that reason you think D&E is preferable, isn't that
- 6 right, for some women?
- 7 A. It's preferable for some women, sure.
- 8 Q. You've testified that you haven't performed D&E abortions
- 9 after 20 weeks, right?
- 10 A. Yes.
- 11 Q. But you refer patients to your colleagues who perform D&Es
- 12 at greater gestational ages than you do, right?
- 13 A. Usually my colleague, yes.
- 14 One of us, because that's so rare, one person has
- 15 tried to get most experience in the medical provision of those
- 16 things and so there is one individual who is kind of the
- 17 designated 20 weeker performer.
- 18 Q. And you refer your patients for abortion to that colleague
- 19 after 20 weeks?
- 20 A. Yes, I do.
- 21 Q. Doctor, it's your opinion that D&E may be safer at some
- 22 gestational ages than medical induction when an incision has
- 23 been made in the uterine fundus prior to the pregnancy,
- 24 correct?
- 25 A. Yes. That's what I told Ms. Gowan, sure.

44F5NAT5 Clark - cross

- 1 Q. You would agree that D&E beyond 20 weeks would be
2 preferable to induction where a woman has a prior classical
3 incision, right?
4 A. I've told you I believe it to be true. I can't prove it
5 but I believe it to be true, yes.
6 Q. That's your opinion --
7 A. Yes.
8 Q. -- although you don't have any data to support that
9 opinion?
10 A. It is my opinion, yes.
11 Q. But it's your opinion anyway?
12 A. It is.
13 THE COURT: He said that three times.
14 Q. Doctor, in the late second trimester you would have a bias
15 for D&E for a woman with a prior uterine incision, although you
16 can't prove that it would be safer, right?
17 A. No. I have said it four times, yes.
18 Q. Okay, great.
19 That would be because D&E reduces the risk of uterine
20 rupture, right, in that situation?
21 A. I think.
22 Q. You think it reduces the risk of uterine rupture in that
23 situation?
24 A. I think.
25 Q. From a time standpoint you think a D&E might be preferable

2409

44F5NAT5 Clark - cross

- 1 to induction in a case of severe pre-eclampsia at 20 to 23
2 weeks, right?
3 A. It could be, barring problems with blood clotting that we
4 talked about before.
5 Q. But that's an example, barring problems with blood clotting
6 where a D&E would be preferable from a time standpoint, is that
7 right?
8 A. Sure.
9 Q. There are cases in which a quick surgical delivery would be
10 preferable to a medical induction, correct?
11 A. Correct.
12 Q. One example is a case where you can't control the patient's
13 blood pressure, right?
14 A. That's really the situation we just talked about. That
15 would be the preeclampsia woman.
16 Q. And that's a case where you might want to do a D&E,
17 correct?
18 A. Yes, sure.
19 Q. You would agree, Doctor, that induction of labor for
20 abortion does not always succeed in completely emptying the
21 uterus, correct?
22 A. Yes.
23 Q. And if an induction procedure fails to empty the uterus,
24 you have to perform an instrument procedure to empty the
25 uterus?

44F5NAT5

Clark - cross

- 1 A. Yes.
- 2 Q. Retained placenta is a complication of induction, is that
- 3 right?
- 4 A. And of D&E.
- 5 Either way, once the baby is out the placenta is not,
- 6 and either way you then have to go get the placenta with
- 7 instruments.
- 8 Q. And would you describe that as a complication in the case
- 9 of an induction, is that right?
- 10 A. Sure.
- 11 Q. It's occasionally necessary to use curettage to remove the
- 12 retained placenta in an induction, correct?
- 13 A. Sure.
- 14 Q. You would agree that when you use an instrument to remove
- 15 the placenta, there is a risk of an infection, is that right?
- 16 A. Yes.
- 17 Q. And when you use instruments to remove the placenta, there
- 18 is a risk of perforation, is that right?
- 19 A. Yes. Yes.
- 20 Q. Perforation would be the primary risk of that instrument
- 21 procedure?
- 22 A. I suppose ultimately -- I suppose ultimately that's true.
- 23 I mean in good hands both of them should be very infrequent but
- 24 I will go with that.
- 25 Q. Perforation is a potential risk of D&E, correct?

2411

44F5NAT5

Clark - cross

- 1 A. Any time you put an instrument in the placenta, in the
- 2 uterus, yes.
- 3 Q. In fact, you discuss perforation as a potential risk when
- 4 you provide informed consent to your patients for D&Es, is that
- 5 right?
- 6 A. Sure, sure.
- 7 Q. Cervical laceration is a potential risk of D&E, correct?
- 8 A. Or anything else that dilates the cervix mechanically, yes.
- 9 Q. And when you provide informed consent to your patients when
- 10 you do a D&E, you tell them about the risk of laceration,
- 11 correct?
- 12 A. Yes. Yes.
- 13 Q. Infection is also a risk of D&E, is that right?
- 14 A. Yes.
- 15 Q. And bleeding is a risk of D&E, is that right?
- 16 A. True.
- 17 Q. And you mentioned these as well when you provide informed
- 18 consent to your patients for D&E, correct?
- 19 A. I'm not sure that I mentioned the bleeding, to be honest
- 20 with you, because there is always going to be some bleeding.
- 21 So, I can't honestly tell you that I say bleeding
- 22 every time. I believe that's understood but I probably should
- 23 in fact. That would certainly be more complete. I think
- 24 you're right there.
- 25 MS. PARKER: Your Honor, I'm at a stopping point if

44F5NAT5 Clark - cross

1 you wanted to take the break.

2 THE COURT: That's fine, we will take our afternoon

3 break.

4 This Court will stand in recess, briefly.

5 (Recess)

6 THE COURT: Counsel, you may inquire.

7 MS. PARKER: Thank you.

8 Q. Dr. Clark, you would agree, wouldn't you, that a woman who

9 has experienced a preterm delivery is at a higher risk for

10 preterm delivery in a subsequent pregnancy, correct?

11 A. True.

12 Q. You think that D&X requires more dilation than D&E

13 involving dismemberment, is that right?

14 A. Yes. That's what the Chasen paper shows, twice the

15 dilation.

16 Q. You don't know whether doctors currently performing D&X

17 prepare the cervix any differently for D&X than D&E involving

18 dismemberment, do you?

19 A. No. We only know that they get an average of four

20 centimeters' dilation before the procedure as opposed to two

21 centimeters.

22 Q. And you don't they use the same number of laminaria for the

23 D&X than for D&E involving dismemberment, do you?

24 A. I don't know what they do to get twice the dilatation.

25 Q. You don't know whether they use the same cervical ripening

2413

44F5NAT5 Clark - cross

1 agents?

2 A. Correct.

3 Q. In performing D&Es you use laminaria, correct?

4 A. Correct.

5 Q. And in the D&Es that you've performed, you generally use

6 two sequences of laminaria prior to evacuating the uterus,

7 correct?

8 A. Correct.

9 Q. With each laminaria sequence you put in as many laminaria

10 as you can in an atraumatic way, correct?

11 A. Correct.

12 Q. You would agree that laminaria is a more gentle form of

13 dilation than mechanical dilators?

14 A. Correct.

15 Q. You would agree that in contrast to mechanical dilators,

16 laminaria does not cause increased pregnancy loss, correct?

17 A. That is generally felt to be true but with this new data

18 showing three times the pregnancy loss, if indeed that extra

19 mechanical dilatation was achieved through the use of

20 laminaria, one would certainly have to ask the question about

21 whether that needs to be re-evaluated.

22 But for standard, proven, studied techniques of

23 pregnancy termination, the use of laminaria does not increase

24 the risk of pregnancy loss.

25 Q. You are not aware of any study that suggests that laminaria

44F5NAT5 Clark - cross

1 increases pregnancy loss other than the one you just mentioned,
 2 is that right?

3 A. When controlled for the reasons they're giving the
 4 laminaria; that's correct.

5 Q. Sometimes in a D&E you've used mechanical dilators in
 6 addition to laminaria, is that right?

7 A. Yes.

8 Q. It's your opinion, Dr. Clark, that there are times of when
 9 examination of an intact fetus may assist in the diagnosis of a
 10 fetal syndrome, right?

11 A. Yes.

12 Q. You would agree that there are cases in which examination
 13 of the intact fetus might help you put a name on a syndrome, is
 14 that right?

15 A. As I explained earlier, yes.

16 Q. Under those circumstances, one choice for termination would
 17 be medical induction, correct?

18 A. Still true, yes.

19 Q. Another choice would be doing a D&X, correct?

20 A. That would certainly be physically possible.

21 Q. And another choice would be killing the fetus and then
 22 doing a D&X, correct?

23 A. Also physically possible, yes.

24 Q. As between doing a D&X or killing the fetus first and then
 25 doing a D&X, you think those two options would be equally safe

2415

44F5NAT5 Clark - cross

1 medically, correct?

2 A. Yes.

3 Q. And you think they would be equally appropriate, is that
 4 right?

5 A. Well, equally safe for the mother you are talking about?

6 Q. I am.

7 A. Yes. And the answer to the next question would be yes.
 8 Start over again, excuse me?

9 THE COURT: Would you like the question read, Doctor?

10 THE WITNESS: I would. Both those questions, if you
 11 would ask those two questions again.

12 THE COURT: Would you read the question again, please,
 13 Ms. Reporter?

14 (Record read)

15 THE COURT: Counsel, try and slow down a little bit.
 16 Go ahead, Doctor.

17 THE WITNESS: No, those -- those are the correct
 18 answers to those questions.

19 BY MS. PARKER:

20 Q. So you think those two options would be equally
 21 appropriate, correct?

22 A. In terms of maternal safety, yes; either killing the baby
 23 and then D&X or just plain old D&X. I can't see how that would
 24 make a difference to the mother.

25 Q. In circumstances like those you testified about earlier

2416

44F5NAT5

Clark - cross

- 1 where there is a prior incision, classical incision, if a woman
2 needed an intact D&E you think a D&X would be safe, is that
3 right?
4 A. The limited data suggests it's safe in the short-term. The
5 limited data suggests it may pose significant hazards to future
6 pregnancies in the long-term in terms of preterm birth.
7 Q. You think that the short-term data suggests that they would
8 be equally safe, right?
9 A. Yes.
10 The only data we have is Chasen, and he showed no
11 difference in any short-term outcome with D&E versus D&X, so I
12 would have to say as best we can tell in the short-term, yes.
13 In terms of long-term, perhaps not.
14 Q. Doctor, it's not your practice to induce fetal demise
15 before evacuating the uterus in an abortion procedure, is it?
16 A. To induce fetal demise?
17 THE COURT: Would you like the question read?
18 THE WITNESS: I'm not sure I understand.
19 THE COURT: Would you read the question, please,
20 Ms. Reporter?
21 (Record read)
22 A. When you say induce fetal demise you mean cause fetal
23 demise?
24 Q. Right. Sorry.
25 A. Okay, I got inductions mixed. Okay.

2417

44F5NAT5

Clark - cross

- 1 Q. I apologize.
2 A. Right.
3 Q. You have never done that, you have never taken steps to
4 cause fetal demise before an abortion procedure, correct?
5 A. I can't think of the time I have.
6 Q. You have never administered digoxin as a method of causing
7 fetal demise in an abortion procedure, have you?
8 A. True.
9 Q. You have never administered potassium chloride or KCL as a
10 method of effecting fetal demise?
11 A. True.
12 Q. You have never considered injection of KCL desirable for
13 purposes of causing fetal demise in an abortion procedure, have
14 you?
15 A. True.
16 Q. You have never compressed the umbilical cord on purpose as
17 a way to cause fetal demise in an abortion, have you?
18 A. That's for sure.
19 Q. After the cord is clamped it may take 10 to 15 minutes for
20 the fetus to die, correct?
21 A. Yes.
22 Q. And a fetus dies from lack of oxygen in that circumstance,
23 correct?
24 A. Yes.
25 Q. If you inject KCL to cause fetal demise, that wouldn't do

2418

44F5NAT5

Clark - cross

1 anything about your concerns that you have expressed about
2 preterm labor in subsequent pregnancy, would it?
3 A. Well, if you inject that and then did a D&X procedure, that
4 would not, there would be no reason that would alter preterm
5 birth risks for D&X, true.
6 Q. Doctor, you have read the findings of fact that are
7 included in the Partial-Birth Abortion Ban Act of 2003,
8 correct?
9 A. I don't know.
10 I mean, I have read that Act I guess but is the
11 findings of fact something different than that or? Is that
12 just the Act, is that what you are saying?
13 Q. Yes.
14 A. I have read the Act.
15 MS. PARKER: May I approach the witness, your Honor?
16 THE COURT: You may.
17 Q. This is Plaintiff's Exhibit 69.
18 A. I have read this, yes.
19 Q. Doctor, I would like to turn your attention to section 14A
20 of the findings, which is on page 3.4 of Plaintiff's Exhibit
21 69.
22 A. Yes.
23 Q. Will you take a look at that?
24 A. Yes.
25 Q. You disagree with much of section 14A of the findings of

2419

44F5NAT5

Clark - cross

1 the Act, isn't that right?
2 A. Yes.
3 Q. Section 14A talks about the risks of partial-birth abortion
4 to women who undergo it, correct?
5 A. Yes.
6 Q. But you don't think that anyone could say that those are
7 the risks of the procedure, do you?
8 A. Would you -- let me go through them again here.
9 THE COURT: Would you like to have that read, is it?
10 Do you want the question again?
11 THE WITNESS: No, I've got the question, I just want
12 to read these risks to see if I agree in each case with your
13 premise here.
14 Q. Of course.
15 A. Well, there is really only one of these which there is now
16 some data to support, and that would be the risk of subsequent
17 preterm birth, makes it difficult to carry a subsequent
18 pregnancy to term.
19 The Chasen study gives evidence at least suggesting
20 that that may in fact be true but these other things, in fact
21 there is no evidence that would support that there is an
22 increased risk of rupture, abruption, amniotic fluid embolism
23 or trauma, and so I would disagree that one could make those
24 statements there being no evidence that they are true.
25 THE COURT: You are not saying they're false, you are

2420

44F5NAT5 Clark - cross
1 just saying that there is no evidence that you know of to say
2 to prove they're true.
3 THE WITNESS: Correct. So I would disagree with the
4 statement that says these things are risks.
5 THE COURT: Uh-huh.
6 THE WITNESS: I would disagree with that. I don't
7 know if they're risks or not except the one, and that appears
8 to be a risk.
9 BY MS. PARKER:
10 Q. Putting aside that one, you don't think anyone could say
11 that those are risks of the procedure, do you?
12 THE COURT: He just said that.
13 THE WITNESS: Correct.
14 Q. Doctor, you testified earlier that there is no evidence
15 that D&X a safer procedure than D&E, correct?
16 A. Yes.
17 Q. But you also have said, haven't you, that any conclusion
18 that D&X is more dangerous than D&E represents pure
19 speculation, is that right?
20 A. It did before I read the Chasen article.
21 The Chasen article gives us evidence for the first
22 time to what I told you I would fear would happen in
23 deposition. Remember even before I read the article I said the
24 thing I would be worried about is increased risk of preterm
25 birth with the additional dilatation but it would be

2421

44F5NAT5 Clark - cross
1 speculation to say whether that occurs.
2 The Chasen data suggests that that's exactly what
3 they, what they, what may be the case, exactly what was seen,
4 three times greater risk of preterm birth.
5 So at this point it was true then, but with the
6 addition of that new data it was possibly no longer quite
7 speculation.
8 Q. Putting aside the Chasen study, it was your position that
9 saying that D&X is more dangerous than D&E represents pure
10 speculation, correct?
11 A. Absolutely true.
12 Q. Putting aside the Chasen study, it's your position that any
13 conclusion that D&X is more dangerous than D&E has no place in
14 scientific discussion, is that right?
15 A. True.
16 Q. Dr. Clark, you don't have any opinion as to whether any
17 particular abortion method is preferable over any other from
18 the perspective of the fetus, do you?
19 A. Yes, but I think the answer is no.
20 Q. Yes, you have an opinion and the answer is no, none is
21 preferable to the fetus?
22 A. Yes. I don't -- there is no way I can prove it but I
23 suspect if you are going to kill a baby tearing it apart limb
24 from limb or sucking its brains out is ultimately quite
25 irrelevant or even compressing the umbilical cord to asphyxiate

2422

44F5NAT5 Clark - cross
1 it is probably irrelevant in the long-term.
2 That would be my -- so I suspect there would not be
3 any preference from the fetal standpoint.
4 THE COURT: Do you believe there is fetal pain?
5 THE WITNESS: Sure. If there is pain once --
6 THE COURT: Then you might have a difference to the
7 fetus in that case, would it not?
8 THE WITNESS: It might, but I --
9 THE COURT: If they could feel pain tearing the limbs
10 off in a D&E or sucking the brain out in a D&X?
11 THE WITNESS: It might, your Honor.
12 I mean I -- whether I would rather have my, something,
13 a hole poked in my brain and sucked out or pulled apart at the
14 limb, I don't know if I can say.
15 THE COURT: When it is over it doesn't make any
16 difference.
17 THE WITNESS: They both sound pretty brutal so I, as
18 far as I know the fetus, it doesn't have any long-term
19 differences to the fetus, how it's killed.
20 BY MS. PARKER:
21 Q. Doctor, you would agree that within the realm of safe
22 procedures it is important for physicians to have some
23 flexibility in how they perform procedures to account for their
24 particular skills, correct?
25 A. Yes.

2423

44F5NAT5 Clark - cross
1 Q. You would also agree that it's important for physicians to
2 have flexibility to account for their particular level of
3 experience, is that right?
4 A. Within reason.
5 Q. You would agree that as a rule physicians should have some
6 flexibility in how they perform a procedure, correct?
7 A. Some flexibility, yes.
8 Q. You would agree that physicians should have some
9 flexibility to account for unanticipated events in the course
10 of a procedure, right?
11 A. True.
12 Q. Doctor, you have testified that the Chasen study that you
13 looked at has not been published, correct?
14 A. Yes.
15 Q. The government provided that study to you, is that right?
16 A. Yes.
17 Q. When did they provide it to you?
18 A. After I talked about it in deposition.
19 Q. You hadn't seen it before they supplied it to you, had you?
20 A. Correct.
21 MS. PARKER: Your Honor, may I approach the witness?
22 THE COURT: You may.
23 Q. Dr. Clark, I'm showing you Plaintiff's Exhibit 23A; have
24 you seen it before?
25 A. Yes.

2424

44F5NAT5 Clark - cross

1 Q. What is it?

2 A. This is the Chasen article that we've been discussing.

3 Q. Is that the version that you've seen before?

4 THE COURT: Is there more than one version, ma'am?

5 MS. PARKER: There are galley proofs and there is a

6 version that is not in the form of a galley proof.

7 THE WITNESS: I believe I have not seen this form

8 before. I believe I've seen the non-galley proof version.

9 MS. PARKER: Okay.

10 THE COURT: Are the contents the same?

11 MS. PARKER: Yes, they are.

12 Q. Dr. Clark, you expressed the view that the data relating to

13 subsequent pregnancy outcomes in this study was downright

14 shocking to you, is that right?

15 A. Yes.

16 Q. Let's take a look at this study. Can you turn to page 3,

17 please?

18 A. Yes.

19 Q. The bottom of the first column, the last sentence that

20 starts with the word "spontaneous," can you read that sentence,

21 please?

22 THE COURT: To himself?

23 MS. PARKER: No, aloud, please.

24 THE WITNESS: This is the first column bottom,

25 "spontaneous"?

2425

44F5NAT5 Clark - cross

1 Q. Yes.

2 A. Spontaneous preterm birth occurred in 2 of 17, 11.8 percent

3 pregnancy in the intact D&X grouped compared with 2 of 45, 4.4

4 percent in the dilatation and evacuation group.

5 Q. And then is there something at the end of that sentence?

6 A. Yes. Then it says P equals .30.

7 Q. Doctor, P equals .30 signifies the P value of the

8 difference in outcomes between the intact group and the

9 dismemberment group, correct?

10 A. Yes.

11 Q. And the P value is the measurement by which statistical

12 significance is determined, is that right?

13 A. Using the method they used in this case, yes. There are

14 other ways to do it too.

15 Q. And a P value of .30 is not a statistically significant

16 difference, is it?

17 A. Correct.

18 Q. In fact, it means that there is a 30 percent chance that

19 the different outcomes occurred by chance, is that right?

20 A. Fairly close. Statistically speaking you are on a little

21 bit shaky ground.

22 Q. You wouldn't agree that it means that there is a 30 percent

23 chance that these outcomes occur by chance?

24 A. I wouldn't quite phrase it like that but I will accept it.

25 That is, there is a good chance that it occurred by chance.

2426

44F5NAT5

Clark - cross

1 THE COURT: How would you phrase it, Doctor?

2 THE WITNESS: Well, I would say that if the P value is
3 less than .05 then there is absolutely no question. Usually we
4 don't accept something as absolute scientific truth unless the
5 chances are less than five percent.

6 In this particular case it's just stretching it a
7 little bit to say 30 percent chance, but you're roughly
8 correct.

9 Q. I'm roughly correct, right?

10 A. You're roughly correct, right.

11 And then which means, of course, that if there is a --
12 if that is true there is a 70 percent chance that in fact this
13 is a real difference, had this been less than .05. Remember
14 what I said is this is very concerning, is cause for -- I'm
15 alarmed by it -- is cause for inclusion in informed consent and
16 if this weren't given a political free ride, would be enough to
17 make the conclusion be we ought to be very, very careful about
18 this.

19 If this had been less than .05 we wouldn't be here
20 because this procedure would have been forever banned if the
21 numbers had been bigger and shown a statistically significant
22 increase in this.

23 Then, even the political arm of ACOG could not have
24 overruled this worrisome thing.

25 So, you're right, it could be wrong, that's why it's

2427

44F5NAT5

Clark - cross

1 only a -- it's only a worry is -- probably is correct. As
2 you've said, 70 percent chance, there is a chance this could be
3 random and that's why I said it's only worrisome rather than
4 it's downright criminal to perform this procedure which would
5 be the case if it were definitely proof that there was a three
6 times risk of preterm birth.

7 Q. Dr. Clark, a P value of .30 is not a statistically
8 significant difference, is it?

9 A. That's right.

10 Q. Okay, let's go to the next sentence. Can you read that
11 sentence?

12 A. Both spontaneous preterm births in the intact D&X group
13 occurred in women at high risk for prematurity. One woman who
14 underwent intact D&X caused by PPROM at 20 weeks' gestation and
15 subsequently delivered at 32 weeks, and the other underwent
16 intact D&X at 23 weeks' gestation because of cervical
17 incompetence with advanced cervical dilatation and subsequently
18 delivered at 35 weeks.

19 Q. Doctor, PPROM means premature rupture of the membranes, is
20 that right?

21 A. Yes.

22 Q. Doctor it is true, is it not that each of the women in the
23 intact group were at a high risk for preterm birth in
24 subsequent pregnancy regardless of undergoing the D&X
25 procedure, is that right?

2428

44F5NAT5

Clark - cross

- 1 A. They were an increased risk for that, yes.
2 Q. Correct, they were at increased risk regardless of the D&X
3 procedure, is that correct?
4 A. Right, and --
5 Q. In fact one of them --
6 THE COURT: You don't need to shout, counsel.
7 Q. One of them underwent the intact D&E procedure at 23 weeks
8 as a result of experiencing PPRM, is that right?
9 A. Yes, that's true.
10 Q. And prior PPRM is in fact a risk factor for preterm birth,
11 is that right?
12 A. Sure.
13 Q. And the second woman underwent intact D&X at 23 weeks
14 because of cervical incompetence, correct?
15 A. Yeah.
16 Q. And prior cervical incompetence is in fact a risk factor
17 for subsequent preterm birth, right?
18 A. Yeah.
19 Q. So, in fact, in each subsequent pregnancy each of these two
20 women succeeded in carrying their pregnancies beyond 23 weeks,
21 is that right?
22 A. Right.
23 Q. And they did that even though they both were at higher risk
24 for subsequent preterm birth regardless of the abortion
25 procedure that they had, is that right?

2429

44F5NAT5

Clark - cross

- 1 A. Exactly.
2 Q. The first woman was able to deliver her subsequent
3 pregnancy at 32 weeks, correct?
4 A. Yeah.
5 Q. The second was able to deliver her pregnancy at 30 weeks,
6 is that correct?
7 A. Right.
8 Q. And the authors of this study don't purport to conclude
9 that intact D&E causes improved outcomes for women, do they?
10 A. No, they definitely don't. They show absolutely no benefit
11 to women undergoing this procedure. Like the ACOG task force
12 who could find no benefit to it either. You're right.
13 MS. PARKER: Your Honor, I move to strike the
14 reference to ACOG as nonresponsive to my question.
15 THE COURT: Disagree. It will remain. Overruled.
16 Q. Dr. Clark, the numbers of preterm birth in subsequent
17 pregnancy in this study are too small to really reach any
18 conclusions, isn't that right?
19 A. Any conclusions other than the one that you've correctly
20 reached already that there is a 30 percent chance this occurred
21 by chance and a 70 percent chance that it in fact is a true,
22 meaningful, increased risk other than that, one can reach no
23 conclusions.
24 Q. This study identifies no increased risk for preterm birth
25 in subsequent pregnancy in the two women who underwent

44F5NAT5 Clark - cross

1 dismemberment procedures and experienced subsequent preterm
 2 birth, is that right?

3 A. No, you said something in there wrong.

4 Q. Which was?

5 A. No increased risk in two women. You can't have a risk in a
 6 specific -- that's just -- part A doesn't go with part B, you
 7 need to ask that in a different manner.

8 Q. I will rephrase.

9 Doctor, the two women that we are talking about here,
 10 both of these women that we are talking about here already had
 11 a risk of preterm birth prior to undergoing any abortion
 12 procedure, is that right?

13 A. Right.

14 MS. PARKER: Your Honor, I have no further questions
 15 at this time.

16 THE COURT: Any redirect?

17 MS. GOWAN: No, your Honor.

18 THE COURT: Doctor, you may step down.

19 THE WITNESS: Thank you.

20 THE COURT: Ms. Gowan, are we going back to the
 21 transcript?

22 MS. GOWAN: Yes, your Honor.

23 THE COURT: All right. Do we have all of our
 24 participants?

25 MS. GOWAN: Yes, we do.

2431

44F5NAT5

1 THE COURT: At the ready?

2 MR. PANTOJA: Your Honor, Joseph Pantoja for the
 3 government.

4 THE COURT: Yes, sir. I think for the record, since
 5 we broke and took a witness, that you better introduce what we
 6 are getting into. Do you have your assistant here to answer
 7 the questions?

8 MR. PANTOJA: Yes, my colleague Michael James is here
 9 today.

10 THE COURT: Mr. James, fine.
 11 Just indicate on the record what it is that you're
 12 reading and so that it can be understood in the transcripts.

13 MR. PANTOJA: Yes, your Honor. Certainly.

14 Page 135, line 7:

15 "Q Let's go back to Cain deposition Exhibit 18 for a
 16 moment. Do you know what, for now Dr. Cain, all I want to do
 17 is establish that this was reviewed by the task force, this
 18 memo from Kathy Bryant. Is that correct?

19 "A Correct.

20 "Q And the attached document from district 2, ACOG
 21 district 2 was also reviewed by the task force?

22 "A Correct.

23 "Q That's all I want to do with that one for now,
 24 for right now.

25 "And then on Cain deposition Exhibit 19 the first two

44F5NAT5 "Cain -

1 pages appear to be the same ACOG district 2 question and answer
2 on third trimester abortion procedures, and the only thing I
3 want to establish at this point is whether the task force had
4 before it Dr. Frigoletto's letter of July 3rd, '96, ACOG 007,
5 and the letter to Penny Murphy at ACOG 005 and 006 that appears
6 to be in response to Dr. Frigoletto's letter.

7 "That was before the task force?

8 "A That is correct."

9 MR. PANTOJA: Page 137, line 10:

10 "Q Dr. Cain, I have shown you what's been marked as
11 Cain Deposition Exhibit 20 which is ACOG 006 to 0093. It's a
12 memorandum dated October 3rd, 1996 to the task force on third
13 trimester abortion from Elsa P. Brown, additional background
14 material. 'Attached is additional background material
15 requested by Dr. Frigoletto'.

16 Was this material placed before the task force in its
17 deliberation in October 1996?

18 "A Yes.

19 "Q Whose handwriting is on the first page, ACOG
20 0087?

21 "A I don't know.

22 "Q The same question for the next page, do you know
23 whose handwriting that is?

24 "A No.

25 "Q There is also handwriting on 0089, do you

44F5NAT5 "Cain -

1 recognize that handwriting?

2 "A No.

3 "Q All right. What roles did these materials play
4 in the task force's deliberations?

5 "A They were background material, again for the same
6 identification of issues. Additionally, the vital statistics
7 reports from the CDC would be considered information,
8 background information, and the information from the Allen
9 Guttmacher Institute.

10 "Q If you look back at Plaintiff's Trial Exhibit 6
11 which is in that statement on instant dilatation and
12 extraction, the last paragraph on page 1 which references CDC,
13 do you see that Center for Disease Control?

14 "A Uh-huh.

15 "Q Is that paragraph which refers to statistical
16 information based on the statistical information provided by
17 the materials at Cain Exhibit 20?

18 "A No. I think there was -- there were other
19 publications from the CDC, that's 1994 and this is what, 1991?

20 "Q Just so I understand, with respect to the
21 Guttmacher Institute material, what use was made of these
22 statistics in drafting the statement?

23 "A It would be background material about general
24 distribution of abortions and the place that this particular
25 procedure might play within the discipline of obstetrics.

44F5NAT5 "Cain -

- 1 "Q The same question for the CDC data which starts
 2 on ACOG 0090, did this particular statistical report, was this
 3 particular statistical report reflected in the background
 4 statement or did you rely on some other statistics?
 5 "A we relied on the statistics available from the
 6 CDC for 1994.
 7 "Q Right. And this particular document played what
 8 role?
 9 "A It would be background material to look at the
 10 place of the procedure."
 11 MR. PANTOJA: Page 142, line 12:
 12 "Q Did the task force write a written report to the
 13 board?
 14 "A The task force wrote the draft statement.
 15 "Q And that's it?
 16 "A That's my understanding, yes.
 17 "Q There were no other --
 18 "A The report would have come from the chair of the
 19 committee or the president of the college.
 20 "Q If you look on page ACOG 0097 where it says 'item
 21 4.1, report of the president continued, task force on third
 22 trimester abortion,' and there is one paragraph there, is that
 23 the report to the board on the -- task force on third trimester
 24 abortion?
 25 "A That's Dr. Frigoletto's report and the task force

2435

44F5NAT5 "Cain -

- 1 would have sent the statement on intact dilatation and
 2 extraction through the president and the head of the -- and the
 3 chair of the committee.
 4 "Q What I am trying to establish, Doctor, to the
 5 extent you know, is other than the single one-page statement of
 6 the task force and this one paragraph on ACOG 0097, is there
 7 any other report that was written by the task force to the
 8 board?
 9 "A Not to my knowledge.
 10 "Q Okay, Doctor.
 11 "On the issue of documents a little bit further, you
 12 mentioned that there was a side table at the conference in
 13 October of 1996, the meeting of the task force. To the best of
 14 your recollection, what was on this side table?
 15 "A I don't remember the exact textbooks but they
 16 would have been the general textbooks in obstetrics and
 17 gynecology, at the time.
 18 "Q Do you recall how many texts were there?
 19 "A No, I don't.
 20 "Q They're textbooks on obstetrics and gynecology?
 21 "A Right.
 22 "Q Do you recall anything else about the textbooks?
 23 "A Other than the fact that they were picked because
 24 they included abortion as part of the material covered.
 25 "Q Was reference made to information in the

44F5NAT5 "Cain -
1 textbooks during the task force discussions?

2 "A Yes.

3 "Q Do you recall what references were made to the
4 textbooks?

5 "A I believe if there was any question about a
6 particular procedure or how it had been described in the
7 literature previously, we might have referred to it.

8 "Q Do you recall the dates-- I know this is a very
9 specific question but let me try it anyway, do you recall the
10 dates of the particular books, the publication dates of the
11 particular books that were available at the time?

12 "A No. But my guess would be they'd be the most
13 current prior to that date.

14 "Q But you don't recall what dates those were?

15 "A Nuh-uh.

16 "Q And you don't recall the names of the textbooks?

17 "A Not specifically for sure.

18 "Q Do you recall any authors?

19 "A I can recall authors of common textbooks but
20 whether or not they were the ones there, I can't truly say."

21 (Continued on next page)
22
23
24
25

44frnat6 "Cain

1 "Q. What else was on the side table?

2 "A. That was primarily it. There might have been published
3 text. There might have been anything else published by the
4 CDC.

5 "Q. Were there any other in journals --

6 "A. Separate articles?

7 "Q. Let me just finish. I appreciate your anticipating my
8 questions and you usually do so very well. But for the sake of
9 the record, let me finish stating it.

10 "Were there any separate articles from obstetrical
11 journals discussing abortion procedure or procedure on the side
12 table?

13 "A. Not to my recollection."

14 Page 146/line 12.

15 "Q. Did members of the task force receive any written materials
16 from other fellows of ACOG? Did they receive them during their
17 deliberations on intact dilatation and extraction?

18 "A. Not to my knowledge.

19 "Q. Did the task force receive any written materials from
20 members of the public on the issue of intact dilatation and
21 extraction that were considered by the task force during its
22 deliberations other than to the extent these materials that we
23 have just reviewed are from the public?

24 "A. No.

25 "Q. Did members of the task force write materials themselves

44frnat6

"Cain

1 for consideration by other members of the task force on
 2 specific issues? And I would like to exclude from that draft
 3 language for the moment. What I am talking about is did the
 4 members of the task force write up any kind of memos or
 5 statements for the task force to consider on the issues that
 6 were before them?

7 "A. No.

8 "Q. Prior to the meeting in October 1996, did members of the
 9 task force exchange written communications, to your knowledge,
 10 concerning the topics that you were going to be meeting on?

11 "A. Not to my knowledge, other than what is reflected in here
 12 with Dr. Frigoletto sending materials."

13 Page 149/line 22.

14 "Q. Did the task force receive any information orally in the
 15 form of interviews or conversations other than among themselves
 16 from doctors, organizations?

17 "A. No.

18 "Q. You didn't take any testimony, I take it?

19 "A. No.

20 "Q. Did members of the task force have discussions with others
 21 who were not on the task force and then reported back in those
 22 conversations?"

23 Comment by counsel.

24 "During the weekend that you were visiting, did any
 25 members of the task force go off and have a conversation on the

2439

44frnat6

"Cain

1 phone or in person with some organization or person who was
 2 not -- that was not on the task force, and then report back on
 3 the substance of that conversation?

4 "A. Not to my knowledge.

5 "Q. Prior to the task force convening and drafting this
 6 statement, do you know if members of the task force had
 7 conversations with individuals or organizations that were not
 8 on the task force and then subsequently reported to the task
 9 force the substance of those conversations?"

10 Comment of counsel.

11 "Give it a shot.

12 "A. Well, I know that Dr. Frigoletto had conversations with
 13 district 2 regarding their statement. I don't remember any
 14 other pertinent conversations.

15 "Q. Just so the record is clear, and just to tighten the
 16 question a little bit, specifically with reference to the task
 17 force's upcoming deliberations on intact dilatation and
 18 extraction, do you know if members of the task force engaged in
 19 conversations with individuals or organizations for purposes of
 20 preparing for that?

21 "A. Not to my knowledge."

22 Page 152/line 6.

23 "Q. In the course of the deliberations, were task force members
 24 asked to contact other physicians and get their views on
 25 particular issues?

44frnat6 "Cain

- 1 "A. No.
2 "Q. And they did not do so?
3 "A. That is correct.
4 "Q. There were a number of people listed in the congressional
5 testimony. This is Cain Deposition Exhibit 17. To your
6 knowledge, either during the meeting or before, did members of
7 the task force contact individuals who provided testimony to
8 Congress to obtain their views on the intact dilatation and
9 extraction procedure?
10 "A. Specifically for that purpose, no.
11 "Q. I'm going to run through a couple of the names. I'd like
12 you to tell me if members of the task force, either before or
13 during their meeting, consulted with these individuals to get
14 their views on intact dilatation and extraction. If your
15 answer is no, you can just say 'no' after I give the name. OK?
16 Dr. Cortland Robinson?
17 "A. Not to my knowledge.
18 "Q. Watson Bowes?
19 "A. Not to my knowledge.
20 "Q. Lawrence Berg?
21 "A. Not to my knowledge.
22 "Q. Nancy Rohmer?
23 "A. Not to my knowledge.
24 "Q. Pamela Smith?
25 "A. Not to my knowledge.

2441

44frnat6 "Cain

- 1 "Q. Curtis Cook?
2 "A. Not to my knowledge.
3 "Q. Joseph D. Cook?
4 "A. Not to my knowledge.
5 "Q. Allen Rosenfield?
6 "A. Not to my knowledge.
7 "Q. Drew Elaine Carlson?
8 "A. Not to my knowledge.
9 "Q. Robert White?
10 "A. Not to my knowledge.
11 "Q. Joseph Schreiber?
12 "A. Not to my knowledge.
13 "Q. None of those individuals, to your knowledge, was contacted
14 by the task force, is that correct?
15 "A. As far as I know and I remember.
16 "Q. Did the task force contact Dr. Warren Hern in Colorado?
17 "A. Not to my knowledge.
18 "Q. Dr. Tiller in Kansas?
19 "A. Not to my knowledge.
20 "Q. Did the task force contact Dr. Darney in San Francisco?
21 "A. Not to my knowledge.
22 "Q. Did the task force contact Dr. Haskell?
23 "A. Not to my knowledge.
24 "Q. Do you know if Dr. McMahon was still living at the time the
25 task force met in 1996?

44frnat6

"Cain

1 "A. I don't know.
 2 "Q. Neither do I. I take it if he was living, no one tried to
 3 contact him, is that fair to say?
 4 "A. Well, especially if we didn't know if he was living or
 5 dead."
 6 THE COURT: Off the record.
 7 (Discussion off the record)
 8 THE COURT: You may proceed.
 9 "Q. Did ACOG seek to arrange for anyone to testify before
 10 Congress orally on intact dilatation and extraction prior to
 11 the time that the task force met?"
 12 Comments by counsel.
 13 "Did ACOG send a representative to testify before
 14 Congress on intact dilatation and extraction prior to the time
 15 that the task force met?
 16 "A. I don't know, although I would imagine they would be
 17 responsive to a request from Congress.
 18 "Q. Do you know of anyone who did testify before Congress prior
 19 to the time the task force met who was testifying on behalf of
 20 ACOG?"
 21 Original answer:
 22 "A. I will have to assume there was someone."
 23 Errata answer:
 24 "A. No, I don't know.
 25 "Q. Do you know if Mr. Hale testified before Congress?

2443

44frnat6

"Cain

1 "A. I personally don't."
 2 Page 158/line 6.
 3 "Q. I want to talk a little bit about your meeting in October
 4 1996. I've asked the reporter to mark what appears to be the
 5 agenda of that meeting. Is that in fact what Cain Deposition
 6 Exhibit 24 is?
 7 "A. Yes.
 8 "Q. Actually, Doctor, if you look back at Exhibit 21, Cain
 9 Exhibit 21, the very last page of Cain Deposition 21, which is
 10 Bates stamped ACOG 0100 -- pardon me, the last page of 22, the
 11 individuals listed, Dr. Gibbons, yourself, Dr. Tattell, Dr.
 12 Reilly, Dr. Frigoletto, were they the only ones who made it to
 13 the October conference?
 14 "A. That is correct.
 15 "Q. Miss Bryant was present?
 16 "A. That is correct.
 17 "Q. Do you remember anything about Dr. Gibbons' introduction?
 18 "A. No.
 19 "Q. What role did Dr. Gibbons playing during the meeting as
 20 chairman?
 21 "A. He made sure that we stayed on the agenda. He made sure
 22 that everybody's examples and expertise were heard and that
 23 there was a full discussion of every aspect.
 24 "Q. When it says 'review of materials' on the agenda, what
 25 materials did you review?

44frnat6

"Cain

1 "A. As I remember it, it was merely a listing of the things
 2 that we had been sent and what was on the side.
 3 "Q. Where it says 'develop a fact sheet,' item 4, what does
 4 that refer to?
 5 "A. That refers to the work of the committee discussing the
 6 issues that they saw and the text that ultimately resulted in
 7 the statement.
 8 "Q. Just to be clear, Doctor, Cain Deposition Exhibit 7 was the
 9 draft statement prepared by the task force?
 10 "A. Uh-huh.
 11 "Q. Is that what is being referred to here as the fact sheet?
 12 "A. Yes.
 13 "Q. In other words, I'm not going to pull them out, but there
 14 were other fact sheets that ACOG subsequently did on this
 15 subject?
 16 "A. That is correct.
 17 "Q. But they are not the fact sheets being referred to in this
 18 agenda as what was developed at that conference, is that
 19 correct?
 20 "A. That is correct.
 21 "Q. OK. The topic 'terminology,' what was discussed with
 22 respect to the issue of terminology?"
 23 Original answer:
 24 "A. As I indicated, in the materials the partial-birth abortion
 25 terminology was not considered a part of the medical lexicon.

2445

44frnat6

"Cain

1 None of us could identify a clear procedure that did not leap
 2 over into normal obstetrical procedures. We reviewed how these
 3 terms were used and various descriptions and how the committee
 4 saw it as a separate procedure by sequencing events."
 5 Errata answer:
 6 "A. As I indicated, in the materials the partial-birth abortion
 7 terminology was not considered a part of the medical lexicon.
 8 There was no clear definition of the term 'partial-birth
 9 abortion.' One of the goals of the task force was to identify
 10 a specific definition that did not encompass other obstetrical
 11 procedures. Another goal was to identify an appropriate name
 12 for the procedure."
 13 Page 160/line 20.
 14 "Q. Would you take a look at Cain Deposition Exhibit 18,
 15 Doctor. Here it is, Doctor.
 16 "A. Thank you.
 17 "Q. This is the memo from Kathy Bryant to Dr. Frigoletto. If
 18 you look at the third paragraph on that page, Ms. Bryant is
 19 referring to the ACOG district 2 document.
 20 "A. Uh-huh.
 21 "Q. And I'm not going to read the entire paragraph into the
 22 record, but she says in this paragraph that, she says, 'We need
 23 to decide what is the most appropriate term for the procedure,
 24 the term "intact dilatation and extraction" or "intact D&E"
 25 (not universally used). This term has been used by Dr.

44frnat6

"Cain

1 McMahon. A letter written by Dr. Zinsberg on behalf of ACOG to
2 an Ohio legislator states that the exact term is 'dilatation
3 and extraction.' Dr. Haskell and other organizations such as
4 NARAL refer to it as D&X."

5 "Do you see that statement?

6 "A. Uh-huh.

7 "Q. First of all, did the task force consider the fact
8 identified by Miss Bryant that there were several terms for the
9 intact D&E/D&X procedure?

10 "A. That was apparent from the multiple publications we had on
11 the table.

12 "Q. So let me restate the question a little better. Did the
13 task force recognize there were multiple terms to describe the
14 procedure that ACOG came to call intact D&X?

15 "A. That is correct?

16 "Q. Did the task force agree with what Ms. Bryant has said
17 here, that they needed to decide on one term?

18 "A. The task force felt that much of the confusion regarding
19 partial-birth abortion came from the fact that there were no
20 clearly delineated common usage of procedures linked to that
21 description, and the college took the position, being a
22 professional organization, to define that.

23 "Q. As a result of that state of affairs, the college decided
24 to propose a term, is that correct?

25 "A. To link a term and a set of procedures.

2447

44frnat6

"Cain

1 "Q. On ACOG 007, Ms. Bryant writes, 'Before deciding on a term,
2 we could collect and review all data and other relevant
3 information published on the procedure before we begin
4 officially using the term in any publications.' Do you know if
5 that literature search described by Miss Bryant was undertaken?

6 "A. I don't know relative to this.

7 "Q. And in the parenthetical she says, 'A literature search was
8 conducted last summer, found no reference to intact D&E or
9 intact D&X.' Any reason to doubt the truth of that assertion?

10 "A. No.

11 "Q. Did she report that to the task force?

12 "A. This material would have been available to the task force.

13 "Q. Is it fair to say that through this memo Miss Bryant
14 reported the fact that the literature search conducted last
15 summer, which we believe is 1996, found no reference to intact
16 D&E or D&X? Is it fair to say that she reported that fact to
17 the task force through this memo?

18 "A. It would be fair to say the memo was available to the task
19 force.

20 "Q. Let's step back and look at the description of the
21 procedure itself. This is in Cain Deposition Exhibit 7. This
22 is Plaintiffs' Trial Exhibit 5 which includes it."

23 Excuse me. I will restate the question at page
24 164/line 1.

25 "Q. Let's step back and look at the description of the

44frnat6

"Cain

1 procedure itself. This is in Exhibit 7.
2 "A. This is Plaintiffs' Trial Exhibit 5, which includes it.
3 "Q. All right. I'm going to work on Exhibit 3, Doctor, but I
4 think it's the same.
5 "A. Go ahead.
6 "Q. My question is really, Plaintiffs' Trial Exhibit 6
7 describes a definition of intact D&X that ACOG developed as
8 containing all of the following four elements, and it lists
9 those elements: 'deliberate dilatation of the cervix, usually
10 over a sequence of days; instrumental conversion of the fetus
11 to a footling breech; breech extraction of the body excepting
12 the head; and partial evacuation of the intracranial contents
13 of a living fetus to effect vaginal delivery of a dead but
14 otherwise intact fetus.' And the statement says, 'Because
15 these elements are a part of established obstetric techniques,
16 it must be emphasized that unless all four elements are present
17 in sequence, the procedure is not an intact D&X.'
18 "Now, first question. Did the task force come up with
19 this formulation that I've just read?
20 "A. Yes.
21 "Q. And did the task force conclude that it was necessary that
22 all four elements must be present in sequence or the procedure
23 is not an intact D&X?
24 "A. Yes.
25 "Q. Why would -- and maybe you're misunderstanding me, so I

2449

44frnat6

"Cain

1 just want to ask you this question so I understand what the
2 task force has done here. Why would a procedure not be an
3 intact D&X if the fetus was already in a breech position?
4 "A. The committee at that time was concerned that a procedure
5 was being described that included instrumental conversion of
6 the fetus to a footling breech, and, because that was a
7 significant part of the description, felt that it should be
8 included in that way.
9 "Q. If all of the other elements were present except the fetus
10 was not converted to a footling breech by means of
11 instrumentation, would you say that the procedure performed was
12 not an intact D&X?
13 "A. By the description of this statement, then, yes, it would
14 not be an intact D&X.
15 "Q. In other words, under ACOG's definition, if the fetus was
16 already in a breech position but all the other elements were
17 the same, it would not fall into the definition of an intact
18 D&X?"
19 Comment by counsel.
20 "Has this definition changed since 1997 some?
21 "A. This is still in the college policies.
22 "Q. OK. Do you need my last question? Could you read back my
23 last question."
24 The last question was read back by the reporter.
25 "A. Not according to this definition."

44frnat6 "Cain

1 Page 167/line 9.
2 "Q. OK. Miss Bryant comments on page 0048, and I believe she
3 is commenting with respect to the subject of intact dilatation
4 and extraction in the district 2 document. And she says, 'The
5 paragraph is vague with regard to identifying the part of the
6 procedure that actually terminates the fetus.'
7 "A. Where are we again?
8 "Q. I'm on page 48.
9 "A. I have that, the fourth one down.
10 "Q. It says, 'Second.'
11 "A. Oh.
12 "Q. 'Second, the paragraph is vague with regard to identifying
13 the part of the procedure that actually terminates the fetus.
14 The description of the needle being used to drain cerebral
15 fluid so that the head can be delivered leaves the impression
16 that a living fetus is being delivered. The description also
17 alludes to the procedure being done on fetuses that die in
18 utero. Again, the overall tone leaves a false impression that
19 no termination takes place. I do not think we can be vague
20 about this.'
21 "My question, Doctor, is did the task force agree with
22 Miss Bryant's evaluation of the description of the procedure of
23 intact dilatation and extraction described in the district 2
24 document?
25 "A. The task force described the procedure and includes

2451

44frnat6 "Cain

1 Plaintiffs' Trial Exhibit 5, which is partial evacuation of the
2 intracranial contents of the living fetus.
3 "Q. Is the description in the ACOG district 2 document which
4 says that --
5 "A. What page are we on?
6 "Q. 0050. Under 'Intact dilatation and extraction,' about the
7 middle of the paragraph, it says, 'Since the cervix is often
8 incompletely open, it may be impossible to deliver the head.
9 Therefore, a needle or other surgical instrument can be
10 introduced to drain cerebral fluids (clinically this is similar
11 to a spinal tap) which makes it possible to deliver the head
12 through the cervix without damage to the mother.'
13 "In the task force's study of the D&X procedure, is
14 that a correct description of that element of the procedure,
15 specifically, the element of draining of the head?"
16 Comments by counsel.
17 "A. Yes.
18 "Q. I read you the portion of the paper that I wanted you to
19 focus on specifically referring to 'a needle or other surgical
20 instrument can be introduced to drain cerebral fluids
21 (clinically this is similar to a spinal tap) which makes it
22 possible to deliver the head through the cervix without damage
23 to the mother.'
24 "A. OK. Give me your question again.
25 "Q. The question is, did the task force find that this is an

44frnat6

"Cain

1 accurate description of the element of the intact D&X procedure
2 that involves reducing the size of the head and collapsing the
3 cranium?

4 "A. The committee would have brought to the table significant
5 expertise and case examples. So this might be one
6 circumstance. But the committee ultimately said the
7 intracranial contents, so that could mean more than cerebral
8 spinal fluid."

9 Page 170/line 22.

10 "Q. Miss Bryant also says in her letter on the same page, 0048,
11 the first full paragraph, 'With regard to -- with regard to the
12 paragraph about intact D&E' -- again, these referring to the
13 district 2 statement -- 'I have several concerns. Overall, I'm
14 concerned that the statements are presented as absolute fact
15 without any reference to documentation. At a minimum, we would
16 have to gather all published materials about this procedure
17 before we should make any such statements.'

18 "Did the task force agree with Ms. Bryant's assessment
19 in that -- that I just read to you?

20 "A. The task force had significant expertise in the members of
21 the task force, who were quite able to document their
22 experience with the procedure as well as the information on
23 hand.

24 "Q. Let me break it up. Did the task force agree that the
25 district 2 statement was presented as absolute fact without any

2453

44frnat6

"Cain

1 references or documentation?

2 "A. We would not have discussed this specifically in order to
3 answer that.

4 "Q. Did the task force gather all published materials about
5 this procedure?

6 "A. The task force had it in the materials we had. I believe
7 at the time they tried to gather anything that would include
8 references to the procedure. It is possible in the world there
9 was published material that we did not find or receive.

10 "Q. Is it your understanding that through ACOG's staff an
11 effort was made to gather all published material about the
12 intact D&X procedure?

13 "A. Yes, with the material that was published in books.

14 "Q. And the result of that effort to gather all published
15 material on intact D&X was material that we discussed today
16 that was before the committee?

17 "A. And the books on the side table.

18 "Q. And the books on the side table?

19 "A. Uh-huh?

20 "Q. And that was as of October 1996, correct.

21 "A. Right. But remember, the intent of the materials for the
22 task force was to identify the issues. The expertise that was
23 being relied on was the individuals on the task force.

24 "Q. Let me just wrap this up by asking two questions. Did the
25 task force intend to gather all published material on intact

44frnat6

"Cain

1 D&E? And let me make that the first question. Did the task
2 force intend to gather all published material on intact D&E?
3 "A. I don't believe there was a stated intent to do that.
4 "Q. Did the task force gather all published materials on intact
5 D&E at the time of its deliberations?
6 "A. I don't think that's knowable.
7 "Q. What did the task force think at the time? Did it think it
8 had gathered all published materials on intact D&E?
9 "A. I believe the task force believed that we had a range of
10 different descriptions of D&E and relevant material that would
11 apply to the procedure.
12 "Q. Did you think you had all relevant material that would
13 apply to an evaluation of the procedure?
14 "A. Yes".
15 Page 174/line 22.
16 "Q. Did the task force find that the intact D&X procedure was
17 performed in the second trimester?
18 "A. That is correct.
19 "Q. Doctor --
20 "A. Primarily in the second trimester.
21 "Q. That it was performed primarily in the second trimester?
22 "A. Uh-huh.
23 "Q. Dr. Haskell's paper at Cain Deposition Exhibit 13 is titled
24 'Dilatation and Extraction for Late Second Trimester Abortion.'
25 That's ACOG 0032 in Exhibit 13. My question is, was there any

2455

44frnat6

"Cain

1 doubt in the task force's mind that intact D&X was not just a
2 third trimester procedure?
3 "A. State that again.
4 "Q. Let me ask it differently and a little more clearly,
5 because I think I confused you with my question.
6 "A. OK.
7 "Q. I think I'll use Miss Bryant's memo. It's on page 1 of the
8 memo, ACOG 0047. Page 1 of Miss Bryant's memo, middle of that
9 first full paragraph.
10 "A. 'The document contains important information.'
11 "Q. I was actually looking at the paragraph beginning, 'First,
12 an overall concern that the title and the information presented
13 in the document address only third trimester abortions.' She's
14 referring to the district 2 document. Further down she says,
15 'Further, the procedure is often performed in the second
16 trimester. Both Drs. McMahon and Haskell have written and/or
17 have been quoted that they performed this procedure in the
18 second trimester. Dr. Haskell was quoted that he performed the
19 procedure in the 18- to 25-week range. Dr. McMahon wrote that
20 he performed the procedure beyond 18 weeks.'
21 "Was this information considered by the task force in
22 connection with considering the stage of gestation issue on the
23 agenda?
24 "A. Just like it considered all of the literature presented to
25 it and also the expertise of the individuals and their

44frnat6 "Cain
1 experience with procedures similar to this.
2 "Q. And the task force understood, did it not, that this
3 procedure was performed beginning at 18 weeks, the intact D&X
4 procedure?"
5 Original answer:
6 "A. That it could be performed starting at 18 weeks."
7 Revised answer:
8 "A. That it could be performed starting at 16 weeks.
9 "Q. Did it have an understanding that it was being performed
10 starting at 18 weeks?"
11 Original answer:
12 "A. If it was possible, yes."
13 Errata answer:
14 "A. The task force understood that intact D&X was one method of
15 performing an abortion after 16 weeks.
16 "Q. What did the task force discuss with respect to safety?
17 "A. Numerous examples were raised by the members of the task
18 force regarding different procedures in specific cases and
19 issues of safety. For example, in gynecology the most
20 pertinent example would be triploidy, which is a form of cancer
21 of the placenta often diagnosed in the second trimester with
22 severe preeclampsia.
23 "In that case the least amount of instrumentation
24 possible of the uterine wall is desirable. So it is much safer
25 for the woman to have an intact D&X to remove the molar

2457

44frnat6 "Cain
1 pregnancy. So multiple examples were used to explore issues of
2 safety at the time and in comparison to other procedures.
3 "Q. Does the statement in Cain Deposition Exhibit 7, the draft
4 proposed statement, does this statement reflect the conclusions
5 of the task force with respect to intact dilatation and
6 extraction?
7 "A. Yes.
8 "Q. OK. Did the task force identify any study which discussed
9 the relative safety of intact D&X versus another method of
10 abortion?
11 "A. No, not as we had described it for purposes of our debate.
12 Q. Did the task force identify any study on the relative
13 safety of abortion procedures in general which it relied on in
14 reaching its conclusions about this procedure?
15 "A. The CDC data, the ongoing data documents, the safety.
16 "Q. Of? In what way?
17 "A. D&E second trimester abortions.
18 "Q. OK. And you mentioned the CDC data. What was it about the
19 CDC data which informed the task force's view with respect to
20 the safety of intact D&X?
21 "A. The overall safety in the second trimester.
22 "Q. Of D&E?
23 "A. D&E, because D&X was not separately coded.
24 "Q. Was the task force aware that Dr. Grimes had written an
25 article in the 1980s regarding the relative safety of abortion

44frnat6 "Cain
1 procedures?
2 "A. I'm sure that members of the task force would have been
3 aware, since it probably was quoted also in the textbooks
4 available.
5 "Q. Did the task force specifically consider Dr. Grimes' study
6 with respect to the relative safety of abortion procedures?"
7 Original answer:
8 "A. Not as a separate study, but as a part of the literature
9 that supports the safety."
10 Errata answer:
11 "A. I don't remember.
12 "Q. Well, what I'm asking you is, can you say that wherever it
13 was as a separate study referenced in a textbook, the task
14 force specifically considered the data found by Dr. Grimes in
15 his study 'The Relative Safety of Abortion Procedures'?
16 "A. I can say that it's likely."
17 Page 187/line 19.
18 "Q. Let me reformulate that one. Did the task force discuss
19 any findings made by Dr. Haskell with respect to the safety of
20 the intact D&X procedure?
21 "A. We would have discussed the article. As I stated before,
22 as a part of the background materials.
23 "Q. Did the task force rely in any way on Dr. Haskell's
24 articles in reaching the conclusion with respect to the safety
25 of the intact D&X?

2459

44frnat6 "Cain
1 "A. No.
2 "Q. Did the task force rely on the materials of Dr. McMahon
3 submitted to Congress which were before you in reaching any
4 conclusions with respect to the safety of intact D&X?
5 "A. No.
6 "Q. What does the reference to data on frequency refer to in
7 the agenda? This is Cain Deposition Exhibit 24.
8 "A. As I remember it, there were contradictory statements in
9 the materials about how frequent this procedure was and the
10 fact that we simply did not know, since there was no standard
11 definition.
12 "Q. Did the task force reach any determinations as to the
13 frequency of the intact D&X procedure?
14 "A. No, although members of the task force could indicate cases
15 of which they were aware.
16 "Q. In which the procedure was utilized?
17 "A. That's correct.
18 "Q. Did the submissions of Dr. Haskell inform your conclusions
19 as to the frequency of intact D&X?
20 "A. It informed it in a background manner. We only have his
21 report.
22 "Q. What about Dr. McMahon? Did his submissions inform the
23 conclusions, inform the views of the task force with respect to
24 the frequency of intact D&X?
25 "A. No, because it's one physician's comments.

44frnat6

"Cain

1 "Q. And what's wrong with that?
2 "A. It was important to the committee to have experts in the
3 area and to identify whether we knew or not the actual
4 frequency.
5 "Q. The select panel concluded, according to your draft, that
6 'A select panel convened by ACOG concluded it could identify no
7 circumstances under which this procedure, intact D&X as defined
8 above, would be the only option to save the life or preserve
9 the health of the woman.' Was that the conclusion of the
10 select panel?
11 A. That it was the only option?
12 "Q. Well, if you want to look at Cain Deposition Exhibit 7,
13 I'll go a little above that. 'Terminating a pregnancy is
14 indicated in some circumstances to save the life or preserve
15 the health of the mother.' This was, obviously, the conclusion
16 of the select panel, is that correct?
17 "A. That is correct. And we could identify numerous
18 circumstances in which this might be the best procedure for
19 that. Rare, but still numerous.
20 "Q. Where does it say in this statement that this would be the
21 best procedure to do that? Would you agree with me it doesn't
22 say in this statement that it might be the best procedure in
23 some circumstances?
24 "A. Right. Dr. Frigoletto and I discussed that actually on
25 Saturday, because the amended final statement, which includes

2461

44frnat6

"Cain

1 the executive committee statement, does include a sentence
2 between the sentence you read and 'Notwithstanding this
3 conclusion': 'ACOG strongly believes that decisions about
4 medical treatment must be made by the doctor in consultation
5 with the patient.' And both of us agreed that it was clearly
6 the sense of the committee. We just thought it spoke for
7 itself and that we were glad that the executive board had put
8 that phrase in.
9 "Q. I want to ask you about that in a second. But I just want
10 to confirm that the sentence that 'A select panel could
11 identify no circumstances under which this procedure would be
12 the only option to save the life or preserve the health of the
13 woman,' was that the conclusion of the select panel as
14 reflected in this document?
15 "A. Correct.
16 "Q. Now let's talk about the added sentence. This is in the
17 final statement. And you could look at Plaintiffs' Trial
18 Exhibit No. 6 if you'd like to have it in front of you.
19 "A. I have Plaintiffs' Trial Exhibit 5.
20 "Q. It's the same thing, the final statement approved by the
21 board. A sentence is added, 'An intact D&X, however, may be
22 the best or most appropriate procedure in a particular
23 circumstance to save the life or preserve the health of a
24 woman.' And to complete the sentence, 'and only the doctor, in
25 consultation with the patient, based upon the woman's

44frnat6 "Cain
1 particular circumstances, can make this decision.' Who added
2 that sentence?
3 "A. The executive board edited the sentence.
4 "Q. Were you a part of the deliberations on that?
5 "A. No, I was not.
6 "Q. Was this additional sentence presented to the task force
7 for its consideration?
8 "A. No, although it is very much in the sense of a discussion
9 of the task force.
10 "Q. Do you have any knowledge of the deliberations that
11 resulted in the addition of this sentence?
12 "A. I have information from Dr. Frigoletto about his
13 recollections and also from Dr. Hale.
14 "Q. And was it Dr. Frigoletto that proposed adding the
15 sentence?
16 "A. I don't believe that Dr. Frigoletto -- I know that Dr.
17 Frigoletto could not remember who proposed the sentence.
18 "Q. Have you -- other than Dr. Frigoletto, you said you
19 discussed how this sentence came to be added with Dr.
20 Frigoletto?
21 "A. That's correct.
22 "Q. And did you discuss it with Dr. Hale?
23 "A. Not specifically with Dr. Hale, but I'm aware of his
24 memory.
25 "Q. Was there any debate at the task force over this sentence?

2463

44frnat6 "Cain
1 "A. I don't remember this sentence coming up.
2 "Q. Was anyone -- do you know if anyone in particular was an
3 advocate for adding this sentence?"
4 Original answer:
5 "A. Well, I would think Dr. Frigoletto would be an advocate."
6 Errata answer:
7 "A. I don't remember the sentence coming up at the task force
8 meeting.
9 "Q. Do you know if he was an advocate at the board for adding
10 the sentence?
11 "A. I don't know.
12 "Q. Do you know if Dr. Hale was an advocate at the board for
13 adding this sentence?
14 "A. I don't know. This is a statement that was added by the
15 entire executive board.
16 "Q. Do you know if Dr. Gibbons was an advocate for adding this
17 sentence?
18 "A. I don't know if he was an advocate at the time of the
19 executive board. It is very much in keeping with the sense and
20 the discussion of the task force.
21 "Q. Then why isn't it in the draft of the task force?
22 "A. As I said, when Dr. Frigoletto and I discussed that, we
23 came to the same conclusion, that we -- because we had talked
24 about it so much, to us the 'Notwithstanding this conclusion,
25 the decisions about a medical treatment based upon the woman's

44frnat6

"Cain

1 particular circumstances' covered that. It is a matter of
2 phrasing. To us, we were already there. We assume in medicine
3 that our choices are made for the life and the health of our
4 patients.
5 "Q. Why did the select panel find that there were no
6 circumstances -- why was the select panel unable to identify
7 circumstances under which the intact D&X procedure was the only
8 option to save the life or preserve the health of a woman?
9 "A. Because there are multiple procedures available. It was
10 simply a factual statement. There are other things that are
11 available. They may not be the best for that individual
12 patient.
13 "Q. Are you aware of any analysis or study -- let's strike that
14 and say: Are you aware of any study which supports the
15 conclusion that intact D&X may be the best or most appropriate
16 procedure in certain circumstances?
17 "A. I'm not aware of a study. I'm well aware of multiple
18 circumstances that an expert panel could identify at the time
19 of the task force where it was clearly the best choice,
20 including in my field, where the other options led to a higher
21 likelihood of death or recurrence of disease.
22 "Q. I'm going to ask you about that in a minute, but I just
23 want to document a couple of facts first, if I can. To your
24 knowledge, are there any studies documenting the statement in
25 the final statement that the intact D&X procedure may be the

2465

44frnat6

"Cain

1 best and most appropriate procedure in some circumstances?
2 "A. And how do you define studies?
3 "Q. Well, how are studies typically defined in the field of
4 obstetrics and gynecology?
5 "A. They can be anything from case reports to prospective
6 randomized controlled trials.
7 "Q. Are there any case reports that you are aware of
8 documenting that intact D&X may be the best or most appropriate
9 procedure in certain circumstances?
10 "A. I consider the case reports brought to the table as
11 significant.
12 "Q. By -- you mean the case reports of Dr. Haskell and McMahon?
13 "A. No. By members of the task force.
14 "Q. Oh, I see. Did any of the members of the task force
15 publish any case study with respect to the safety of intact
16 D&X?
17 "A. Not with regard to the safety of intact D&X.
18 "Q. Did they publish any studies, case studies or otherwise,
19 with respect to why it's the most appropriate procedure or may
20 be the most appropriate procedure in certain circumstances?
21 "A. Not particularly.
22 "Q. As far as you know, there have been no prospective studies
23 demonstrating that intact D&X may be the best or most
24 appropriate procedure in certain circumstances, are there?
25 Let's back up. At the time of the task force report.

44frnat6

"Cain

1 "A. Not to my knowledge. But a randomized controlled
2 prospective trial would not be ethical in some of these
3 circumstances.
4 "Q. I want to back up and ask you a little bit about the task
5 force members. Of the ones that were unable to attend the
6 meeting in October, did they participate in any way in the
7 crafting of this document?
8 "A. I believe that the document was sent to all the members for
9 comment before it was forwarded to the board. And it was Dr.
10 Frigoletto's memory, as I understand it.
11 "Q. I asked you this morning whether you had understood whether
12 Dr. G had any experience in performing abortion terminations,
13 and I believe you said you didn't know, is that correct?
14 "A. That is correct.
15 "Q. Who among this panel --
16 "A. Although I'm aware that he in general was in opposition to
17 abortion.
18 "Q. Who on this panel performed intact D&X's at the time of the
19 study in 1996, at the time of the statement in 1996?
20 "A. Who performed procedures that would fall within this newly
21 defined criteria? It would have been Dr. Frigoletto would have
22 overseen it, Dr. R -- I need to look at the list.
23 "Q. Dr. D?
24 "A. Dr. D probably. I don't know for sure.
25 "Q. Dr. J?

2467

44frnat6

"Cain

1 "A. I don't believe so.
2 "Q. Dr. N?
3 "A. She would have overseen.
4 "Q. You said Dr. Frigoletto would have overseen, Dr. N would
5 have overseen. Dr. R you believe --
6 "A. Performed them. And I believe Dr. Frigoletto performed
7 intact D&X's at the time.
8 "Q. Is that the list of people on the panel that you think
9 either oversaw or performed intact D&X's as of 1997?
10 "A. Uh-huh."
11 Comments by counsel.
12 "Q. Frigoletto, Dr. R, Dr. N and Dr. D?
13 "A. Uh-huh."
14 Comments by counsel.
15 "Q. Did Dr. B as well?
16 "A. Yes.
17 "Q. All these doctors said at the time of the task force that
18 they had performed an intact D&X?
19 "A. They did not say that they had performed an intact D&X.
20 They said they would have had experience with procedures that
21 would fall in that category.
22 "Q. Let me just make sure I understand. You came up with a
23 four-part definition of intact D&X. And what I want to know is
24 did any of the doctors who were on the select panel discussing
25 this procedure that the panel described as intact D&X, had they

2468

44frnat6 "Cain
1 actually performed that procedure?
2 "A. Yes.
3 "Q. And those are the five doctors we just identified: Dr. R,
4 Frigoletto, Dr. N, Dr. D, and Dr. B?
5 "A. Uh-huh."
6 Comments by counsel.
7 "Q. In Dr. N's case, she only oversaw them?
8 "A. That would be my understanding, or my memory.
9 "Q. Did any of these doctors say how many they had participated
10 in or overseen, how many intact D&X's as identified by ACOG
11 that they had participated in or overseen?
12 "A. No.
13 "Q. Do you have any indication of the numbers?
14 "A. We discussed specific cases.
15 "Q. How many specific cases did you discuss? Just numbers?
16 "A. I would just guess, given the variation of diagnoses and
17 the fact that most people saw referral cases that we talked
18 about, at a minimum 25 to 30 different types of cases.
19 "Q. All right. Do you know approximately how many cases Dr.
20 Frigoletto had performed or overseen of intact D&X?
21 "A. No.
22 "Q. No idea? Of the 25, no idea how many were his?
23 "A. No.
24 "Q. Dr. R, of the 25, do you have any idea how many intact
25 D&X's he performed or oversaw?

2469

44frnat6 "Cain
1 "A. She."
2 THE COURT: Counsel, it is 5 o'clock. How much more
3 do you have to go?
4 MR. PANTOJA: Your Honor, I actually have 10 seconds
5 left.
6 THE COURT: Right by the clock. All right, go right
7 ahead, finish it up.
8 MR. PANTOJA: I will repeat the question.
9 "Q. Dr. R, of the 25, do you have any idea how many intact
10 D&X's he performed or oversaw?
11 "A. She.
12 "Q. She, I'm sorry.
13 "A. No.
14 "Q. Dr. N, any idea of the 25 that she performed or oversaw?
15 "A. She was not present at the task force.
16 "Q. Did the task force obtain information from her on her
17 experiences on intact D&X?
18 "A. In regard to reviewing the data or reviewing the statement?
19 "Q. No. Did they get any information from her on her
20 experience in intact D&X cases?
21 "A. No.
22 "Q. Dr. D was present. Any idea of the number of intact D&X
23 cases of the 25 that she was involved with?
24 "A. No."
25 Your Honor, that concludes the government's reading in

2470

44frnat6 "Cain
 1 of the designations of the ACOG transcript.
 2 THE COURT: Fine. We will recess until 9:30 tomorrow
 3 morning.
 4 MS. STERNBERG: Your Honor, this is Ms. Sternberg for
 5 the plaintiffs. We have very few cross-designations. We can
 6 read them in the morning, if that is what you prefer.
 7 THE COURT: That would be fine. I knew there were
 8 some.
 9 MS. STERNBERG: It is not many.
 10 THE COURT: You want to wrap that up in the morning?
 11 MS. STERNBERG: Sure.
 12 THE COURT: We will do it then. See you at 9:30.
 13 Have a nice evening. Court will stand in recess.
 14 (Adjourned to 9:30 a.m., April 16, 2004)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

2471

	INDEX OF EXAMINATION	
1	Examination of:	Page
2	STEVEN L. CLARK	
3	Direct By Ms. Gowan:	2270
4	Cross By Ms. Parker:	2397
4		
5	GOVERNMENT EXHIBITS	
6	Exhibit No.	Received
7	Z4	2280

8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25