

1 TWM 4/1/04 NAF v. Ashcroft.
2 SOUTHERN DISTRICT OF NEW YORK
3 -----x
4

5 NATIONAL ABORTION FEDERATION,
6 MARK I. EVANS, M.D.,
7 CAROLINE WESTHOFF, MD, MSC;
8 CASSING HAMMOND, MD,
9 MARC HELLER, MD,
10 TIMOTHY R.B. JOHNSON, MD,
11 STEPHEN CHASEN, MD,
12 GERSON WEISS, MD,
13 on behalf of themselves and
14 their patients,
15

16 Plaintiffs,
17 v.

03 Civ. 8695 (RCC)

18
19 JOHN ASHCROFT, in his official
20 capacity as Attorney General
21 of the U.S., along with his
22 officers, agents, servant,
23 employees, and successors
24 in office,
25 Defendants.

Trial

1 -----x

New York, N.Y.
April 1, 2004
9:30 a.m.

2
3
4
5 Before:

6
7 HON. RICHARD CONWAY CASEY

District Judge

8
9
10
11
12

13 APPEARANCES

14
15
16 AMERICAN CIVIL LIBERTIES UNION FOUNDATION
17 Attorneys for Plaintiffs
18 125 Broad Street, 18th floor
19 New York, New York 10004-2400
20 (212) 549-2600

21 BY: JULIE STERNBERG, ESQ.
22 APPEARANCES (continued)
23

24 THE ROGER BALDWIN FOUNDATION OF THE ACLU, INC.
25 Attorneys for Plaintiffs

page 3

1 180 N. Michigan Avenue, Suite 2300
2 Chicago, Illinois 60601
3 (312) 201-9740
4 BY: LORIE A. CHAITEN, ESQ.
5
6
7 WILMER CUTLER PICKERING LLP
8 Attorneys for Plaintiffs
9 2445 M Street, N.W.
10 Washington, D.C., 20037-14 20
11 (202) 663-6235
12 BY: A. STEPHEN HUT, JR.
13 AMY WIGMORE, ESQ.
14 KIMBERLY A. PARKER, ESQ.
15
16
17 SHEILA M. GOWAN, ESQ.
18 SEAN H. LANE, ESQ.
19 JOSEH A. PANTOJA, ESQ.
20 ELIZABETH WOLSTEIN, ESQ.
21 Attorneys for Defendants
22 U.S. Department of Justice
23 86 Chambers Street, 3rd floor
24 New York, New York 10007
25 (212) 637-2800

4/1/2004

page 4

1
2
3
4 (Trial resumed)
5 CASSING HAMMOND, resumed. K-PBLG TKPW-PL -FPLT KHRERBG
6 KHRERBG TKR* -RBGS EULD HRAOEUBG TO RE PHEUPBD KWROU THAUR
7 STEUL URPBD OEGT -FPLT [>
8 THE COURT: The Court good morning.
9 THE CLERK: I would like to remind you that you are
10 still under oath.
11 MS. CHAITEN: Your Honor, might I inquire of the Court
12 about the line of questioning that we deferred yesterday as a
13 result of the government's objection?
14 THE COURT: Ms. Chaiten, I received a letter from the
15 plaintiffs this morning when I came in, which I have reviewed.
16 I just received the government's letter. I perused it very
17 quickly. If you get to the end of your inquiry, I will stop
18 and go in and prepare a ruling on it. But I really think I
19 read it too quickly to rule on it at just this moment.
20 MS. CHAITEN: Thank you, your Honor.
21 THE COURT: If you are finished, I will go in. But
22 seeing I have become both of your best pen pals, I don't know
23 what a day would go without a little note from one of you.
24 MS. CHAITEN: Thank you for keeping us from being so
25 lonely.

1 THE COURT: Yes, it is nice to know. It reassures me.
2 You let me know when you get to a point where you are about
3 finished. If we haven't taken a break and I haven't ruled on
4 it, I will stop and look at the government's letter carefully
5 and give you a ruling.
6 MS. CHAITEN: Thank you, your Honor. Then I will just
7 move ahead.
8 THE COURT: If you would.
9 DIRECT EXAMINATION S-
10
11 BY MS. CHAITEN:
12 Q. Dr. Hammond, you may recall that yesterday you testified
13 that sometimes an induction termination fails, is that correct?
14 A. Yes, it is.
15 Q. How does that happen?
16 A. In most cases, the patient, after having been given one of
17 a variety of medicines to attempt to induce labor, simply fails
18 to deliver the fetus or the placenta.
19 Q. Do you consider this to be a complication of induction?
20 A. Yes, it is.
21 Q. How often do these complications occur?
22 A. It varies somewhat based on the circumstances that the
23 induction of labor is being performed. Certainly it is less
24 common if the fetus has already died before the procedure. I
25 am consulted probably, it is kind of hard to say an exact

1 amount, but at least once a month by a maternal fetal medicine
2 service because of an induction that has failed and in the
3 sense that the fetus is not delivered. There are some months
4 where I am consulted more commonly and even get referrals from
5 the community where they haven't worked. Probably about 15 to
6 30 percent of all cases of medical induction, depending on the
7 agent, are complicated by failure to completely -- to result in
8 complete delivery of the placenta.
9 Q. I believe you testified yesterday that when an induction
10 fails in either of these ways, you essentially do a D&E in
11 order to evacuate the contents of the uterus, is that correct?
12 A. That is correct.
13 Q. Doctor, if you were patient enough could you just wait for
14 delivery of the placenta or fetal part rather than going in and
15 instrumentally trying to remove the contents of the uterus?
16 MS. GOWAN: Objection to the form of the question.
17 THE COURT: Could I hear the question again, Mr.
18 Reporter.
19 (Question read)
20 THE COURT: I will strike the word "patient." I don't
21 know if that is appropriate. Eliminating that word, why don't
22 you rephrase it.
23 MS. CHAITEN: I will, your Honor.
24 Q. Doctor, can you simply just wait for the placenta or other
25 fetal parts to deliver, or is it necessary to go in and

1 instrumentally evacuate the uterus?
2 A. No, eventually you have to intervene. I think with respect
3 to the fetus it is obvious that if the patient after a day or
4 two days or three days HAEFPBT effected delivery, they are
5 going to become impatient. And if medical circumstances
6 haven't required you to PEURB you towards doing a D&E at that
7 juncture. With respect to the placenta --
8 THE COURT: Wait a minute, Doctor. I don't think you
9 have finished the question. Would it happen automatically if
10 you didn't do it? And we are not talking about the patient
11 beingism PEURBT. Would it happen?
12 THE WITNESS: Would the fetus deliver?
13 THE COURT: Yes.
14 THE WITNESS: In some cases we don't know. It
15 probably would.
16 THE COURT: If we don't know, then you don't know, is
17 that right? Is that your answer?
18 THE WITNESS: No. I think that it is a matter of at
19 what risk to the patient, your Honor. Conditional I didn't ask
20 that. Would it happen, or you just don't know?
21 THE WITNESS: I can't tell you when it would happen.
22 It likely would eventually, but not without becoming
23 complicated.
24 THE COURT: Do we know that for sure?
25 THE WITNESS: Yes, I think we do.

1 Q. WHALZ the risk of waiting to remove the fetal parts or the
2 placenta be, Doctor?
3 A. Eventually, if you have a prolonged induction, the risk of
4 the patient developing both infection and hemorrhage increases.
5 That is why there is a point with respect to the induction of
6 the fetus where a doctor eventually has to recognize that it is
7 going on too long.
8 THE COURT: Do the costs go up with the amount of time
10 hospital occupying pays?
11 THE WITNESS: Yes, sir, that would be true.
12 THE COURT: OK. And you are concerned about cost,
13 like every hospital, I presume?
14 THE WITNESS: Well, with all due respect, I am not
15 working for the hospital and I am not directly concerned about
16 the cost. I am just concerned about the patient and what is
17 best for her. To be very honest, your Honor, it really doesn't
18 enter into our mind. I am not that concerned about how much
19 the hospital is going to incur from this induction.
20 THE COURT: Do they ever remind you of how much your
21 patients cost the hospital?
22 THE WITNESS: Not once in nine years at Northwestern
23 have they come to me and complained about the length of
24 induction for a patient or really any of my patients. I think
25 the only time it has come up has been after frankly completely
4/1/2004

1 unrelated surgeries when people are in the hospital, say, after
2 a Cesarean or his TKREBG tome, we do a quality assurance kind
3 of program where they look at the length of, say, post-op. If
4 we were keeping people too long post-op or beyond the average
5 for other doctors, then I would be called on the carpet. But
6 never for an induction; that has never happened.
7 THE COURT: If you switched to a D&E right away, it
8 wouldn't happen, would it?
9 THE WITNESS: I'm sorry, your Honor. Are you
10 saying --
11 THE COURT: If the moment you don't have a delivery
12 and you switch to a D&E, it doesn't happen, does it?
13 THE WITNESS: I'm sorry. If I switch --
14 THE COURT: The stay in the hospital is cut right
15 there and then, isn't it?
16 THE WITNESS: That is true, your Honor.
17 THE COURT: Thank you. Next question.
18 BY MS. CHAITEN:
19 Q. Doctor, can you describe for me your concerns in terms of
20 the patient's health about continuing to wait for delivery of
21 the fetus and the placenta?
22 A. The placenta is a problem, because the longer it stays in
23 after delivery, the greater the risk of both hemorrhage and
24 infection. The standard when I initially went into practice
25 was to wait no longer than approximately two hours. That has

4/1/2004

page 10

1 been the standard based on some studies of saline and as a
2 HRAEUGS abortions, which is where they in the second trimester
3 injected a very concentrated salt solution into the uterus to
4 cause the patient to deliver. What they had done was to
5 basically wait after a patient has delivered and compare the
6 risks of major complications over periods of time, comparing
7 what happens in patients when the placenta comes out
8 immediately at the time of fetal delivery, what happens at 2
9 hours, at 4 hours, at 6 hours, and so forth.
10 It had been clear that for patients who had
11 immediately delivery of the placenta, about 4 percent would
12 develop hemorrhages or infections or other PHAORPBL
13 complications. If you waited beyond 2 hours, that risk went up
14 to 8 percent. It remained had 4 percent at 2 hours.
15 So for much of obstetrics, particularly people who
16 still adhere to this rule, a standard practice has been not to
17 wait longer than approximately two hours after the baby has
18 delivered for the placenta.
19 There is some newer data that actually came out when I
20 was in residence, this was in the late eighties. They were
21 actually using not saline and as a HRAEUGS abortions, by die la
22 PROS teen, which is a prostaglandin analog. They did much the
23 same thing, looking at time intervals after delivery and
24 comparing major risks of complications.
25 What they found was that the risk of hemorrhage and

4/1/2004

1 infection tended to rise after about a half hour after
2 delivery. So as a result, we are a little bit more aggressive
3 at my institution now than we were when I was a resident. If
4 we are doing a medical induction termination and the placenta
5 is still under by half hour, we move in the direction of
6 delivering it. And we probably also do this simply because a
7 lot of our induction termination patients have epidural
8 anesthetic already on board, they are already comfortable, and
9 it is also a good time for the patient to go ahead and effect
10 delivery. [> check above<]

11 Q. Dr. Yesterday, when we finished at the end of the day we
12 were in the process of talking about the safety advantages of
13 an intact approach to D&E, and you had mentioned that there
14 were general safety advantages. You had also mentioned that
15 intact D&E might offer particular safety advantages for certain
16 patients, is that correct?

17 A. That's correct.

18 Q. What types of patients does that apply to?

19 A. I think there are several types of patients it would apply
20 to. People with certain bleeding disorders, people with choir
21 am nights, people with certain types of heart disease can all
22 benefit if I am able to do their procedures relatively intact
23 than by dismemberment.

24 Q. Let's take those one at a time then. Why would annual
25 intact D&E offer particular safety advantages for women with

4/1/2004

page 12

1 bleeding disorders?

2 A. As we had talked about, a patient who has a bleeding
3 disorder is at a greater risk of hemorrhage. During the course
4 of a D&E, if I am able to perform the D&E relatively intact for
5 reasons that I think I have already covered, there is less of a
6 risk of perforation, less of a risk of cervical laceration,
7 which would be complications that would be even more
8 devastating to a patient who has a particular bleeding problem.

9 So to the extent I am less likely to run into those
10 complications in these patients, it is safer for me to do the
11 D&E as a tack as possible-

12 I also think it is safer because of the control we
13 have over the timing of the procedure. Keep in mind that --
14 I'll stop there. I really was thinking more of induction
15 versus D&E, which we are not talking about. I'm sorry. Go
16 ahead.

17 MS. CHAITEN: Your Honor, there seems to be some
18 feedback or something coming from the microphone as the witness
19 is speaking. We are hearing buzzing behind us and it is making
20 it difficult to hear the witness.

21 THE COURT: Michael, could you ask the technician to
22 come up. Do you want to take a 5-minute break here? Is it at
23 your end, Ms. Chaiten? Chaiten chant I am hearing it behind
24 me.

25 MS. GOWAN: I think the witness is too close to the

4/1/2004

1 microphone.
2 MS. CHAITEN: Am' I too close to the microphone?
3 Let's try that.
4 THE COURT: Back away a little bit. Try to put your
5 hand between your mouth and the microphone. At least that is
6 something somebody has told me. See if that works.
7 A. Can you hear me without hearing the reverb RAEUGS?
8 MS. CHAITEN: Yes. I am hearing you without the
9 reverb RAEUGS.
10 A. I will stay back away from the microphone.
11 Q. Thank you. I hope I didn't interrupt you. Were you done
12 with your answer as to why you think bleeding disorders offer a
13 particular --
14 THE COURT: I think he said, I'll stop here.
15 Q. I didn't ask you, what do you mean when you are talking
16 about bleeding disorders?
17 A. It could be one of a number of different disorders. There
18 are PROBLs with the factors in the blood, clotting factors that
19 people have. Sometimes they are born with these disorders,
20 sometimes they acquire them. Also problems with blood
21 platelets, which are another component of the blood that is
22 important to cause the blood to be able to clot. People can be
23 born with these problems or develop them, even develop them as
24 a result of certain complications of pregnancy.
25 Q. Doctor, you also mentioned that patients with choir am

4/1/2004

page 14

1 nights would be offered particular advantages by undergoing an
2 intact approach to D&E, is that correct?
3 A. Yes, that's correct.
4 Q. Just remind us briefly what choir am nights is. I know we
5 have defined it before, but there are a lot of medical terms
6 here. Just for the benefit of the rest of us, can you briefly
7 remind us what that is?
8 A. It is an infection of the fetal membranes and also the
9 amniotic flute. We commonly, in case I lapse into this, will
10 abbreviate it "chore yo -- for short.
11 Q. Why is it that a patient with choir am nights would benefit
12 from an intact approach to D&E?
13 A. There are a couple of reasons. First of all, these are
14 patients who are already at higher risk of uterine perforation.
15 If the uterus is infected the wall doesn't have the usualature
16 Gore, the reSKWREUDty that it would have if it were not
17 infected. Because of the that, because of doing the procedure
18 as I testified more intact decreases the likelihood of
19 perforation, it is even more important in this kind of patient
20 who is at higher risk.
21 There is another even more compelling reason, though.
22 The more you manipulate the inside of the uterus when it is an
23 infected environment, the more likely you are to seed the
24 infection from the uterine lining into the blood and take a
25 localized infection like chore yo and make the patient septic,

4/1/2004

1 which I testified yesterday is a more disseminated infection
2 and \vast\vatsly more dangerous to the patient.

3 So when I am doing a D&E on somebody who has chore yo,
4 I am going to try to do the procedure with the minimum number
5 of passes, the least intro uterine instrumentation that I can
6 so that I don't make the patient sicker in the process of doing
7 this.

8 Q. Does a patient who has choir am nights have to terminate
9 the pregnancy?

10 A. The pregnancy must be delivered, yes.

11 Q. Why is that?

12 A. Because it is the only way that you are really going to
13 eventually treat the infection. You must evacuate the uterus.

14 Q. Doctor, the last example that you gave us of situations
15 where a patient would benefit from an intact approach to D&E
16 because of a particular health condition was certain cardiac
17 anomalies or problems. Can you explain what you mean by that.

18 A. Ee see patients with lots of different heart problems. But
19 I think what I was thinking of originally are patients who have
20 certain severe cardiomy pathies. It could also be patients who
21 have severe valve lar Hart disease. The word cardioopthink is
22 essentially a problem with the heart muscle. We see people who
23 because of a variety of conditions, some that they are born
24 with and others that they acquire, the heart loses its ability
25 to pump as effectively as it should. So with each pump of the

4/1/2004

page 16

1 heart, they fail to expel, to propel, an appropriate quantity
2 of blood.

3 When you see in these patients is much like what you
4 see in older patients who sometimes develop congestive heart
5 failure, their heart has pump failure and doesn't work.

6 We can see similar problems in people who have
7 problems with the heart valves, the texts between the different
8 chambers of the heart. There are a large number of these
9 different problems, but they can either be too constricted or
10 they can be too loose. As a result, the heart can have
11 problems filling or it can have trouble pumping.

12 That is what I mean by the heart problems that I am
13 talking about.

14 Q. Can you describe what the particular safety advantage would
15 be of an intact approach to D&E.

16 A. I think that these are patients in whom if you have a
17 hemorrhage, they are at even greater risk of dying. These
18 patients do not tolerate flute shifts well, and what might be a
19 minor problem in any other patient rapidly becomes a major
20 problem in these patients.

21 I like the control of D&E in general in these
22 patients, because I am able to have them in the OR at a
23 predictable time with not only STHAOERBLGTS but the cardiology
24 \{^ivities}\{^ists}, and so forth, and I no, I exactly the
25 20-minute period of time when we are going to be SRABG AOUGT

4/1/2004

1 the uterus. But in terms of doing the procedure intact, this
2 is the last patient in the world that I want to have a uterine
3 perforation or a bad cervical laceration on. I want the best
4 degree of control that I can possibly get surgically. And, as
5 I testified, I can get that degree of control with an intact
6 procedure, at least the most intact procedure I can get at the
7 time.
8 Q. What are the benefits of that control of an intact
9 procedure?
10 A. The benefits of the control are that I am not reaching into
11 the uterus and having to somewhat blindly go after
12 disarticulated or dismembered parts. I can see and feel
13 everything that I am doing, because it is closer to me. So I
14 am never at as heightened a risk of perforating the uterus or
15 las RAEUG the cervix.
16 Q. Doctor, you mentioned that you would have STHAOERBLGTS and
17 cardiology its with you in the OR during the 20-minute or so
18 period of the D&E. What would their role be?
19 A. They are actually responsible for administering the
20 anesthetic agents but also monitoring the patient's vital signs
21 and their response to everything I am doing. It is really a
22 division of labor. If I am tied up doing the procedure, and
23 this is true of most surgeries, I can't moment to moment also
24 be monitoring the patient's blood pressure and her fluid volume
25 status, and so forth. So both the STHAOERBLGTS and in the case

4/1/2004

1 of a patient with a major heart disease a cardiologist can help
2 me do those things so I can on sen trait on the surgery.
3 THE COURT: If you did an induction with a patient
4 that had a heart problem, would you have a cardiologist there?
5 THE WITNESS: We would have consulted them. But there
6 is no way of knowing if they would be there immediately at the
7 time of the delivery, because we never quite know when the
8 patient is going to deliver.
9 THE COURT: Once you knew there was going to be a
10 delivery, would you call upon a cardiologist?
11 THE WITNESS: We would certainly be calling them and
12 trying to get their advice by phone.
13 THE COURT: Or attendance, I assume, if you thought it
14 was necessary, right?
15 THE WITNESS: We would certainly try. But it is far
16 less predictable with an induction, because we don't know when
17 the patient is going to actually deliver.
18 THE COURT: Once you know that is happening, can't you
19 call? Isn't there a cardiology \{^ivity\}\{^ist} on duty?
20 THE WITNESS: Yes, and we would call that person.
21 THE COURT: OK.
22 Q. Doctor, in situations of SEFRPB bleeding disorders, are
23 there medications that can be given a patient during the time
24 of the procedure that might assist you in alleviating the
25 concerns you have resulting from this health condition?

4/1/2004

1 A. Yes, there are. It is actually advantageous when you are
2 doing a D&E, because depending on the bleeding disorder, we can
3 often give the patient those medicines immediately before the
4 procedure, when she is most at risk.
5 For example, if a patient has extremely low platelets,
6 less than 10,000 particularly, we could commonly give the
7 patient platelets immediately before the procedure, when she is
8 most at risk of bleeding. Platelets, for whatever reason, that
9 are donated to a patient don't have the normal life span of
10 platelets that your body makes. So there is a window after you
11 have given them when the patient will benefit from that
12 infusion of platelets.
13 With a controlled D&E, I can give them immediately
14 beforehand and know that it is going to be effective at the
15 time I am operating.
16 There is also one of the more common clotting factor
17 problems, you had asked about these, is something called von
18 willow bran's disease S-. It is a problem with, I won't
19 belabor this, but the factor 8 complex, which is also involved
20 in hemophilia. It is much more common, it is much more common
21 in women. We do see this. And there is a drug called DDADP,
22 which they can actually give nasally immediately beforehand
23 when we know exactly when the patient is going to deliver.
24 Q. Doctor, if the maternal health conditions you have just
25 described, are any of these conditions that might arise after a

4/1/2004

page 20

1 pregnancy has commenced?
2 A. Yes.
3 Q. Which ones?
4 A. THRPL bo sight I can penia, for example, which is low
5 platelets, I'm sorry, and is a blood clotting problem, can
6 actually arise as a result of the pregnancy. People who
7 develop the help sin trom, a variety of variants of preE
8 clampsia and toxemia will often develop PWHRAD PHRAEULGTS and
9 Louis their blood's ability to clot. That is one example that
10 can arise over the pregnancy.
11 KOEUR am nights by division arises after the preg
12 TPHAEPBTS because it is an infection of pregnant material.
13 Q. Thank you, Doctor. I would like you now to turn to the
14 black binder that is there in front of you. Do you have it
15 there from yesterday?
16 A. Yes, I do.
17 Q. If you would turn to what has been marked Plaintiffs'
18 Exhibit 679, and it should be at tab 69 in your binder. [>
19 check above<]
20 A. Yes.
21 Q. What is that?
22 A. This is the PARBL birth abortion Act of 2003.
23 Q. Have you reviewed this act Act in its entirety?
24 A. Yes, I have.
25 Q. If you would, please, refer to section 2 of the Act

4/1/2004

1 entitled "Findings." Do you see that on the TPEURBTS page?
2 A. Yes, I do.
3 Q. Then, if you would look under the findings to section
4 14(A), which is on page S3-4, do you have that in front of you?
5 A. Yes, I do.
6 Q. Doctor, would you please read that paragraph for us.
7 A. "Partial-birth abortion poses serious risks to the health
8 of a woman undergoing the procedure. Those risks include,
9 among other things, an increase in a woman's risk of suffering
10 from cervical incompetence, a result of cervical dilation,
11 making it difficult or impossible for a woman to successfully
12 carry a subsequent pregnancy to term, an increased risk of
13 uterine rupture, aabruption, amniotic fluid embo bus, and
14 trauma to the uterus as a result of converting the child to a
15 footling breech presentation, a procedure which according to a
16 leading obstetrics textbook 'there are very few if any
17 indications for other than for delivery of a second twin,' and
18 a risk of lacerations and secondary hemorrhaging due to the
19 TORBGT blindly forcing a sharp instrument into the base of the
20 unborn child's skull while he or she is lodged in the birth
21 canal, an act which could result in severe bleeding, brings
22 with it the threat of shock, and could ultimately result in
23 maternal TKAEGTD."
24 Q. Thank you, Doctor. Do you see there in the first sentence
25 the reference to partial-birth abortion?

4/1/2004

page 22

1 A. Yes, I do.
2 Q. I would like for purposes of the next number of questions
3 for you to assume that the procedure that we are talking about
4 here is the intact approach to D&E, and assuming that what we
5 are referring to is the intact approach to D&E, based on your
6 experience, do you agree with the statement that an intact D&E
7 "increases the woman's risk of suffering from cervical
8 incompetence as a result of cervical dilation"?
9 A. No, not at all.
10 Q. Why not?
11 A. I don't think that there is really any data that
12 substantiates the cervical incompetence is more common in these
13 patients. In fact, there is some data that if you
14 appropriately dilate the cervix in these patients, that they
15 are not at a heightened risk of having a subsequent obstetric
16 bad outcome, let alone cervical incompetence.
17 Q. Doctor, do you do anything different when TKAOEULGT for an
18 intact D&E than when you dilate for any other D&E?
19 A. Again, as I testified, I consider all D&E's part and parcel
20 of the same procedure. The truth is we do nothing differently
21 before our intact procedures, our relatively intact procedures,
22 than for those that are done by dismemberment.
23 Q. You mean you do nothing different in TKAOEULGT for those,
24 is that what you said?
25 A. That is correct. We have a protocol that is dependent upon

4/1/2004

1 the patient's gestational age, and we do the same thing for all
2 of those patients.
3 Q. Doctor, I would now like you to look at the sentence in
4 that paragraph that you read -- not the sentence, but the
5 phrase that refers to an increased risk of uterine rupture, and
6 it goes on from there. Do you see where I am talking about?
7 A. Yes, I do.
8 Q. Again assuming that we are talking about an intact D&E,
9 based on your experience, what, if any, opinion do you have
10 about the statement that there is an increased risk of uterine
11 rupture from an intact D&E?
12 A. I think that it is completely untrue. In fact, I think
13 there is less of a risk of uterine rupture to the extent there
14 is less of a risk of uterine perforation.
15 Q. Based on your experience, and again assuming that we are
16 talking about intact D&E, what, if any, opinion do you have
17 about the statement that there is an increased risk of
18 abruption from intact D&E?
19 A. Abruption is separation of the placenta, and it is
20 something that we do surgically during these cases. So there
21 is really no difference in risk of abruption with these
22 procedures.
23 Q. Again based on your experience, and assuming that we are
24 talking about an intact D&E, what, if any, opinion do you have
25 about the statement that there is an increased risk of amniotic

4/1/2004

1 fluid embolus from an intact D&E?
2 A. I know of absolutely no data regarding that. Frankly, I
3 doubt it has ever been looked at, simply because the overall
4 incidence of of amniotic fluid embolism is extremely low.
5 Before any D&E, regardless of how intact T-TS it is, the first
6 step that we take is to evacuate the amniotic fluid. The only
7 exception is some of our PP ROM patients like we talked about
8 yesterday where there is no amniotic fluid to begin with, where
9 we occasionally omit that step. But we do that to decrease the
10 risk of amniotic fluid embolism, and we do it in every
11 procedure regardless of the degree of intactness that we
12 anticipate.
13 Q. Doctor, do you believe that there is an increased trauma to
14 the uterus from an intact D&E?
15 A. No. I think the risk of trauma is much less, if we are
16 able to do the procedure more intact.
17 Q. Why is that?
18 A. As I testified, we are taking fewer passes into the uterus.
19 The less I put an instrument into the uterus, the less likely
20 it is that I am going to perforate the uterus. I also have
21 not dismembered the fetus as much, I do not have exposed bony
22 structures useless that I can push through the uterus cause a
23 perforation or that I can pull out subsequently lacerate the
24 cervix. So the more intact the less likely I am to traumatize
25 the uterus.

4/1/2004

1 Q. Doctor, for any of these complications that we have just
2 talked about, uterine rupture, abruption, amniotic fluid
3 embolus, or trauma to the uterus, are you aware of any peer
4 reviewed studies that have ever determined that any of these
5 complications increase in tan intact D&E?

6 A. No, I am not.

7 Q. Doctor, I would like you now to look at that same phrase a
8 little farther down, where it says that there is an increased
9 risk of trauma to the uterus as a result of converting the
10 child to a footling breech position. Do you see that?

11 A. Yes, I do.

12 Q. It goes on from there to talk about a procedure which,
13 according to a leading -B obstetrics textbook, there are only a
14 few, if any, dot dot dot -- I'm sorry -- a few if any
15 indications for dot dot dot other than delivery of a second
16 twin.

17 Again assuming that we are talking about intact D&E,
18 based on your experience, what, if any, opinion do you have
19 about the statement that intact D&E causes trauma to the uterus
20 as a result of converting the child to a footling breech
21 position, a procedure which, according to a leading obstetrics
22 textbook, there are few, if any, indications for other than
23 delivery of a second twin?

24 A. I think the statement is completely untrue. First of all,
25 the PRER that they are talking about in the obstetric textbook

4/1/2004

page 26

1 is doubtlessly internal podal I can version. So really what
2 they are talking about are apples and oranges. An internal
3 podal I can version is a procedure usually accomplished near
4 term or at term, which we only now do to deliver an aftercoming
5 twin. It involves reaching in, taking a term or near term baby
6 that is coming out head first, grabbing the foot, converting it
7 to a breech, and attempting to deliver it. And this is a risky
8 procedure at term with these babies, because you can traumatize
9 the full-term uterus and injure the mother, and you can also
10 traumatize the baby in the process of doing it.

11 But we are not really concerned with that at 20 weeks'
12 gestation, when the uterus is a very different organ. What we
13 are doing is manipulating a much smaller, less traumatic fetus
14 in the sense that it is not nearly as likely to injure the
15 pregnant woman, inside a very, very different uterus.

16 So first of all they are talking about very different
17 procedures.

18 In terms of the safety of manipulating that fetus,
19 this is something that is common to every D&E, whether it is an
20 intact D&E or not. At 20 weeks' gestation the likelihood that
21 a baby is breech, which is buttocks first or head down or
22 vertex or sideways, which is transverse, are all roughly equal.
23 It is not like at term. So very common in any D&E, even if it
24 is by dismemberment, we will reach in, we will convert a fetus
25 that is initially transverse to breech or convert one that is

4/1/2004

1 head-first to breech. We don't always know that we have done
2 this, but it is a common part of every D&E.
3 D&E has been studied for 30 years and been shown to be
4 a safe procedure. So clearly this manipulation isn't causing
5 any harm to the patient, whether we do it intact, relatively
6 intact, or by dismemberment.
7 Q. Doctor, do you have an understanding of what obstetrics
8 textbook the Act is referring to when it says "according to a
9 leading obstetrics textbook, there are very few, if any,
10 indications for this procedure other than delivery of a second
11 twin"?
12 A. I am not exactly certain. My assumption is Williams
13 obstetrics, because it is one of the more commonly used
14 textbooks. S- I think that if you look in either Gabby text or
15 Williams, you are going to find something decrying the use of
16 internal podal version. But, again, that is not what we
17 are doing at midtrimester. That is a completely different
18 procedure that I think is being used completely out of context
19 in this case.
20 MS. GOWAN: I move to strike the answer of the
21 witness: Lack of personal knowledge.
22 THE COURT: Could I hear the question.
23 THE COURT: Read the question and swear.
24 (RRD)
25 THE COURT: What is your objection?

4/1/2004

1 MS. GOWAN: He said he didn't know, your Honor. He
2 said "I assume."
3 THE COURT: Doctor, do you know-first of all, you
4 throw in two texts. Do you know whether it is from one of
5 those two texts for sure?
6 THE WITNESS: I don't know which exact text they were
7 using, no, your Honor.
8 THE COURT: But you are more than willing to speculate
9 that it is taken out of context and it is not appropriate, even
10 though you don't know?
11 THE WITNESS: Yes, sir.
12 THE COURT: I will sustain the motion.
13 Q. Doctor, are you aware of whether in Williams on obstetrics-
14 THE COURT: Do you have the text there, Ms. Chaiten?
15 MS. CHAITEN: I do not have Williams here I think Ms.
16 Gowan may have it. TKPWUPBL Gowan I do not.
17 MS. CHAITEN: We have it as an exhibit.
18 THE COURT: It seems like it isn't worth would there
19 all this questioning speculation. If you want to show him the
20 text, show it to him. Why should we all wonder? Client.
21 MS. CHAITEN: Your Honor, I'm not sure I need to do
22 that.
23 THE COURT: If he doesn't know, he doesn't know. Why
24 don't you move on. It seems to me we are all spinning our
25 wheels about a text you are not showing him. And you don't

4/1/2004

1 know that that is what is referred to in the statute. Until
2 you establish that, it seems like a pointless exercise.
3 MS. CHAITEN: Thank you, your Honor.
4 Q. Doctor, are you aware of any obstetrics or gynecology
5 textbook that decries the use of conversion to a breech
6 position in a second trimester D&E procedure?
7 A. No.
8 Q. Doctor, turning back again to this paragraph that you read
9 for us, assuming that we are talking about an intact D&E, based
10 on your experience, what, if any, opinion do you have regarding
11 the statement that intact D&E poses a risk of lacerations and
12 secondary hemorrhaging due to the doctor blindly forcing a
13 sharp instrument into the base of the unborn child's skull
14 while he or she is lodged in the birth canal?
15 A. Again, I don't believe that statement is true.
16 Q. Why is that?
17 A. If anything, I think that this procedure exposes the
18 patient to less of a likelihood of perforation or laceration.
19 Q. Why?
20 A. There seems to be a misunderstanding of what we are doing
21 when we decompress the cal varioucium, or the skull. Whenever
22 I do this to deSKPRES the cal varioucium, keep in mind my
23 patients after 16 to 18 weeks are all under general anesthesia.
24 THE COURT: Excuse me. Is a cal varioucium the skull?
25 THE WITNESS: I'm sorry, your Honor. Yes, it is the

4/1/2004

1 skull or the head. I will try to say that from now on instead
2 of cal varioucium.
3 THE COURT: I don't mean to put words in your mouth.
4 I just want to make sure I know what you are saying.
5 A. So when we do this procedure, I've got the patient asleep,
6 I've got a device that I can hold on to the top of the cervix
7 with. If I have done a procedure that is intact enough that we
8 have delivered the fetus intact to the level of the head, where
9 only the head remains woof the level of the internal opening of
10 the cervix, I can actually see at this point the back of the
11 neck of the fetus. So I can lift the cervix, look at the back
12 operating table, and make an incision in the back of the fetal
13 neck. That whole time I can see what I am doing. And in the
14 very rare cases where I can't see what I am doing, I can
15 usually put my finger, in fact always put my finger, on top of
16 my scissors, which are against the back of the fetal neck, and
17 I have complete control and feeling the entire time I do this.
18 In those cases, feeling is just as good as seeing. I know
19 exactly where the scissors are. They are not anywhere near the
20 patient's cervix or uterus. It is a completely visible,
21 completely palpable in the sense of feeling operation.
22 If you contrast that with a D&E that is by
23 dismemberment -RGS the last part of the procedure usually
24 involves trying to get the head or cal varioucium out. What I

4/1/2004

1 am having to do in one of those procedures is to try to feel
2 with an instrument up inside the uterus with this skull that is
3 bobbing at the end of my instrument, and I have to get around
4 it. I don't have this control of that part. So I think doing
5 the decompression procedure exposes the patient to less risk,
6 not more, because it is a much more controlled, straightforward
7 procedure.

8 THE COURT: Have you ever lacerated a uterus doing
9 this?

10 THE WITNESS: No, never.

11 THE COURT: Or the-

12 THE WITNESS: I'm sorry?

13 THE COURT: Go ahead. Next question.

14 Q. Doctor Hammond, have you ever lacerated the uterus in the
15 process of using a scissors to PAEUBG an incision in the back
16 of the fetal neck in the course of conducting the intact
17 approach to D&E?

18 A. I just want to be clear about the question last. I think
19 the question of perforating the uterus and las RAEUG the
20 cervix.

21 Q. Have you done either of those in the course of using a
22 scissors otomake an incision in the back of the fetal neck?

23 A. Never, no.

24 Q. Dr. Hammond, do you always use scissors or other
25 instruments to breech the fetal head or the fetal neck in the

4/1/2004

page 32

1 course of doing an intact D&E of this kind -FRBGTS not always.
2 It depends on the fetus. If you've got a fetus that is earlier
3 in gestation, the skull, or cal variousium, it is SOFRT. It
4 isn't as firmly formed. So in those cases you can often to
5 this just with your finger, you can do this digitally. In some
6 cases the scissors probably after 20 weeks I am more likely to
7 use them. We actually have a number of instruments on the
8 table that I can use, whatever seems like it is going to be
9 most effective.

10 Q. Doctor, does it increase any risk to the patient to make an
11 incision in the back of the fetal neck in the course of doing
12 this sort of an intact D&E?

13 A. No, it does not.

14 Q. If you would now look at to section 2(2) of the findings,
15 which should be back on the first page of the statute, where it
16 says, "Rather than being an abortion procedure that is embraced
17 by the medical community, particularly among physicians who
18 routinely perform other abortion procedures, partial-birth
19 abortion remains a disfavored procedure that is not only
20 unnecessary to preserve the health of the mother but in fact
21 poses serious risks to the HRPBG-term health of women and in
22 some circumstances their lives." Do you see what I am
23 referring to, Doctor?

24 A. Yes, I do.

25 Q. Again assuming that we are talking about the intact

1 approach to D&E, based on your experience, what, if any,
2 opinion do you have about the statement that intact D&E in fact
3 poses serious risks to the long-term health of women and in
4 some circumstances their lives?
5 A. That is untrue.
6 Q. Why do you believe that?
7 A. I think that doing these procedures as intact as possible
8 is safer for patients and protects their health and their
9 lives.
10 Q. Why is that?
11 A. As I have testified, I think there is much of a lower risk
12 of perforating the uterus, of las RAEUG the cervix -FPLGT I
13 think there is less likelihood of significant blood loss with
14 the procedure. I have greater surgical control. It is just
15 all in all safer to do these procedures as intact as possible.
16 Q. So for all of the reasons that you have been testifying
17 over the last day or two, right?
18 A. That is correct.
19 Q. Doctor, can you now turn to paragraph 13 of the findings
20 section. I'm sorry to be jumping around like this. It is on
21 page S3-3.
22 A. I think I'm there.
23 Q. It says, "There exists substantial record evidence upon
24 which Congress has reached its conclusion that a ban on
25 partial-birth abortion is not required to contain a health

1 exception, because the facts indicate that a partial-birth
2 abortion is never necessary to preserve the health of a woman,
3 poses serious risks to a woman's health, and lies outside the
4 standard of medical care."
5 Doctor, again SAOUPG that we are talking about the
6 intact approach to D&E, do you agree with the statement that
7 intact D&E is never necessary to preserve the health of a
8 woman?
9 A. No, I don't agree with that at the same time.
10 Q. Why not?
11 A. Because, again, as I have testified, I think that doing
12 D&E's as intact as possible is the safest method of performing
13 pregnancy, this will be the safest method for the patient.
14 Q. Do you agree with the statement that the intact approach to
15 D&E poses serious risks to a woman's health?
16 MS. GOWAN: Objection: Asked and answered.
17 THE COURT: Sustained.
18 Q. Do you agree with the statement that the intact approach to
19 D&E lies outside the standard of medical care?
20 A. Not at all.
21 Q. Why is that?
22 A. Because I think it is the standard of medical care. I
23 think that since I have been doing this, a goal of D&E has been
24 to accomplish that the procedure is intact as possible. What
25

1 we are seeing with the procedures we are describing is simply
2 an evolution of these procedures. It has been the standard of
3 care for at least the 15 years that I have been doing this.
4 Q. Doctor, would you now look to section 14(B) of the finding
5 on page 013-4.
6 A. Yes, I see this.
7 Q. Would you read the last sentence for us, please; beginning
8 "Indeed.?"
9 A. "Indeed, unlike other, more commonly used abortion
10 procedures, there are currently no medical schools that provide
11 instruction on abortions that include the instruction in
12 partial-birth abortions and their curriculum."
13 Q. Summing that we are talking about the intact approach to
14 D&E, do you agree that unlike other more commonly used abortion
15 procedures, there are currently no medical schools that provide
16 instruction on abortions that include the instruction an intact
17 D&E in their KRUBG HRUPL?
18 A. That is clearly untrue. We have done it at Northwestern
19 since I have been THRFPLT we have students who are involved in
20 these procedures and know what we are doing, so are our
21 residents and so are our fellows.
22 Q. Are you aware of any medical texts or other teaching
23 materials that discuss intact D&E?
24 A. The one I am most familiar with is called a clinicians
25 guide to medical and surgical abortion. It has a number of

4/1/2004

1 different editors, but it is a book that we refer many of our
2 residents and fell less to when they come on the family
3 planning service and want an abortion resource.
4 Some newer editions, obstetrical texts, also refer to
5 the current edition of Williams obstetrics, in fact, has a
6 reference to in that case D&X.
7 THE COURT: When you say a reference, do they approve
8 of it or disapprove it in the text?
9 THE WITNESS: I don't think that it was to approve or
10 disapprove, but more to define the language, your Honor. I
11 think there may have been a statement in Williams, but this is
12 speculating on my part, that they say something like it may
13 occasionally be necessary to protect a woman's health. That is
14 my recollection of it.
15 MS. GOWAN: Objection: More to strike: Speculation.
16 S-
17 THE COURT: Let me ask a question before I rule on
18 your objection. You are the head of the department, and it is
19 the most recognized text, and you don't know what it says?
20 THE WITNESS: I don't know everything that is in the
21 new text. But I know that there is a reference to these
22 procedures. Your Honor, I know they don't condemn --
23 THE COURT: Your motion is granted.
24 MS. CHAITEN: Your Honor, may I approach the witness
25 with an exhibit?

4/1/2004

1 THE COURT: You may.
2 Doctor, how many of these trials did you say you have
3 testified in?
4 THE WITNESS: I testified in one other of these
5 trials.
6 THE COURT: That being a challenge to a partial-birth
7 abortion statute?
8 THE WITNESS: That is correct.
9 Q. Dr. Hammond, I have handed you a copy of what has been
10 marked Plaintiffs' Exhibit 70. You have it in front of you.
11 Can you please identify this.
12 A. Yes. This is a photocopy of a clinic's guide to medical
13 and surgical abortion.
14 Q. Is this a copy of the text you referred to a few minutes
15 ago when you were answering my last question?
16 A. That is correct.
17 Q. Thank you.
18 THE COURT: Are you one of the editors?
19 THE WITNESS: No, I am not.
20 Q. Doctor, will you look at paragraph 14(F) of the findings.
21 This appears on page S3-5, where it says a ban on the
22 partial-birth abortion will therefore advance the health
23 interests of pregnant women seeking to terminate pregnancy.?"
24 A. Yes, I see it.
25 Q. Do you see it? OK. Assume we are talking about intact

4/1/2004

1 D&E, do you agree that a ban on intact D&E's will therefore
2 advance the hearing interests of pregnant women seeking to
3 terminate pregnancy?
4 A. No, I don't.
5 Q. Why not?
6 A. Because I believe that a ban on this procedure will place
7 patient at risk by removing from their possible option a
8 procedure that is often the safest choice that they would have.
9 Q. Doctor, will you now look at paragraph 14(j) of the
10 TPAOEUPBGDZ, where it says partial-birth abortion's also
11 confuses the medical ethical and legal duty of physicians to
12 promote life as the physician acts dwell against the physical
13 life of a child whom he or she had just delivered all but the
14 head out of the womb in order to end that life."
15 Based on your experience and again assuming that we
16 are talking about intact D&E, do you agree with that statement?
17 A. No, I do not.
18 Q. Why not?
19 A. Because the patient S- that I am taking care of in these
20 procedures is the woman. I am actually acting on her behalf
21 using the procedure that is safest under these circumstances to
22 preserve had he ever hearing and protect her life.
23 Q. Doctor, do you have an understanding --
24 THE COURT: Excuse me. You don't feel any obligation
25 whatsoever to protect the life of the fetus?

1 THE WITNESS: We are seeing --
2 THE COURT: I am asking you something. Yes, your
3 Honor.
4 THE WITNESS: With many of my patients, yes,
5 particularly post-viability, your Honor.
6 THE COURT: You don't find any dual responsibility,
7 your obligation is only to the woman?
8 THE WITNESS: In the circumstances in which I am doing
9 terminations, that is correct.
10 MS. CHAITEN: May I continue?
11 THE COURT: You certainly may.
12 MS. CHAITEN: Thank you.
13 Q. Doctor, do you have an understanding of what a significant
14 body of medical opinion would be?
15 A. I think I --
16 MS. GOWAN: Objection, your Honor.
17 THE COURT: What is the objection?
18 MS. GOWAN: I don't know how that question could
19 possibly be answered. I don't know what that means,
20 significant body of medical opinion I. think it is a vague
21 question.
22 MS. CHAITEN: Your Honor, I am just asking for his
23 understanding.
24 THE COURT: If we can't understand the term, what does
25 it mean to us?

1 MS. CHAITEN: I have asked him to give his beginnings
2 to us and then we can understand it.
3 THE COURT: I will sustain it.
4 Q. Doctor Hammond, is it possible to cause fetal demise before
5 beginning to evacuate the uterus when performing a D&E?
6 A. Yes, it is.
7 Q. How would one do that?
8 A. Typically, people give some kind of either intraamniotic or
9 intrafetal injection of a an agent to cause demise.
10 Q. Do you routinely inject either of these or any other drugs
11 prior to performing a D&E in order to effect fetal demise?
12 A. No, I do not.
13 Q. Why not?
14 A. We do have first of all people at the institution who are
15 trained to do so. So to literally answer your question, the
16 reason I personally do not is that I am not trained to. And in
17 those circumstances where a patient desires it, it would be
18 other people who actually do the procedure.
19 We don't do so on a routine basis because there is no
20 proven maternal benefit to doing this. And even though the
21 risks are low, there are some risks that would be involved.
22 THE COURT: APS she said before this risk in every
23 single procedure, correct?
24 THE WITNESS: That is correct, your Honor.
25 THE COURT: Next question.

4/1/2004

page 41

1 Q. Would there be a benefit to your patient?
2 A. In giving one of these injections?
3 Q. Yes.
4 A. In most cases, no.
5 THE COURT: But you are not trained in this?
6 THE WITNESS: That is correct, your Honor. Yes.
7 THE COURT: Next question.
8 Q. Doctor Hammond, if you could, would you remove the fetus
9 totally intact every time and bring about its demise after it
10 had been fully delivered?
11 A. No, I would never do that.
12 Q. Have you ever in your career completely delivered a living
13 fetus and then taken steps to effect demise after birth?
14 A. No.
15 Q. Has that always been your position?
16 A. Yes, it has.
17 Q. Doctor, have you ever completely delivered a living fetus
18 when performing a D&E?
19 A. No, I have not.
20 Q. Have you ever done so in the context of an induction
21 termination in the second trimester of pregnancy?
22 A. Yes. That is very common with induction terminations.
23 Q. What do you do under those circumstances when you have
24 delivered a fetus that is alive in the course of an induction
25 termination?

4/1/2004

page 42

1 A. The very first thing we do is to assess the viability of
2 the fetus. By that, we perform a very rapid assessment of
3 whether we think this fetus is of the gestational age where
4 resuscitation is appropriate. If there is any question of in
5 our minds at apprentice, we have a full -- excuse me -- a
6 24-hour in-house knee oh natology \{{^ivity}}\{{^ist}} whom we
7 contact who does an immediate assessment and then would perform
8 whatever resus TAEUF measures are necessary on behalf of the
9 baby -PLT.
10 Assuming, since we usually have very, very good data
11 about gestational age and know that these are nonviable
12 fetuses, assuming that that is not the case, we would then
13 provide comfort and care to the baby. By that, we would place
14 the baby under a radiant warmer to keep the baby warm. We
15 might wrap the baby. Then depending on what the mother wishes
16 to do, allow the mother to hold the baby at this point and
17 simply way for nature to take its course.
18 Q. Doctor, we have talked about the safety of intact approach
19 to D&E. Now let's turn to the scope of the Act. I would like
20 you to again turn to Plaintiffs' Exhibit 69 in your binder,
21 which is at tab 69. I direct your attention to section 1531 of
22 the Act, which appears at page 013-6. Would you read the title
23 of that section, please.
24 A. It says, "Partial-birth abortions prohibited."
25 Q. Now would you please read the first sentence under section

1 1531(A) .
2 A. "Any physician who in or affecting interstate or foreign
3 commerce knowingly performs a partial-birth abortion and
4 thereby kills a human fetus shall be fined under this title or
5 imprisoned not more than 2 years or both."
6 Q. Thank you. Doctor, is partial-birth abortion a medical
7 term?
8 A. No, it is not.
9 Q. Is there a commonly understood meaning of partial-birth
10 abortion in the medical community?
11 A. No, there is not.
12 Q. Have you reviewed the entire Partial-Birth Abortion Ban Act
13 of 2003, which is Exhibit 69?
14 A. Yes.
15 Q. After having read the Act, do you have a clear
16 understanding of what conduct the Act prohibits?
17 A. No, I I don't.
18 Q. Why not?
19 A. There are a couple of reasons. First of all, some of the
20 language that is used in the findings section of the Act is
21 different or not included in the language of the ban. The
22 other reason is that the language of the ban is vague or broad,
23 and as a result I'm afraid that it could sweep in a lot of the
24 procedures that I do in the second trimester of pregnancy.
25 MS. GOWAN: Move to strike the last portion of the

1 witness's answer: Calls for a legal conclusion.
2 THE COURT: No. I will allow it. That is what he
3 thinks. I will allow it.
4 Q. Let's start with the first point that you made, Doctor.
5 You stated that the definition of partial-birth abortion that
6 is included in the acts banned is different in in many respects
7 than what is discussed in the Act's findings, correct?
8 THE COURT: Now you are changing it to what it bans.
9 If you ask him what he thinks, and he is not a lawyer --
10 MS. CHAITEN: Your Honor, I have moved on. I am
11 simply going back to his prior testimony where he said that he
12 believed that the --
13 THE COURT: He didn't say that. You changed it.
14 Rephrase, please.
15 Q. Doctor, I am referring back to the first topic you raised
16 as opposed to the second. The second one was your opinion that
17 the ban is broad and vague. The first was that you, I believe,
18 stated that the findings contained different information than
19 the definition that is contained in the Act's ban. Am I
20 understanding your testimony correctly?
21 A. You are.
22 Q. Can you explain what you mean by that.
23 A. There are several things that are discussed in the findings
24 that aren't actually included in the ban itself. If you would
25 like, I can identify some of those.

1 Q. That would be great.
2 A. If you go back to the beginning of the findings section --
3 again, this is section 2, findings -- let me look here and make
4 sure I am referring to the right place.
5 THE COURT: Are you talking, Doctor, that some of
6 these things you are talking about that are effects are not in
7 the ban? Would you expect the effects to be in the ban? I
8 know you are not a lawyer, but isn't it rather obvious?
9 THE WITNESS: No, I don't think I am talking about
10 effects, your Honor.
11 THE COURT: You have been through all of those and you
12 have noted those you agree with and disagree with, but I don't
13 know that your statement makes any sense to me.
14 THE WITNESS: There is some language that is used to
15 define or describe partial-birth abortion in the findings that
16 doesn't appear in the ban at all. So I don't know if what is
17 banned necessitates that I do those actions listed in the
18 findings or not. They are different. They describe
19 partial-birth abortion in different ways.
20 THE COURT: Ask the question, Ms. Chaiten.
21 Q. Doctor, can you explain how the findings describe
22 partial-birth abortion in a different way than in the language
23 of the ban?
24 MS. GOWAN: Your Honor, counsel is asking the witness
25 to engage in statutory construction, which is not appropriate.

1 MS. CHAITEN: Your Honor, I am simply asking --
2 THE COURT: I think the witness is capable, if he can
3 point to a line and say I don't think this is in the ban. But
4 I don't think he is capable of saying what the statute does.
5 A. Do I go ahead and point to where there are some
6 discrepancies?
7 THE COURT: I am not sure what you are seeking, Ms.
8 Chaiten. I must say, you have me totally confused.
9 MS. CHAITEN: I am asking the Doctor to explain to us
10 why he is confused by this statute. What he told us was that
11 one of the reasons is that there is definitional language that
12 is included in the findings section that does not then appear
13 in the actual definition of partial-birth abortion. I have
14 asked him to explain why it is he believes that.
15 THE COURT: Believes that? He can point to it. If it
16 is not in the other section, it is not in the other section.
17 If you think this exercise actually achieves something, try it.
18 MS. CHAITEN: Maybe he could very quickly point out
19 what some of those facts are.
20 THE COURT: Do you think if you do it quicker, it is
21 less of a problem?
22 Just point to the section, Ms. Chaiten. I don't know
23 where you are going with it, but . . .?
24 A. Section 2, findings, the first paragraph, the statement "Or
25 any part of the baby's trunk past the navel is outside of the

1 body of the mother and only the head remains STPHAOEUted womb."
2 The wording "only the head remains inside the womb" isn't in
3 the ban, it is only in this.
4 MS. GOWAN: The document speaks for itself, your
5 Honor.
6 THE COURT: You are confused by that, Doctor?
7 THE WITNESS: I'm sorry?
8 THE COURT: Do you understand it?
9 THE WITNESS: I don't --
10 THE COURT: You don't understand that only the baby's
11 head is inside the womb?
12 THE WITNESS: But it is not listed in the ban, your
13 Honor. So I'm worried that the ban itself may actually sweep
14 in procedures in which not only the head of the motor remains
15 inside the womb --
16 THE COURT: The head of the mother?
17 THE WITNESS: I'm sorry. I misspoke. The head of the
18 fetus. The findings are saying that to be a partial-birth
19 abortion, since this isn't a medical term, involves procedures
20 where only the head remains STPHRAOEUD the womb. But that
21 language doesn't occur in the ban part of this Act. So someone
22 in the TREFPS who has to worry if they are going to violate
23 this law, I am wondering does it apply if only the head remains
24 inside the womb or could it apply in less intact procedures
25 where that may not be the case but I still have tripped up the

1 language that is in the ban itself.
2 THE COURT: Next question.
3 Q. Are there any other examples, Doctor?
4 A. Sure. The next line, for example, says, "for the purpose
5 of performing an overt act" and then parenthetically "usually
6 the puncturing of the back of child's skull and removing the
7 baby's brains." There are references like this in the findings
8 which don't occur in the ban itself. The ban doesn't talk
9 about doing anything to decompress the cal varioucium. So it
10 leaves me wondering again is that a necessary act to constitute
11 a partial-birth abortion or couldn't I be at risk with other
12 procedures where I may not necessarily perform a compression of
13 the cal varioucium in this manner but still have swept in the
14 language that is in the ban itself. They are different.
15 Q. Are there any other differences?
16 THE COURT: What are the ones you are afraid aren't
17 covered?
18 THE WITNESS: In the case of the head inside the body
19 of the mother or?
20 THE COURT: You tell me. You are pointing to a
21 section. What is it you are afraid isn't covered?
22 THE WITNESS: It might be easier for me to answer the
23 question if we go back to the ban. I can give you examples --
24 THE COURT: You are dealing with this section. Tell
25 me what concerns you that you find unclear.

1 THE WITNESS: Let's start with the back of the baby's
2 neck example. Let's say that I am doing a D&E, your Honor, and
3 I extract the fetus all the way to the level of the navel. I
4 have exposed part of the fetal trunk above the navel. Then I
5 cut the umbilical cord. I am then able --
6 THE COURT: Has the baby been delivered into the
7 uterus? Or have you just pulled parts out?
8 THE WITNESS: I'm sorry, your Honor, but the baby is
9 being delivered from the uterus. You said the baby has been
10 delivered into the uterus. I'm confused.
11 THE COURT: Was it past the cervix?
12 THE WITNESS: Certainly. If I have delivered, at that
13 time if I have delivered the baby intact and have a part of the
14 baby above the level of the navel beyond the cervix, I have now
15 qualified, according to the ban section of this legislation, of
16 having accomplished part of what is necessary for a
17 partial-birth abortion. But in some cases I have cut the
18 umbilical cord, have performed what I think is a overt AERT
19 that will result in fetal TKPRAOEUZ, and then perform the res
20 of the proceeds quite often wound having to perform a deKPHREGS
21 procedure at the he feel of the cal RARPL ja. So it PEFLS me
22 have I really done a partial-birth abortion under those
23 circumstances? I haven't done anything that involves putting
24 asy sore in the back of the baby's neck. I never suction out
25 brains. I don't do that. Yet I have, if you look at the ban,

1 performed a procedure in which I delivered a part of the fetal
2 trunk above the level of the fetal navel and have been perform
3 an overt act which I know will result in the demise of the
4 fetus. That is what the ban says an partial-birth abortions.
5 It doesn't PHAEBGS the stuff about the babe's next, doesn't
6 mention anything about the baby's head only remain inside the
7 mother. It is not there.
8 THE COURT: So you have performed an act that results
9 in the demise of the fetus, right?
10 THE WITNESS: In my example --
11 THE COURT: Isn't that clear to you?
12 THE WITNESS: Yes, it is clear that that act, cutting
13 the um pill cal cord in the example that I just gave you, will
14 eventually result in demise of the fetus and I think qualifies
15 as a partial-birth abortion.
16 THE COURT: Next question.
17 Q. Doctor, you have just given us an example of how you think
18 the language of the ban would apply. Were you referring to
19 section 1531(D)(1)?
20 A. Yes, I was.
21 Q. Just so we are clear, why don't you read that section for
22 us so that we have got the language of the ban out here.
23 A. Section 1 -- excuse me.
24 Q. 1531(B)(1)?
25 A. The term partial-birth abortion means an abortion in which

1 the person performing the abortion deliberately and
2 intentionally vaginally delivers a living fetus until, in the
3 case of a head-first presentation, the entire fetal head is
4 outside the body of the mother, or in the case of a breech
5 presentation any part of the fetal trunk past the navel is
6 outside the body of the mother, for the purpose of PEFRPG an
7 overt act that the person knows will kill the partially
8 delivered living fetus and," and it goes on, "and performs the
9 overt act other than completion of delivery that kills the
10 partially delivered living fetus. AO*EUP ."

11 Q. Doctor, you testified that this language is so broad as to
12 potentially encompass many of the second trimester abortion
13 procedures you perform, is that correct?

14 A. Yes, it is.

15 MS. GOWAN: Objection: Leading.

16 THE COURT: I will allow it.

17 Q. Let's go back through the language and break it down so
18 that you can explain why you have concerns. Beginning with the
19 first line, "deliberately and intentionally vaginally
20 delivers," does that cause you concern?

21 A. Yes, because every single procedure that I perform in any
22 abortion, whether it is a medical induction abortion or whether
23 it is a D&E, is deliberate and intentional and results in
24 vaginal delivery. It doesn't distinguish anything for me.

25 Q. The ban refers to "living fetus." Does that term have

1 meaning for you?

2 A. It has meaning, but it can be more vague than might be
3 apparent. We sometimes are concerned about the issue of
4 viability. So there is living in the sense that there is a
5 heartbeat or sign of life. But the more important issue with
6 respect to when I perform an abortion -RBS since we don't do
7 these post-24 weeks, post-viability, is the likelihood that the
8 fetus can have sustained survival outside of the womb. That
9 can be a little bit confusing. Do they mean simply sign of
10 life or do they mean a viable fetus? There is no reference of
11 it in this ban to viability.

12 Q. Do you understand "living fetus" to mean sign of life?

13 A. That is my interpretation of this, yes.

14 Q. In the earlier gestational age?

15 A. Yes.

16 Q. Doctor, do you understand the term head-first presentation?

17 A. Yes, I do.

18 Q. What is that?

19 A. It means that the fetus is presenting with the head nearest
20 the person who is -- nearest the opening, nearest the SEFRBG.

21 Q. You have described already for us during your testimony
22 what a breech presentation is, is that correct?

23 A. That is correct. It is where the bus tox is presenting
24 nearest the cervix.

25 Q. Looking at the language outside the body of the mother, do

1 you understand what that means?
2 A. No. It is confusing.
3 Q. Why is that?
4 A. It sounds like it wouldn't be confusing, but I think if you
5 are in an operating room and see what really -- or a delivery
6 room for that matter, and see what the anatomy is like in
7 reality, it is far less clear what constitutes outside of the
8 body of the mother. One way to think about this is we have
9 patients who have a significant amount of uterine discensus.
10 Q. Would you tell us what that is.
11 A. I was getting ready to define it. Which is just
12 relaxation, where there is more give to the cervix. We have
13 some who, once you get a speculum or a retractor into the
14 vagina to do these procedures, you would be hard-pressed to
15 tell whether, because the vagina is really continuous with the
16 external space, what constitutes outside the woman's body. In
17 some cases the cervix naturally is outside the have a
18 SKWRAOEUPB once the person is relaxed and under a general
19 aesthetic. So anything that is coming even outside the cervix
20 is outside the body of the woman. In other cases, where the
21 vagina is longer, outside the cervix might simply put the fetus
22 within the vagina but nothing has come out beyond the vaginal
23 entroy tus. And it changes during the course of the operation.
24 We may have a fetus -- excuse me -- a cervix that begins
25 significantly higher with respect to the vagina but as a result

1 of relaxation during the TKPRAEUGS, as we pull on the cervix,
2 it STKPEPBDZ lower and lower, resulting in less of the vagina
3 and a cervix that is closer to the entroy tus.
4 THE COURT: What is the entroy tus?
5 THE WITNESS: I'm sorry, your Honor. It is the
6 apureature between the labia. So it is the opening of the
7 labia to the outside.
8 Q. Would that be the entry to the vagina from the outside
9 world, essentially?
10 A. Yes, I think you could say that.
11 Q. Doctor --
12 THE COURT: If it is beyond there, it is outside the
13 woman, is it not? Do you have any problem with that? Do you
14 know if it is there, it is outside the womb?
15 THE WITNESS: I have no trouble with that, your Honor.
16 THE COURT: I didn't think you would.
17 Q. Doctor, looking at partially delivered, is there a common
18 meaning in the medical community of the term "partially
19 delivered"?
20 A. No, there is not.
21 Q. Do you understand what is meant by "partially delivered"?
22 A. No.
23 Q. Why not?
24 A. Because there could be varying degrees of intactness and
25 varying positions that constitute delivery. Partially deliver

1 what where? That is my problem.
2 Q. Doctor, you have testified that you are concerned that the
3 language in section 1531(B)(1) could in fact sweep in many of
4 the procedures that you perform in the second trimester of
5 pregnancy to terminate pregnancy. What procedures are you
6 referring to?
7 A. I think this could sweep in many of the second trimester
8 surgical procedures I do. Frankly, I think they could even be
9 interpreted in ways that could sweep in some medical abortions
10 that we do, some medical induction of labor abortions.
11 Q. So you believe it would apply, when you refer to surgical
12 procedures, are you talking about D&E?
13 A. Yes.
14 Q. And medical inductions?
15 A. That is correct.
16 THE COURT: Do you think that sweeps in dismemberment
17 D&E?
18 THE WITNESS: I think it potentially could, your
19 Honor.
20 Q. Doctor, why don't you explain for us how you think that
21 could happen.
22 A. How it could sweep in which?
23 Q. Dismemberment D&E in response to the judge's question.
24 A. It might be easiest if I just take you through some of the
25 language of the ban and describe what could happen easily

4/1/2004

1 during one of my D&E's. There are several ways, your Honor,
2 that this could happen, so bear with me.
3 First of all, every D&E that I do involves deliberate
4 and intention vaginal delivery. I am always deliberately
5 TKAOEULGT the cervix and I am eventually going to vaginally
6 deliver the fetus.
7 Let's skip for purposes of my current example the
8 head-first presentation and move on to "in the case of a breech
9 presentation, any part of the fetal trunk past the navel is
10 outside the body of the mother for the purpose of performing an
11 overt act that the person knows will," and so forth. Let's say
12 that I have a patient I have achieved adequate dilatation and I
13 reach inside the uterus, have not controlled the lower
14 extremity, but I grasp part of the fetal abdomen first. That
15 can happen, and it has happened to me before with certain
16 anomalies. So the very first thing that I take out of the
17 vagina is the top of the abdo membership or part of the fetal
18 thorax. Interthat case I have started a dismemberment D&E and
19 I have delivered it outside of the body of the woman a part of
20 the fetal trunk past the navel.
21 I then proceed to do the rest of my D&E by
22 dismemberment. I know that that dismemberment itself is going
23 to result in STKPHAOEUZ of the fetus, and therefore I think
24 every act I take in the remainder of that dismemberment D&E
25 could be interpreted to be an overt act that I know the fetus

4/1/2004

1 isn't going to survive. In that kind of a scenario, I think
2 that I could potentially trip this ban.

3 Q. Doctor, you mentioned that there were a number of examples
4 of how that might happen. Can you give us just another one,
5 how the ban's language could apply to a dismemberment D&E.

6 A. It might be easiest if I took you through an example in
7 which we start with a fetus breech. Let's say that the fetus
8 is presenting breech, and the first that I do is I grasp a
9 lower extremity. I pull on the lower extremity until the
10 fetus -- until I'm able to extract as much as possible before
11 meeting resistance. I often meet resistance at the level of
12 the fetal umbilicus, or the belly button, the navel. What
13 I often do at that point is I do something to decompress,
14 because you have now got the umbilical cord at the cervix, you
15 are often pushing intraabdominal contents above the level of
16 the cervix, and I need to do something unless the cervix is
17 TKPWO*EUPBGT-excuse me, I misspoke -- because the fetus is
18 going to tear. Because I try to do this as intact as possible,
19 what I will often do at that point is to make an incision
20 beneath the belly button, an incision in the fetal abdomen to
21 permit decompression of the fetus. It increases the
22 likelihood that I might be able to do this intact.

23 Depending on degree of intactness, whether I have
24 delivered a part of the fetal trunk above the level of the
25 navel beyond the cervix at this point, ignoring the ambiguity

4/1/2004

1 in what constitutes out of the body of the mother, I know that
2 the fetus can't survive that act of making an incision in the
3 abdomen, and I think that I will have tripped the language of
4 the ban in that circumstance also.

5 Furthermore, if preceding this I had dismembered part
6 of the lower extremities of the fetus, let's say that to get to
7 this point where I can pull the fetus out so that something is
8 exposed beyond the level of the navel, let's say that I
9 disarticulate the fetus completely at the pelvis, I think that
10 I could be performing a disarticulated or dismemberment D&E and
11 could still trip the language of the ban, because I have
12 delivered the fetus such that I have exposed part of the fetus
13 above the level of the navel and then performed the act, in
14 addition dismemberment, that could be the overt act that the
15 fetus isn't going to survive.

16 Q. Doctor, in that example, let's take that last example, have
17 you deliberately and intentionally vaginally delivered a fetus?

18 A. I'm sorry?

19 Q. Have you intentionally and deliberately vaginally delivered
20 the fetus?

21 A. Yes.

22 Q. Have you intentionally and deliberately delivered it as far
23 as possible, including past the umbilical cord, past the navel?

24 A. In that example, yes, I have.

25 Q. Have you intentionally and deliberately taken steps that

4/1/2004

1 you know will kill the fetus?
2 A. In that case, yes, I have.
3 THE COURT: But you have delivered it in parts,
4 haven't you?
5 THE WITNESS: Yes, I have.
6 THE COURT: There lies the distinction, doesn't it,
7 Doctor, from the language that you are so afraid of?
8 THE WITNESS: Yes, sir, that is one of the things I am
9 afraid of.
10 THE COURT: But it is a difference: You have
11 delivered it in parts?
12 THE WITNESS: In that case, yes,. That is why I am
13 worried about this. I was giving an example of why a
14 dismemberment D&E could trip the ban. And I would still have
15 swept in the language of the ban while performing in essence a
16 dismemberment D&E.
17 Q. Doctor, in any of the examples that you have just given us,
18 have you taken the steps that you have taken for the purpose of
19 delivering the fetus to a point past the umbilicus, or the
20 navel, in order to perform an overt act that you know will kill
21 the fetus?
22 A. No. The purpose in performing the procedure is to evacuate
23 the uterus, to do the pregnancy termination. It is not for the
24 express purpose of performing any of these specific acts or
25 performing an act that will result in TPAOELT demise.

4/1/2004

page 60

1 Q. Doctor, does the word "intact" appear anywhere in the
2 language of section 1531(B)(1) that we have been talking about?
3 A. No, it does not.
4 Q. Doctor, you testified earlier to a situation you described
5 as PP ROM or premature -- preterm premature rupture of
6 membranes. Can you remind us again what that is?
7 A. That is where the bag of waters or the amniotic sac
8 ruptures before the onset of labor, and in the case of true PP
9 ROM, at a very premature time in pregnancy, with reference to
10 our current discussion, we see this happening in the second
11 trimester SP-P.
12 Q. How do you typically treat a patient who presents with PP
13 ROM?
14 A. Logically, you have two choices: Either you do nothing and
15 see if that patient can gradually progress until the fetus is
16 viable in the sense of having a reasonable likelihood of
17 sustained survival, and for the patient then to eventually
18 SPOEUPB tainsly deliver, or you terminate the pregnancy.
19 Some patients, if they have ruptd the amniotic
20 fluid -- excuse me -- the amniotic sac, will eventually develop
21 choir am nights, chore yo, again, for short, which as we talked
22 about is an infection inside the uterus. Then they would have
23 to be delivered.
24 Q. What procedure would you counsel such a patient to undergo
25 in order to terminate a pregnancy?

4/1/2004

page 61

1 A. If she is before 24 weeks, I would counsel the patient to
2 undergo a D&E.

3 Q. Do you have an opinion as to whether the definition of
4 partial-birth abortion contained in the Act could cover
5 treatment of such a patient?

6 A. Yes. I think it can.

7 Q. Why is that?

8 A. In many of these cases in which I am consulted, the fetus
9 is still alive. So really the only thing different
10 procedurally from anything that I have talked about today is
11 the indication. These patients for most intents and purposes
12 are experiencing a type of pregnancy loss, particularly with
13 the earlier ruptures in the second trimester.

14 THE COURT: Does that mean a miscarriage, Doctor?

15 THE WITNESS: Yes, your Honor, it can.

16 A. So the prognosis for the pregnancy in those cases where the
17 bag of waters ruptures very early in pregnancy, particularly if
18 there is virtually no fluid remaining around the baby, is very,
19 very grade. So in many of these patients decide to terminate.
20 From that point forward it is the same as D&E in most cases.

21 Q. Did you ever do dilation of a woman who has PP ROM -FRPLTS
22 it varies. Some of these patients have already achieved some
23 degree of cervical dilatation, so obviously if they are already
24 dilated enough, I occasionally don't have to do the step
25 involving os mot I can dilators. If most cases we still have

4/1/2004

page 62

1 to do this, and there is no problem doing so in a patient once
2 the bag of waters has broken.

3 Q. In treating a woman with PP ROM, do you have to
4 deliberately and intentionally reach in with your instruments
5 in order to vaginally deliver the fetus?

6 A. Yes, I do.

7 Q. Do you have to take all of the other deliberate and
8 intentional steps that you testified that you do in any D&E?

9 A. Yes, I do.

10 THE COURT: Ms. Chaiten, is this a convenient time to
11 take our morning break?

12 MS. CHAITEN: That would be fine, your Honor. Maybe I
13 can organize my notes a little and WRAB up.

14 THE COURT: Great. We will take our morning recess.
15 (Recess) 4 SHR*RB 1/04 Judge Casey take 2.

16 THE COURT: Ms. Chaiten, before you continue I will
17 give you an evidentiary ruling on the open question on the area
18 that was objected to yesterday.

19 That you have both submitted letter briefs on.

20 I have reviewed the parties' submissions related to
21 Dr. Hammond's testimony on contraindications for medical
22 induction in abortions. Having done so I conclude that
23 pursuant to Rule 26A 2B Dr. Hammond's expert report does not
24 adequately discuss the circumstances when an induction abortion
25 is contraindicated.

4/1/2004

1 Although this issue was raised during part of
2 Dr. Hammond's deposition, this does not cure the deficiency in
3 the report. See, for example, for sis could SRRS us Conway
4 organizations, 1995 WestLaw 580197 at 2, Southern District of
5 New York, October 3rd, 1995.
6 For these reasons, Dr. Hammond's testimony relating to
7 contraindications for medical induction in abortions which
8 appears on page 552, line 17 through page 561, line 11 is
9 stricken and Dr. Hammond is precluded from offering further
10 testimony on this subject.
11 All right, Ms. Chaiten, you may proceed.
12 MS. CHAITEN: Thank you, your Honor.
13 BY MS. CHAITEN:
14 Q. Doctor, I would like you to refer back again to Section
15 1531 B 1 of the Act that we have been discussing and take
16 another look at it.
17 You received earlier that the word intact does not
18 appear in the Act's TKPWEF TPHEUGS of partial-birth abortion,
19 is that correct?
20 A. That is correct.
21 Q. Is that a concern for you?
22 A. Yes, it is.
23 Q. And why is that?
24 A. Well, as I think I mentioned earlier, I believe that many
25 of even the dismemberment D&Es that I performed eventually fall

4/1/2004

1 within the scope of the ban.
2 Q. The statute says partially vaginally deliver a living
3 fetus; does that help you to understand better whether
4 dismemberment procedures would be covered by the ban?
5 A. Well, no, it doesn't because it's possible, as I was
6 testifying, to have started this delivery, have dismembered
7 part of the fetus and yet the fetus could still have a sign of
8 life such as a heartbeat, and I could then deliver the
9 remaining part of the fetus above the level of the navel and I
10 believe sweep into, and I believe in those circumstances could
11 potentially have violated the ban.
12 Q. That situation, would you have partially delivered a living
13 fetus -- I'm sorry -- partially vaginally delivered a living
14 fetus?
15 A. Yes, I would.
16 Q. And would you have delivered it to a level past the emO PWO
17 like us, or the Navyel?
18 A. Yes.
19 Q. And in doing so, would you then take steps that you know
20 would kill the fetus?
21 A. Many of the steps that we take during the abortion, know
22 that the fetus will not survive.
23 Q. And would --
24 THE COURT: The moment you dismember it it is going to
25 plead to death isn't TEUFRPBLGTS but we don't know exactly when

4/1/2004

1 demise is going to occur, your.

2 THE COURT: But start it.

3 THE WITNESS: Yes.

4 BY MS. CHAITEN:

5 Q. In taking those steps N. partially delivering the living
6 feet to us a point past the empWO like us, would your purpose
7 be to enable yourself to take steps that you know will kill the
8 fetus?

9 A. Well my purpose is to evacuate the uterus and complete the
10 procedure. It's not specifically to do those portions of the
11 procedure you described.

12 Q. Doctor, you mentioned that you were concerned that some of
13 the induction termination procedures that you perform could
14 come within the scope of this ban, is that correct?

15 A. That is correct.

16 Q. Can you explain how that could happen?

17 A. Well, I can certainly describe some scenarios which could
18 potentially trip the ban.

19 Let's say that you had initiated an induction
20 procedure. Keep in mind that an induction procedure is not a
21 quote unquote natural event, it is a deliberate and intentional
22 act with the prospect eventually of a vaginal delivery.

23 But let's say that the fetus starts to deliver but
24 delivers such that the calvarium, the head is entrapped behind
25 the cervix. That isn't a preposterous kind of assumption or

4/1/2004

page 66

1 scenario because if you were inducing, let's say an infant with
2 hydrocephalous or any kind of enlargement or disproportion of
3 the head and the rest of the body, the head is the largest part
4 and could get stuck at the cervix.

5 Now, what do you do under those circumstances? Well,
6 this kind of sewn air yo could result in an obstetrician who
7 really has had -- has tried in good faith not to violate what
8 they might perceive this ban to be to now have to try to assist
9 the patient in completing this process.

10 And what we usually would do is analogous to a D&E.
11 It's one of the reasonsy the national TPWORGS federal kin HRAL
12 policy gynecologicals are if you do a medical induction
13 abortion are you supposeed to have the instruments for D&E on
14 hand also in case you get stuck and have to finish the
15 procedure surgically.

16 But let's say that the person doing the procedure
17 didn't know how to, with the entrapped calvarium, with the
18 entrapped head to reach up, perform a decompression procedure
19 and complete the process as I have already described. Well,
20 they might, in the process of trying to even just do a
21 delivery, do an act which could be interpreted as the overt
22 act.

23 Let's say they try to deliver a 20 week gestation
24 where the neck is simply not as stable as what you might think
25 with a full-term baby. We've had cases where people have -- we

4/1/2004

page 67

1 being at TPHO*FRPBLGwestern, where people have attempted to do
2 these deliveries and have eSRULsed, they basically torn a great
3 deal of the neck if not tearing it off just trying to deliver
4 the rest of the baby.

5 O.

6 And so in that case that person could have violated
7 the Act because they know the fetus isn't going to survive that
8 act.

9 Q. Doctor, in that example what would have to be done in order
10 to then deliver the fetal head?

11 A. Well, you would have to do it instrumentally, just like we
12 do for a D&E.

13 You need to reach in with one of the forceps that we
14 use and do an act that would collapse the calvarium.

15 Now again because we have been talking about
16 collapsing the head in many ways that doesn't always involve
17 putting scissors in. And certainly, if it has been
18 disarticulated we wouldn't do that. We would reach in with our
19 forceps and take the head out.

20 Q. And in order to do that you would have to collapse the
21 head?

22 A. Oh, you always have to collapse the head with the D&E
23 procedures to get the head out.

24 Q. In every D&E?

25 A. That's correct.

4/1/2004

page 68

1 Q. Doctor, in performing an intact D&E do you deliver the
2 fetus to a certain point for the purpose of performing an Act
3 that you know will kill the fetus?

4 A. No, I -- in any D&E, including intacts I deliver the fetus
5 as intact as possible to get the operation over with.

6 Q. Doctor, in the induction example that you gave us where the
7 head got stuck and you needed to proceed in the same way that
8 you might with an intact D&E, are the steps that you take
9 deliberate and intentional?

10 A. Yes, they R.

11 Q. And in that scenario do you deliberately and intentionally
12 vaginally deliver the fetus?

13 A. Yes, I do.

14 Q. And what is the deliberate and intentional act that begins
15 the delivery of the fetus into the vagina in an induction?

16 A. Giving the medicine that starts the delivery process.

17 Q. Do you deliberately and intentionally deliver the fetus to
18 a point past the TPHAEFRBel?

19 A. In many cases, yes is because the uterus itself is going to
20 start that process and affect that delivery and if it's
21 incomplete we are going to have to help the delivery process
22 continue.

23 Q. And WA would the overt act be in that scenario?

24 A. It would be in the note note could be in the scenario where
25 you described where the fetus becomes stuck it could be any act

4/1/2004

page 69

1 that can result in the demise as I extricate the baby, anything
2 from disarticulating at the neck, to collapsing the calvarium
3 and so forth.
4 Q. Do you deliberately and intentionally perform the overt act
5 in this situation?
6 A. Every act that I do in most abortions is deliberate and
7 intentional.
8 Q. Doctor, we talked a little bit ago about the P P Rom
9 situation and your view that the Act could cover certain
10 situations where you are treating patients who present with P P
11 Rom, is that correct?
12 A. That is correct.
13 Q. In those situations, is the fetus still alive in a P P Rom
14 situation when the patient first appears with ruptured
15 membranes?
16 A. In the majority of cases there are cases where the fetus
17 dies thereafter because of lack of fluid but in the majority of
18 cases the fetus is -- in the majority of cases the fetus is
19 still alive.
20 Q. And then the steps you would take would be akin to those of
21 a D&E?
22 A. It is a D&E.
23 Q. Doctor, would you attempt to remove that fetus as intact as
24 possible?
25 A. Absolutely, just as with any other D&E.

4/1/2004

page 70

1 Q. Why?
2 A. Because I think it's safer for the patient.
3 Q. Doctor, you gave us an example earlier, I think in response
4 to one of the Court's questions, about how even cutting the
5 fetal umbilical cord could be an overt act under the statute,
6 is that correct?
7 A. That is correct.
8 THE COURT: I don't think the Court phrased it that
9 way.
10 MS. CHAITEN: I was referring to the Doctor's
11 testimony, your Honor, not just that it was in response to one
12 of the Court's questions.
13 THE COURT: I think he was already talking about that
14 but I don't think it was phrased in the Court's question that
15 so why don't you rephrase that.
16 BY MS. CHAITEN:
17 Q. You testified earlier about the possibility of cutting the
18 umbilical cord as being an overt act that would bring you
19 within the statute, is that correct?
20 A. It could be, yes.
21 Q. Let's just break that down a little bit so that we can
22 understand that better.
23 Can you complain what it means for a delivery to be
24 obstructed by the umbilical cord?
25 A. Well, in some cases I am able to reach in and grasp a lower

4/1/2004

page 71

1 extremity. And as I have testified if I could do so and then
2 start affecting delivery by grasping the other lower extremity
3 and exERLT traction in tact as possible as removing the fetus I
4 do so.

5 Let's say that I then am able to effect delivery to
6 the level of the fetal Navyel. We quite commonly reach, have
7 more obstruction at this point. Part of that, as I have
8 testified, is because you are compressing other intra-abdominal
9 contents above the level of the cervix as you run into it and
10 one of the acts that I testified earlier I occasionally do, is
11 to make an incision in the abdomen to release pressure to allow
12 another outflow tract for those contents to continue this as an
13 intact procedure.

14 But another reason why you reach obstruction at this
15 point is because the umbilical cord itself takes up space.
16 It's another thing that I will be pulling through the cervix at
17 this level so another action that I will commonly take at this
18 point is to cut the umbilical cord and I'm afraid that if I
19 have delivered the fetus intact such that I have extracted it
20 so that a part of the fetal trunk above the Navyel is below the
21 level of the cervix and have now cut it -- have now cut the
22 umbilical cord, that could be interpreted as the overt act
23 because I know the fetus isn't going to eventually survive that
24 act.

25 Q. Doctor, in that situation, is the, is an area of the fetus

4/1/2004

page 72

1 above the Navyel outside the body the PHRORPBLG?

2 A. Can be, yes.

3 Q. And how is that?

4 A. Well because you've pulled beneath the level where the cord
5 inserts the Navyel and are then cutting the umbilical cord. It
6 could be really any part depending on how much I have been able
7 to extract at that point in time.

8 Q. In this example have you vaginally delivered the fetus
9 until any part past passed the --

10 THE COURT: Why don't you rephrase the question,
11 Ms. Chaiten.

12 Q. Doctor, in the example you have given, have you vaginally
13 delivered the fetus?

14 A. That is correct.

15 Q. And have you done so to the part where any part is past the
16 Navyel out -- I'm sorry, let me state that again.

17 And have you done so so that any part past the Navyel
18 is outside the body of the woman?

19 A. Yes, I have.

20 Q. What part is outside the body of the woman in your
21 scenario?

22 A. Could be any part. I mean depending on how much of the
23 fetus I have been able to pull down. It could be part of the
24 abdomen above the Navyel, it could be part of the thorax above
25 the naval. It could be everything except for the calvarium

4/1/2004

page 73

1 above the neighbor be that I have been able to effect this and
2 at the time I cut the umbilical cord.
3 Q. In this scenario the at time the cord obstructs delivery is
4 the fetus alive?
5 A. Yes.
6 In most cases, again as I have testified it could be,
7 in some scenarios that I have already done a disarticulation
8 procedure and the fetus might have expired. But I don't always
9 know if the fetus has died at this point.
10 Q. Doctor, what is the overt act in the scenario that you
11 described?
12 A. Well, I think that cutting the umbilical cord could be the
13 overt PWABGT Act because I know the fetus cannot survive
14 transection of the umbilical cord.
15 Q. Doctor in the indication where the cord obstruct delivery,
16 could you push the fetus back up into the uterus so that the um
17 bill like us is not outside the body of the mother and then
18 grasp the cord and cut it in order to avoid the effect of the
19 ban?
20 A. No, that --
21 MS. GOWAN: Objection, leading.
22 THE COURT: I will allow it.
23 The answer yes or no.
24 THE WITNESS: No, I could not do that.
25 BY MS. CHAITEN:

4/1/2004
page 74

1 Q. Why not?
2 A. It would be extraordinarily unsafe to the patient.
3 Q. Doctor in the scenario you described as cutting the cord is
4 STKPWHROEURPBLT does KWUTing the court STKPWHRAOE did?
5 A. No, it does.
6 Q. What is the purpose in cutting the cord?
7 A. To give me more room to try to continue effects as intact a
8 delivery as possible.
9 Q. Are all of the steps that you have taken in the scenario
10 deliberate and intentional in?
11 A. Yes, they are.
12 Q. Your Honor, if I might just have one minute.
13 THE COURT: You may.
14 MS. CHAITEN: Your Honor, I have no more questions at
15 this time. Thank you.
16 THE COURT: All right, Ms. Gowan, you may inquire.
17 CROSS-EXAMINATION
18
19 BY MS. GOWAN:
20 Q. Dr. Hammond, is it or is it not your testimony that you do
21 not know what is meant by outside the body of the woman?
22 A. It is my testimony that I do not know what is meant by
23 outside the body of the woman.
24 Q. Now you testified that various scenarios of conduct that
25 you fear that you say make you fear prosecution under the Act;
4/1/2004
page 75

1 did you work out those scenarios with counsel before coming to
2 testify here today?
3 A. I had reviewed them previously with counsel. But, as I
4 testified, I have been involved with this legislation before
5 and have, over time, grown accustomed to thinking about some of
6 this legislation.
7 Q. Did you work out those scenarios with counsel before coming
8 here today to testify, Doctor?
9 A. I have talked to them about those scenarios, yes.
10 Q. Did you work them out with counsel?
11 MS. CHAITEN: Objection, your Honor. Asked and
12 answered.
13 THE COURT: Overruled.
14 THE WITNESS: I discussed them and we reviewed them.
15 Q. And did you look at an outline of those scenarios prepared
16 by your counsel in the case before coming here to testify?
17 A. Not an outline of those that was prepared by counsel. We
18 have talked about those specific kinds of cases.
19 Q. Did you prepare or look at any question and answers
20 describing scenarios that you have testified here today?
21 A. We've discussed these scenarios. I have not looked at
22 prepared materials regarding these scenarios.
23 THE COURT: May I have that answer read back, please.
24 (record read).
25 THE COURT: Did you ever attend meeting with lawyers

4/1/2004
page 76

1 and other OB/GYN doctors where you discussed the statute and
2 planned testimony for challenges to such statutes?
3 THE WITNESS: I have not met with lawyers and other
4 OB/GYNs. I have met with my attorneys to clarify scenarios
5 that I run into that I think would violate the ban and which we
6 have also gathered their opinion whether they thought it would
7 violate the ban I.
8 THE COURT: Well have you met in groups though ever
9 with other doctors and lawyers discussing, before the statute
10 was passed, what legal attack or should we say legal/medical
11 attack would be planned against the statute.
12 THE WITNESS: Not if with other doctors and lawyers
13 discussing this, no.
14 THE COURT: But with lawyers?
15 THE WITNESS: But with lawyers to the extent that I
16 have met with lawyers regarding this and also the Taft case.
17 As I said discussing cases that I run into which I think would
18 violate the ban.
19 THE COURT: Did you meet with professional groups
20 before you did that or after?
21 THE WITNESS: No, I did not meet with professional
22 groups in the sense of -- I'm sorry -RGS your Honor, I'm just
23 trying to understand.
25 like ACOG?

4/1/2004
page 77

1 THE COURT: Yes.
2 THE WITNESS: No, I have not.
3 THE COURT: Go ahead, Ms. Gowan; next question.
4 BY MS. GOWAN:
5 Q. Were your lawyers in the Taft case the same lawyers as in
6 this case?
7 A. No, they were not.
8 Q. Were your lawyers in the other case the same lawyers as in
9 this case, that would be in the Hope clinic case?
10 A. It was also the ACLU N Hope clinic ways can case.
11 Q. And are you at count boot our *T it A C L?
12 A. No I have con PWAOUTed to the bald bald bald foundation,
13 yes.
14 Q. And you are a member of the freedom council of the
15 contributing group to the bald bald bald foundation of the ACLU
16 of Illinois, aren't you?
17 A. That is correct.
18 Q. You are listed in their 2002 to 2003 annual report as have
19 contributed somewhere between \$1200 and \$2499, isn't that
20 right?
21 A. That is correct.
22 Q. How much did you contribute in the year 2002 to 2003?
23 A. I don't recall offhand. It would be somewhere within the
24 range that you have described but I don't remember the exact
25 figure.

4/1/2004

page 78

1 Q. Did you contribute for the year 2001 to 2002?
2 A. I don't believe that we contributed that much.
3 Again I don't -- in fact I'm sure we didn't contribute
4 that much in that year. I don't remember the exact amount we
5 contributed but I believe that we did contribute to ACLU that
6 year.
7 Q. When was the first year that you contributed to the Roger
8 bald bald foundation of the A R L U of little R* Illinois?
9 A. I simply don't recall.
10 THE COURT: Could I just interrupt?
11 When you say we is this some group.
12 THE WITNESS: No, my partner and I because we have
13 given jointly to the ACLU and that is what I am saying when I
14 say we.
15 THE COURT: Who is my partner.
16 THE WITNESS: Do I give the name or --
17 THE COURT: It's your partner, isn't KRFRPBLGT your
18 Honor, this is not a professional partner, does he need to give
19 his name?
20 THE COURT: Oh I thought it was a Doctor.
21 A. No, no, no, this is my domestic partner.
22 THE COURT: Oh, all right. Fine. I thought you were
23 talking about another Doctor.
24 THE WITNESS: No, I didn't want to enter that name in
25 the testimony unless --

4/1/2004

page 79

1 THE COURT: No, no, no. I misunderstood. I
2 misunderstood..
3 Q. How much have you contributed to the ACLU roll bald bald
4 bald foundation?
5 A. I can't tell you total aggregate amount. I can tell you
6 just to give you in broad terms that I doubt I have ever given
7 more cumulatively than \$3,000.
8 The last year that you had mentioned was the largest
9 that we had ever donated.
10 Q. Now, you told the Judge this morning that you had only
11 testified in one other partial-birth abortion case, that was
12 the women's medical professional corporation versus Taft case,
13 is that right?
14 A. That is correct.
15 Q. Now, you prepared an expert report in that case as well,
16 didn't you?
17 A. Yes, I did.
18 Q. Were you a plaintiff in that case?
19 A. No, I was not.
20 Q. But you were plaintiff in the hope clinic versus Ryan case,
21 correct?
22 A. That is correct.
23 Q. And that was a indication challenging the partial-birth
24 abortion law of the state of Illinois, right?
25 A. That is correct.

4/1/2004

page 80

1 Q. And you appeared in that case as an expert as well as being
2 a plaintiff, right?
3 A. Yes, that is correct.
4 Q. And one of your co-plaintiffs in that case was
5 Dr. Frederikson, right?
6 A. Yes, that is correct.
7 Q. And Dr. Frederikson is one of your expert witnesses in this
8 case, isn't that right?
9 A. That is correct.
10 Q. Now there wasn't a trial in that case, was there?
11 A. No, there was not.
12 Q. If there had been you would have testified at that trial,
13 isn't that right?
14 A. I believe so.
15 Q. Now, you also testified on direct that you refer residents
16 and fellows to the book, a clinician's guide to medical and
17 surgical abortion, correct?
18 A. That is correct.
19 Q. And that's a textbook developed by the plaintiff in this
20 case, National Abortion Federation, isn't that right?
21 A. That is correct.
22 Q. And the lead editor in that book is Dr. More even Paul,
23 right?
24 A. That is correct.
25 Q. And Dr. PA*U is testifying as an expert witness in the

4/1/2004

page 81

1 challenge to the Partial-Birth Abortion Ban Act of TWAO*E that
2 is pending right now in San Francisco, California, isn't that
3 right?
4 A. That is correct.
5 Q. And you have sought, as a plaintiff in this case, to bring
6 before Judge Casey, some deposition testimony of Dr. Paul in
7 that case, correct?
8 A. I'm uncertain. My attorneys may have done so. I don't
9 know if we have sought to bring her deposition forward to
10 not.
11 Q. Yesterday, in response to Judge Casey's questions you were
12 testifying about what you tell your patients when you give them
13 informed consent, right?
14 A. Yes.
15 Q. And you told the Judge that you explained to your patients
16 what happens during the course of an intact D&E, didn't you?
17 A. Yes, we do.
18 Q. And you told the Judge that you explained to your patients
19 what compressing the head means, correct?
20 A. Yes, we do.
21 Q. But in fact, you don't explain to every patient that there
22 is a possibility that you might remove the fetus intact up to
23 the point where the head is stuck in the internal cervical os
24 and you perform a procedure to compress the skull or puncture
25 the skull, do you, Doctor?

4/1/2004

page 82

1 A. Not to every patient, no.
2 Q. You only do it if the patient asks you, isn't that right,
3 Doctor?
4 A. In some cases, yes.
5 Q. Directing your attention to page 232, line 4 of the
6 deposition that you gave in this case:
7 "Q do you tell patients of the possibility that you
8 may be removing the fetus intact up to the point where the head
9 is stuck in the internal cervical os and then you perform a
10 procedure to puncture the skull and ask for STRAS letter at
11 the consents
12 "A as I just stated there are some patients who need
13 more information than others. Most patients don't want to know
14 specifics of the procedure, just as most patients who come in
15 for a hysterectomy don't want to know the names of the class or
16 the procedure I used to eye late the out REURP feel are or what
17 I will go if we
18 Or what I will do if we have a ureter that's located
19 close to the uterus. Just as most patients don't want to know
20 the exact method that I use to crush or coagulate the uterine
21 tube if we are doing a tubal ligation
22 So, in answer to your question, do I say this to every
23 patient? No. But, if there was a patient who came in with
24 specific questions and said, well, what do you do if you're
25 dilated enough and you extract to this or that point, we would

4/1/2004

page 83

1 share that information with the patient."
2 Were you asked those questions and did you give those
3 answers at your deposition in this case?
4 A. Yes, I did.
5 Q. And in fact, Dr. Hammond, no patient has ever asked you,
6 has she?
7 A. I don't know. Somebody might have. I don't have an
8 independent recollection at this point.
9 Q. Directing your attention to page 233, line 4 of your
10 deposition in this case:
11 "Q has a patient ever asked that?
12 "A not to my knowledge, no."
13 The closest --
14 THE COURT: Wait, let's finish the question.
15 Was he asked the question and degive the answer?
16 MS. GOWAN: Doctor?
17 THE COURT: Did you?
18 A. Am I.
19 THE COURT: Is that.
20 THE WITNESS: That's, if you are reading from my
21 deposition then yes, that was my testimony.
22 BY MS. GOWAN:
23 Q. In fact, the closest you have ever come to having this kind
24 of conversation with any of your patients is when they've come
25 in and they've said to you, Doctor, is the procedure similar to

4/1/2004

page 84

1 what we've been hearing about in the media as being epcompassed
2 by the partial-birth abortion ban act of 2003 or a similar
3 statute?; isn't that right, Doctor?
4 A. That is true.
5 Q. And your answer to THAEPL is, I'm not sure. There are a
6 lot of problems with the legislation but that you will do
7 whatever is necessary encompassed by the D&E to effect the
8 procedure safely.
9 Isn't that right, Doctor?
10 A. That is correct.
11 Q. Now, my --
12 THE COURT: That's what you tell patients?
13 THE WITNESS: Well the question was what I tell
14 patients if they ask is this --
15 THE COURT: I heard the whole question and that is
16 your response you give them?
17 THE WITNESS: EUFRPLGTS it's part of my response and
18 they will occasionally ask me, well, what exactly are you
19 doing?
20 Do you -- how intact do you extract.
21 And we will have a relatively graphic conversation,
22 your Honor, with those patients and we will tell them exactly
23 the kind of things that I spoke about earlier this morning on
24 the direct examination.
25 So, if they choose to per sue this in any way or bring

4/1/2004

page 85

1 it up we will have this conversation with our patients.
2 THE COURT: With the technical language that you used
3 here?
4 A. No. No. No. No. With patients I make the most, the best
5 attempt I can not to use words like calvarium and to replace it
6 with skull and so forth, but we don't -- we don't sugar coat it
7 too much, your Honor.
8 THE COURT: You use reduction rather than crushing the
9 skull.
10 A. I will say crush, clamp and extract and I use those very
11 words because those are what patients understand. We want them
12 to know exactly what the procedure is going to entail and we
13 actually try not to sugar coat this for them because they're
14 the ones who are going to undergo the procedure.
15 THE COURT: But only if they ask.
16 THE WITNESS: No.
17 Occasionally a patient clearly wants more information
18 and if we sense that we try to give what's appropriate to the
19 patient.
20 Keep in mind, a lot of my patients are emotionally
21 quite fragile so we don't have to bring up the terms -- we
22 don't have to go into gory detail about everything that we are
23 doing. But does that mean that we don't share with them, that
24 this involves dismemberment or separation of parts of the fetus
25 or taking the fetus apart? We do. And we use that term. We

4/1/2004

page 86

1 say we take the fetus apart. We say, it is coming out in
2 pieces and we make sure that that's clear with the patients.
3 And they understand it.
4 And given the circumstances that they confront and
5 their alternatives, the majority of them want us to do the
6 procedure.
7 THE COURT: Do you tell them whether or not it hurts
8 the baby?
9 THE WITNESS: We have that conversation quite a bit
10 with patients, your Honor.
11 THE COURT: And what's your answer?
12 THE WITNESS: We say several things to the patient,
13 your Honor.
14 First of all, we tell the patient that it's
15 controversial what exactly -- what the fetus experiences of
16 pain at various gestational ages.
17 We share with them the fact that even for normally
18 developed fetuses people debate the beginning of sensation of
19 the fetus. They debate at what gestational age the fetus is
20 able to interpret pain as we think about it.
21 We share with the patients that even though there are
22 speculations about these things among normal fetuses, when you
23 start dealing with the kind of circumstances that we confront
24 where a baby may not have its forebrain or may not have its
25 brain or may have a man New England O mile aseal, which is in

4/1/2004

page 87

1 essence a completely disrupted and in some cases spinal cord,
2 that there is no data it lead us to know what the baby feels.

3 THE COURT: How about when there is no anomaly instead
4 of all these exceptions, how about when there is no anomaly.

5 THE WITNESS: We say that there is a possibility and
6 one of the things that we are doing with most of these patients
7 after 16 to 18 weeks is they're all under IV anesthesia, not
8 just conscious sedation where it's some IV administered
9 medications that likely don't reach the fetus in high
10 concentrations but -- and not an inhalational anesthesia where
11 it less would reach the fetus by I V deeply sedating anesthetic
12 which may confer some pain control to the fetus.

13 We also share with them their alternatives and we
14 share with them the fact that we really don't know what the
15 fetus feels and some of the other things that they can do for
16 pain.

17 For example, frankly, your Honor, I think we sugar
18 coat some of the other option and we share this with patients.

19 They might ask, well can you give intracardiac or
20 intraam knee OT, death or KCL which are the feet 0 sideal
21 injections that we discussed or could you, could we do an
22 induction termination and avoid this?

23 But the honest truth is, how do we know that taking
24 this huge instrument and poking it into the baby's heart and
25 injecting a poison hurts any less than my rapidly cutting the

4/1/2004

page 88

1 umbilical cord or transecting the spinal cord with my scissors?
2 Or how do we know that poisoning the environment that the baby
3 is in with digoxin is any more painful or less painful than my
4 doing a very rapid D&E.

5 And if the baby deliers and is living in the sense of
6 a medical induction, we're assuming because nature takes it
7 course that it's not painful.

8 But if the baby slowly tires and stops breathing and
9 dies by aSTPEUBGiation it is reasonable to assume that even for
10 a normally born fetus a normally formed fetus that this may
11 also involve pain.

12 So what we are really asking the patients that I see
13 is, which do you think is going to hurt worse for your fetus?
14 And if there is some competing internal benefit for doing the
15 D&E, is the speculation about the amount of pain enough to
16 force you to say take a different risk of one of those
17 procedures.

18 And we do have this conversation with our patients.
19 My fellows and I a few months ago pulled some articles on it
20 and reviewed it. My family planning fellows because it's a
21 question that patients come in and ask us occasionally.

22 THE COURT: Occasion I.

23 THE WITNESS: That's yes.

24 THE COURT: Very often?

25 THE WITNESS: It's hard to give you a percentage.

4/1/2004

page 89

1 Frankly a lot of the initial counseling is done by my
2 fellows. I would wager, gosh, I don't want to mislead you, I
3 just -- I can't tell you an exact percentage. At least, let's
4 say 20 or 30 percent of the time.
5 THE COURT: Ms. Gowan.
6 BY MS. GOWAN:
7 Q. You don't consider yourself an expert on fetal pain do you,
8 Doctor?
9 A. No, I do not.
10 Q. You are not an anesthesiologist are you?
11 A. No, I'm not.
12 Q. What's the dose -- what's the dose of appropriate O follow
13 you give patients who are undergoing D&E abortion?
14 A. I don't give it my anesthesiologist does and I don't know
15 the dose.
16 Q. You don't know the dose your patient gets, is that right?
17 A. That is correct.
18 Q. How quickly does appropriate appropriate cross the plas
19 even it da Doctor do have you any idea?
20 A. I can tell.
21 Q. What's the blood pressure of your patients at the time
22 they're getting the dose of appropriate appropriate?
23 A. It varies, actually.
24 Q. What is it?
25 A. Well it varies because I have patients who are referred to

4/1/2004

page 90

1 me who are severely I'mer tense I have SABD I have others who
2 run relatively low blood pressures so we run the gamut.
3 Q. Give me a range, Doctor?
4 A. Well, to give you kind of a general range we have people
5 who are anywhere from 80 systolic over 50 to 60 die as tollic
6 all the way up to 220 over 110. So we have a large range of
7 blood PRURZ and like I said the anesthesiologist is responsible
8 entirely for its administration.
9 Q. What's the dose of appropriate appropriate give to a
10 patient who is undergoing intact D&E, Doctor?
11 A. I would -- I think they give the same dose to all of our --
12 the same appropriate dose to our patients and don't change the
13 dose based on whether we are performing the D&E any differently
14 because like I said a D&E is pretty much a D&E to me.
15 Q. Do you have any understanding at all how appropriate
16 appropriate works in connection with maternal circulation?
17 A. In connection with, do you mean reaching the fetus? Is
18 that what you are asking me?
19 Q. Yes.
20 A. No, I do not.
21 Q. Now 98 percent of the terminations that you provide for
22 women with maternal health condition ORZ fetal issues are based
23 on referrals that you receive from other physicss, contradict?
24 A. That is correct.
25 Q. And these patients have already been counseled by a number

4/1/2004

page 91

1 of other physicians before they come to see you, right?
2 A. That is correct.
3 Q. And these physicians could be maternal fetal specialists
4 and genet I civilities, right?
5 A. That is correct.
6 Q. You're not maternal fetal medicine, are you Doctor?
7 A. No, I'm not.
8 Q. You're general STREUBLGs and gynecology, right?
9 A. That is correct.
11 performing the abortion procedure, correct?
12 A. That is correct.
13 Q. And you're not the physician who actually makes the
14 recommendation for termination, are you?
15 A. In many circumstances, no. We counsel regarding method of
16 termination but not usually regarding termination itself.
17 Q. Well the initial counseling on the method of abortion is
18 done by the referring service, isn't that right?
19 A. They may or they may not, it varies somewhat.
20 Q. Directing your attention to page 33, line 4 of your
21 deposition in this case:
22 "Q who does the initial counseling on the type of
23 method of abortion with the patients who are referred to you?
24 "A typically the referring service.
25 "Q do you participate at all in those initial

4/1/2004
page 92

1 counseling services?
2 "A in the majority of cases, no. However, if it's a
3 patient who is referred either from my own practice in the
4 sense of division of general obstetrics and gynecology or what
5 is more common given the nature of these patients, our division
6 of maternal of fetal medicine I might be involved and have
7 frequently been asked to come and speak with these patients. I
8 am most likely to be consulted with the patient is an inpatient
9 and already admitted to the hospital.
10 "Q oh, and inpatient, I see."
11 Were you asked those questions, did you give those
12 answers at your deposition in this case
13 MS. CHAITEN: Objection, your Honor. She read a
14 statement from a question and didn't give what the answer was.
15 MS. GOWAN: Well the follow up question was, are you
16 maternal fetal medicine and the answer was no, I'm general
17 obstetrics, gynecology.
18 THE COURT: All right.
19 THE WITNESS: Yes, that was my testimony.
20 BY MS. GOWAN:
21 Q. And it's rare, isn't it, that you make a different
22 recommendation concerning the method of termination selected by
23 the woman and her referring physician?
24 A. That is true.
25 Q. Now, during the 20 to 24 gestational age range at least 95

4/1/2004
page 93

1 percent of the terminations that you perform are by D&E,

2 correct?
3 A. That is correct.
4 Q. And the remainder are by medical induction abortion, right?
5 A. That is correct.
6 Q. And you mainly use mifepristone and RU-486 for induction abortion?
7 A. That is correct.
8 Q. And you give the prostaglandins through vaginal suppositories
9 poured until the woman delivers, is that right?
10 A. That is correct.
11 Q. In 90 percent of the cases where the fetus is dead prior to
12 the start of the procedure the induction abortion interval with
13 prostaglandins will be 12 to 24 hours, correct?
14 A. That is correct.
15 Q. And in cases where the fetus is alive at the outset of the
16 procedure, the lower limit of the induction abortion interval
17 will be within 12 to 24 hours with the upper limitless
18 predictable isn't that right, Doctor?
19 A. That is correct.
20 Q. You have seen a fetus born alive after induction abortion
21 in the second trimester, haven't you?
22 A. I'm sorry, can I just -- you said after induction abortion
23 in the second trimester? Am I correct?
24 Q. Yes. Doctor.
25 A. Yes, I have.

4/1/2004
page 94

1 Q. And you have observed signs of life in the fetus, didn't
2 you?
3 A. That is correct.
4 Q. You have seen spontaneous respiratory activity, right?
5 A. Yes.
6 Q. Heart beat?
7 A. Yes.
8 Q. Spontaneous movements?
9 A. Yes.
10 Q. And you have seen these signs at 24 weeks, right?
11 A. That is correct.
12 Q. 23 weeks?
13 A. Yes.
14 Q. 22 weeks?
15 A. Yes.
16 Q. The word "disarticulation" in connection with D&E means the
17 tearing of the fetus' body as you understand it, correct?
18 A. That is correct.
19 Q. And that tearing occurs more easily at 20 to 24 weeks,
20 correct?
21 MS. CHAITEN: Objection, your Honor.
22 THE COURT: More easily than what?
23 BY MS. GOWAN:
24 Q. Than no.
25 MS. CHAITEN: Objection, your Honor. I'm still

4/1/2004
page 95

1 confused by the question.

2 BY MS. GOWAN:
3 Q. Doctor, the nature of the tissue of the fetus at 20 weeks
4 is is that it will tear more easily than not, isn't that right?
5 A. Are you asking does it tear more easily than earlier in
6 pregnancy or --
7 Q. No, than later?
8 MS. CHAITEN: Objection.
9 THE COURT: Than later than 24 weeks?
10 MS. GOWAN: Yes.
11 THE COURT: Oh, all right.
12 THE WITNESS: Can I just hear the question again
13 because I am confused.
14 THE COURT: Ms. Reporter do you want to read the
15 question, please?
16
17
18 (record read)
19 THE WITNESS: The fetal tissue tears more easily in
20 gestation than later in gestation.
21 BY MS. GOWAN:
22 Q. What about at 20 weeks, does the tissue of the fetus tear
23 more easily at 20 weeks than at 24 weeks?
24 A. That is correct.
25 Q. And then the D&E by dismemberment you routinely count all

4/1/2004
page 96

1 the fetal parts after the procedure is over, right?
2 A. We don't count all of the fetal parts, no.
3 Q. Doctor, don't you make an effort when you perform D&E by
4 dismemberment to count the fetal parts after the procedure is
5 over?
6 A. No. We look for sentinel parts. We look for certain
7 things as well as the total amount to get an idea in
8 conjunction with the feeling that we have based on the uterine
9 feel to tell whether we have performed a complete evacuation.
10 one of these procedures, no.
11 Q. Well you make an effort to count the four extremities and
12 the head, don't you?
13 A. That we do, yes.
14 THE COURT: What are sent I knell parts?
15 THE WITNESS: I am usually speaking with sent I knell
16 parts about four extremities and calvarium. I'm not trying to
17 be eHRAOUSive but some of our fetuses don't have these so we
18 are basically trying to look and make sure that we have
19 accounted for the majority of the fetus looking for major
20 parts. But we don't count every single thing that we have
21 extracted.
22 BY MS. GOWAN:
23 Q. You don't put the TPHEURB in information in your operative
24 note because it is assumed that if have you completed a D&E you

4/1/2004
page 97

1 have counted the extremities and the head, isn't that right?
2 A. That is correct.

3 Q. But if you haven't been able to retrieve all of the four
4 extremities or what appears to be all of the fetal head, you
5 will often put in your operative note the fact that you did an
6 ultra sound after the procedure to confirm that you took that
7 extra measure to confirm evacuation, right?
8 A. That is correct.
9 Q. Now, at 20 to 24 weeks you typically place between two to
10 three sets of laminaria prior to D&E, correct?
11 A. That is correct.
12 Q. And for a late second trimester abortion you will place up
13 to 15 laminaria and you have seen cases where there have been
14 20 sticks of laminaria in place, right?
15 A. That is correct.
16 Q. And you place that laminaria typically 24 hours before the
17 procedure starts, right?
18 A. That is correct.
19 Q. And laminaria can hurt, can't it?
20 A. Can hurt in the sense of cause pain as they are inserted?
21 Q. Yes.
22 A. Yes, they can.
23 Q. And you warn your patients to expect uterine cramping from
24 the laminaria, don't you?
25 A. Yes, we do.

4/1/2004

page 98

1 Q. Sometimes you use miss O PROS toll in a patient who has a
2 tight cervix during the placement of a third set of laminaria
3 the morning of the woman's procedure, right?
4 A. That is correct.
5 Q. And that's to facilitate the extraction, isn't that right?
6 A. It's -- well in the sense that it's to further help prepare
7 the cervix which will facilitate extraction, it is to
8 facilitate extraction.
9 Q. Now you think it would be desirable to dilate a woman's
10 cervix to the point where you could simply reach inside her and
11 take the fetus outside of her body and lay it on a bed, don't
12 you, Doctor?
13 A. Yes, I would like to do this as intact as possible.
14 Q. You would like to do exactly what I described, isn't that
15 right, Doctor?
16 A. Please repeat the question.
17 Could I hear it again just to make sure that I'm
18 answering correctly?
19 THE COURT: Read the question, please, Ms. Reporter.
20
21 THE WITNESS: Yes.
22 BY MS. GOWAN:
23 Q. But you don't do that because in most cases you do not
24 achieve a cervical dilation you would need to do that, isn't
25 that right?

4/1/2004

page 99

1 A. That is correct.
2 Q. And in your opinion to achieve such dilation would be

3 unnecessary to effect a safe D&E, isn't that right, Doctor?
4 A. That is correct.
5 Q. Now, you have reached inside a woman and pulled a dead
6 fetus out of her uterus up to the point where the head becomes
7 stuck in the internal cervical os, right?
8 A. That is correct.
9 Q. And in those circumstances you have reached into the woman
10 with an extraction forceps, reached in above her cervix and
11 grasp -PD the fetus' head and by compression exTRABGTD it,
12 right?
13 A. That is correct.
14 Q. You have also had the occasion, when performing a D&E at 20
15 to 24 weeks, to reach inside a woman her instruments and pull
16 out a live fetus up to the point where the fetus' head gets
17 stuck in the internal cervical os, correct?
18 A. That is correct.
19 Q. And when you do that that's not an accident, is it?
20 A. If you're -- I want to make sure I understand.
21 As I testified, every action I do is deliberate and
22 intentional so it's not an accident that I have achieved the
23 extraction to that point. It's not predictable either because
24 I never know aprayer or reif I am going to be able to achieve
25 that intact a delivery.

4/1/2004
page 100

1 Q. Was it an accident when you have done that, was it?
2 A. Well it's not predictable so in that sense it is an
3 accident that I have been able to. It's still a deliberate and
4 intentional act that I want to have occur so it's something I
5 have been attempting to do.
6 Q. And you will do so if you can, right, Doctor?
7 A. Yes, that is correct.
8 Q. And you do that at least three times a month in your
9 practice, don't you?
10 A. That is correct.
11 Q. Now, after you have done your cervical preparation and you
12 are in the operating room and you have assessed the degree of
13 dilation of the woman, the next step is for you to put a
14 suction curette into the uterus and suction out as much of the
15 amniotic fluid as possible, right?
16 A. That's correct.
17 Q. And in the majority of the cases the umbilical cord will
18 come out with the aspiration of the amniotic fluid, right?
19 A. That does happen in many cases, yes.
20 Q. In the majority of cases, doesn't it?
21 A. In a large number I may have at some point said majority of
22 cases in my testimony. It happens frequently.
23 Q. And you cut the cord with a scissors at that point, don't
24 you?
25 A. Usually, yes.

4/1/2004
page 101

1 Q. And cutting the cord causes the fetal death, correct?
2 A. Eventually, yes.

3 Q. Now you would agree, wouldn't you, Doctor, that there is
4 simply nothing inherent to the surgical instruments used in D&E
5 that increases the likelihood of perforation of the uterus?
6 A. That is correct.
7 Q. In and your view is that any STRAOUFPLT that the Doctor
8 puts inside the uterus, in theory, carries with it some
9 probability that it could perforate the uterus, scrape the
10 cervix and cause maternal trauma, right?
11 A. That is correct.
12 Q. And would you agree, wouldn't you, Doctor, that laceration
13 of the uterus with forceps is a very uncommon outcome of D&E?
14 A. I'm sorry, did you say laceration of the cervix or of
15 uterus? I want to make sewer I am anxiously correctly.
16 Q. Uterus.
17 A. Laceration of the uterus? I'm sorry we think of uterine
18 perforation and cervical laceration, which is what I am getting
19 confuse sod I want to know if are you talking about perforation
20 of the uterus or laceration of the cervix TPAOEUFPLT what's the
21 difference between a perforation and a laceration.
22 THE WITNESS: Well perforation to me just implies that
23 there is a hole through the uterus and HRASZ ration is a cut.
24 It's very hard to get a laceration outside of when you
25 are doing other kinds of surgery. If you are doing this

4/1/2004
page 102

1 operation most lacerations would be perforations.
2 BY MS. GOWAN:
3 Q. It's very uncommon, isn't it, to PEFR rate the uterus in
4 connection with D&E?
5 A. Yes, that is correct.
6 Q. And the forceps that you insert into the woman are smooth
7 on the outside, aren't they, Doctor?
8 A. That is correct.
9 Q. And there isn't any scientific data to support your theory
10 that more passes of instruments increase maternal risk, is
11 there, Doctor?
12 A. No.
13 Q. And you don't even keep track of the number of passes that
14 you make with instruments in any given procedure in the woman's
15 medical record, do you?
16 A. That is correct, we do not.
17 Q. You couldn't even tell me how many passes with instruments
18 fetus from a woman's uterus up to the point where the fetal
19 head was stuck in the internal cervical os, could you, Doctor?
20 A. No, I could not.
21 Q. Its entirely possible that you could use more than one
22 instrument pass to bring a live intact fetus out of the woman's
23 uterus up to the point where its head gets stuck in the
24 cervical os, correct?
25

4/1/2004
page 103

1 A. That is correct.
2 Q. You could grasp a presenting part then realize that you
3 have the fetus in an intact position. You could let go,

4 regrasp higher on the fetus, right?
5 A. That is correct.
6 Q. You could grasp the presenting part, realize that you have
7 a potential intact extraction, that you are not using the best
8 instrument, take it out, use another instrument, right?
9 A. We typically would not do that.
10 Once you have control of a presenting part you leave
11 the instrument on, retaining traction so you don't lose control
12 of it and then would regrasp with the other instrument while it
13 is completely visualized.
14 Q. Or you could make a decision that it would be better to use
15 your hand, right?
16 A. That's correct.
17 Q. You could use a combination of your hand and your
18 instrument; not really grasping but helping and assisting the
19 delivery of the infant to the point its head gets stuck, right?
20 A. That is correct.
21 Q. Sometimes you place an instrument in the uterus, grasp a
22 lower extremity, deliver it into the vagina, take the
23 instrument off, grasp the lower extremity with your right hand,
24 feel with the fingers of your left hand beyond the external os
25 to the opposing extremity, deliver that extremity to get a

4/1/2004
page 104

1 better grasp on the fetus and then continue the delivery,
2 correct?
3 A. That is correct.
4 Q. And when you do this it's not unlike procedures that you do
5 during term deliveries to the extent that you perform a breech
6 delivery or other procedure where this is necessary on an
7 infant, isn't that right, Doctor?
8 A. Yes, that's correct.
9 Q. And when you do this you also have another Doctor in the
10 room who is pressing on the woman's abdomen or giving fundal
11 pressure to help effect delivery, right, Doctor?
12 A. Are you talking about term deliveries or are you talking
13 about the D&E procedures?
14 Q. Talking about the D&E procedure?
15 A. I sometimes do that, that is correct.
16 Q. And then you give traction and try to rotate the fetus so
17 that the stomach is face down and the back is facing up to give
18 you greater control and eventually lead you to the um bill I
19 cuss, correct, Doctor?
20 A. The -- we do those maneuvers but to eventually allow us to
21 extract further but that in general the KWRFD rotating the
22 fetus an getting greater control is correct, yes.
23 Q. That helps you then grasp the fetus with one finger on the
24 other side of the fetal neck, right?
25 A. That is correct.

4/1/2004
page 105

1 Q. And then your assistant will grasp the tenaculum that's on
2 the lower lip of the cervix and exert gentle traction to raise
3 the interior lip of the cervix so you can put an instrument

4 into the cervix around the fetal head to compress it and then
5 deliver the fetus, right?
6 A. No there was one error.
7 The assistant is holding a tenaculum that's on the
8 anterior not the posterior of the cervix.
9 So usually the assistant is holding that, lifting up
10 on this,.
11 The remainder of what you described is correct.
12 Q. And the fact that they're holding on to the anterior lip of
13 the cervix is what permits you to insert the forceps in to
14 compress the head and then deliver the fetus, right?
15 A. It gives me better visualization. I could do it without
16 the assistant if I needed to. And sometimes we do it by feel
17 not relying on what we are seeing.
18 Q. And when you compress the skull you are trying to reduce
19 its size so that it can fit through the cervix, right?
20 A. That is correct.
21 Q. You are trying to remove the skull in pieces so that the
22 liquid brain will empty from the cranium, right?
23 A. No. We are trying to remove the skull in one felled swoop
24 with these procedures.
25 We are trying to make a hole in the posterior surface

4/1/2004
page 106

1 of the calvarium so that it can gradually decompress and we can
2 take the fetus out intact, that's part of the point of these
3 procedures.
4 It is relatively rare we take the skulls out in pieces
5 but we are much more likely to do so with a addition
6 articulated or dismembered D&E than the circumstances you are
7 describing.
8 Q. Has it, in the circumstances that we have just been
9 discussing, occurred sometimes that the head has been broken
10 into pieces?
11 A. Oh, I'm sure it's happened at some point.
12 Q. Some of those fragments could cause lacerations, correct?
13 A. That's a possibility.
14 Q. Now, if you compared the risks associated with the
15 puncturing of the fetal skull with the risks associated with
16 another pass of instruments, your opinion is that neither one
17 poses a significant maternal risk, isn't it?
18 A. I think the risk with each is very low; that's correct.
19 Q. It's merely that you wish to have the control. You wish to
20 have the ability to make a decision in those individual cases
21 which of the two you need since neither constitutes a
22 significant maternal risk, right, Doctor?
23 A. No, I want to have the control because.
24 MS. CHAITEN: Objection.
25 THE COURT: Wait a second, there is an objection.

4/1/2004
page 107

1 MS. CHAITEN: Objection. I'm not sure what she is
2 referring to when she says which of the two.
3 BY MS. GOWAN:

4 Q. Another pass or puncture of the fetal skull.
5 THE WITNESS: I'm sorry, can I just rehear the
6 question?
7 THE COURT: Ms. Reporter would you repeat the
8 question?
9 MS. CHAITEN: Your Honor I object still I if I think
10 the question is quit con few.
11 THE COURT: Overrule.
12 A. No I wish to be ache to make decision about what is lower
13 risk to the patient. Because Bowes are though that's not the
14 same as being equal.
15 THE COURT: All right, is this a convenient time to
16 break for lunch?
17 MS. GOWAN: Yes, your Honor.
18 THE COURT: Before we do, one question, Doctor.
19 Have you ever been sued for malpractice involving an
20 abortion you performed?
21 THE WITNESS: No, not involving an abortion, no, sir.
22 THE COURT: Have you ever been sued for malpractice?
23 THE WITNESS: Yes, with respect to an obstetrical case
24 I was sued but not with regard to an abortion procedure.
25 THE COURT: I didn't catch the record.

4/1/2004
page 108

1 THE WITNESS: I'm sorry I said with respect to an
2 obstetrical case a shoulder disassociate case in which a baby
3 was stuck during normal childbirth that esilently resulted in a
4 lawsuit but I have never been sued with respect to one of my
5 abortion procedures.
6 THE COURT: All right, thank you.
7 We will take our recess now and reconvene at 2:00.
8 (luncheon recess) TWM 4/1/4.
9 AFTERNOON SESSION
10 2:00 p.m.
11 CASSING HAMMOND, resumed.
12
13 THE COURT: Good afternoon.
14 CROSS-EXAMINATION S-
15
16 BY MS. GOWAN:
17 Q. Before the break, Doctor, we were talking about the
18 comparison between the risk associated with puncturing the
19 fetal skull and the risk associated with another pass of the
20 instrument. I understand that it is your opinion that neither
21 one of those poises a significant maternal risk, right?
22 A. That is correct.
23 Q. Is it fair to say that you want to be the decision-maker,
24 you want to be the one to have the ability to decide whether
25 you should make another pass with an instrument in connection
4/1/2004
page 109

1 with performing D&E or whether you should be able to puncture
2 the fetus's skull?
3 A. I want to do what is safest for my patient.

4 Q. Is that a yes or a no, Doctor?

5 A. I think it is a yes.

6 THE COURT: There isn't any question, is there? Let's
7 not pussyfoot.

8 Q. Counsel asked you to assume, in connection with your review
9 of Congress's finding on cervical incompetence, she asked you
10 to assume that the finding related to intact D&E, and you
11 testified that there was data that would support that in fact
12 there was no evidence of cervical incompetence in connection
13 with intact D&E. What data were you referring to?

14 A. I was referring to the article by Schneider, et al., from
15 the mid nineties, Schneider and Caspi, I think, CASPI, are two
16 of the authors, that has looked at the dilatation of the
17 internal os, or opening of the cervix, after second trimester
18 and first trimester abortions, and has also looked at
19 obstetrical outcome after second trimester abortions.

20 Q. That article and the data underlying that article does not
21 deal specifically with intact D&E, does it?

22 A. It deals with D&E. It is correct they don't specify intact

24 Q. And you are not aware of any specific data that does break
25 out or address the safety of instrumental or digital fetal

4/1/2004

page 110

1 conversion in connection with the performance of intact D&E,
2 right?

3 A. I believe that is a part of every D&E, so I believe that it
4 is covered by any studies of D&E.

5 Q. You are not aware of any that specifically breaks it out,
6 as you have assumed -- you assumed in your response to
7 counsel's question the fact of intact D&E. So I am merely
8 asking you to assume intact D&E and whether there is any
9 specific data that breaks that out in connection with
10 addressing safety of instrumental or digital fetal conversion.

11 A. I know of no data that specifically breaks out instrumental
12 conversion with respect to intact D&E.

13 Q. Occasionally when you perform D&E you convert a fetus to
14 the breech position, don't you?

15 A. When I perform a D&E, that's correct, yes.

16 Q. You have testified that you think intact D&E offers
17 advantages because less passes with instruments present fewer
18 chances of perforation of the uterus, less procedure time, and
19 less blood loss, right?

20 A. That is correct.

21 Q. In your opinion, uterine perforation is so uncommon that it
22 cannot even be used as an end point for a study, isn't that
23 right?

24 A. I believe it can be used as an in point for a study, but it
25 would be difficult because you have so many case to say chief

4/1/2004

page 111

1 statistical power, that it would be a very lengthy and
2 difficult study to perform. [> in point I guess is correct<] [>
3 end point is correct<]

4 THE COURT: While we are waiting, Doctor, why don't

5 you define an end point study.
6 THE WITNESS: The end point would be a criteria or
7 SRAEURBL that represents outcome. So in evaluating D&E,
8 examples of end points could be reiterated uterine perforation,
9 could be rate of hemorrhage typically is defined by the number
10 of patients who require blood transfusion. The number of
11 patients who have clinically significant infactions which they
12 might define based on a temperature that a patient develops,
13 and so forth. S-
14 Q. Isn't it true, though, Doctor, that in studies of D&E that
15 have been done, uterine perforation is usually not used as an
16 end point, because it is so uncommon?
17 A. That is correct.
18 Q. When you refer to ~~***[STRIKE]***~~.
19 Q. It is also your opinion, is it not, that secondary outcome
20 variables such as blood loss or operating room time, aren't
21 meaningful in any way to operators themselves?
22 A. I am hard-pressed to say that blood loss is not meaningful
23 to operators. It may not be as significant in some studies as
24 an end point as, say, the rate of uterine perforation. But I
25 think it is important to people who do the operations.

4/1/2004
page 112

1 Q. When you refer to blood loss, you are referring to blood
2 lost when the placenta is removed, correct?
3 A. It is at any point during the course of the procedure if we
4 are discussing D&E.
5 Q. That is from the placenta being removed after the fetus has
6 been extracted or the placenta coming off during the course of
7 the procedure, isn't that right?
8 A. That is usually responsible for the majority of blood loss.
9 Q. It is not bleeding cautioned by lacerations or tears made
10 by instruments, right?
11 A. It could be. If there is a tear that has occurred during
12 the course of the procedure, that is part of the blood loss of
13 the procedure.
14 Q. Wouldn't you agree that it would be rare that there would
15 be blood loss from a laceration or a tear?
16 A. No.
17 Q. Directly your attention to page 223/line 7 of your
18 deposition in this case:
19 "Q. What you mentioned here is not bleeding caused by
20 laceration or tears, is that right?
21 "A. It is possible that a laceration or tear could cause
22 bleeding during the procedure also. It is rare that that
23 happens, but it is a conceivable risk."
24 Were you asked that question and did you give that
25 answer at your deposition in this case?

4/1/2004
page 113

1 A. Yes, I did.
2 Q. Would you agree that excessive bleeding during or after
3 surgical abortion occurs in less than 1 percent of the cases?
4 A. KWROEULD.

5 Q. As a risk factor of infection, antibiotics are given pre-
6 HRABGT KAEL in connection with all D&E at Northwestern in first
7 trimester and second trimester abortion, right?
8 A. That is correct.
9 Q. Wouldn't you agree that if at Northwestern antibiotics are
10 taken prophylactically, that the risk of infection to a woman
11 is 1 percent or less in connection with surgical D&E?
12 A. Yes, I agree that it is likely less than 1 percent in our
13 patients who take the antibiotics.
14 Q. That would be regardless of the number of instrument
15 passes, correct?
16 A. Yes.
17 Q. In the majority of induction labor abortions, the physician
18 attempts to have the placenta delivered spontaneously, much as
19 you would after a term delivery of a KHRAOEULD without
20 instrumental delivery, correct?
21 A. That is correct.
22 Q. I know you testified previously about the two-hour interval
23 between the delivery and induction abortion and the expelling
24 of the placenta that is often provided. You are aware that
25 today some medical institutions wait two hours for delivery of

4/1/2004
page 114

1 the placenta after the fetus is expelled in an induction
2 abortion?
3 A. Yes, there are some places where that occurs.
4 Q. One of those, are you aware, is where your co-plaintiff Dr.
5 Johnson practices, at the University of Michigan?
6 A. I was not aware that that was the case at the University of
7 Michigan. But it may well be so.
8 Q. Whether there is spontaneous delivery of the placenta also
9 varies with the induction agent that is used, isn't that right?
10 A. I believe that is the case.
11 Q. I think you mentioned earlier today during the PROS tone?
12 A. That is correct.
13 Q. So most of the available data on the time frame relates to
14 the PROS tone or tone, is that correct?
15 A. That's correct.
16 Q. That is dated from the 1980s, STPHAEUT?
17 A. The seventies as well as the eighties, that is correct.
18 Q. There isn't much data yet with regard to misoprostol in
19 induction abortion, is there?
20 A. With regard to retained placenta?
21 Q. Correct.
22 A. No, there is not.
23 Q. In a D&E by disarticulation and in an intact D&E, there is
24 always surgical instrumental removal of the placenta, is that correct?
25 A. That is correct.

4/1/2004
page 115

1 Q. You would agree, wouldn't you, Doctor, that there isn't any
2 data substantiating that D&E is safer than abortion by medical
3 induction at 20 to 24 weeks?
4 A. I agree that the risks are similar between 20 and 24 weeks.

5 There is some data suggesting a slight preference of D&E, but
6 there is also data suggesting a slight preference for medical
7 induction. So it is unclear between 20 and 24 weeks,
8 particularly for every practitioner, which is the safer method
9 of terminating pregnancies [> for every?<]
10 Q. You assume in your hands, meaning in your practice, that
11 D&E between 20 and 24 weeks is only at least as safe as
12 A. I assume that it is at least as safe and probably safer.
13 Q. Directing your attention to page 196/line 19 of your
14 deposition in this case. We are talking about comparing D&E
15 and labor induction.
16 "Q. When you say we must assume, are you saying you, Dr.
17 Hammond, assumes or are you saying that the medical profession
18 at large assumes that and it's written somewhere?
19 "A. I am saying that we, the medical profession, and
20 particularly those of us who practice in institutions that have
21 competent trained providers of second trimester abortion have
22 to assume that in skilled hands this procedure is at least as
23 safe.
24 "Q. And you certainly assume that, right?
25

page 116

1 "A. About myself or about --
2 "Q. Yes, yes.
3 "A. I assume that D&E between 20 and 24 weeks in my hands is at
4 least as safe as inducing labor."
5 Were you asked those questions and did you give those
6 answers at your deposition in this case?
7 A. Yes, I did.
8 Q. You testified today about various maternal conditions in
9 which you believe that intact D&E would offer advantages. You
10 talked about bleeding disorders and low blood platelets,
11 correct?
12 A. That is correct.
13 Q. When you were testifying about that, did you have in mind
14 the woman with the leukemia and the low blood platelets that
15 you had referenced in your declaration in this case?
16 A. It could be a number of patients. That would be one
17 example of a patient for whom this might apply. I can't say
18 that I was saying it with reference to any one particular case.
19 Q. With respect to that particular case which you previously
20 put forward in this case, you don't remember at all whether
21 this patient had a D&E abortion in which the fetus was
22 extracted intact up to the neck with the head remaining lodged
23 in the internal cervical os, do you?
24 A. No. We don't report the degree of intactness, so no, I do
25 not remember. I don't.

4/1/2004

page 117

1 Q. You don't have a recollection of it at all, right?
2 A. No.
3 Q. You also testified today about health syndrome,
4 preeclampsia, and where that might be a condition where it
5 would be important to be able to offer a woman intact D&E.
6 Were you thinking then, too, about the woman with he will'

7 syndrome that you put forward in your TRO declaration in this
8 case?
9 A. In terms of? I'm sorry. Could I hear the question again?
10 Are you asking with respect to bleeding disorders, was I
11 thinking of that TAS a patient in whom because of the risk of
12 bleeding?
13 Q. You were testifying generally today about preeclampsia in
14 women with help syndrome S-. And previously in this case you
15 had put forward a specific example about a woman with help
16 syndrome and preeclampsia. So my question to you was today,
17 when you were testifying generally, did you have in mind that
18 particular case that you had previously put forward in this
19 litigation?
20 A. Did I have in mind this case with respect to the need to do
21 an intact procedure?
22 Q. Yes.
23 A. Or a D&E in general? I just don't understandure what you
24 are asking.
25 Q. Yes. You were talking about the need to do an intact

4/1/2004
page 118

1 procedure, weren't you? Or were you just talking generally
2 this morning?
3 A. I talked about it so much, I don't know which context you
4 are referring to. If you are asking with respect to the
5 advisability of doing a D&E as intact as possible in a patient
6 who has help syndrome, yes, I believe that it is advisable to
7 do it as intact as possible.
8 Q. Doctor, that is not my question. This morning you were
9 speaking very generally about conditions in which you thought
10 that it would be important to offer a woman intact D&E. One of
11 those conditions was help syndrome and preeclampsia.
12 Previously in this case you have put forward evidence about a
13 specific patient that you have treated with those exact
14 conditions. My question to you was simply today, when you were
15 speaking generally and telling the judge about this condition,
16 did you have that patient in mind?
17 A. No. She would be one of many patients that I might have in
18 mind who I have done D&E's for who had preeclampsia or any
19 number of its variants. But she could be one of those
20 patients.
21 Q. With respect to that particular patient, you don't have any
22 recollection whatsoever as to whether you performed the intact
23 D&E procedure, correct?
24 A. I do not recall the degree of intactness of of that
25 procedure, that is correct.

4/1/2004
page 119

1 Q. You don't routinely note in the patient's medical chart
2 whether you have performed an intact extraction, do you?
3 A. That is correct.
4 Q. And you don't necessarily track at your institution or in
5 your practice who has intact extractions, isn't that right,
6 Doctor?

7 A. That is correct.
8 Q. You also testified today generally that it would be
9 important to do an intact D&E in cases where there was a woman
10 with valve ular heart disease. When you were testifying
11 generally about that today, were you thinking about the
12 specific condition the patient that you put in issue in this
13 case in your declaration [> valve lar<]
14 A. I don't recall a specific patient that I put at issue in my
15 declaration with valve lar heart disease. I think I was
16 thinking generally about patients either with valve lar heart
17 disease or who have cardiomy oh pathies.
18 Q. You have only PEFRPD one or two abortions in cases where
19 there was a woman when had val ular heart disease, isn't that
20 right, Doctor?
21 A. Val ular heart disease specifically and distinct from ard
22 yo myopathy, yes, that is true.
23 Q. And you don't have any recollection at all if you have ever
24 performed D&E by extraction of the fetus intact up to the point
25 where the he did is lodged in the internal receive cal os when

4/1/2004
page 120

1 val ular heart disease was the maternal condition at issue in
2 those one or two abortions that you performed, isn't that
3 right?
4 A. That is correct.
5 Q. You also testified this morning about chorioamnionitis,
6 performing abortions for patients with KOEURPLTS, and that it
7 was important in your view to offer these patients the intact
8 extraction. Were you referring, when he were speaking
9 generally there, to the patients that you put at issue
10 previously in this litigation through your deposition?
11 A. I was again speaking generally to the number of patients
12 that I have treatmentd with KPOEURPLTS and not to a specific
13 case S- S-
14 Q. You don't have any specific recollection to have ever
15 performed D&E by extraction of the fetus intact up to the point
16 where the head is lodged in internal cervical os where the
17 condition KOEURPLTS was present in a woman, do you, Doctor?
18 A. I'm sure at some point, given the volume that I have, but I
19 can't specifically remember one specific case.
20 Q. Correct. You don't recall at all, do you?
21 A. Well, as I said, I don't remember one specific case with
22 the exact degree of intactness that you describe.
23 Q. You also testified today about premature rupture of
24 membranes and why you believe that intact D&E would be better
25 in those circumstances. But similarly, you don't have any

4/1/2004
page 121

1 recollection of having performed an abortion in the 20-week to
2 24-week gestational age for a woman with premature rupt of
3 membranes in which you extracted the fetus intact up to the
4 point where the fetus's head is lodged on the other side of the
5 internal cervical os, do you, Doctor?
6 A. Again, as I mentioned, I am sure that this has happened,

7 but I can't recall one particular case.
8 Q. Where did you first asked Northwestern university for
9 access to your patient medical records in order to respond to
10 the government's discovery in this case?
11 A. It was almost immediately after I received the, and forgive
12 me if I use incorrect terms, the STKOEUFRR ask discovery
13 request. After I received it, I told my attorneys the problem
14 that I had, which is that I do not have any records myself.
15 They are all owned by the hospital. Then the hospital as well
16 as the university became involved.
17 Q. Did you speak with anybody at Northwestern yourself?
18 A. I spoke with the attorney for the faculty foundation.
19 Again, if I seem confused, keep in mind Northwestern applies to
20 three STKEUBGT entities: A university, a hospital, and a
21 faculty foundation. So I am wanting to make sure that I am
22 clear.
23 Q. Who did you speak with?
24 A. I spoke to the general counsel of the faculty foundation
25 that I had received this and this litigation was on going,

4/1/2004
page 122

1 because I thought at this point she should know.
2 Q. What was her name?
3 A. Her name is deny crew sus.
4 Q. What did you say to her?
5 MS. CHAITEN: Excuse me, your Honor. I need to object
6 reason privilege grounds. His communications with his attorney
7 for the employer on this subject are privileged communications
8 and I have been asked by the Northwestern medical faculty
9 foundation to protect that PREUFG on its behalf. S- S-
10 THE COURT: What privilege does he have with that
11 attorney?
12 MS. CHAITEN: Your Honor, they had attorney-client
13 communications in connection with the issue RAOEGT to the
14 records, his communications with regard to the Northwestern
15 medical faculty foundation's attorney, his his employer's
16 attorney on an issue relating to his employer.
17 THE COURT: Are you suggesting that there is a
18 privilege vis-a-vis this witness and that lawyer?
19 MS. CHAITEN: What I am suggesting is that I have been
20 asked by the Northwestern medical faculty foundation's attorney
21 to assert their privilege in connection with those
22 communications.
23 THE COURT: I can see the attorney and the foundation,
24 fine. Delight to do hear it. But how does that control this
25 witness and his conversations?

4/1/2004
page 123

1 MS. CHAITEN: He is their employee, your Honor, and
2 she is counsel --
3 THE COURT: Overruled.
4 A. Could I hear the question again.
5 Q. What did you say to her?
6 A. I told her that we had received this discovery request

7 specifically to Ms. PRAOU sis S-. I was concerned because the
8 request included requests for information about our students
9 and our residents. I had concerns about revealing information
10 about them that they might consider confidential and really
11 didn't know if this was something that I had the authority to
12 turn over, particularly since both the residents and the
13 students are, for lack of a better term -- and again this may
14 not be the right legal term -- affiliates or subordinates of
15 the university in this case.
16 Q. Are you saying that the requests for medical records sought
17 information relating to residents and students? Winning the
18 discovery request included things other than just medical
19 records. So that is why I contacted deny PRAOU sis because she
20 is the attorney, she is the general counsel of my employer and
21 also the person who is going to represent the university in
22 this kind of a situation. So I let her know that this was
23 ongoing and I was concerned.
24 Q. What discussion did you have with her about access to the
25 medical records for purposes of responding to the government's

4/1/2004
page 124

1 discovery?
2 A. To be honest, at this point it was clear that the biggest
3 issue with regard to medical records would be a hospital issue,
4 a Northwestern Memorial Hospital issue. I didn't really know
5 who to get involved TPHRLS has been this issue. Deny PRAOU sis
6 is the attorney for the faculty foundation. I don't remember
7 if she contacted the hospital or if my attorneys contacted the
8 hospital S- S- or how the hospital at that point became
9 involved. But the hospital later is --
10 THE COURT: When you say your attorneys, who are your
11 attorneys?
12 THE WITNESS: I'm sorry. The attorneys for the ACLU
13 in this case is what I was referring to as my attorneys on this
14 case.
15 Q. Did you ever contact anyone at the hospital yourself about
16 access to the medical records?
17 A. I eventually spoke with an attorney from the hospital. I
18 do not recall her name off the top of my head, although I can
19 get that information for the Court-who was involved with the
20 hospital legal department in sorting this issue out.
21 Q. Do you know when your attorneys from the ACLU spoke with
22 the hospital about your accessing the records in order to
23 respond to the government's discovery?
24 A. I think it was sometime in late December, is my
25 recollection. I don't recall the specific date.

4/1/2004
page 125

1 Q. Did your attorneys tell you what the hospital said?
2 MS. CHAITEN: Objection, your Honor.
3 THE COURT: Sounds like a stage whisper from stage
4 left.
5 MS. CHAITEN: Sorry about that. I tripped on my
6 chair.

7 THE COURT: I don't think it was the chair
8 \that{.}\{.}that reminds me of my mother's church whisper.
9 What is your objection?
10 MS. CHAITEN: Privilege, your Honor. Dr. Hammond's
11 communications with his counsel in this case are privileged
12 communications.
13 THE COURT: All right. I think that is valid.
14 MS. GOWAN: May I respond, your Honor?
15 THE COURT: Yes.
16 MS. GOWAN: The fact that a communication took place
17 is not privileged. A yes or no answer could be made to that
18 question without impinging on the privilege.
19 THE COURT: I thought your question asked what did
20 they tell you. You just asked him whether they told him?
21 MS. GOWAN: That was my first question. My next
22 question would be what did they say. I don't think what
23 Northwestern said to the ACLU is privileged, number one. Then,
24 to the extent that what Northwestern said is communicated to
25 Dr. Hammond through his lawyers does not cloak the

4/1/2004
page 126

1 communication between Northwestern and the acinlude privilege.
2 THE COURT: I that is probably a valid point. It is
3 not any advice that you all gave him. If it is a statement
4 made by the hospital that was then relayed to you, I think you
5 can state it.
6 MS. CHAITEN: Your Honor, the communications between
7 Dr. Hammond and plaintiffs' counsel in this case, to the extent
8 that they reported communications with Northwestern Memorial
9 Hospital, were in the context of advising him in terms of
10 his --
11 THE COURT: You are trying to KAFPB me now. That
12 isn't going to fly. Go ahead, answer the question.
13 A. Could I hear the question again.
14 Q. Did your lawyers at the ACLU tell you what Northwestern had
15 said about your access to the medical records?
16 A. About what? Northwestern Memorial Hospital had said?
17 Q. Yes. [> about what Northwestern<]
18 A. I apologize, but I need to think about this, because I
19 don't remember all of the specific things that are occurring at at
20 that time. [> that were<]
21 THE COURT: It is not very complicated, Doctor. Do
22 you remember?
23 A. I'm not certain I remember exactly. I remember that my
24 attorneys had said that the hospital was then involved and that
25 I would -- they told me, my attorneys again being the ACLU in

4/1/2004
page 127

1 this case, had told me that there would be --
2 MS. CHAITEN: Objection, your Honor. I really have to
3 ask the Court allow this witness not to testify about
4 communications between him and counsel. I understand that the
5 Court has ruled in connection with a factual report about the
6 specific things that were --

7 THE COURT: Stop telegraphing him. I warned your side
8 about this through Mr. Hut two days ago. You pull it again and
9 I'll take appropriate steps.

10 You may testify as to what they told you Northwestern
11 Hospital told you.

12 But don't pull that again.

13 A. I was told that there was a member of the legal department
14 who I could contact subsequently about the records issue, and
15 that was the attorney who I alluded to earlier whose name I
16 simply don't recollect at this point, who I think from that
17 point forward was dealing with the issue for the hospital.

18 Q. Did you ever contact that attorney?

19 A. I spoke with that attorney. As I recall, initially there
20 was confusion about where this should would lead. One of the
21 big issues was HIPAA, for example, and how we could respond to
22 this discovery request while respecting the confidentiality of
23 patients at Northwestern Memorial Hospital. They did not know
24 where this would lead. Eventually, the records were made
25 available so that I could prepare to respond to this discovery

4/1/2004

page 128

1 request.

2 Q. Did you have conversations with Mr. Gal land S-

3 A. There was a meeting where he was present and deny PRAOU sis
4 was present. I do not remember other people who were present
5 at that meeting. There was actually an administrator from our
6 department also so that in part I could explain what was going
7 on with this legislation, and so forth.

8 Q. Did you participate in the decision-making about what was
9 going to happen with regard to access to the medical records?

10 A. Not really. My involvement with this process was to be
11 prepared to respond in an appropriate manner. Once it had been
12 decided what legally I could do. SP-P.

13 Q. Why did you discuss the legislation at the meeting about
14 what to do with access to the medical records for purposes of
15 responding to the discovery?

16 A. At this point in time some members of my department weren't
17 aware that I was a plaintiff in this matter. We discussed it
18 to the extent that I explained that this was a challenge to the
19 partial-birth abortion Act of 2003. We did not go into detail
20 regarding the legislation but explained why I was concerned and
21 that I had taken this action. That's my answer.

22 Q. Did there come a time when you were provided access to your
23 patient medical records?

24 A. Yes, there was.

25 Q. When was that?

4/1/2004

page 129

1 A. It was also in late December and early January.

2 Q. How did you go about determining what records to look at?

3 A. We had been served with a discovery request, and I didn't
4 know exactly at first how the hospital codes this information.
5 Again, one of my biggest concerns was I didn't know how the
6 hospital -- whether they would code first trimester abortion

7 and second trimester abortion separately. I didn't know
8 whether there would be other medical diagnoses which they could
9 easily bring forth, or whether they would simply have to pull
10 every pregnancy termination that I had done let's say over the
11 past six years, since there were several years discovered in
12 the discovery request.

13 Eventually, I was told through I believe, and I'm not
14 certain of this but I think it was the hospital attorney, that
15 there was a person within the department of medical records at
16 the hospital who would be, so to speak, my point person on this
17 issue, and that I was to let her know the type of records that
18 I was looking for, and she would pull any records that looked
19 like they might fit under that rubric.

20 Q. What did you tell her you were looking for?

21 A. I told her I shared with them the kinds of records that
22 you had looked for in the discovery request. As I recall, they
23 ended up pulling the vast majority of D&E's that I had done
24 for, and I may have my dates incorrect, but I think a lot of
25 the procedures you were looking for was 2001 to 2003. It could

4/1/2004

page 130

1 have been 2000. That they pulled a PHAOPBLGT of all of my
2 procedures in the first and second trimester during those
3 years. So what I had to do was to go back through and identify
4 any of the charts that might correspond with the discovery
5 request.

6 Q. How many charts in all did you review?

7 A. I'm not certain of an exact number. I would guesstimate
8 approximately 400 charts.

9 Q. How many charts did you find that were responsive to the
10 government's discovery requests?

11 A. Again, I don't know what the exact number was, but it was
12 approximately 40 charts, I would say.

13 Q. To which requests were those records responsive?

14 A. Again, I can't tell you the exact requests. However, there
15 were KW-TS for information regarding patients who had had D&E's
16 for specific indications during a certain calendar year at
17 certain gestational ages. What I tried to do was to go through
18 and glean which charts corresponded with those diagnoses.

19 Q. Did you see any chart that was responsive to government
20 request number 6, identify the patient medical record number
21 for those abortions that you have performed or supervised
22 within the year 2003 because the patient was, quote, suffering
23 from severe ohly go hydromy nas closed quote as stated at
24 paragraph 5 of your declaration in this case?

25 A. As I recall, I did find some charts relevant to that. I

4/1/2004

page 131

1 don't know how many. And the other problem I had in responding
2 to the request was distinguishing. We may not have somebody,
3 for example, where the express reason for the chart was ohly go
4 high TKRAPL any os, and yet we may have had a patient with
5 PPRM who had no fluid around the baby which in essence is ohly
6 go high TKRAEUZ, so there is THO some overlap here. If I

7 thought the charts would apply, basically what I did was to
8 note those so that eventually, as the issue got sorted out, the
9 hospital could respond to the subpoena.
10 Q. Did you find any document in response to interrogatory
11 number 7, identify the patient medical record number for the
12 abortion that you performed or supervised for the patient who
13 had leukemia as stated in paragraph 6 of your declaration in
14 this case?
15 A. Actually, this is one that the hospital still had not been
16 able to find. They were looking for it and had not been able
17 to encounter this at the time that we started to receive other
18 rulings in Chicago.
19 Q. Did you find the record responsive to government request
20 number 8, identify the patient medical record number for the
21 abortion that you performed or supervised for the patient who
22 had, quote, renal failure and help syndrome closed quote as
23 stated in paragraph 6 of your declaration in this case?
24 A. That had not yet been identified.
25 Q. Did you find the patient medical records in response to

4/1/2004
page 132

1 item number 9 for the abortions that you performed or
2 supervised within the year 2003 for patient who were 16 or more
3 weeks LMP who had, quote, breast cancer and chose an abortion
4 because continued pregnancy might worsen their prognosis and
5 delay appropriate treatment of cancer, closed quote, and stated
6 in paragraph 6 for of your declaration in this case?
7 A. I really don't recall how many charts we received in each
8 of those categories. I did this in late December and early
9 January. My recollection is that our breast cancer patients
10 who were in the specific years that you had requested had been
11 earlier in gestation than you had requested. But I simply
12 don't remember this, because, to be honest, I did this as
13 rapidly as possible so that we would have the records to the
14 repond.
15 Q. Would your answer be the same if I read the remaining
16 government requests seeking particular medical records?

4/1/2004
page 133

17 MS. CHAITEN: Objection, your Honor. It just groups a
18 number of them together. How can the witness answer?
19 THE COURT: All right. We will do them one by one,
20 Ms. Chaiten, if that is what you want. Fine.
21 Q. Government request number 10, identify the patient medical
22 record number for the boringss that you performed or supervised
23 within the year 2003 for patients who are 16 or more weeks LMP
24 who had, quote, severe cardiac conditions, continued pregnancy
25 would put them at risk of further heart fail u and even death,
1 closed quote, as stated in paragraph 6 of your declaration in
2 this case?
3 A. I simply don't remember how many or what we had in that
4 category at this point.
5 THE COURT: Did you find any, Doctor?
6 THE WITNESS: Your Honor, I found 40 --

7 THE COURT: Did you find them or not?
8 THE WITNESS: I don't remember for that category,
9 honestly, your Honor. We found responses in the majority of
10 the categories that people asked for.
11 THE COURT: This is only three months ago.
12 THE WITNESS: But I really don't remember.
13 THE WITNESS: I really don't remember. And it was
14 confusing, because some of the requests, as she is noting, were
15 actually relatively narrow gestational age ranges. So I had
16 actually included some charts, if they asked for 20 weeks, that
17 were like 19 weeks so that you could get a representative
18 sample of what we are doing. But I really don't --
19 THE COURT: You were trying to respond to the
20 requests, were you not?
21 THE WITNESS: Yes, I was.
22 THE COURT: So one would think you would have a
23 recollection of who were you found responses to the specific
24 requests.
25

4/1/2004
page 134

1 THE WITNESS: There are some I remember. For example,
2 with respect to PPRM and chorioamnionitis. PPRM overlapping
3 with only go high TKRPL any os. I remember that we have a
4 large number of charts in those categories.
5 I also remember that we had a variety of fetal
6 anomalies such as otoSOEPLal trisomeees. I don't remember if
7 that was one of the specific requests. I believe it was. But
8 I can't remember specifically about cardiac issues that you
9 noted.
10 THE COURT: Go ahead, Ms. Gowan.
11 MS. GOWAN: Excuse me, your Honor I. lots my page.
12 One second.
13 Q. You mentioned that you found some records relating to
14 chorioamnionitis, which was the subject of request number 11:
15 Identify the patient medical records for the abortions that you
16 performed or supervised within the year 2003 for patients who
17 are 16 or more weeks who have chorioamnionitis as stated in
18 paragraph 6 of your declaration in this case. Did you find
19 records responsive to that request?
20 A. Yes, as I recall I did.
21 Q. How many?
22 A. I don't recall the number.
23 Q. Did any of those records reflect that the abortion had been
24 performed by the intact D&E version?
25 A. No. We don't note the degree of intactness in our records.

4/1/2004
page 135

1 Q. Did any of those records reflect that the woman had
2 experienced a complication as a result of the abortion that had
3 been performed?
4 A. Not to my recollection, no.
5 Q. Did you identify any records in response to discovery
6 request number 12: Identify the patient medical record number
7 for the abortions that you performed or supervised within the

8 past two years for patients who are 16 or more weeks LMP who
9 had, quote, life-threatening rejection of transplanted vital
10 organs such as liver, closed quote, as stated in paragraph 6 of
11 your declaration in this case?
12 A. I don't recall off the top of my head if we had it with the
13 discovery request. I have had a case similar since then. I
14 just don't remember. If we did, we did not have a large number
15 of charts in that specific area.
16 Q. Did you have a record in response to request number 13:
17 Identify the patient medical record numbers for the abortions
18 that you performed or supervised within the past two years for
19 patients who are 16 or more weeks LMP who had, quote, severe
20 neurological disease, including brain tumors, closed quote, as
21 stated in paragraph 6 of your declaration in this case?
22 A. Yes, we did find cases.
23 Q. How many?
24 A. I can't recall exactly. I think there were two, but I may
25 be mistaken.

4/1/2004
page 136

1 Q. Did either of those two records show that the intact D&E
2 procedure had been performed?
3 A. Again, they would not have, because we don't track the
4 Q. Did either of those records show whether the woman had
5 experienced a complication as a result of the performance of
6 the procedure?
7 A. Not that I recall.
8 Q. Government Exhibit number 14: Identify the patient medical
9 record number for the abortions that you performed or
10 supervised within the past two years for patients who are 16 or
11 more weeks LMP who had, quote, severe complications from
12 diabetes, closed quote, as stated in paragraph 6 of your
13 declaration in this case?
14 A. Yes, we did find our records that were germane to that
15 request.
16
17 (Continued on next page) 4/1/04 take 4 cross of Ham OPBD
18 BY MS. GOWAN:
19 Q. How many?
20 A. I can't recall the number.
21 Q. And did any of those records show that the abortion had
22 been performed was an intact D&E?
23 A. Again, we don't track the degree of intactness so that
24 would not have been demonstrated by the records.
25 Q. Did any of those records show whether the woman had

4/1/2004
page 137

1 experienced complication as a result of the abortion?
2 A. Not to my recollection at this time.
3 Q. Government request number 15, identify the patient medical
4 record numbers for the abortions that you performed or
5 supervised within the past two years for patients who were 16
6 or more works LMP who had "SRAOEB row vascular disease' as
7 stated in paragraph 6 of your declaration in this case?
8 A. Yes, we did find records in that category.

9 Q. How many?
10 A. I don't recall the exact number.
11 Q. Did any of those records reflect whether the abortion had
12 been performed by the intact D&E method?
13 A. No, we don't track the agreeing of intactness.
14 Q. Did any of those row TPHREBGTS whether the woman had
15 expressed a complication as a result of the abortion?
16 A. Not that I recall at this time.
17 Q. Government request number 16, identify the patient medical
18 record numbers for the abortions that you performed or
19 supervised within the past two months for patients who are 16
20 or more weeks LMP and who experienced 'pregnancy loss or in
21 layterms, miscarriage' and were fetal demise has already
22 occurred as stated in paragraph 7 of your declaration in this
23 case?
24 A. Yes, we encountered, those. There are actually indicate a
25 few of those.

4/1/2004
page 138

1 Q. Do you remember how many there were?
2 A. I couldn't tell you exactly.
3 Q. And did any of those records show whether the intact D&E
4 procedure had been performed?
5 A. Again, we don't know the degree of intactness and I don't
6 recall that having been recorded.
7 Q. Did any of those records show whether the woman had
8 experienced a complication as a result of the abortion?
9 A. Not that I recall.
10 Q. Did you uncover any records responsive to Government
11 Exhibit 5, identify the patient medical record number for those
12 abortions that you have performed or supervised within the year
13 2003 because the fetus had "an aself-ally and other severe
14 neural tube defects" as stated in paragraph 5 of your
15 declaration in this case?
16 A. Yes, I did identify charts in that category.
17 Q. How many?
18 A. I can't recall the exact number. At least five or more. I
19 was actually surprised by the number that were responsive.
20 Q. And did any of those records show whether the abortion had
21 been performed by the intact D&E method?
22 A. Again, we do not note the degree of intactness so those
23 charts, to my recollection, did not record that.
24 Q. Government Exhibit number 4, identify the patient medical
25 record number for the abortion services that you have performed

4/1/2004
page 139

1 or supervised within the year D&E "where if the pregnancy
2 continued, the fetus would die before onset of labor or within
3 the first year of life because of trisome 0 134 or trisome I 18
4 <!0>" as stated in paragraph 5 of your declaration in this
5 case?
6 A. Yes, we identify charts in that category.
7 Q. How many?
8 A. I don't recall the exact number.

9 Q. Around again, is it true that there were no records
10 identifying whether the intact D&E procedure had been
11 performed?
12 A. Yes, that is correct.
13 Q. Did any of those records identify whether the woman had
14 experienced the complication as a result of the performance of
15 the procedure?
16 A. Not that I recall.
17 Q. Government Exhibit number 2, identify the patient medical
18 record number for the abortion services that you have performed
19 or supervised within the year 2003 for women who are 19 to 20
20 weeks LMP and who "must end pregnancies in order to preserve
21 their health" as stated in paragraph 4 of your declaration in
22 this case?
23 A. I simply do not recall if we had a chart in that category.
24 Q. Government request number three, identify the patient
25 medical record number for the abortion services that you have

4/1/2004
page 140

1 performed or supervised within the year 2003 for women who are
2 19 or 20 weeks LMP and when are "experiencing pregnancy loss,
3 which in layterms is sometimes called miscarrying" as stated in
4 paragraph 4 of your declaration in this case?
5 A. Again, this is an area where there was overlap so I would
6 have included in this response the patients who had P P Rom
7 because that is a type of pregnancy loss or considered by so
8 many people so yes, we did have patience who fit in this
9 category.
10 Q. Do you remember how many records?
11 A. I can't tell you the exact numbers of records, to.
12 Q. Those records did or did not reflect PWHR the intact D&E
13 procedure had been performed?
14 A. That is correct, they did not.
15 Q. They did not.
16 Doctor, did you review any of these records prior to
17 your deposition in this case on February 18, 2004?
18 A. Well, as I testified I reviewed all of these records very
19 briefly simply to see whether they were responsive to the
20 request and I had done all of them by mid-January or so. So,
21 yes, all of them would have been reviewed before the time of
22 the deposition.
23 Q. Did your review of those medical records inform, in any
24 way, the answers that you gave to the questions that the
25 government posed to you at your deposition in this case?

4/1/2004
page 141

1 A. No, it did not.
2 Q. Did your review of those records inform, in any way, the
3 answers that you have given to counsel's direct questioning of
4 you today or my cross-examination of you today?
5 A. No, it did not.
6 Q. How about yesterday?
7 A. No, it did not.
8 Q. Do you have any copies of those records?

9 A. No, I have no copies of those records.
10 Q. Did you approach any of your patients and ask for WAEUFRPBZ
11 or consent to release the records?
12 A. No, I did not.
13 Q. Did you approach any of your patients to discuss the issue
14 of access to your records?
15 A. No, I did not.
16 Q. Did you ask any of your patients to ask Northwestern to
17 give you permission to review their records?
18 A. No, TKEU not.
19 Q. The government receive aid letter from George Gowan,
20 counsel for Northwestern University in which it was reported
21 that you were unable to review records for a period because you
22 were working on an expert report that you were required to
23 produce for this litigation.
24 MS. CHAITEN: Objection, your Honor. I believe that
25 misstates the letter but we don't have copies of it, counsel

4/1/2004
page 142

1 hasn't made them available.
3 letter how do you know what it says, ma'am?
4 MS. CHAITEN: I saw the letter at some point in one of
5 counsel's filings.
6 THE COURT: Why didn't you state it that way?
7 MS. GOWAN: Ms. Chaiten is a C C on the letter as is
8 Ms. TA*L can a, camp, Ms. TKAFB TKAFB TKAFB, TRAOES lick to and
9 Nancy maldon add O esquire.
10 THE COURT: Is that true.
11 MS. CHAITEN: I am a C C on the letter.
12 THE COURT: Is that true.
13 MS. CHAITEN: Yes, it's true.
14 THE COURT: Then why don't you say that up front?
15 MS. CHAITEN: My objection is that I think she's
16 misstated the letter, your Honor.
17 THE COURT: Go back and look at the letter. And if
18 it's not so, Ms. Chaiten, we are going to have a few words.
19 MS. CHAITEN: Your Honor, would you like me to read
20 the appropriate sentence?
21 THE COURT: Just if counsel has misstated it then
22 point out the misstatement to counsel.
23 MS. CHAITEN: Counsel stated that he was unable to
24 review records because he was preparing an expert report and
25 that is not what the letter says.

4/1/2004
page 143

1 Would you like me to read the appropriate part?
2 THE COURT: Counsel, is that correct?
3 MS. GOWAN: Well, no, your Honor, I don't think that
4 that's correct. And I am happy to read it for your Honor.
5 THE COURT: All right, read the whole.
6 MS. GOWAN: After I spoke to you I spoke with Lorie
7 Chaiten, counsel for Dr. Hammond. She says that Dr. Hammond
8 will cooperate with our plan. She did inform me of three time
9 constraints which he will have to deal with.

10 First, beginning December 26, 2003 and continuing
11 until January 2nd, 2004, he has 'night float' duty in which he
12 will be working nights, all night, in the hospital covering for
13 his own and other obstetricians patients.

14 Despite this arduous schedule Ms. Chaiten says that he
15 may be able to find some time during those nights to engage in
16 the extensive review of medical charts that will be necessary
17 in order to identify the patients in question and thereby pin
18 down the charts that we will be copying.

19 Second, he will be working on a expert report during
20 this period which he is required to produce for the litigation.

21 Third, Dr. Hammond will be out of town from January
22 9th through January 17th, 2004, and during that period he will
23 be unable to work on this project.

24 Subject to those time constraints she says that
25 Dr. Hammond will do his best to work with NMH so that we can

4/1/2004
page 144

1 momentize the time needed to produce any records that are
2 ordered to produce."

3 THE COURT: You may proceed, Ms. Gowan.

4 BY MS. GOWAN:

5 Q. Dr. Hammond, were you working on an expert --

6 THE COURT: By the way, your objection is overruled
7 and I would be more careful in the future.

8 Q. Dr. Hammond, were you working on an expert report during
9 this period which you were required to produce for this
10 litigation?

11 A. I may have been. I don't recall if it was the exact period
12 of time. I just remember that we were doing the expert report
13 sometime at approximately this time.

14 Q. Dr. Hammond, it's report the government received in this
15 case was your TRO declaration with a cover sheet onT were you
16 preparing some other expert report during this period to submit
17 to the government?

18 A. I'm sorry, could you repeat the question?

19 THE COURT: Read the question, please Ms. Reporter.
20 (record read (.

21 THE WITNESS: I'm sorry I'm trying to follow the
22 question and make sure I answer this correctly.

23 My predominant concern at that point with my night
24 float duty and also the fact that I was leaving the country and
25 I do not recall, other than the fact that I submitted an expert

4/1/2004
page 145

1 report in this case at some point approximately at that time
2 exactly when we were preparing it.

3 MS. GOWAN: May I approach, your Honor?

4 THE COURT: You may.

5 BY MS. GOWAN:

6 Q. Dr. Hammond, I am going to show you the expert report that
7 you produced to the government in this case; would you please
8 take a look at it?

9 A. Sure.

10 Q. Do you recognize that?
11 A. Yes, I do.
12 Q. What is it?
13 A. This is my expert report for this case.
14 Q. What is the first page of that?
15 A. The majority of the first page is referring to my
16 declarations. So for my expert report, because I had already
17 stated in my declaration most of what I would also state as an
18 expert, we simply referenced that report.
19 Q. What is the remainder of that document after the first
20 page?
21 A. It is a signature page with my signature and the date
22 January 8, 2004.
23 Q. And then what is attached is your TRO declaration in this
24 case attached?
25 A. Let me go through this.

4/1/2004
page 146

1 I've got my CV, then I have the, my declaration. Then
2 I have another copy of my CV, that's all I have up here,
3 Ms. Gowan.
4 Q. What is the date of your declaration?
5 A. Let me look back. 11/4/2003.
6 Q. Is that the TRO declaration which you submitted in this
7 case?
8 A. You know I may be -- I'm sorry, I don't quite understand
9 the word TRO declaration.
10 Q. Well, Doctor, you're a plaintiff in this case and in
11 connection with the filing of the temporary restraining order
12 in the complaint enjoining the Act, did you not submit a
13 declaration in support of the application for the temporary
14 restraining order?
15 A. Yes. And I believe that this declaration is a copy of the
16 declaration have I have also submitted opposing this
17 legislation.
18 Q. And that is your expert report in this case, is that right,
19 Doctor?
20 A. That is correct.
21 Q. And is that the cover sheet, is that what you were
22 preparing it's referenced in this letter from Mr. Gowan to the
23 government?
24 A. The cover sheet, are you talking about the first two pages
25 where it begins, expert report for Cassing Hammond?

4/1/2004
page 147

1 Q. Yes.
2 A. That may be what they were talking about, yes.
3 Q. Could you just read for us the headings on that cover
4 sheet?
5 A. It says, expert report for Cassing Hammond regarding
6 National Abortion Federation etal versus Ashcroft and goes on.
7 Q. What's the next heading?
8 A. Statement of opinions and bases thereof.
9 Q. What does that say?

10 A. See my declaration submitted in this case.
11 Q. What's the next heading?
12 A. Data and other information considered.
13 Q. What does that say?
14 A. It says see my declaration submitted in this case.
16 A. Exhibits. See me declaration submitted in this case.
17 And the updated curriculum SROE TAEU attached to this
18 report.
19 Q. Are there any more headings?
20 A. It says qualifications, publications, compensation. And
21 then the last heading is listing of other cases in which I have
22 given testimony in the past four years.
23 Q. Dr. Hammond, are you aware that plaintiff National Abortion
24 Federation has made this statement:
25 "anti-choice groups have formed and organization

4/1/2004
page 148

1 called FACT parents physicss add hock coalition for truth), to
2 assert that the intact D&E procedure is never medically
3 necessary or appropriate. Former surgeon general C. *EFB
4 receipt Coop is part of this organization and has written Open
5 Eds in support of the legislation. Dr. Coop is known to be
6 anti-choice.
7 "moror, neither he nor TPHEURPBLG of the fact Doctors
8 have reviewed the medical charts of patients who have undergone
9 intact D&E procedures and therefore these doctors cannot
10 determine what medical options were most appropriate for these
11 patients.
12 Despite the attention that a public figure like
13 Dr. Coop may garner, it is important to remember that no major
14 medical organization S-PLTS this legislation."
15 Are you aware of that statement?
16 A. I wasn't aware that they had made that statement, no.
17 Q. Is today the first you have heard of that statement?
18 A. To my recollection, yes.
19 Q. Do you agree with that statement?
20 A. It's a lengthy statement, I would like to look at it again
21 before I say one way or the other.
22 Q. Well, do you agree with National Abortion Federation's
23 criticism that the fact doctors and Dr. Coop's opinion is
24 somehow defective because they have not reviewed the medical
25 charts of patients who have undergone intact D&E procedures and

4/1/2004
page 149

1 therefore they cannot determine what medical options were most
2 appropriate for the patients?
3 A. I'm sorry, could I hear the question just read back to me?
4 MS. GOWAN: Due agree with that.
5 THE COURT: Yes, would you read it again?
6
7 (record read)
8 THE WITNESS: Again, I made -- it's a complicated
9 phrasing that I am hearing. I'm not certain --
10 THE COURT: Would you like it read again.

11 THE WITNESS: A apologize, your Honor, if I could hear
12 it read one more time it would help.
13 THE COURT: Go ahead Ms. Reporter.
14
15 THE WITNESS: Really no I cannot agree with that
16 statement. I am not certain that Dr. Coop would have needed,
17 based on what's been read to me, to review the charts to be
18 able to make that accessionment.
19 MS. GOWAN: I don't have any further questions, your
20 Honor. Thank you.
21 THE COURT: Any redirect? KHAEUT.
22 MS. CHAITEN: Your Honor I will have brief redirect
23 would you like to do it now or after the break.
24 THE COURT: If it's brief, go right ahead.
25 REDIRECT EXAMINATION

4/1/2004
page 150

1
2 BY MS. CHAITEN:
3 Q. Dr. Hammond, you've answered a series of questions on cross
4 regarding medical records that you reviewed in connection with
5 discovery in this case; my question for you is, in preparing
6 the declaration that you submitted in this action, did you
7 review any medical records in order to prepare that?
8 A. Not in preparing the declaration, no.
9 Q. And did you review any medical records in reaching the
10 opinions that you testified to here today and yesterday?
11 A. No. This is not the sum review of medical records.
12 Q. And have you reviewed any medical records to reach the
13 opinions that you have asserted throughout this litigation?
14 A. No.
15 Q. Doctor, when Northwestern Memorial Hospital made medical
16 records available to you to review for purposes of identifying
17 responsive documents, did you take it upon yourself to review
18 those as soon as you received them?
19 A. Yes, I did.
20 Q. And did you review all medical records that were made
21 available to you?
22 A. Yes, I did.
23 MS. CHAITEN: Your Honor, if I might just have one
24 minute.
25 THE COURT: Sure.

4/1/2004
page 151

1 MS. CHAITEN: Your Honor -- excuse me. Doctor.
2 Doctor is what I am looking for.
3 You testified a number of times that you don't record
4 the degree of intactness in your medical charts after you
5 conducted a D&E regardless of the variation; can you tell us
6 why you don't record the degree of intactness?
7 A. Because it really doesn't help us. We record those things
8 necessary to document the procedure or that might really help
9 us with patient management if there is a problem in the future.
10 The key thing that I need to document is whether I

11 think the procedure is complete or not and the degree of
12 intactness documenting it doesn't help me in that regard.
13 Q. And why is it that you can't remember the degree of
14 intactness as it relates to any specific or to a number of
15 specific procedures?
16 A. Well, it's something that we really don't keep track of and
17 we have this continuum of degrees of intactness.
18 It is also just hard to remember the cases.
19 I mean over the course of nine years I see lots of
20 patients who have chorio, P P Rom and many of the things that
21 you have indicated and am constantly doing these procedures
22 with varying degrees of intactness.
23 So, trying to remember, yes, there was this patient
24 and we were able to deliver to just this point, or just above
25 this point, or just below this point is.

4/1/2004
page 152

1 THE COURT: But these records weren't over nine years
2 were they, Doctor?
3 THE WITNESS: Some were over --
4 THE COURT: Just three years you told us before.
5 THE WITNESS: That is correct, the majority of the
6 records were over three years. There were requests for other
7 years but the majority were over approximately three years.
8 BY MS. CHAITEN:
9 Q. One last question. How many D&Es do you perform in a year?
10 A. Approximately -- do I about 300 pregnancy terminations in a
11 year and a large portion of those, because of our referral
12 population, are in the second TREU mester.
13 MS. CHAITEN: Thank you. I have no further questions
14 at this time.
15 MS. GOWAN: Your Honor, if I may two questions?
16 THE COURT: Sure, I was going to say do you want it
17 take a break or okay, two questions. Go right ahead.
18 BY MS. GOWAN:
19 Q. Dr. Preparing the declaration that you submitted in this
20 case, isn't it true that you drew on the clinical experience
21 that have you had at northwestern *URT?
22 A. That is true.
23 Q. And isn't it true, Doctor, in preparing the declaration
24 that you submitted in this case that you drew on specific
25 patient care that you have rendered at Northwestern University

4/1/2004
page 153

1 hospital?
2 A. If what you mean is that I drew upon specific cases and
3 that kind of aggregate experience of specific cases in the
4 past, then that's true.
5 Q. Isn't it true, Doctor, in your declaration in this case,
6 that you referred to specific patients that you have treated at
7 Northwestern University hospital?
8 A. That might be true. I would want to look back and I could
9 tell you if I have referred to specific patients.
10 I think typically I was referring to general

11 categories of patients rather than specific patients.
12 MS. GOWAN: Thank you, your Honor.
13 THE COURT: All right, Doctor, you may step down.
14 THE WITNESS: Thank you, your Honor.
15 THE COURT: We will take our afternoon recess at this
16 time.
17 (recess)
18
19 THE COURT: Just for your information, I have a
20 criminal conference at 5:00 so we will recess about five
21 minutes of.
22 Would plaintiffs like to call their next witness?
23 MR. HUT: Yes, your Honor.
24 THE COURT: Just before we do that, Ms. Gowan, that
25 last document you read are from, is that? STPHEFDZ.

4/1/2004
page 154

1 MS. GOWAN: No, it's not but it's part of the
2 admission, your Honor.
3 THE COURT: It's what.
4 MS. GOWAN: A party admission.
5 THE COURT: All right.
6 So it will be offered at some later time?
7 MS. GOWAN: Judge, were you referring to the NAF
8 statement or the letter.
9 THE COURT: Yes, the NAF statement about C *EFB
10 receipt Coop and all that have.
11 MS. GOWAN: Yes, I'm happy to move it at some later
12 time as a party admission or we can offer it now as a party
13 admission.
14 THE COURT: All right, well I just want to make sure
15 that it's mentioned in the record but I don't want to lose
16 track of it. Does it have an exhibit number or anything like
17 that?
18 MS. GOWAN: Your Honor, we shall mark it right now.
19 THE COURT: Just so the record is clear. I think you
20 have a neater and tidier record if we have exhibit numbers so
21 we can refer back to it in testimony. And I didn't recall your
22 mentioning an exhibit number and if it doesn't have one I think
23 it would be better if you don't mind, if you would mark it now
24 and offer it.
25 MS. GOWAN: Your Honor, it does have an exhibit number

4/1/2004
page 155

1 and at the conclusion of the day we will give you that so the
2 record is clear.
3 THE COURT: If you would. Okay, I'm sorry Mr. Hut,
4 you may proceed., or whoever is going to call the next witness.
5 MR. HUT: It is I, your Honor, and at this time
6 plaintiffs call Dr. Carolyn Westhoff.
7
8
9 THE DEPUTY CLERK: Please state and spell your full
10 name slowly for the record.

11 THE WITNESS: Carolyn, C A R O L N Y, Westhoff, W E S
12 T H O F F.

13 THE DEPUTY CLERK: Thank you. Please be seated.
14 DIRECT EXAMINATION

15
16 BY MR. HUT:

17 MR. HUT: May I inquire, your Honor.

18 THE COURT: You may.

19 BY MR. HUT:

20 Q. Dr. Westhoff where are you currently employed?

21 A. I'm employed at Columbia University.

22 Q. What position or positions do you hold there, Dr. Westhoff?

23 A. I'm professor of obstetrics and gynecology in the college
24 of medicine and I'm professor of epidemiology and of pop
25 HREUGS and family health in the school of public health.

4/1/2004

page 156

1 Q. Is the college of medicine currently called or was it once
2 called the college of physicians and surgeons?

3 A. Yes, it is.

4 Q. What are your responsibilities as professor of obstetrics
5 and gynecology in the college of medicine include?

6 A. I provide a range of teaching to medical students,
7 residents and post doctoral fellows.

8 I also carry out research in the area of reproductive
9 health as part of my role as professor.

10 Q. And with respect to the professorship of epidemiology
11 that you hold at the school of public health, can you explain
12 for us what epidemiology is?

13 A. Epidemiology is the study of the distribution of diseases
14 in populations so who gets what in different populations.

15 Q. And what do your responsibilities as professor of
16 epidemiology at the school of public health include,
17 Dr. Westhoff?

18 A. As professor of epidemiology, predominantly I am
19 supervising graduate students who are studying this subject for
20 either masters of public health or Ph.D degrees.

21 Q. In connection with your professorships at the college of
22 medicine and the school of public health, what portion of your
23 time, approximately, is spent on formal teaching?

24 A. Most of the teaching that I do is probably one on one
25 supervision of students carrying out research. I also lecture

4/1/2004

page 157

1 in the medical school and provide small group teaching to
2 medical students as well as some one on one clinical precept
3 orship to medical students.

4 I'm sure that at least one day -- all of those
5 activities take up at least one day per week and probably a
6 little bit more.

7 Q. Are you a physician, Dr. Westhoff?

8 A. Yes, I'm a physician.

9 Q. Are you affiliated with any hospital, Doctor?

10 A. Yes, I'm an attending physician at the New York president

11 TKEU tearian hospital.

12 Q. And had a position or positions do you hold at the
13 hospital?

14 A. That -- along with being an attending physician I'm the
15 medical director of our family planning clinics which are part
16 of the ambulatory care network and also director of special
17 TKPWAO*EUPB services at at Allen paville KWRPB.

18 THE COURT: At PWHAT.

19 THE WITNESS: The Allen pavilion which is part of the
20 New York Presbyterian.

21 Q. What are your responsibilities, Dr. Westhoff as attending
22 physician at New York Presbyterian?

23 A. I provide direct patient care and I also provide
24 supervision of other physicians, of nurse practitioners,
25 supervision of medical students and residents. And for the two

4/1/2004

page 158

1 services where I have administrative responsibility as well I
2 am responsible for generally determining the scope of our
3 practice and assessing the quality of the care that we give and
4 reporting to other members of the hospital about those issues.

5 Q. In assessing the quality of the care that you give, does
6 that involve you in internal quality assurance activities?

7 A. Yes, it does.

8 Q. Can you describe your duties generally with respect to
9 internal quality assurance?

10 A. Well, for both of the hospital services we routinely
11 collect information about the total number of patients that we
12 see with some subdivision with relation to patient types and we
13 keep track of problems and complications experienced by the
14 patients and we review these on a regular basis to identify any
15 pat THAERPZ may require remedy to identify opportunities for
16 improvement and to assess whether our rates of complexions or
17 problems are, fall within an acceptable range.

18 THE COURT: Excuse me, is this at New York hospital?

19 THE WITNESS: New York Presbyterian, sir.

20 THE COURT: Well I know they're merged now.

21 THE WITNESS: That's right.

22 THE COURT: But which, physically, are you at 60
23 whatever Street?, east side or are you at Washington heights.

24 A. I'm located at the Washington heights campus, sir.

25 THE COURT: Okay.

4/1/2004

page 159

1 BY MR. HUT:

2 Q. What are your responsibilities, Dr. Westhoff, as director
3 of special TKPWAO*EUPB services at the Allen pavilion?

4 A. The special GYN services is the clinic in which we see
5 patients seeking tubal ligation as well as those seeking care
6 for miscarriages and induced abortions and I'm responsible for
7 determining the scope of practice for supervising all the
8 physicians who work on the service and for carrying out quality
9 assurance activities as well as interacting with hospital
10 administration about all relevant issues.

11 Q. And briefly, Dr. Westhoff, with respect to your
12 responsibilities as medical director of the family planning
13 clinic, can you describe those for us?
14 A. Similarly I'm responsible for determining the scope of
15 services that we offer for assessing the quality of the care
16 that we offer, particularly for evaluating all of the clinical
17 staff in the clinic and providing their reviews and for
18 interacting with members of hospital administration with regard
19 to all of these issues.
20 Q. Do you currently practice medicine, Dr. Westhoff?
21 A. Yes, I do.
22 Q. Do you have a private patient practice?
23 A. I am part of the faculty practice of the department of
24 obstetrics and gynecology.
25 THE COURT: So is the answer to that no, you don't

4/1/2004
page 160

1 have a private practice?
2 THE WITNESS: I think the faculty practice is a type
3 of private practice, so yes.
4 Q. Approximately how much time do you spend attending to the
5 private faculty practice?
6 A. That is one half day a week of my time.
7 Q. Dr. Westhoff, are you a plaintiff in this case?
8 THE COURT: Let me just Mr. Hut, I am terribly
9 confused by what you say.
10 THE WITNESS: Sorry.
11 THE COURT: You say first of all in answer to whether
12 you have a private practice that you have a faculty, is that
13 right?
14 THE WITNESS: Faculty practice.
15 THE COURT: What does that mean? You do this a half
16 day a week?
17 THE WITNESS: Yes. Most --
18 THE COURT: What does that mean?
19 THE WITNESS: Most of the mens of the department of
20 obstetrics and gynecology belong to the faculty practice so
21 part of the distinction may be the business relationship which
22 is the that the practice is owned by the department and the
23 university and I'm paid a salary by the department, patients --
24 patient fees are collected by the department and so that might
25 be a little bit different than what most people think of in

4/1/2004
page 161

1 terms of a private practice.
2 I don't have my own office that --
3 THE COURT: Well that's what -- you don't have your
4 own office outside the hospital and your own collection of
5 patients.
6 THE WITNESS: Right.
7 THE COURT: These are all patients referred to you as
8 a member of the faculty.
9 THE WITNESS: Right. And I think many of the patients
10 who come to me would identify me as their doctor, they don't

11 identify the department as their doctor, they do identify me as
12 their doctor.

13 THE COURT: Do they walk in off the street?

14 THE WITNESS: No. This tiny practice that I have does
15 not have a walk-in component. Patients --

16 THE COURT: Where do the patients come from?

17 THE WITNESS: They are often referred by my other
18 colleagues. They may find my name listing in the directory as
19 provided by their insurance companies. They may be referred by
20 friends and previous patients.

21 THE COURT: But in any event, whatever this practice
22 is it's a half day a week?

23 THE WITNESS: Yes, it's a half day a week I.

24 THE COURT: All right, Mr. Hut.

25 BY MR. HUT:

4/1/2004

page 162

1 Q. Dr. Westhoff, are you a plaintiff in this action?

2 A. Yes, I am.

3 Q. Why did you bring the action, Dr. Westhoff?

4 A. The reason I brought the action is that I think the federal
5 abortion ban will interfere with my ability to take the best
6 possible care of my patients and also leaves me some
7 trepidation about being prosecuted for providing care to my
8 patient.

9 Q. Lets devil a bit into your background Dr. Westhoff.

10 From where did you obtain your medical degree?

11 A. University of Michigan in Ann Arbor.

12 Q. What year was that, Doctor?

13 A. 1977.

14 Q. Aside from your medical degree, have you received any other
15 medical training?

16 A. I was, after medical school I was an intern in internal
17 medicine at the Henry Ford hospital in Detroit, Michigan.

18 TKPOLG that I was a resident in obstetrics and
19 gynecology at the Kings County hospital in Brooklyn.

20 Following completion of my residency I studied
21 epidemiology at the London school of hygiene and tropical
22 medicine.

23 Following that I was a post-doctoral fellow at O*FPL
24 Ford University doing research in epidemiology.

25 Q. How long have you practiced medicine, Dr. Westhoff?

4/1/2004

page 163

1 A. It's almost 27 years since I graduated from medical school
2 and so I've practiced medicine all that time except for the
3 years that I was in England doing epidemiology which was a
4 nonclinical time.

5 Q. Do you have any specialitys in your medical practice?

6 A. My practice is mainly focused on the area of family
7 planning to, and while that is -- so that's a narrow scope of
8 my practice and I think you could call that a speciality.

9 Q. Are you board certified, Dr. Westhoff?

10 A. Yes, I am.

11 Q. As what?

12 A. I was board certified as obstetrician gynecologist
13 originally there 1986 and recertified in 1996.

14 THE COURT: Do you do it every year?

15 THE WITNESS: Every 10 years.

16 THE COURT: You do don't do it every year?

17 THE WITNESS: The American Board of OB/GYN requires
18 recertification every 10 years.

19 THE COURT: We have had a doctor who does it every
20 year, that's why I wondered, you do it every 10 years., all
21 right.

22 THE WITNESS: Yes.

23 THE COURT: I mean there is an opportunity, as I
24 understood the testimony from another doctor, you can answer, I
25 think she said 120 out of 180 questions and do it on an annual

4/1/2004

page 164

1 basis., is that correct?

2 THE WITNESS: I'm not sure.

3 THE COURT: In any event you do it every 10 years?

4 THE WITNESS: Yes.

5 BY MR. HUT:

6 Q. Dr. Westhoff, taking together your roles as attending
7 physician at New York Presbyterian as director of special GYN
8 services at the Allen paville KWRPB and as medical director of
9 the family planning clinic and your one half day a week private
10 practice, approximately how many patients are currently under
11 your care?

12 THE COURT: Could you define that? Well go ahead and
13 get an answer and I with like it defined if you would Mr. Hut.
14 I don't know what you mean by under your care.

15 Q. Given your broad supervisory responsibilities what patient
16 population are we talking about in your various roles?

17 A. The family planning clinic of which I am medical director,
18 we provide care in about 20,000 patient visits per year. Now
19 obviously I don't see most of those patients myself but I do
20 see several hundred of them myself and I do have supervisory
21 responsibility for all of that care.

22 Special GYN services, we're seeing about, between
23 2,000 and 3,000 visits perer and I have responsibility for
24 that.

25 In my private practice it's a much smaller number.

4/1/2004

page 165

1 THE COURT: I assume it is. I take it most of yours
2 is supervisory, administrative and supervisory. You certainly
3 don't see 20,000 patients a year, do you?

4 THE WITNESS: No, sir. I spend about two days a week
5 providing direct patient care myself and I have responsibility,
6 however, for the care that's given in those clinics for all
7 five days a week.

8 THE COURT: As I say, administrative and supervisory,
9 correct?

10 THE WITNESS: Yes.

11 BY MR. HUT:
12 Q. The court anticipated my next question?
13 THE COURT: I just want to hear these numbers.
14 Q. I was going to make that clear, your Honor which is to ask?
15 THE COURT: I will let you have free reign.
16 MR. HUT: No, no.
17 THE COURT: For a moment.
18 MR. HUT: Your Honor, please --
19 THE COURT: I just get confused when I hear these
20 large numbers.
21 MR. HUT: I am happy to be held on a close tether by
22 the Court but I would now like to ask you Dr. Westhoff for the
23 Court, to tell us how many of the patients that you have
24 described do you personally interact with first in the special
25 GYN unit?

4/1/2004
page 166

1 A. In the special GYN services I probably see myself POERPBLly
2 about 500 patients per year good and how about at the family
3 planning clinic?
4 A. In family planning clinic also at least between 500 and
5 1,000 patients per year.
6 Q. In connection with your small private practice?
7 A. Perhaps slightly less than 500 patients per year.
8 Q. Can you give us an idea with respect to each of those what
9 types of patients you see?
10 A. Sure.
11 Q. For the family planning clinic it's a full range of benign
12 gynecological problems in, that one would see in reproductive
13 age women, so it includes well-woman care, pregnancy diagnosis
14 and family planning services as well as treatment of sexually
15 transmitted diseases and menstrual irregularities, that
16 spectrum.
17 Special GYN services, as I mentioned, is abortion,
18 miscarriage and tubal ligation predominantly.
19 And in my private practice it is focused on
20 miscarriage and abortion care and also some women who need
21 contraceptive consultation.
22 Q. And do you, yourself, perform abortions, Dr. Westhoff?
23 A. Yes, I do.
24 Q. For about how long have you been doing so?
25 A. I started performing abortions during my residency training

4/1/2004
page 167

1 at Kings County Hospital and there have been over all these
2 years changes in the scope of practice so I started providing
3 abortion in the late 1970s and continued to do so today but
4 there have been a few, several years in between where I was not
5 providing abortion care because my energies were focused
6 elsewhere.
7 But for most of the time since 1978 since 1978 can
8 you give the Court an approximation of how many abortion
9 procedures you've performed?
10 A. I'm sure it's more than several thousand and I would need

11 to think year by year what I was doing to try to add up., but.
12 Q. I think we probably don't need?
13 A. Okay.
14 Q. I don't think we need that unless the OURT would line to
15 inquire.
16 Do you currently perform abortion procedures?
17 A. Yes, I KUFRTly do provide abortion procedure.
18 Q. Is that at the specially it wases atalen paville onyes at
19 special TKPWAO*EUPB services and in perfect private practice.
20 Q. Approximately how many abortion procedures are performed at
21 the special TKPWAO*EUPB services unit each year?
22 A. The unit, overall, provides abortion care for about 2,000
23 patients per year.
24 Q. And you don't do all of those?
25 A. I do not do all of those.

4/1/2004
page 168

1 Q. What type of abortion procedures are performed at special G
2 Y N services?
3 A. We provide, in the first trimester both surgical abortion,
4 vacuum aspiration abortions and also medical abortion use miff
6 we provide surgical abortion, D&E.
7 Q. And in the past, Dr. Westhoff, what other abortion
8 procedures, if any, have you performed?
9 A. In past years I also performed second trimester induction
10 abortion and particularly used the saline installation method,
11 although I have in the past done other kinds of induction
12 abortions as well but that's not currently part of my practice.
13 Q. Have you in the past, Dr. Westhoff, performed HEUFT rot
14 mys?
15 A. I have on a few occasions performed HEUFT rot my for
16 abortion.
17 Q. How many induction procedures have you performed over your
18 career, Dr. Westhoff?
19 A. It certainly has been several hundred. I don't know the
20 exact number.
21 Q. Are you familiar with the use of prostaglandins to induce
22 preterm uterine contractions?
23 A. Yes, I am.
24 Q. Have you authored any publications or instructional
25 materials concerning that use?

4/1/2004
page 169

1 A. Yes.
2 On behalf of the American College of OB/GYN I helped
3 prepare a teaching tape for doctors to learn about method of
4 emptying the uterus with using prostaglandins.
5 Q. Do you remain current, Dr. Westhoff, on literature in the
6 field regarding induction procedure?
7 A. I try to remain familiar with the literature.
8 Q. At about what gestational age, Dr. Westhoff, does your
9 service perform suction curettage procedures?
10 A. We perform suction curettage starting from the, whatever
11 early gestational age a woman might present seeking such a

12 treatment. We don't have a lower limit as long as we can make
13 a diagnosis of pregnancy, and we continue using the suction
14 curettage technique through the first three months of
15 pregnancy.

16 So, certainly up to 12 or 13 weeks.

17 And there are some patients where suction curettage is
18 successful even early in the second trimester.

19 Q. At about what gestational age does the special GYN service
20 perform medical abortions?

21 A. We perform medical abortions just through nine weeks, until
22 nine weeks after the last menstrual period.

23 Q. You made reference in an earlier answer, I think to the use
24 of me have O PREUS tone in the medical abortions that you do?

25 A. Yes.

4/1/2004

page 170

1 Q. Does that go by another name?

2 A. We have O PREUS ton is formerly known as R U 486.

3 Q. At what what gestational age does your service perform D&E,
4 doctor?

5 A. We perform D&E throughout the second trimester from the
6 beginning of the second trimester about 14 weeks gestation
7 through 23 weeks and six days gestation.

8 Q. Does the service perform the variation of D&E known as
9 intact D&E?

10 A. Yes, we do.

11 Q. At about what gestational age do you begin to do that?

12 A. In general we use that approach in the later part of the
13 second trimester, perhaps starting around 18 or 19 weeks but
14 there are -- it's not an exact threshold. Each case is
15 different.

16 Q. How many D&E procedures, approximately, of any variation,
17 does your service perform each year?

18 A. In 2003 our service performed about 250 D&Es.

19 Q. You said 250?

20 A. I think so.

21 Q. And approximately how many of those involve or involved, in
22 2003, if you know, intact D&E?

23 A. We haven't had the practice of keeping any kind of sense us
24 where we distinguish what kind of D&E we did so I don't have
25 any sort of statistics to support that number, but my general

4/1/2004

page 171

1 recollection is that it would be at least 50 of the D&Es last
2 year would have been intact D&Es.

3 THE COURT: Is there any time when you did keep such a
4 record at your institution as to what type of D&E was done at
5 the institution?

6 THE WITNESS: No, your Honor, we have not kept records
7 with that kind of breakdown.

8 Q. Can you explain for the Court the basis for your judgment
9 not to keep those sorts of breakdowns?

10 A. Well all of these cases are variation on D&E. The main
11 fact for us is that we did perform a D&E and I think a lot of

12 documentation sometimes is driven by requirements to match the
13 coding that exists, the procedure coding, diagnosis coding and
14 so on, and there exists no special coding for different
15 variations on D&E, they're all coded the same.
16 Q. In addition to the procedures, the D&E procedures that you
17 personally performed, what is the basis for your general
18 recollection that the order of number of D&Es that you do each
19 year is about 50, or that did you in 2003, perhaps?
20 A. One reason I have for giving that number is just the way
21 our week is structured we perform our D&Es on Fridays, which
22 means we do cervical preparation with laminaria on Wednesdays
23 and Thursdays. I have assigned myself to see patients on
24 Wednesday on this service so that that means I'm likely to see
25 most of these patients when they have, when they begin their

4/1/2004
page 172

1 cervical preparation, they begin getting ready for the D&E.
2 And so that just gives me a sense of who the patients
3 are going to be and it gives me a sense that probably every
4 week we have at least one such patient.
5 In addition I just discuss with all of my colleagues
6 who share the coverage of the operating room on Friday what
7 they're impression is about doing these cases.
8 Q. Of the types of abortion procedures performed at the
9 personal GYN service, which do you currently perform, Doctor?
10 A. I perform all of the -- some -- I take care of some cases
11 for all of the types of treatments that we offer, although I
12 don't currently perform tubal ligations.
13 Q. And with respect to those treatments can you give the Court
14 an idea of how many are involved, say with respect to suction
15 curettage, for example?
16 A. Sure.
17 In the year 2003 I probably performed, personally,
18 about 500 suction curettage, some give or take a hundred, and I
19 probably personally performed or personally supervised in the
20 operating room about 50 D&E cases.
21 THE COURT: You supervised or you performed?
22 THE WITNESS: Well, since we're a teaching institution
23 we often will have more than one doctor in the operating room
24 at the same time and so sometimes I'm right there working with
25 another doctor but I might not be the main person who actually

4/1/2004
page 173

1 has my hands on the patient.
2 THE COURT: How many times do you have your hands on
3 the patient in that setting in that procedure?
4 THE WITNESS: I haven't thought about distinguishing
5 that previously so I just need to think about my answer and.
6 THE COURT: Well I would think you know what you did
7 last year, I mean roughly, I'm not asking you for an exact
8 count.
9 THE WITNESS: No. Because an important part of my
10 role in taking care of patients is also to teach other doctors
11 I want the doctors working with me to provide as much of the

12 direct hands on care as they are competent to do and often my
13 role is there to help or guide them rather than to carry out
14 the case alone and so I would --

15 THE COURT: Would it be fair to say then that you
16 supervised them all but you do the hands on?

17 THE WITNESS: In the majority -- yes. And I mean I
18 will be there with my gloves on so-to-speak, but in the
19 majority of cases it will be the other doctors I'm working with
20 who are actually doing more than I am.

21 (continued on next page) TWM 4/1 take 5 Caroline Westhoff on
direct<]

22 THE COURT: You are primarily the supervisor then, is
23 that correct, fair to say?

24 THE WITNESS: Yes, that is fair, your Honor.

25 BY MR. HUT:

page 174

1 Q. Approximately, how many D&E procedures, Dr. Westhoff, have
2 you performed throughout your career?

3 THE COURT: Could we have this clarified that we are
4 talking about supervising or actually PERPLG them hands-on, if
5 you could break that out.

6 Q. If you could distinguish that for the Court and answer my
7 question, please do so.

8 A. Until we started this service three years ago at the Allen
9 Pavilion, I was performing only a small number of D&E's. We
10 didn't have a service at our hospital. Since we started the
11 service, it is more cases, and I would say in the -- again,
12 looking back just at 2003, I was probably in the operating room
13 helping take care of patients about 50 patients having D&E's.
14 But, as I said a moment ago, that is a mixture of hands-on and
15 supervisory care, which is the nature of a teaching service.

16 Q. If you extend that back beyond 2003, going back to the time
17 you first began to perform D&E, can you give us an
18 approximation of the number of times you performed D&E?

19 A. Our service was a little less busy in 2001 and 2002, so I
20 think the numbers were a little less in those years. And prior
21 to 2001 the numbers would have been much smaller, because we
22 didn't actually have a whole service of our own.

23 Q. Does the number of D&E that you indicated you performed or
24 supervised in 2003 include the intact variation?

25 A. Yes, it does.

4/1/2004

page 175

1 Q. Have you ever heard, Dr. Westhoff, of intact D&E referred
2 to by any other name?

3 A. Yes.

4 Q. What name or name have you heard?

5 A. There have been a variety of descriptions that I think are
6 all attempting to describe the same variations on the D&E
7 procedure that include D&X or intact D&X or dilation and
8 extraction. Those would be some of the alternative names.

9 THE COURT: Have you also heard "partial-birth
10 abortion"?

11 THE WITNESS: I don't consider partial-birth abortion

12 a medical description.
13 THE COURT: I didn't ask you what you consider. I
14 asked you if you have heard of it.
15 THE WITNESS: I heard of it. Conditional good. Next
16 question.
17 Q. Dr. Westhoff, during the course of your practice and your
18 effort to assess the complication rate experienced at the
19 service, do you make evaluations and reevaluations of the
20 safety of the abortion procedures you provide there?
21 A. Yes, we do.
22 Q. Is your experience in epidemiology come to bear on making
23 those evaluations and reevaluations? [> does your<]
24 A. I think my training and experience in epidemiology
25 definitely informs my thinking and practice about assessing

4/1/2004
page 176

1 complications.
2 THE COURT: About assessing what?
3 THE WITNESS: Complications.
4 A. What I want to do, whether in our clinic or whether in the
5 service at the Allen Pavilion is to try to enumerate all the
6 complications that we have identified and then relate those to
7 the total number of cases that were done so that we can
8 describe them, for instance, in terms of percentages. Then we
9 can also compare that to other sources of complication rates to
10 see whether our rates fall into the same range.
11 Q. When you made that assessment, do your rates fall into the
12 same range?
13 A. Yes. I think our complication rates are comparable to
14 other clinics as well as published estimates.
15 Q. Does Columbia University offer any training in abortion
16 procedures?
17 A. Yes, we do.
18 Q. What type of abortion procedures are taught at Columbia?
19 A. We teach both medical and surgical abortion in the first
20 trimester. We also teach D&E in the second trimester. And
21 because there are other doctors currently who carry out
22 inductions in the second trimester, I am not sure about what
23 teaching is done with regard to that procedure.
24 Q. Is the intact variation of D&E procedure taught at

4/1/2004
page 177

1 Columbia?
2 A. Yes, it is.
3 THE COURT: How long have you been teaching that?
4 THE WITNESS: I have had a fellowship program in
5 family planning for about 5 or 6 years now; so we have been
6 teaching this throughout that time.
7 THE COURT: Five or six years?
8 THE WITNESS: Yes, sir.
9 THE COURT: But just in that family planning unit?
10 THE WITNESS: Because several years ago we had a
11 smaller volume of cases locally, I also encouraged the young
12 doctors who come to train with me to go and work in some other

13 practice settings in order to get more experience.
14 THE COURT: That wasn't part of Columbia presspy
15 derian, was it.
16 THE WITNESS: Right.
17 THE COURT: That was in other settings?
18 THE WITNESS: I have sent some TKORBGS to other
19 settings. Now that we have sufficient volume of cases for our
20 teaching, all the teaching happens locally at column Columbia
21 Presbyterian.
22 Q. Are you a member, TR Westhoff, of any professional
23 associations?
24 A. Yes, sir.
25 Q. Which ones?

4/1/2004
page 178

1 A. I am a fellow of the American College of OB-GYN. I am a
2 member of the association of reproductive health professionals,
3 a member of the American Public Health Association. I forget.
4 And a number of other professional organizations.
5 MR. HUT: With the Court's indulgence I will try to
6 help him.
7 Q. Are you a member of the American medical women's
8 association?
9 A. Yes, I am.
10 Q. Are you a member of the National Abortion Federation?
11 A. Yes, I am.
12 Q. Are you a member of any committees within these
13 professional associations?
14 A. Yes, I am.
15 Q. Could you describe your committee memberships.
16 A. For the association of reproductive health professionals
17 and the American medical women's association, I am a member of
18 the board of directors, so through that have involvement with a
19 SRORT of organizational functions. At the American medical
20 women's association I am also the chair of the advisory
21 committee for reproductive health initiative, which is the
22 largest educational program of the American medical women's
23 association.
24 Q. Have you authored any publications, Dr. Westhoff?
25 A. Yes, I have.

4/1/2004
page 179

1 Q. On what subjects?
2 A. I do clinical research on contraSEPTive development,
3 contraSEPTive evaluation, contraSEPTive effectiveness and
4 safety. So I would say the majority of the articles I have
5 written cover those areas. I am also interested in cancer
6 epidemiology, particularly risks of oh variousian cancer and oh
7 variousian cancers in young women and have published in those
8 areas. I have also published a number of articles with regard
9 to the safety and efficacy of PHEF PREUS tone or early medical
10 abortion in the first trimester.
11 There are a number of other areas I have written in,
12 but I would say that is the focus of my publication.

13 THE COURT: Where have you been published, Doctor?
14 THE WITNESS: In a wide range of medical journals.
15 Recently on emergency contraception in the New England Journal
16 of Medicine. In obstetrics and TKW-LG, in Journal of the
17 American Medical Association, in the American SKWROUFRPBL
18 obstetrics and gynecology, in contraception, in fertility and
19 sterility.
20 Q. Are the journals that you just mentioned in response to the
21 Court's question, Dr. Westhoff, peer reviewed journals?
22 A. Yes, they are.
23 Q. Do you also number amongst your publications other works in
24 other peer reviewed journals?
25 A. Yes.

4/1/2004
page 180

1 Q. Are you the author of any books or chapters of books, Dr.
2 Westhoff?
3 A. I am the author of several chapters.
4 Q. Did you author a chapter in a clinician's guide to medical
5 and surgical abortion?
6 A. Yes. I am KO*E author on that chapter titled procedure
7 selection in the clin ig's guide.
8 THE COURT: Who is your co-author?
9 THE WITNESS: The other author of that chapter was
10 Charlotte E lig son.
11 Q. Do you rely on a clinician's guide in your practice, Dr.
12 Westhoff?
13 A. Yes. We use the clinician's guide for medical student,
14 resident, and fellow education.
15 Q. Do other of your colleagues at the special GYN service also
16 rely and own a clinician's guide?
17 A. I know that several of my immediate colleagues have that
18 text on their bookshelves. I can't tell you exactly how they
19 use it though.
20 Q. To your knowledge, is a clinician's guide a text frequently
21 relied on by persons in the field of abortion practice?
22 A. To my knowledge, yes, it is.
23 MR. HUT: May I approach, your Honor?
24 THE COURT: You may. I don't know how she knows the
25 answer to the last question, but nobody objected.

4/1/2004
page 181

1 Q. Would you turn, Dr. Westhoff, to the tab marked 70, which
2 is Plaintiffs' Trial Exhibit 70.
3 A. Yes.
4 Q. Do you recognize Plaintiffs' Trial Exhibit 70?
5 A. Yes. This shows the face page of the clinician's guide
6 that we were just discussing.
7 Q. Behind the face page does it appear this the full text of
8 the clinician's guide is replicated?
9 A. This looks like it could be the full text.
10 Q. Does your chapter in the clinician's guide refer to intact
11 D&E as a variation of D&E, Dr. Westhoff?
12 A. Let me refer to the page that mentions that so I can answer

13 that correctly.
14 THE COURT: Do we have a date on this exhibit?
15 THE WITNESS: I think this book was published in 1999.
16
17
18 -B yes.
19 THE COURT: Is each chapter that date or are these
20 chapters updated at different times?
21 THE WITNESS: This has been published just once, all
22 at the same time, in 1999.
23 Q. Let me try to assist you, Dr. Westhoff, and ask you to
24 direct your attention to page 68 of Plaintiffs' Trial Exhibit
25 70.

4/1/2004
page 182

1 A. Thank you. Now, I'm sorry, could I have the question back,
2 please.
3 MR. HUT: I can do it, if that is all right with the
4 Court. The doctor wanted question back and I can give it as
5 readily as the court reporter.
6 THE COURT: All right.
7 Q. The question is does the clin ig's guide and your chapter
8 relate to refer to intact D&E as a variation of D&E?
9 A. Yes, it does.
10 Q. Do you recall what additional thing or things [> check the
11 question<] the clinician's guide says about the intact
12 variation of D&E?
13 MS. GOWAN: Objection, your Honor.
14 THE COURT: What is the objection?
15 MS. GOWAN: He is asking the witness, I think, to
16 basically read into the record information that is not in
17 evidence.
18 THE COURT: Sustained.
19 MR. HUT: That was not my intention.
20 THE COURT: I don't know what it was, but that is what
21 you asked.
22 Q. Dr. Westhoff, please do not refer to the guide responding
24 MR. HUT: Is that all right, your Honor? May I now
25 ask whether she knows the content of the text?

4/1/2004
page 183

1 THE COURT: She is an author. Was she not?
2 MR. HUT: She was, but it was some years ago.
3 THE COURT: Come, come, Mr. Hut. I would hope she
4 would know that.
5 Q. Do you know, Doctor, what the chapter states about the
6 intact D&E variation of D&E?
7 A. In our chapter --
8 THE COURT: Sustained. Try again. This is
9 self-serving. She wrote it. There is another way of asking
10 the question rather than this way, which is grossly improper.
11 MR. HUT: Plaintiffs move Plaintiffs' Trial Exhibit 70
12 in evidence.
13 MS. GOWAN: Your Honor, the government objects. This

14 book is a compendium that is produced by plaintiff natio National
15 Abortion Federation. It has multiple chapters that have been
16 bound together in a compendium. Counsel so far has only
17 referred to one particular chapter. In order to get this
18 chapter and indeed this book into evidence, counsel must
19 publish the reliability of it. I assume the basis that he is
20 seeking is learned treatises. Is that right? He has not met
21 the test for that.

22 THE COURT: I don't know that he has to establish all
23 the things you say. I think if he wishes, he can offer the
24 chapter that the witness authored. It doesn't speak to its
25 authenticity, its truthfulness, its value. It is just

4/1/2004

page 184

1 something she wrote, other than a self-serving statement that
2 other clinicians rely on it, which is meaningless, because I
3 don't know how you could establish that. Did they all call her
4 up and tell her that? What are you offering it no? That she
5 wrote it?

6 MR. HUT: That she wrote it and that is what it
7 states.

8 MS. GOWAN: That is for the truth of the matter
9 asserted, your Honor, and it is hearsay.

10 THE COURT: I will allow you to put the chapter in for
11 whatever it is worth. It is up to you and Mr. Hut to establish
12 whether it is worth anything.

13 (Plaintiff's Exhibit
14 70 received in evidence)

15 THE COURT: I would ask you to redact the rest of it
16 and just present tomorrow a redacted version of the one chapter
17 that she authored. And for no other proof is it being accepted
18 other than the fact that she wrote it.

19 MR. HUT: We will be happy to proceed on that basis,
20 your Honor.

21 Q. With the Court's permission, I would now like to ask you,
22 Dr. Westhoff, whether you -- I will withdraw that question and
23 move on.

24 Are you a peer reviewer for any journals, there
25 Westhoff?

4/1/2004

page 185

1 A. Yes, I am, for several journals.

2 Q. Which are some of the journals for which you are a peer
3 reviewer?

4 A. I think all of the journals I just also had mentioned, such
5 as obstetrics and gynecology, American journal of obstetrics
6 and gynecology, jam a, New England journal, and a variety of
7 others. I think if we need to, we could refer to my CV for the
8 list.

9 Q. We are going to get there a moment, Doctor. You have
10 anticipated me.

11 THE COURT: We are all ahead of you today, Mr. Hut.
12 That's my afternoon chuckle. Go right ahead.

13 MR. HUT: I will do my best, your Honor, to catch up

14 over the evening recess.

15 THE COURT: Thanks very much. And excuse me. Just
16 some days, you know.

17 Q. Doctor, is the journal called obstetrics and gynecology,
18 who publishes that?

19 A. Technically, I believe the publisher is Elsevier, but it is
20 the official journal of the American College of Obstetrics and
21 gynecology.

22 Q. Do you sit on any governmental advisory committees or
23 panels at any level?

24 A. Yes, I do.

25 Q. Which?

4/1/2004

page 186

1 A. I have just finished five years as a member of the United
2 States preventive services task force. I have sat on study
3 section or initial review groups for the National Institutes of
4 Health, and an adviser to the national institute of child
5 health and human development for program planning.

6 I sit on the data safety and monitoring boards for
7 several NIH-sponsored studies, including the prostate, colon,
8 and OEFry cancer STKRAOEPBG clinical trial. And I'm sure there
9 are a few others that don't come to mind just at the moment.

10 Q. Have you served, Dr. Westhoff, as a guest lecturer or
11 visiting professor at any AO*UFRTS?

12 A. Yes, I have.

13 Q. Which ones?

14 A. I have been a visiting professor at Washington State
15 University College of pharmacy. I have been visiting professor
16 at University of Michigan at the department of obstetrics and
17 gynecology, and a visiting professor at Brown University's
18 department of obstetrics and gynecology.

19 Q. I think we have now reached an opportunity for Hut's first
20 catch-up opportunity, your Honor.

21 Q. Let me ask you, Dr. Westhoff, to turn to tab 126.

22 THE COURT: Could I interrupt just one second? I
23 couldn't resist.

24 Do you serve, are you an officer, do you serve on the
25 board of ACOG?

4/1/2004

page 187

1 THE WITNESS: No, sir, I am not on the board of ACOG.

2 THE COURT: Have you ever?

3 THE WITNESS: I have not been on the board of ACOG. I
4 am a member of some district committees of ACOG, serving at
5 their pleasure if I can be helpful. But I have no national
6 office for ACOG. Conditional or on the board?

7 THE WITNESS: And I am not on the board.

8 Q. Have you turned to Plaintiffs' Trial Exhibit 126, Dr.
9 Westhoff?

10 A. Yes.

11 Q. What is it?

12 A. That is my resume as of last December.

13 Q. Is this as of last December an accurate summary of your

14 education and experience?
15 A. Yes, it is.
16 Q. Are there any significant additions or updates of which the
17 Court should be aware?
18 A. There are always a number of additional publications, but
19 nothing important. I'm sorry.
20 MR. HUT: Plaintiffs offer, your Honor, Trial Exhibit
21 126 in evidence.
22 MS. GOWAN: No objection, your Honor.
23 THE COURT: It will be received.
24 (Plaintiff's Exhibit 126 received in evidence)
25 Q. What, if any, steps, Dr. Westhoff, do you take to remain

4/1/2004
page 188

1 current on developments within your medical specialty?
2 A. I subscribe to medical journals that I review on a regular
3 basis. I attend regular meetings such as the two-hour weekly
4 grand rounds in my department. I talk with colleagues in my
5 department about new developments and about clinical care. And
6 I attend a variety of national meetings.
7 Q. Doctor, have you offered expert testimony in any other
8 court cases?
9 A. Yes.
10 Q. In approximately how many other such cases have you
11 proceeded expert testimony?
12 A. With regard to challenges to state abortion bans, I
13 participated in the Michigan case and the New Jersey case.
14 Q. Did those bans and cases involve bans on so-called
15 partial-birth abortion?
16 A. Yes.
17 Q. Have you served as an expert in connection with any case
18 concerning nor plant?
19 A. Yes.
20 THE COURT: Concerning what STPH-RPB.
21 MR. HUT: Nor plant.
22 A. Yes, I did. I provided some expert testimony regarding nor
23 plant.
24 Q. Where was that case venued or located, if you recall S-
25 A. It certainly took place in a courthouse reel nearby here,

4/1/2004
page 189

1 but I'm not exactly sure from your point of view what the venue
2 was.
3 Q. How about the state?
4 A. I think it was probably -- I'm sure it was state court,
5 but . . .
6 Q. In New York?
7 A. No New York, yes, very nearby, within blocks.
8 MR. HUT: Your Honor, we offer Dr. Westhoff as an
9 expert in obstetrics and gynecology, in abortion procedures and
10 practice, and in epidemiology pursuant to Rule 702 of the
11 Federal Rules of Evidence.
12 MS. GOWAN: No objection, your Honor.
13 THE COURT: In epidemiology? Is that going to be

14 relevant?

15 MR. HUT: I think yes, it may be, at a future point in
16 the testimony, sir.

17 THE COURT: If there is no objection by the
18 government, the Court will so recognize the witness.

19 Q. Dr. Westhoff, are you receiving any compensation for your
20 work in this matter?

22 Q. Before we progress to your opinions with respect to factual
23 matters in this case, Doctor, I would like to ask you a few
24 background questions about abortion practice generally. Are
25 you familiar with the phrase "fetal viability"?

4/1/2004

page 190

1 A. Yes.

2 Q. What does that refer to?

3 A. That is a condition in which the fetus would be capable of
4 sustained life outside the uterus.

5 Q. When does fetal viability occur, in your opinion, Doctor?

6 A. Fetal viability with opportunity for excellent neonatal
7 intensive care, a substantial number of fetuses will be viable
8 after 24 weeks of gestation.

9 Q. In keeping with New York law, Dr. Westhoff, what is the
10 latest gestational age at which you have performed an abortion?

11 A. We do abortions just through 23 weeks gestation. We will
12 not do an abortion if the pregnancy has reached 24 weeks
13 gestation.

14 Q. Do you take any measures to ensure that the --

15 THE COURT: You say we. Is this Columbia -- I mean
16 New York press?

17 THE WITNESS: New York press, yes, sir.

18 THE COURT: Go ahead.

19 Q. Do you take any measures, Doctor, do ensure that the fetus
20 is not viable before you perform an abortion?

21 A. Yes, we do.

22 Q. What are those measures?

23 A. We do S- an assessment based on patient's history, any
24 physical examination or sonographic examination prior to the
25 patient coming to us, as well as physical examination and use

4/1/2004

page 191

1 of stenography at the time we first see the patient.

2 THE COURT: Use of what, ma'am?

3 THE WITNESS: Sonography.

4 THE COURT: What is is that?

5 THE WITNESS: Using ultrasound, using sound waves to
6 take fetal measurements. We use a combination of all of the
7 data from those sources to come up with our best estimate of
8 gestational age, and we do not proceed with an abortion if the
9 pregnancy has achieved 24 weeks [> sonography, not
10 stenography<]

11 Q. Are you familiar, Doctor Westhoff, with the percentage of
12 abortions as those are distributed through the three trimesters
13 of pregnancy?

14 A. Yes, I am.

15 Q. What is your source of information for such percentages?
16 A. For national data, I rely primarily on statistics that have
17 been collated by the Center for Disease Control.
18 Q. Are the statistics collated by the cents for disease
19 control published on a regular basis?
20 A. Yes. They are published both in reports from the CDC
21 directly and in journal articles that result from the data.
22 Q. Do you regularly review those statistics, Doctor?
23 A. Yes, I do.
24 Q. Based on that regular review, do you have an opinion
25 concerning the reliability of the CDC statistics?

4/1/2004

page 192

1 A. They are the best data available in the United States and
2 do an excellent job of representing the STKPWREUBGS of abortion
3 care in the United States.
4 Q. On the basis of your regular review of those CDC
5 statistics, could you tell us the percentage of abortions
6 performed in each trimester of pregnancy?
7 A. Yes, sir. In about 90 percent of all abortions are
8 performed in the first three months of pregnancy [> no in<] and
9 about 10 percent of abortions are performed in the second
10 trimester of pregnancy. The number of states that permit third
11 trimester abortions is very small, so to my knowledge the
12 number of third trimester abortions that occur is vanishingly
13 small and is not separately reported. The vast majority of
14 states explicitly have forbidden abortion in the third
15 trimester. So second trimester abortions are about 10 percent,
16 10 or 11 percent of the total. And in the second trimester it
17 is about 1 percent of abortioents that occur after 20 weeks je
18 gestation.
19 THE COURT: How many states allow third trimester
20 abortions?
21 THE WITNESS: Your Honor, I don't know for suring, do
22 you want me to --
23 THE COURT: I just asked if you knew. That's all.
24 THE WITNESS: There are at least two that I am aware
25 of that permit some third trimester abortion or that don't have

1 their own state statute forbidding it. I believe there are
2 several others, but I don't know which they are.
3 THE COURT: What are the two you know?
4 THE WITNESS: I believe Kansas does not forbid third
5 trimester abortion. And I believe in Georgia that under
6 certain circumstances, and I don't know what the constraints
7 are, that third trimester abortions are not forbidden within
8 certain constraints of state law. In most states they are
9 explicitly forbidden at the state level.
10 THE COURT: Thank you.
11 Q. Doctor, could you turn in the binder, please, to
12 Plaintiffs' Exhibit 28.
13 A. Yes. Got it.
14 Q. Do you recognize it?
15 A. Yes. This is the recent abortion surveillance statistics
16 from the CDC which were published in morbidity/mortality weekly
17 report.
18 Q. Are the statistics that are set out in Plaintiffs' Trial
19 Exhibit 28 the most recent CDC stat STUBGS available, to your
20 knowledge?
21 A. Yes. These cover year 2000, and the publication date of
22 this report is listed here as November 28, 2003.
23 Q. Could you please turn to page 16 of Exhibit 28.
24 A. Yes.
25 Q. Directing your attention to the data set forth on page 16,

1 aretles the basis of the testimony that you just gave regarding
2 the percentage of abortions performed in each trimester?
3 A. Yes. This page contains a table detailing various
4 characteristics of abortions in the United States, and one of
5 the categories that is presented here is how many weeks of
6 gestation divided into percents. And yes, this is a basis for
7 the distribution I described a couple of minutes ago.
8 Q. For what years do the CDC summaries provide abortion
9 statistics of the kind you just described?
10 A. This particular report actually summarizes data from 1973
11 all the way through 20 and presents data separately for each
12 year.
13 Q. Doctor, directing your attention to the pages in
14 Plaintiffs' Trial Exhibit 28, beginning at page 14, do these
15 tables set out information about percentage of abortions
16 distributed across trimsters for the years 1973 to 1999?
17 A. Yes, they do.
18 Q. Based on your review of those tables, are the statistics
19 from 1973 to 1999 showing the percentage of abortions performed
20 in the first trimester from 13 to 20 weeks LMP and after 20
21 weeks LMP generally statistics with the statistics you
22 described a moment ago in your testimony?
23 THE COURT: Mr. Hut, is this exhibit in evidence?
24 MR. HUT: Skis excuse me, your Honor?
25 THE COURT: Is this exhibit that the witness is

1 reading from, is it in evidence?

2 MR. HUT: It is not in evidence. I would move it in
3 evidence at this point.

4 THE COURT: In the old days, that is what we used to
5 do, put exhibits in evidence first and then have witnesses
6 testify from them.

7 Any objection?

8 MS. GOWAN: No, your Honor.

9 THE COURT: It will be recei

10 (Plaintiff's Exhibit 126 received in evidence)

11 Q. Dr. Westhoff, what type of abortion is most common in the
12 first trimester of pregnancy?

13 A. Vacuum aspiration is the most, or suction curettage,
14 alternatively known as suction curettage is the most common
15 type of abortion in the first trimester.

16 Q. Very briefly, what does suction curettage or vacuum
17 aspiration involve?

18 A. Very briefly, suction curettage proceeds in a manner where
19 a patient is positioned on a procedure table as for a
20 gynecologic examination, and after the appropriate preparation,
21 use of antiseptics, use of analgesics or anesthetics as
22 appropriate in each case, the cervix is grasped with a tenaculum
23 to stabilize it. At that point the cervix is then
24 usually stretched open with mechanical dilators to an
25 appropriate diameter, and a sterile plastic tube called a KUPBL

1 a KUPBL is then inserted through the cervix into
2 the uterus, and that will be attached to either tubing to an
3 electric suction machine or to a spring permitting a manual suction
4 of vacuum, and then the contents of the uterus are then
5 aspirated through the tube.

6 Q. You made a reference in your last answer, Dr. Westhoff, to
7 something called a tenaculum. Can you explain what that
8 is.

9 A. Yes. Sorry. A tenaculum is a grasping instrument, so
10 it has in the handle little holes to place the fingers, and it
11 has a little clasp to open or close it. There are several
12 different kinds of tenaculums, but they are maybe about 10
13 or 12 inches long, and at the opposite end from the handle
14 there is a pair or two or three pairs of small grasping teeth,
15 and those are used to grasp the cervix or the entrance to the
16 uterus, and then the tenaculum is closed.

17 Q. For what purpose does the physician use a tenaculum
18 to bring down the cervix as you described it?

19 A. The uterus is not held in place by any bony structures in
20 the woman's body. It is attached to ligaments but is lying
21 sort of loose inside the pelvic cavity. In order to proceed
22 safely when inserting any instruments into the uterus, it is
23 best to stabilize the uterus and hold it steady. So the tenaculum
24 is used to put traction on all the ligaments. That
25 straightens out the canal of the uterus and holds it steady so

1 the uterus will not move back and forth when instruments are
2 inserted.
3 Q. As you perform surgical abortions in the second trimester,
4 do you also use a ten column for that procedure?
5 A. Yes, we do, yes.
6 Q. When in pregnancy can suction curettage or vacuum
7 aspiration be used as an abortion method?
8 A. We will use suction curettage from the earliest time that a
9 pregnancy is diagnosed throughout the first trimester, and
10 sometimes early in the second trimester a large- bore suction
11 cannula will be adequate to also permit completion of the
12 abortion using vacuum aspiration. But as we enter the second
13 trimester, we often need to switch to D&E techniques.
14 Q. Does each and every suction curettage procedure, Dr.
15 Westhoff, proceed precisely in the manner that you have just
16 described?
17 A. No. There is a general set of steps, but in fact every
18 patient is a little bit different and every single case
19 proceeds individually, with variations that depend on the
20 patient's individual anatomy and depend on the gestational age
21 of the pregnancy as well.
22 MR. HUT: Your Honor, we have come to a place that may
23 be convenient to break off here. I note it is approximately 5
24 minutes to the hour.
25 THE COURT: I appreciate that, yes. We do have a 5

1 o'clock conference.
2 We will reconvene at 9:30 tomorrow morning. Good
3 people, have a nice evening. Court will stand in recess.
4 (Adjourned to 9:30 a.m., April 2, 2004)