

VOLUME 2

PAGES 175 - 373

UNITED STATES DISTRICT COURT

NORTHERN DISTRICT OF CALIFORNIA

BEFORE THE HONORABLE PHYLLIS J. HAMILTON, JUDGE

PLANNED PARENTHOOD	)	
FEDERATION OF AMERICA, INC.	)	
AND PLANNED PARENTHOOD	)	
GOLDEN GATE,	)	
	)	
PLAINTIFFS,	)	
	)	
VS.	)	NO. C 03-4872 PJH
	)	
JOHN ASHCROFT, ATTORNEY	)	TUESDAY, MARCH 30, 2004
GENERAL OF THE UNITED	)	
STATES, IN HIS OFFICIAL	)	SAN FRANCISCO, CALIFORNIA
CAPACITY,	)	
	)	
DEFENDANT.	)	
	)	

REPORTER'S TRANSCRIPT OF PROCEEDINGS

APPEARANCES:

FOR PLAINTIFFS:	BINGHAM MCCUTCHEON LLP
	THREE EMBARCADERO CENTER
	SAN FRANCISCO, CALIFORNIA 94111-4003
BY:	BETH H. PARKER, ATTORNEY AT LAW
	DEBORAH ADLER, ESQUIRE
	PLANNED PARENTHOOD FEDERATION OF
	AMERICA
	434 W. 33RD STREET.
	NEW YORK, NEW YORK 10001
BY:	EVE C. GARTNER, ESQUIRE

(APPEARANCES CONTINUED ON NEXT PAGE)

REPORTED BY:	DIANE E. SKILLMAN, CSR 4909
	OFFICIAL COURT REPORTER

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

PLANNED PARENTHOOD FEDERATIONF AMERICA  
1780 MASSACHUSETTS AVENUE, N.W.  
WASHINGTON, D.C. 200036  
BY: HELENE T. KRASNOFF, ESQUIRE

FOR INTERVENOR OFFICE OF THE CITY ATTORNEY  
PLAINTIFFS CITY 1390 MARKET STREET, SUITE 1008  
AND COUNTY OF SAN FRANCISCO, CALIFORNIA 94102  
SAN FRANCISCO: BY: KATHLEEN SUZANNE MORRIS,  
ALEETA MARIE VAN RUNKLE,  
DEPUTY CITY ATTORNEYS

FOR DEFENDANT: U.S. DEPARTMENT OF JUSTICE  
20 MASSACHUSETTS AVENUE, N.W. ROOM 7128  
WASHINGTON, D.C. 20530  
BY: MARK THOMAS QUINLIVAN  
W. SCOTT SIMPSON,  
KAIJA MARIE CLARK,  
ASSISTANT U.S. ATTORNEYS

1 TUESDAY, MARCH 30, 2004

8:30 A.M.

2

3 THE COURT: ALL RIGHT. GOOD MORNING. DO YOU WISH  
4 TO RESUME YOUR EXAMINATION OF DR. SHEEHAN?

5 MS. PARKER: I DO, YOUR HONOR.

6 BEFORE WE BEGIN WE HAD ONE ISSUE WE WANTED TO RAISE  
7 WITH THE COURT. THERE WAS A COURT ARTIST HERE YESTERDAY.

8 WE REQUEST SHE NOT, IF SHE COMES AGAIN, DO DRAWINGS  
9 OF OUR EXPERT WITNESSES BECAUSE OF THE SENSITIVITIES OVER  
10 HARASSMENT AND THE CONCERN THAT THE PICTURES ARE BEING WIDELY  
11 DISSEMINATED.

12 I KNOW IT IS AN UNUSUAL REQUEST, BUT IT WAS ONE THAT  
13 OUR WITNESSES FELT -- WERE CONCERNED ABOUT.

14 THE COURT: LET ME THINK ABOUT IT.

15 MS. GARTNER: OKAY.

16 THE COURT: ALL RIGHT.

17 WOULD YOU THEN CALL UP YOUR WITNESS?

18 MS. PARKER: DR. SHEEHAN.

19 THE COURT: DR. SHEEHAN.

20 ALL RIGHT.

21 MS. MORIYAMA GAVE YOU ALL THE TIMES THAT WERE  
22 UTILIZED YESTERDAY?

23 MS. GARTNER: YES.

24 THE COURT: GOOD MORNING, DR. SHEEHAN.

25 YOU ARE STILL UNDER OATH.

1 THE WITNESS: THANK YOU.

2 THE COURT: PLEASE CONTINUE.

3 DR. KATHARINE SHEEHAN,

4 RESUMED THE STAND AND TESTIFIED FURTHER ON DIRECT EXAMINATION  
5 AS FOLLOWS:

6 DIRECT EXAMINATION (RESUMED)

7 BY MS. PARKER:

8 Q. BEFORE WE RESUME WITH OUR DISCUSSION OF HOW YOU PERFORM A  
9 DILATION AND EVACUATION PROCEDURE, I JUST WANTED TO ASK YOU A  
10 FEW MORE BRIEF BACKGROUND QUESTIONS, IF THAT'S OKAY.

11 A. YES.

12 Q. IN ADDITION TO YOUR CLINIC PRACTICE AT PLANNED PARENTHOOD  
13 SAN DIEGO AND YOUR PRIVATE GYNECOLOGICAL PRACTICE, DO YOU HAVE  
14 ANY HOSPITAL PRIVILEGES OR DO YOU EVER DO ANYTHING AT ANY  
15 HOSPITALS?

16 A. I DO HAVE, BUT I CONSIDER IT A FAIRLY UNIQUE SITUATION  
17 WITHIN PLANNED PARENTHOOD IN THAT I AM A FULLY FLEDGED  
18 ATTENDING DOCTOR AT THE WOMEN'S HOSPITAL IN SAN DIEGO. AND SO  
19 THE PATIENTS AT PLANNED PARENTHOOD HAVE THE ADVANTAGE OF BEING  
20 ABLE TO HAVE THEIR PROCEDURES DONE AT THE HOSPITAL, IF THERE  
21 ARE INDICATIONS FOR THEM TO NEED TO BE HOSPITALIZED AND NEED  
22 THAT HIGHER LEVEL OF ACUITY.

23 Q. AND WHAT TYPE OF PROCEDURES WOULD YOU DO AT THE WOMEN'S  
24 HOSPITAL IN SAN DIEGO?

25 A. I HAVE EVERY FRIDAY MORNING RESERVED FOR SURGERIES.

1 USUALLY THEY ARE TUBAL LIGATIONS, REMOVAL OF OVARIAN CYSTS,  
2 GENERAL GYNECOLOGIC CARE. BUT WE CAN CERTAINLY RESERVE TIME TO  
3 DO D&E'S, AS WELL.

4 Q. AND HAVE YOU EVER TESTIFIED PREVIOUSLY IN ANY CASE  
5 INVOLVING AN ISSUE ABOUT ABORTION?

6 A. NO.

7 Q. HAVE YOU EVER TESTIFIED PREVIOUSLY IN A CASE?

8 A. NO.

9 Q. SO LET'S NOW RETURN TO OUR DISCUSSION OF D&E. YESTERDAY,  
10 YOU INDICATED THAT YOU PERFORMED D&E PROCEDURES IN THE LATE  
11 SECOND-TRIMESTER; IS THAT RIGHT?

12 A. CORRECT.

13 Q. AND WHAT IS THE GESTATIONAL AGE UP TO WHICH YOU PERFORM  
14 THOSE PROCEDURES?

15 A. UP TO 24 WEEKS GESTATION.

16 Q. DO YOU DO THE SAME D&E PROCEDURE THROUGHOUT THE  
17 SECOND-TRIMESTER OF ABORTION?

18 A. UNDER 16 WEEKS I GENERALLY USE MISOPROSTOL TO ALLOW A  
19 ONE-DAY PROCEDURE. I ALSO USE MAINLY SUCTION TO EXTRACT THE  
20 FETAL PARTS AND PLACENTA.

21 AFTER 16 WEEKS, UNIFORMLY WHEN YOU HAVE LAMINARIA  
22 INSERTED OVERNIGHT AND HAVE MORE OF AN EXTRACTION SORT OF  
23 PROCEDURE.

24 Q. LET'S FOCUS OUR DISCUSSION ON AFTER 16 WEEKS UP TO ABOUT 24  
25 WEEKS. IS THAT OKAY WITH YOU?

1 A. YES.

2 Q. AND I WOULD LIKE TO START WITH HOW DO YOU START THE  
3 PROCEDURE? WHAT HAPPENS WHEN A WOMAN FIRST COMES INTO THE  
4 CLINIC WHO NEEDS A LATER SECOND-TRIMESTER ABORTION?

5 A. SHE GOES THROUGH THE GENERAL INTAKE PROCESS, FILLS OUT HER  
6 HISTORY FORMS AND THINGS LIKE THAT. SHE MEETS WITH A  
7 COUNSELOR. SHE HAS ALL THE INFORMATION GIVEN TO HER ABOUT THE  
8 POTENTIAL PROCEDURE.

9 SHE HAS A THOROUGH HISTORY REVIEWED WITH A CLINICAL  
10 PERSON, USUALLY A NURSE PRACTITIONER, A PHYSICAL EXAMINATION,  
11 AN ULTRASOUND TO CONFIRM THE GESTATIONAL AGE.

12 Q. DO YOU GIVE EVERY PATIENT AN ULTRASOUND?

13 A. YES, EVERY SINGLE PATIENT GETS AN ULTRASOUND.

14 Q. WHAT HAPPENS AFTER THE ULTRASOUND?

15 A. WE MAKE A DECISION ABOUT WHETHER WE ARE ABLE TO PROCEED  
16 THERE IN THE CLINIC OR IF SHE NEEDS TO GO TO THE HOSPITAL. SHE  
17 HAS ANESTHESIA RISKS EVALUATED. AS LONG AS SHE QUALIFIES FOR  
18 AN OUTPATIENT SURGERY IN OUR CLINIC, THEN WE GO AHEAD AND START  
19 THE PROCEDURE, BEING SURE THAT SHE HAS HAD HER INFORMED CONSENT  
20 OBTAINED.

21 AND THE FIRST STEP OF THE PROCEDURE IS THE INSERTION  
22 OF THE FIRST SET OF LAMINARIA.

23 Q. HOW MANY LAMINARIA DO YOU INSERT ON THE FIRST DAY?

24 A. THE FIRST DAY THERE ARE TWO LAMINARIA INSERTED.

25 Q. WHAT IS THE PURPOSE FOR INSERTING THEM ON THAT DAY?

1 A. THE PROCESS IS STARTED IN TERMS OF SOFTENING THE CERVIX AND  
2 STARTING THE DILATION SO THAT A LARGER NUMBER OF LAMINARIA MAY  
3 BE INSERTED AT THE NEXT OPPORTUNITY.

4 Q. HOW MUCH DO THE LAMINARIA SWELL UP? WE SAW ONE YESTERDAY.  
5 I THINK THIS ONE WAS USED (INDICATING). CAN YOU DESCRIBE FOR  
6 US HOW MUCH THEY SWELL UP?

7 A. THE LAMINARIA START USUALLY AT ABOUT THREE OR FOUR  
8 MILLIMETERS OF DIAMETER, AND THEY CAN SWELL THREE OR FOUR TIMES  
9 UP TO OVER A CENTIMETER.

10 Q. THAT IS THE FIRST DAY. WHAT HAPPENS AFTER THAT?

11 A. THE PATIENT IS DISCHARGED. SHE GOES HOME. IF SHE FEELS  
12 COMFORTABLE ENOUGH, SHE CAN GO ABOUT HER NORMAL ACTIVITIES.  
13 SOME OF THE WOMEN HAVE A LOT OF CRAMPING AND HAVE TO STAY AT  
14 REST.

15 SHE COMES BACK THE NEXT DAY. SHE IS EVALUATED  
16 AGAIN. THOSE FIRST LAMINARIA ARE REMOVED, AND THEN A NEW SET  
17 IS INSERTED.

18 Q. DO YOU ALWAYS USE A SECOND SET IN THE LATER OR AFTER 16 OR  
19 18 WEEKS?

20 A. OUR GENERAL RULE IS AT 18 WEEKS THERE WILL BE A SECOND SET  
21 OF LAMINARIA PLACED. IT ISN'T ALWAYS FOLLOWED, PARTICULARLY IF  
22 A WOMAN HAS A FETAL DEMISE. IF THE FETUS HAS ALREADY DIED  
23 INSIDE THE UTERUS, THE UTERUS IS MUCH MORE IRRITABLE, AND WE  
24 USUALLY WILL USE JUST ONE SET OF LAMINARIA THEN.

25 Q. SO YOU DO THE SECOND SET ON THE SECOND DAY; IS THAT RIGHT?

1 A. CORRECT.

2 Q. HOW MANY DO YOU INSERT AT THAT TIME?

3 A. IT'S NOT AN ABSOLUTE NUMBER. GENERALLY, WE TRY TO PUT IN  
4 AS MANY AS WOULD FIT COMFORTABLY. THIS IS GENERALLY IN THE  
5 RANGE OF FIVE TO EIGHT.

6 Q. ARE YOU AIMING FOR A PARTICULAR AMOUNT OF DILATION?

7 A. THERE IS A GOAL THAT WE HAVE IN THE CLINIC TO TRY TO OBTAIN  
8 AT LEAST A MINIMUM AMOUNT OF DILATION TO MAKE THE PROCEDURE  
9 SAFE. AND THE RULE THAT WE USE IS THAT THE DILATION OF THE  
10 CERVIX IN MILLIMETERS SHOULD BE RELATIVELY EQUAL TO THE  
11 GESTATIONAL AGE IN WEEKS.

12 SO IF THE WOMAN IS AT 20 WEEKS, THEN WE WOULD LIKE  
13 TO HAVE 20 MILLIMETERS OF DILATION, OR TWO CENTIMETERS.

14 Q. AND CAN YOU PREDICT THE AMOUNT OF DILATION YOU WILL GET?

15 A. IT IS HIGHLY UNPREDICTABLE.

16 Q. IS THERE A MINIMUM AMOUNT YOU NEED?

17 A. YES. WITHOUT HAVING ADEQUATE DILATION, ONE ISN'T ABLE TO  
18 INTRODUCE THE INSTRUMENTS INTO THE UTERUS. AND SO ABSOLUTELY  
19 THE CERVIX HAS TO BE DILATED AN ADEQUATE AMOUNT.

20 Q. CAN YOU CONTROL THE AMOUNT OF THE DILATION?

21 A. NO. WE TRY TO GET AN ADEQUATE AMOUNT OF LAMINARIA IN, BUT  
22 THE LAMINARIA DILATE VARIABLY BASED ON MANY FACTORS, PRIMARILY  
23 WHAT THE NATURE OF THE PATIENT'S CERVIX IS LIKE.

24 YOUNG TEENAGERS WITH LITTLE, FIRM CERVIXES TEND TO  
25 NOT DILATE AS EASILY. A WOMEN WHO HAS HAD A DELIVERY OR TWO,



1 THE CERVIX WILL DILATE MUCH MORE EASILY.

2 Q. IN YOUR OPINION, IS IT EVER POSSIBLE TO GET TOO MUCH  
3 DILATION?

4 A. THAT IS A DESIRABLE CONDITION TO HAVE, PLENTY OF DILATION.  
5 SO, NO, I DON'T THINK YOU CAN HAVE TOO MUCH.

6 Q. HOW LONG DO YOU LEAVE THE SECOND SET OF LAMINARIA IN?

7 A. AGAIN, OVERNIGHT.

8 Q. SO, SHE'S HAD NOW TWO SETS OF LAMINARIA TWO DIFFERENT DAYS.  
9 SHE COMES BACK, I TAKE IT, ON THE THIRD DAY?

10 A. YES.

11 Q. AND THEN, IS THAT THE DAY THAT YOU START THE ACTUAL  
12 PROCEDURE?

13 A. CORRECT.

14 Q. COULD YOU DESCRIBE FOR ME WHAT HAPPENS ON THE THIRD DAY?

15 A. SHE IS TAKEN TO THE PROCEDURE ROOM. AN IV IS STARTED. SHE  
16 CHANGES OUT OF HER CLOTHES. SHE GETS UP ON THE TABLE. IT IS A  
17 NORMAL, LIKE A PELVIC EXAM TABLE THAT YOU WOULD GO AND HAVE  
18 YOUR PAP SMEAR ON.

19 THERE IS AN ANESTHESIA PROVIDER THERE, AND  
20 ANESTHESIA IS BEGUN SO THE PATIENT CAN BE CALM AND RELAXED AND  
21 COMFORTABLE DURING THE PROCEDURE.

22 A PELVIC EXAM IS CARRIED OUT TO, ONE, REMOVE THE  
23 GAUZE AND LAMINARIA THAT HAVE BEEN PUT IN THE CERVIX; AND THEN,  
24 ALSO TO ASSESS THE NATURE OF THE CERVIX, HOW DILATED IT IS, HOW  
25 SOFT IT IS, HOW EFFACED IT IS. "EFFACEMENT" IS THE PROCESS OF

1 SHORTENING OF THE CERVIX. THE CERVIX STARTS OUT FAIRLY LONG,  
2 AND IT OPENS UP ALONG WITH DILATING.

3 WE EVALUATE THE PRESENTATION OF THE FETUS, WHAT PART  
4 OF THE FETUS IS PRESENTING AT THE CERVIX. I CAN FEEL IF THE  
5 CERVIX IS ADEQUATELY DILATED UP INTO THE UTERUS AND FEEL  
6 PREVIOUS CESAREAN SCAR, THINGS LIKE THAT.

7 AND THEN, WE BRING IN THE ULTRASOUND MACHINE AND  
8 EVALUATE, AGAIN, FOR THE PRESENTATION OF THE FETUS.

9 Q. AND, AGAIN, DO YOU USE THE ULTRASOUND WITH EVERY PATIENT AT  
10 THAT TIME?

11 A. YES.

12 Q. AND AFTER -- AND THE ULTRASOUND, YOU INDICATE YOU ARE DOING  
13 THAT TO FIGURE OUT WHAT THE FETUS PRESENTATION IS?

14 A. CORRECT.

15 Q. AND DO YOU EVER ATTEMPT TO CHANGE THE FETAL PRESENTATION?

16 A. NO, I DON'T. BUT CERTAIN PRESENTATIONS ARE MORE DIFFICULT  
17 TO DEAL WITH, AND I JUST WANT TO KNOW WHAT I AM DEALING WITH.

18 Q. OKAY. SO AFTER YOU HAVE ASSESSED THE FETAL PRESENTATION,  
19 WHAT DO YOU DO NEXT?

20 A. THEN, A CERVICAL BLOCK OF LOCAL ANESTHETIC IS PLACED AROUND  
21 THE CERVIX, AND THE AMNIOTIC SAC IS RUPTURED, ALLOWING THE  
22 AMNIOTIC FLUID TO FLOW OUT.

23 AND, THEN, USING THE FORCEPS, I BEGIN THE PROCEDURE  
24 OF EXTRACTING THE FETAL PART.

25 Q. AND HOW DO YOU GO ABOUT DOING THAT?

1 A. I GENERALLY TRY USING THE ULTRASOUND TO FIND THE SMALL  
2 PARTS OF THE FETUS, "SMALL PARTS" BEING CONSIDERED THE  
3 EXTREMITIES. I REALLY PREFER IT IF THE LOWER EXTREMITIES ARE  
4 PRESENTED FIRST. I CAN GRASP THE LOWER EXTREMITIES OF THE  
5 FETUS, AND USING GENTLE TRACTION, EXTRACT THE TISSUE.

6 Q. AND AFTER YOU HAVE DONE THAT, WHAT DO YOU HAVE? WHAT  
7 HAPPENS NEXT?

8 A. I CONTINUE TO PUT TRACTION ON THE FETUS TISSUE. IF THE  
9 CERVIX IS ADEQUATELY DILATED, THEN THE FETUS WILL GENERALLY  
10 SLIDE DOWN THROUGH THE CERVIX, AND I CONTINUE TO EXTRACT THE  
11 TISSUE UNTIL IT IS COMPLETELY EXTRACTED.

12 IF THE CERVIX IS NOT SO WELL DILATED, THEN  
13 DISARTICULATION AND DISMEMBERMENT HAPPENS.

14 Q. AND HOW LONG -- WELL, AFTER THE FETUS IS REMOVED OR  
15 EXTRACTED, WHAT DO YOU DO?

16 A. I AM SORRY, HOW LONG AFTER?

17 Q. WELL, AFTER YOU HAVE EXTRACTED THE FETUS --

18 A. YES.

19 Q. -- ARE THERE ANY ADDITIONAL PROCEDURES THAT YOU DO?

20 A. ABSOLUTELY. THE PLACENTA IS USUALLY STILL IN THE UTERUS,  
21 ALTHOUGH OCCASIONALLY IT COMES OUT FIRST OR DURING THE  
22 PROCEDURE. I MAKE A CERTAIN MANEUVER WITH THE FORCEPS TO BE  
23 ABLE TO REMOVE THE PLACENTA AS INTACT AS POSSIBLE.

24 THEN, I USE AN INSTRUMENT CALL A "CURETTE." IT IS  
25 AN INSTRUMENT SHAPED LIKE A LONG SPOON THAT IS INTRODUCED INTO

1 THE UTERUS. IT GENTLY SCRAPES THE WALLS OF THE UTERUS TO BE  
2 SURE THAT THERE AREN'T ANY LITTLE FETAL PARTS OR ANY PARTS OF  
3 THE PLACENTA LEFT BEHIND.

4 AND THEN, TO COMPLETE THE PROCEDURE, I USE A SUCTION  
5 CANNULA. IT IS LIKE A LARGE STRAW. IT IS ATTACHED TO A  
6 SUCTION MACHINE, AND IT IS USED TO JUST GENTLY ASPIRATE THE  
7 UTERINE CAVITY.

8 Q. AND HOW LONG DOES THE ENTIRE SURGICAL PROCEDURE TAKE?

9 A. IT REALLY DEPENDS ON HOW DILATED THE CERVIX IS AND HOW  
10 INTACT THE FETUS IS AS I EXTRACT THE TISSUE. IF THE CERVIX IS  
11 VERY WELL-DILATED AND THE FETUS COMES DOWN EASILY, THEN IT CAN  
12 TAKE JUST A MATTER OF A FEW MINUTES. IT CAN TAKE AS LONG AS 10  
13 TO 15 MINUTES.

14 Q. ARE THERE ANY ADVANTAGES TO DOING THE PROCEDURE MORE  
15 QUICKLY?

16 A. ABSOLUTELY.

17 Q. WHAT ARE THEY?

18 A. WELL, ASSUMING THAT THE QUICK ONE IS WHERE THE FETUS IS  
19 INTACT, THEN YOU DON'T HAVE THE SHARP BROKEN BONES OF THE FETAL  
20 BODY THAT ARE POTENTIALLY ABLE TO LACERATE THE CERVIX AS THEY  
21 ARE EXTRACTED, SO THERE IS A DEFINITE DECREASED RISK TO THE  
22 MOTHER THEN.

23 ALSO, THE QUICKER THE PROCEDURE, THE SMALLER AMOUNT  
24 OF BLEEDING THAT WILL OCCUR. AND THEN, THIRDLY, THE  
25 ANESTHESIA, THE ENTIRE TIME THAT SHE'S ASLEEP UNDER THE

1 ANESTHESIA SHE IS AT SIGNIFICANT RISKS OF ASPIRATING. THAT IS  
2 A CONDITION WHERE THE STOMACH CONTENTS COME BACK UP INTO THE  
3 THROAT, AND THEN THEY ARE INHALED INTO THE LUNGS. IT IS A LIFE  
4 THREATENING CONDITION. AND OUR ANESTHESIA PEOPLE ARE  
5 CONSTANTLY VIGILANT FOR SUCH A SITUATION. AND BEING ABLE TO  
6 WAKE THE PATIENT UP VERY QUICKLY IS DEFINITELY IN HER INTEREST.

7 Q. DO YOU KNOW WHEN IN THE COURSE OF THE D&E PROCEDURE THAT  
8 FETAL DEMISE OCCURS?

9 A. I DON'T KNOW THAT.

10 Q. DO YOU PAY ATTENTION TO THAT?

11 A. I DON'T.

12 Q. WHY NOT?

13 A. MY GOAL IS TO COMPLETE THE PROCEDURE AS SAFELY AND QUICKLY  
14 AS POSSIBLE, SO I AM NOT PAYING ATTENTION TO IT.

15 Q. HOW LONG HAVE YOU BEEN DOING THE D&E PROCEDURE IN THE  
16 MANNER THAT YOU JUST DESCRIBED?

17 A. I WAS TAUGHT DURING MY RESIDENCY IN THE '70'S. AND I HAVE  
18 BASICALLY BEEN PERFORMING IT THE SAME WAY IN ALL THOSE YEARS,  
19 WITH SOME MODIFICATION.

20 WHEN I WENT TO PLANNED PARENTHOOD WE FOCUSED A  
21 LITTLE BIT MORE ON THE UNIFORM PREPARATION OF THE CERVIX AND SO  
22 THAT WE WOULD BE SURE WE HAD ADEQUATE DILATION AT THE TIME OF  
23 THE PROCEDURE.

24 Q. SO THE MOST SIGNIFICANT CHANGE YOU HAVE MADE TO THAT  
25 PROCEDURE OVER THE LAST 20 YEARS IS TO THE DILATION ASPECTS?

1 A. CORRECT.

2 Q. AND HOW HAVE YOU DONE THAT?

3 A. BY CONSISTENTLY USING THE TWO DAYS OF PREPARATION FOR THE  
4 OVER 18 WEEKS.

5 Q. DR. SHEEHAN, HAVE YOU EVER HAD A SITUATION WHERE THE FETUS  
6 COMES OUT INTACT OR PARTIALLY INTACT?

7 A. YES, I HAVE.

8 Q. AND HOW OFTEN DOES THAT OCCUR?

9 A. IT COMES OUT PARTIALLY INTACT VERY FREQUENTLY; COMPLETELY  
10 INTACT, LESS SO. JUST LAST WEEK I WAS WORKING WITH A RESIDENT  
11 FROM UCSD, AND WE HAD THREE OF OUR 12 CASES PROCEED SO THAT THE  
12 BODY OF THE FETUS CAME OUT COMPLETELY INTACT.

13 Q. DO YOU HAVE A PREFERENCE AS TO WHETHER THE FETUS COMES OUT  
14 INTACT OR PARTIALLY INTACT?

15 A. I DEFINITELY DO. I PREFER IT COME OUT INTACT.

16 Q. AND WHEN -- WHAT ARE THE FACTORS THAT INFLUENCES THAT THE  
17 FETUS WILL COME OUT INTACT OR PARTIALLY INTACT?

18 A. IT IS THE RELATIVE SIDES OF THE FETUS VERSUS THE RELATIVE  
19 APERTURE OF THE CERVIX THAT IT HAS TO COME THROUGH.

20 Q. WHEN A D&E RESULTS IN AN INTACT DELIVERY, WOULD YOU  
21 CHARACTERIZE THAT AS A VARIANT OF A D&E, OR A DIFFERENT KIND OF  
22 PROCEDURE ALTOGETHER?

23 A. ALL THE PROCEDURES THAT I AM PROVIDING TO GET THIS REQUEST  
24 OF THE PATIENT ACCOMPLISHED ARE, IN MY MIND, THE SAME  
25 PROCEDURE. I DON'T DIFFERENTIATE I AM DOING X, Y OR Z

1    PROCEDURE.  IT IS BASICALLY ALL UNDER THE TERMINATION TO ME OF  
2    D&E.

3    Q.  AND ARE THERE ANY SITUATIONS WHERE YOU WOULD EXPRESSLY WANT  
4    TO GET AN INTACT FETUS?

5    A.  THERE ARE.

6    Q.  WHAT ARE THOSE?

7    A.  I JUST HAD AN EXAMPLE OF THIS ABOUT TWO WEEKS AGO.  THERE  
8    WAS A YOUNG WOMAN WHO CAME UP FROM MEXICO.  HER FETAL CARE HAD  
9    BEGUN FAIRLY LATE, AND ON AN ULTRASOUND IT WAS IDENTIFIED THAT  
10   THERE WERE NUMEROUS FETAL ANOMALIES.

11                   SHE DECIDED NOT TO TAKE THE TIME TO HAVE AN  
12   AMNIOCENTESIS, BUT TO COME DIRECTLY TO HAVE A TERMINATION.

13                   THE DOCTOR TAKING CARE OF HER HAD TOLD HER THAT  
14   THESE ANOMALIES WERE NOT COMPATIBLE WITH LIFE, AND SO SHE WAS  
15   ADVISED TO COME AND HAVE A TERMINATION.

16                   IN THE SAME REQUEST, THEY WANTED TO HAVE AS MUCH  
17   INTACT TISSUE AS POSSIBLE SO THAT THEY COULD ANALYZE THE FETUS  
18   AFTER THE TERMINATION PROCEDURE SO THAT THEY WOULD LEARN MORE  
19   ABOUT THE CONDITION OF THE FETUS SO THAT THEY COULD GIVE ADVICE  
20   TO THIS YOUNG WOMAN ABOUT HER FUTURE PREGNANCIES.

21   Q.  DO YOU RECALL WHAT TYPE OF FETUS ANOMALIES THAT FETUS HAD?

22   A.  I HAD MULTICYSTIC KIDNEYS, ABNORMALITIES OF THE FACIAL  
23   BONES, AND A CARDIAC MALFORMATION.

24   Q.  COULD YOU HAVE DONE AN INDUCTION UNDER THOSE CIRCUMSTANCES?

25   A.  AN INDUCTION WAS OFFERED TO HER.  WHEN WE DISCUSS OPTIONS

1 WITH OUR PATIENTS, THIS IS ALWAYS AN OPTION OFFERED TO THEM.

2 WE DON'T ACTUALLY PROVIDE INDUCTION, SO IF SHE HAD ELECTED TO

3 DO THAT, WE WOULD HAVE REFERRED HER FOR THAT.

4 Q. SHE DIDN'T PURSUE IT. AND WHY WAS THAT?

5 A. SHE WAS HAPPY ENOUGH FOR US TO TELL HER THAT WE WOULD DO

6 THE BEST JOB WE COULD TO PROVIDE HER WITH INTACT TISSUE, BUT WE

7 COULDN'T GUARANTEE IT.

8 Q. ARE THERE ANY SITUATIONS WHERE AN INDUCTION IS

9 CONTRAINDICATED? BEFORE WE DO THAT, CAN YOU EXPLAIN FOR US

10 WHAT "CONTRAINDICATION" MEANS?

11 A. "CONTRAINDICATION" MEANS WHEN A PROCEDURE OR A MEDICINE

12 IS -- CARRIES MORE RISK THAN BENEFIT TO THE PATIENT, SO IT IS

13 ILL-ADVISED.

14 Q. DO YOU HAVE SITUATIONS WHERE AN INDUCTION WOULD BE

15 CONTRAINDICATED?

16 A. YES. INDUCTION IS USUALLY CARRIED OUT WITH PROSTAGLANDINS.

17 AND IF THE WOMAN HAS HAD ONE OR MORE PREVIOUS C-SECTIONS, THE

18 SCAR IN THE UTERUS IS CONSIDERED AN AREA OF WEAKNESS IN THE

19 UTERUS AND POTENTIAL RUPTURE, SO WOMEN WITH PREVIOUS C-SECTIONS

20 ARE GENERALLY ADVISED NOT TO HAVE INDUCTION.

21 Q. AND ARE THERE ANY OTHER SITUATIONS YOU'VE HAD WHERE A

22 PATIENT WOULD WANT AN INTACT FETUS THAT YOU CAN THINK OF?

23 A. IT IS GENERALLY FOR FETAL ANOMALY DIAGNOSIS.

24 MR. SIMPSON: YOUR HONOR, I AM GOING TO HAVE TO

25 OBJECT TO THIS LINE OF QUESTIONING. THE EXPERT'S REPORT IN



1 THIS CASE DOES NOT STATE THAT SHE DETERMINES TO DO PARTICULAR  
2 ABORTION IN A PARTICULAR WAY IN A PARTICULAR METHOD IN THE  
3 PRESENCE OF ANY PARTICULAR FETAL ANOMALIES. AND THAT THE  
4 WITNESS TESTIFIED IN HER DEPOSITION THAT SHE WOULD NOT BE  
5 TESTIFYING REGARDING THE NEED TO PERFORM ABORTION BY ANY  
6 PARTICULAR METHOD IN THE FACE OF FETAL ANOMALIES.

7 THE COURT: ALL RIGHT. ANY RESPONSE?

8 MS. GARTNER: I BELIEVE WE HAD A LOT OF QUESTIONS IN  
9 THE DEPOSITION ABOUT THIS AND THE FACT THAT DR. SHEEHAN DOES  
10 FETAL ANOMALIES, THAT SHE IS A REFERRAL SOURCE FOR FETAL  
11 ANOMALIES IN SAN DIEGO COUNTY, AND THE PROCEDURES SHE PERFORMS  
12 WHEN SHE IS PRESENTED WITH THOSE. AND AS SHE HAS INDICATED,  
13 SHE DOESN'T DO THE PROCEDURE ANY DIFFERENTLY FOR FETAL  
14 ANOMALIES THAN SHE DOES FOR ANY OTHER PREGNANCY TERMINATION.

15 THE COURT: I AM NOT SURE I UNDERSTAND EXACTLY WHAT  
16 SUBJECT IT IS YOU BELIEVE THAT SHE SHOULD NOT BE PERMITTED TO  
17 TESTIFY ON.

18 MR. SIMPSON: IF I COULD READ THE PORTION FROM THE  
19 DEPOSITION THAT I HAVE IN MIND. WOULD THE COURT LIKE TO SEE  
20 THE DEPOSITION, YOUR HONOR?

21 THE COURT: NO. JUST READ ME THE SECTION YOU ARE  
22 TALKING ABOUT.

23 MR. SIMPSON: IT IS ON PAGE 33, STARTING ON LINE 25.

24 "QUESTION: HAVE YOU PERFORMED ABORTIONS -- YOU MAY  
25 HAVE SAID THIS ALREADY. HAVE YOU PERFORMED

1 ABORTIONS IN CASES OF FETAL ANOMALIES?

2 "ANSWER: YES.

3 "QUESTION: IN ANY OF THOSE CASES HAVE YOU MADE A  
4 JUDGMENT AS TO WHETHER THE ABORTION WAS NEEDED  
5 BECAUSE OF A FETAL ANOMALY?

6 "ANSWER. NO."

7 THEN, ON PAGE 132, LINE 11:

8 "QUESTION: WILL YOU BE OFFERING ANY TESTIMONY AS TO  
9 THE NEED TO USE ANY PARTICULAR METHOD OF ABORTION IN  
10 THE PRESENCE OF ANY FETAL ANOMALIES?

11 "ANSWER: I BELIEVE I ANSWERED 'NO' BEFORE. IT IS  
12 THE SAME PROCEDURE AS FOR ANY OTHER."

13 THE COURT: ALL RIGHT. RESPONSE?

14 MS. PARKER: YES. MY RECOLLECTION FROM ATTENDING  
15 THE DEPOSITIONS -- I DON'T HAVE THE EXACT CITE -- IS THAT SHE  
16 SPECIFICALLY TESTIFIED ABOUT HAVING THE NEED TO HAVE AN INTACT  
17 FETUS FOR PURPOSES OF DOING A PATHOLOGICAL DIAGNOSIS. AND I  
18 BELIEVE SHE EVEN GAVE A SIMILAR TYPE OF EXAMPLE AT THE  
19 DEPOSITION.

20 THE COURT: IS THAT WHERE YOU ARE GOING WITH THIS  
21 LINE OF QUESTIONING?

22 MS. PARKER: ACTUALLY, I WAS MOVING ON TO THE NEXT  
23 AREA, SO --

24 THE COURT: ALL RIGHT. GO AHEAD.

25 BY MS. PARKER:

1 Q. DR. SHEEHAN, DO YOU AS A PHYSICIAN HAVE A PREFERENCE AS TO  
2 WHETHER THE FETUS EMERGES INTACT?

3 A. I DO. I BELIEVE THE PROCEDURE GOES MORE QUICKLY AND MORE  
4 SAFELY WHEN THE FETUS COMES OUT AS INTACT AS POSSIBLE.

5 Q. ARE THERE SITUATIONS WHERE YOU WERE DOING A D&E AND THERE  
6 WAS SUFFICIENT DILATION TO REMOVE THE FETUS, EXCEPT FOR THE  
7 HEAD?

8 A. YES.

9 Q. AND DOES IT HAPPEN -- HOW OFTEN DOES THAT HAPPEN?

10 A. THAT HAPPENS FAIRLY FREQUENTLY. IN FACT, I HAVE BEEN  
11 WORKING WITH A RESIDENT FROM UCSD, AS I ALLUDED EARLIER, AND  
12 JUST LAST WEEK THREE OF OUR 12 PROCEDURES PROCEEDED IN THIS  
13 WAY.

14 Q. AND WHY IS IT FREQUENT THAT THE FETUS EMERGES EXCEPT FOR  
15 THE HEAD?

16 A. THE HEAD IS THE LARGEST PART OF THE FETAL BODY.

17 Q. AND WHAT DO YOU DO WHEN THAT HAPPENS? WHAT IS YOUR  
18 PROCEDURE?

19 A. TYPICALLY, I CONTINUE TO PUT TRACTION ON THE FETAL BODY.  
20 IF IT IS ABLE TO SLIDE THROUGH THE CERVICAL APERTURE, THEN IT  
21 WILL. THAT IS RARE.

22 USUALLY WHAT HAPPENS IS WE NEED TO DISARTICULATE THE  
23 FETAL BODY AT THE NECK, AND THEN DO A COMPRESSION OF THE FETAL  
24 HEAD WITH A FORCEPS TO REMOVE THE HEAD.

25 Q. AND CAN YOU WAIT FOR THE FETAL HEAD TO DELIVER ON ITS OWN?

1 A. THAT IS NOT ADVISABLE.

2 Q. WHY IS THAT?

3 A. THE PATIENT IS UNDER ANESTHESIA, AND IT WOULD TAKE QUITE  
4 AWHILE FOR THE UTERUS TO LABOR ENOUGH TO OPEN THE CERVIX  
5 FURTHER TO ALLOW THE FETAL HEAD TO DELIVER.

6 Q. ARE THERE ANY SPECIAL RISKS IF THE FETUS EMERGES MORE  
7 INTACT THAN NOT?

8 A. I CAN'T THINK OF ANY.

9 Q. WHAT IS YOUR OVERALL EXPERIENCE WITH THE SAFETY OF D&E?

10 DO YOU HAVE AN OPINION ABOUT THE SAFETY OF D&E?

11 A. I THINK D&E'S ARE VERY SAFE PROCEDURE. WHEN DISCUSSING IT  
12 WITH MY PATIENTS, I ALWAYS UNDERLINE IT IS A VERY SAFE  
13 PROCEDURE. IT IS AT LEAST AS SAFE AS GOING TO TERM AND  
14 DELIVERING A BABY. IT IS PROBABLY SAFER THAN APPENDECTOMY OR  
15 TONSILLECTOMY.

16 WE ACTUALLY HAVE BEEN TRACKING STATISTICS AT OUR  
17 PLANNED PARENTHOOD AFFILIATE FOR A NUMBER OF YEARS AND HAVE  
18 FOUND THAT THE NUMBER OF COMPLICATIONS IN SECOND-TRIMESTER  
19 ABORTIONS IS ACTUALLY LESS THAN THE NUMBER IN THE  
20 FIRST-TRIMESTER. SO IT IS A VERY SMALL PERCENTAGE, LESS THAN  
21 1 PERCENT.

22 Q. AND HAVE YOU EVER HAD A SITUATION WHERE YOU HAVE HAD SOME  
23 COMPLICATIONS AS A RESULT OF THE D&E?

24 A. YES.

25 Q. WHAT TYPE OF COMPLICATIONS HAVE YOU EXPERIENCED?

1 A. WE HAVE HAD PERFORATIONS AND INSTANCES OF HEMORRHAGE AND  
2 INFECTION.

3 Q. AND HOW OFTEN HAVE YOU HAD A PERFORATION DURING A D&E  
4 PROCEDURE?

5 A. THE NUMBERS IN OUR AFFILIATE ARE USUALLY ONE OR TWO  
6 PERFORATIONS A YEAR, AND WE ARE DOING ABOUT 2,000 D&E'S PER  
7 YEAR.

8 Q. SO .1 PERCENT, I THINK, IF I DO THE MATH?

9 A. CORRECT.

10 Q. AND WERE ANY OF THOSE SITUATIONS WHERE YOU WERE SUCCESSFUL  
11 IN REMOVING THE FETUS INTACT?

12 A. NO.

13 Q. HAVE YOU EVER HAVE HAD A RETAINED PLACENTA IN PERFORMING A  
14 SECOND-TRIMESTER D&E?

15 A. NEVER.

16 Q. SO DO YOU EVER USE A CHEMICAL AGENT TO CAUSE FETAL DEMISE?

17 A. YES.

18 Q. WHAT IS THAT AGENT?

19 A. THE AGENT IS DIGOXIN.

20 Q. WHAT IS DIGOXIN?

21 A. DIGOXIN IS THE NAME FOR DIGITALIS, WHICH IS A CARDIAC  
22 MEDICINE THAT IS TYPICALLY USED FOR SPECIFIC CARDIAC  
23 CONDITIONS, MOST TYPICALLY HEART FAILURE.

24 Q. AND AT WHAT GESTATIONAL AGE DO YOU USE DIGOXIN?

25 A. WE START USING IT AT 22 WEEKS.

1 Q. WHY DO YOU CHOOSE 22 WEEKS?

2 A. WE LIKE TO PREVENT AN EVENTUALITY OF A LIVE BIRTH, AND  
3 BECAUSE IT SEEMS TO MAKE THE PROCEDURE MOVE ALONG A LITTLE BIT  
4 EASIER ON THE DAY OF THE PROCEDURE.

5 Q. ARE PATIENTS REQUIRED TO USE DIGOXIN AT 22 WEEKS?

6 A. NO. THEY ARE DEFINITELY GIVEN THE CHOICE.

7 Q. AND ARE THERE ANY PATIENTS FOR WHOM YOU WOULD NOT RECOMMEND  
8 DIGOXIN STARTING AT 22 WEEKS?

9 A. THERE IS A GROUP OF HEART CONDITIONS THAT ARE CONSIDERED  
10 CONDUCTION DEFECTS, WHERE THE ELECTRICAL CONDUCTION THAT PULSES  
11 THROUGH THE HEART IS ABNORMAL.

12 A PARTICULAR INSTANCE OF THAT WOULD BE  
13 WOLFF-PARKINSON-WHITE SYNDROME WHERE DIGOXIN WOULD BE  
14 CONTRAINDICATED, BECAUSE IT COULD POTENTIALLY INCREASE THE  
15 DYSFUNCTION OF THE ELECTRICAL CONDUCTION IN THE HEART.

16 Q. HAVE YOU EVER USED DIGOXIN BEFORE 22 WEEKS?

17 A. I HAVE.

18 Q. AND WHAT ARE THOSE CIRCUMSTANCES?

19 A. IT HAS BEEN IN A SITUATION WHERE THE PATIENT ACTUALLY  
20 REQUESTED IT.

21 Q. BUT YOU DON'T GENERALLY USE IT BEFORE 22 WEEKS; IS THAT  
22 RIGHT?

23 A. NO, I DON'T.

24 Q. AND WHY IS THAT?

25 A. IT WOULD BE AN ADDITIONAL UNNECESSARY PROCEDURE THAT WOULD

1 POTENTIALLY ADD RISK TO THE PROCEDURE.

2 Q. AND WHAT KIND OF RISKS ARE THERE?

3 A. RISK OF INFECTION OR RISK OF GOING INTO LABOR EARLY.

4 Q. HAVE YOU EVER SEEN A WOMAN WHO BECAME INFECTED AS A RESULT  
5 OF A DIGOXIN INJECTION?

6 A. I HAVE.

7 Q. CAN YOU DESCRIBE THAT FOR US?

8 A. I HAVE SEEN ONE PATIENT THAT I AM FAIRLY CERTAIN HAD  
9 INFECTION. SHE HAD WHAT IS DESCRIBED AS CHORIOAMNIONITIS,  
10 WHICH IS GENERALIZED INFECTION OF THE MEMBRANES INSIDE THE  
11 UTERUS AROUND THE FETUS.

12 IN ANOTHER CASE WHERE I WAS SUSPICIOUS THAT SHE WAS  
13 INFECTED BECAUSE OF THE ADMINISTRATION OF DIGOXIN, MAINLY  
14 BECAUSE SHE HAD A FEVER.

15 Q. AND YOU INDICATED DIGOXIN CAUSES FETAL DEMISE; IS THAT  
16 RIGHT?

17 A. CORRECT.

18 Q. DOES IT CAUSE IT IN EVERY SINGLE TIME IT IS ADMINISTERED?

19 A. NO, IT IS NOT 100 PERCENT UNIFORMLY SUCCESSFUL.

20 Q. AND WHY IS THAT? DO YOU HAVE ANY SENSE OF WHY IT IS NOT  
21 UNIFORMILY SUCCESSFUL?

22 A. WE ADMINISTER THE DIGOXIN WITH A NEEDLE THROUGH THE  
23 ABDOMINAL WALL OF THE WOMAN INTO THE UTERUS. WE ARE AIMING TO  
24 GET IT INTO THE FETAL HEART, OR AT LEAST INTO THE FETAL THORAX.  
25 HOWEVER, WE ARE NOT ABLE TO DO THAT EVERY TIME. IF WE ARE NOT

1 ABLE TO DO THAT, THEN WE ATTEMPT TO PUT THE DIGOXIN INTO THE  
2 AMNIOTIC FLUID.

3 AND IT SEEMS TO WORK LESS OFTEN WHEN IT IS JUST PUT  
4 INTO THE AMNIOTIC FLUID.

5 Q. WHAT PERCENTAGE OF TIME ARE YOU SUCCESSFUL IN GETTING THE  
6 DIGOXIN INTO THE FETAL HEART?

7 A. I WOULD SAY APPROXIMATELY 50 PERCENT.

8 Q. AND WHEN DO YOU ADMINISTER -- WHEN DO YOU ADMINISTER THE  
9 DIGOXIN WHEN YOU USE IT?

10 A. THE DAY BEFORE THE PROCEDURE.

11 Q. AND WHY DO YOU DO IT THEN?

12 A. WE STARTED DOING IT ON THE FIRST DAY OF LAMINARIA, AND WE  
13 FOUND TOO MANY WOMEN WERE GOING INTO LABOR EARLY.

14 Q. SO YOU NOW DO IT 24 HOURS IN ADVANCE OF THE ACTUAL  
15 PROCEDURE?

16 A. CORRECT.

17 Q. DO YOU KNOW WHEN IT TAKES EFFECT?

18 A. I HAVE NOT LOOKED AT THAT.

19 Q. HAVE YOU EVER USED A CHEMICAL AGENT CALLED "KCL," OR  
20 "POTASSIUM CHLORIDE" IN THE CONTEXT OF THE D&E PROCEDURE?

21 A. NO.

22 Q. SO, LET'S SEE.

23 MS. PARKER: MAY I APPROACH JUST FOR COLLECTION OF  
24 THE --

25 BY MS. PARKER:



- 1 Q. DR. SHEEHAN, AT THE BEGINNING OF OUR TESTIMONY YESTERDAY  
2 YOU INDICATED YOU WERE GOING TO TESTIFY ALSO ABOUT THE  
3 PARTIAL-BIRTH ABORTION BAN ACT AND THE IMPACT IN YOUR PRACTICE.  
4 DO YOU REMEMBER THAT?
- 5 A. YES.
- 6 Q. AND I'VE PUT UP HERE A SECTION OF THAT ACT. DO YOU SEE  
7 THAT?
- 8 A. I DO.
- 9 Q. AND HAVE YOU SEEN THAT BEFORE?
- 10 A. YES.
- 11 Q. WHEN DID YOU SEE IT?
- 12 A. WHEN THE ACT WAS PASSED.
- 13 Q. ARE YOU CONCERNED ABOUT THE POTENTIAL IMPACT OF THIS LAW ON  
14 YOUR PRACTICE AS YOU'VE DESCRIBED IT THIS MORNING?
- 15 A. I AM VERY CONCERNED.
- 16 Q. WHY ARE YOU VERY CONCERNED?
- 17 A. BECAUSE AS I'VE DISCUSSED, IT IS FREQUENT AND PREFERRED  
18 THAT I DO SOMETHING THAT IS DESCRIBED IN THIS ACT, WHICH IS  
19 THAT THE FETUS IS DELIVERED, IN THE CASE OF A BREECH  
20 PRESENTATION, TO THE -- ANY PART OF THE FETAL TRUNK IS PAST THE  
21 NAVEL. SO I AM AFRAID THAT WHAT I DO MEETS THE DESCRIPTION  
22 HERE IN THE ACT.
- 23 Q. AND IN ADDITION TO THE IMPACT ON YOUR OWN PRACTICE, DO YOU  
24 HAVE OTHER CONCERNS ABOUT THE IMPACT OF THE ACT?
- 25 A. I AM VERY CONCERNED. I HAVE HEARD A NUMBER OF PROVIDERS

1 DISCUSSING THAT THEY WOULD FIND IT DIFFICULT TO CONTINUE  
2 PROVIDING SECOND-TRIMESTER ABORTION CARE IF THIS ACT ACTUALLY  
3 WENT INTO EFFECT.

4 Q. AND WOULD THAT CREATE ANY PROBLEMS IF PEOPLE CEASE DOING  
5 SECOND-TRIMESTER ABORTION CARE?

6 A. ABSOLUTELY. IT WOULD MAKE IT MORE DIFFICULT FOR WOMEN WHO  
7 ARE SEEKING THESE PROCEDURES TO ACTUALLY GET THEM. THERE  
8 PROBABLY WOULD BE A DELAY IN ACCESSING THE CARE.

9 AND WE KNOW THAT EVERY WEEK THAT A WOMAN WAITS  
10 FURTHER INTO GESTATION, HER RISK OF COMPLICATION GOES UP BY  
11 50 PERCENT. SO IT IS ESSENTIAL THAT WOMEN SEEK THE CARE AS  
12 SOON AS POSSIBLE.

13 Q. AND WHY EXACTLY WOULD THIS ACT IMPACT ON YOUR PRACTICE, ON  
14 HOW YOU PERFORM YOUR PROCEDURES?

15 A. WELL, I WOULD BE CONCERNED ABOUT THE ATTENTION THAT I HAVE  
16 BEEN PLACING ON OBTAINING EXCELLENT CERVICAL DILATION, BECAUSE  
17 THAT PART OF THE PROCESS WHICH I BELIEVE CREATES THE SAFETY OF  
18 THE PROCEDURE WOULD BE ALSO PUTTING ME IN THE POSITION OF  
19 VIOLATING THE ACT.

20 SO I WOULD FEEL LIKE I WOULD HAVE TO CONSIDER NOT  
21 DILATING AS FAR, WHICH WOULD NOT BE PREFERABLE, BECAUSE THAT IS  
22 THE SAFETY -- THE SAFETY FEATURE OF MY PROCEDURE.

23 Q. AND DO YOU KNOW WHAT THE TERM "DELIBERATELY AND  
24 INTENTIONALLY" MEANS?

25 A. I DON'T UNDERSTAND IT. I KNOW A LITTLE BIT OF GRAMMAR, AND

1 I KNOW THOSE ARE ADVERBS, AND THEY SHOULD BE MODIFYING THE  
2 VERB, WHICH IS "DELIVERS." SO "DELIBERATELY AND INTENTIONALLY  
3 DELIVERING," THAT IS WHAT I DO. THAT IS WHAT I AM TRYING TO DO  
4 IN MY PROCEDURE.

5 Q. AND WHAT ABOUT THE TERM "LIVING FETUS," WHAT DOES THAT MEAN  
6 TO YOU?

7 A. IT WOULD BE A FETUS THAT STILL HAS A HEARTBEAT, AND THAT  
8 WOULD STILL APPLY TO MANY OF MY CASES.

9 Q. AND IN YOUR PRACTICE DO YOU BRING THE FETUS TO THE POINT  
10 WHERE THE FETAL TRUNK PAST THE NAVEL IS OUTSIDE THE BODY OF THE  
11 WOMAN?

12 A. YES, I DO. THAT'S WHAT I MAINLY DO.

13 Q. AND THAT HAPPENS OFTEN?

14 A. YES.

15 Q. AND YOU HAVE A PREFERENCE FOR THAT?

16 A. I DO.

17 Q. IN YOUR OPINION, COULD THIS LAW BE UNDERSTOOD AS VIOLATING  
18 D&E'S FOR DISARTICULATION OCCURS?

19 A. YES, THAT IS POSSIBLE.

20 Q. AND HOW WOULD THAT HAPPEN?

21 A. IF A DISARTICULATION PROCEDURE HAD STARTED, BUT THE FETUS  
22 THEN WAS DELIVERED TO THE LEVEL OF THE NAVEL, IT COULD STILL BE  
23 LIVING. THE HEARTBEAT COULD STILL BE GOING, AND THE FETUS  
24 WOULD HAVE ALREADY HAD A DISARTICULATION PROCEDURE STARTED.

25 Q. AND HAVE YOU ENCOUNTERED THOSE SITUATIONS IN YOUR PRACTICE?

1 A. YES.

2 Q. DO YOU KNOW WHAT THE TERM "OVERT ACT" MEANS?

3 A. NOT REALLY.

4 Q. NOW, YOU UNDERSTAND THAT THERE HAS BEEN AN INJUNCTION  
5 ENTERED IN THIS CASE, AND RIGHT NOW THE ACT IS NOT BEING  
6 ENFORCED; IS THAT RIGHT?

7 A. YES.

8 Q. IF THE INJUNCTION WERE LIFTED, DO YOU KNOW WHAT YOU WOULD  
9 DO WITH YOUR PRACTICE?

10 A. I WOULD HAVE TO CONSIDER LONG AND HARD HOW WE ARE PROVIDING  
11 D&E'S. THE EVOLUTION OF THE PROCESS THAT WE ARE DOING NOW HAS  
12 BEEN BASED ON TRYING TO ACHIEVE THE HIGHEST SAFETY FOR OUR  
13 PATIENTS, SO WE WOULD HAVE TO CONSIDER EACH PART OF THE  
14 PREPARATION AND THE PROCEDURE TO TRY TO AVOID VIOLATING THE  
15 BAN.

16 MS. PARKER: MAY I ALSO GET ANOTHER?

17 THE COURT: YES.

18 BY MS. PARKER:

19 Q. SO, DR. SHEEHAN, I WOULD LIKE TO SHOW YOU ANOTHER PORTION  
20 OF THE PARTIAL-BIRTH ABORTION BAN ACT, WHICH IS KNOWN AS THE  
21 "CIVIL ACTION PROVISIONS." DO YOU SEE THAT WHICH IS BEFORE  
22 YOU?

23 A. I DO.

24 Q. HAVE YOU SEEN THAT BEFORE?

25 A. I HAVE.

1 Q. AND THAT PROVISION STATES THAT IF THE FATHER IS MARRIED TO  
2 THE MOTHER AT THE TIME SHE RECEIVES A PARTIAL-BIRTH ABORTION  
3 PROCEDURE, AND IF THE MOTHER HAS NOT ATTAINED THE AGE OF 18  
4 YEARS AT THE TIME OF THE ABORTION, THE MATERNAL GRANDPARENTS OF  
5 THE FETUS MAY, IN A CIVIL ACTION, OBTAIN APPROPRIATE RELIEF,  
6 UNLESS THE PREGNANCY RESULTED FROM THE PLAINTIFF'S CRIMINAL  
7 CONDUCT OR THE PLAINTIFF CONSENTED TO THE ABORTION.

8 DO YOU SEE THAT?

9 A. YES.

10 Q. AND THAT INDICATES ESSENTIALLY THAT IF THE FATHER OR  
11 MATERNAL GRANDPARENTS OF THE FETUS COULD SUE, RIGHT, IF THEY  
12 DON'T GIVE CONSENT TO THE PROCEDURE?

13 A. YES.

14 Q. DO YOU CURRENTLY OBTAIN CONSENT FOR THE ABORTION FROM THE  
15 FATHER?

16 MR. SIMPSON: YOUR HONOR, I AM AFRAID WE HAVE TO  
17 OBJECT TO THIS LINE OF QUESTIONING. I DON'T BELIEVE THAT THERE  
18 IS ANY ALLEGATION IN THE COMPLAINT REGARDING THIS PROVISION.

19 THE COURT: AND SO YOUR OBJECTION IS IRRELEVANT?

20 MR. SIMPSON: EXACTLY.

21 THE COURT: OKAY.

22 MS. PARKER: BUT THIS GOES TO THE IMPACT OF THE ACT  
23 IF IT IS NOT ENJOINED ON HER PRACTICE.

24 THE COURT: I ACTUALLY WANT TO HEAR FROM ALL OF THE  
25 POSITIONS BY BOTH SIDES ABOUT THEIR OPINION AS TO THE IMPACT.

1 I WILL PERMIT THIS LINE OF QUESTIONING.

2 AND YOU MAY ASK YOUR EXPERTS THE SAME QUESTIONS, IF  
3 YOU WISH TO DO IT.

4 BY MS. PARKER:

5 Q. DR. SHEEHAN, DO YOU CURRENTLY OBTAIN CONSENT FOR THE  
6 ABORTION FROM THE FATHER?

7 A. I DON'T. THE DECISION TO OBTAIN AN ABORTION IS A VERY  
8 PRIVATE MATTER BETWEEN THE PATIENT AND ME, HER PHYSICIAN. SO I  
9 DON'T ASK THE PERMISSION OF ANYBODY ELSE.

10 Q. AND DO YOU EVER OBTAIN PERMISSION FROM THE MATERNAL  
11 GRANDPARENTS OF THE FETUS IF THE MOTHER IS NOT YET 18?

12 A. THAT WOULD BE MY PATIENT'S PARENTS, AND, NO, I DON'T ASK  
13 THEIR PERMISSION.

14 Q. AND IF THE ACT WENT INTO EFFECT WOULD YOU HAVE TO CHANGE  
15 YOUR PRACTICE IN THAT REGARD IN TERMS OF THE CONSENT YOU OBTAIN  
16 FOR THE PROCEDURE?

17 A. I WOULD.

18 Q. ARE YOU CONCERNED ABOUT THAT?

19 A. I AM VERY CONCERNED ABOUT THAT. I SEE PATIENTS IN A NUMBER  
20 OF SITUATIONS WHERE THIS WOULD REALLY CAUSE A SIGNIFICANT  
21 DISRUPTION IN THE RELATIONSHIP BETWEEN THE PATIENT. AND THE  
22 WOMEN WHO COME IN FOR SECOND-TRIMESTER PROCEDURES PARTICULARLY  
23 ARE IN SOME SORT OF CHAOTIC SITUATION IN THEIR LIVES.

24 FREQUENTLY MAYBE THEIR HUSBAND IS JUST LEAVING THEM.  
25 THEY ARE NOT IN GOOD RELATIONSHIPS WITH THEIR FAMILIES. AND TO

1 HAVE TO OBTAIN THE CONSENT OF SOMEBODY WHO IS NOT LOOKING OUT  
2 FOR THE PATIENT'S BEST INTEREST WOULD DEFINITELY CAUSE A  
3 PROBLEM.

4 MS. PARKER: THANK YOU, DR. SHEEHAN. I HAVE NO  
5 FURTHER QUESTIONS AT THIS TIME.

6 THE COURT: I JUST HAVE A FEW QUESTIONS BEFORE YOU  
7 START QUESTION, COUNSEL.

8 WITH REGARD TO THE PROCEDURE THAT YOU USE WHEN THE  
9 FETUS IS DELIVERED PRETTY MUCH INTACT AND THE HEAD IS LODGED,  
10 IS THE PROCEDURE THAT YOU DESCRIBE, THE DISARTICULATION OF THE  
11 NECK, THE ONLY PROCEDURE THAT YOU USE IN THAT SCENARIO?

12 THE WITNESS: IT IS NOT THE ONLY PROCEDURE. IF THE  
13 CERVIX IS ADEQUATELY DILATED AND EFFACED SO IT IS THIN, I CAN  
14 SOMETIMES GET ONE OF THE FORCEPS AROUND THE FETAL HEAD TO  
15 COMPRESS IT WITHOUT ACTUALLY DISARTICULATING.

16 THE COURT: OKAY. AND IS THE PREPARATION OF THE  
17 CERVIX THE SAME REGARDLESS OF WHETHER OR NOT THE PROCEDURE IS A  
18 D&E OR INTACT D&E?

19 THE WITNESS: I DON'T DIFFERENTIATE THOSE TWO  
20 PROCEDURES. I SET OUT TO DO A D&E TO OBTAIN THE TERMINATION OF  
21 THE PREGNANCY OF THE PATIENT, SO I DON'T REALLY CONSIDER MYSELF  
22 DOING INTACT D&E. IF THAT HAPPENS AS PART OF THE PROCESS, THEN  
23 I'M ACTUALLY RELIEVED, BECAUSE IT REDUCES THE RISK FOR THE  
24 PATIENT.

25 BUT, IT'S ALL PART OF THE SAME CONTINUUM TO ME.

1 THE COURT: ALL RIGHT. SO IS IT APPROPRIATE FOR ME  
2 TO ASSUME, THEN, FROM YOUR TESTIMONY THAT YOU HAVE NO CONTROL  
3 OVER WHETHER OR NOT THE EXTRACTION IS GOING TO BE INTACT OR  
4 PARTIAL?

5 THE WITNESS: YOU'RE EXACTLY RIGHT. THIS IS  
6 SOMETHING THAT HAPPENS AS WE EVALUATE THE CERVIX AT THE  
7 BEGINNING OF THE PROCEDURE. THE WOMAN HAS ALREADY GONE THROUGH  
8 TWO DAYS OF PREPARATION OF THE CERVIX. IT IS NOT UNTIL THAT  
9 MOMENT WHEN SHE IS ALREADY LYING ON THE TABLE AND ASLEEP WHEN I  
10 AM ABLE TO EVALUATE WHAT THE DILATION OF THE CERVIX IS.

11 SO IT IS AT THAT MOMENT THAT I AM ABLE TO HAVE MORE  
12 INFORMATION AND CAN MAKE A JUDGMENT ABOUT HOW THE PROCEDURE IS  
13 GOING TO PROCEED AT THAT POINT.

14 THE COURT: OKAY. ALL RIGHT. THANK YOU.

15 ALL RIGHT, MR. SIMPSON?

16 CROSS-EXAMINATION

17 BY MR. SIMPSON:

18 Q. GOOD MORNING, DR. SHEEHAN. HOW ARE YOU TODAY?

19 A. I AM FINE, MR. SIMPSON. HOW ARE YOU?

20 Q. GOOD. I BELIEVE YOU FIRST BECAME MEDICAL DIRECTOR OF  
21 PLANNED PARENTHOOD SAN DIEGO AND RIVERSIDE COUNTIES IN 1981?

22 A. THAT'S CORRECT.

23 Q. THAT WAS IN FEBRUARY OF 1981?

24 A. CORRECT.

25 Q. SINCE FEBRUARY OF 1981, DOCTOR, HOW MANY MEDICAL ARTICLES



1 HAVE YOU PUBLISHED?

2 A. I'VE BEEN PART OF TWO VERY MAJOR RESEARCH PROJECTS ON  
3 MEDICAL ABORTION THAT WERE RUN BY PLANNED PARENTHOOD  
4 FEDERATION. I WAS A PRINCIPAL INVESTIGATOR IN THOSE.

5 BUT THE ONLY ONE THAT ACTUALLY HAS MY NAME ON IT AS  
6 AUTHOR IS ONE.

7 Q. ONE. WHEN WAS THAT PUBLISHED?

8 A. I BELIEVE 1989.

9 Q. HAVE YOU EVER PUBLISHED ANYTHING ON ABORTION?

10 A. AS I SAY, I PARTICIPATED IN THE MEDICAL ABORTION TRIALS OF  
11 PLANNED PARENTHOOD FEDERATION OF AMERICA.

12 Q. BUT THAT ISN'T PUBLISHED IN A PEER-REVIEWED JOURNAL?

13 A. THEY ACTUALLY ARE PUBLISHED IN A NUMBER OF DIFFERENT  
14 JOURNALS AND DIFFERENT PARTS OF IT, BUT MY NAME IS NOT ON AS AN  
15 AUTHOR BECAUSE THERE WERE SO MANY PEOPLE INVOLVED WITH THE  
16 STUDIES.

17 Q. YOU TESTIFIED YESTERDAY, I BELIEVE, THAT YOU HAVE PERFORMED  
18 APPROXIMATELY 30,000 SURGICAL ABORTIONS THROUGHOUT YOUR CAREER?

19 A. THAT IS MY BEST GUESS.

20 Q. AND APPROXIMATELY 300 INDUCTION ABORTIONS?

21 A. DURING MY RESIDENCY.

22 Q. NOW, EARLIER TODAY YOU TESTIFIED THAT YOU HAVE PRACTICED  
23 SOME IN A HOSPITAL SETTING, CORRECT?

24 A. CORRECT.

25 Q. BUT LESS THAN 1 PERCENT OF THE ABORTIONS THAT YOU HAVE



1 PERFORMED HAVE BEEN IN A HOSPITAL SETTING?

2 A. CORRECT.

3 Q. APPROXIMATELY HOW MANY TIMES, DOCTOR, HAVE YOU DELIVERED  
4 BABIES DURING YOUR CAREER?

5 A. I STOPPED AFTER MY RESIDENCY, AND I APPROXIMATELY DELIVERED  
6 200 TO 300 BABIES DURING MY RESIDENCY.

7 Q. DID YOU TESTIFY DURING YOUR DEPOSITION THAT THAT NUMBER WAS  
8 200?

9 A. I BELIEVE I DID.

10 Q. SO THE NUMBER IS 200?

11 A. YES.

12 Q. SO YOU HAVE NOT DELIVERED BABIES SINCE YOUR RESIDENCY ENDED  
13 IN 1978?

14 A. CORRECT.

15 Q. IN PERFORMING ABORTIONS, DOCTOR, DO YOU MAKE ANY JUDGMENT  
16 AS TO WHETHER AN ABORTION IS NEEDED BECAUSE OF MATERNAL HEALTH  
17 CONDITIONS?

18 A. I DON'T MAKE THOSE DETERMINATIONS. IF THE PATIENT HAS BEEN  
19 WORKING WITH AN OBSTETRICIAN FOR HER CARE, AND THEN IS ADVISED  
20 AND SHE DECIDES TO HAVE A TERMINATION, THEN SHE MAY BE REFERRED  
21 TO ME.

22 Q. AND YOU ALSO DON'T MAKE ANY JUDGMENTS AS TO WHETHER AN  
23 ABORTION IS NEEDED BECAUSE OF A FETAL ANOMALY, CORRECT?

24 A. I DON'T MAKE THAT DECISION.

25 Q. YESTERDAY YOU TESTIFIED THAT PLANNED PARENTHOOD SAN DIEGO



1 PERFORMS ABORTIONS DUE TO THE PRESENCE OF A FETAL ANOMALY.  
2 BUT, IN REALITY, YOU DON'T MAKE THE DETERMINATION WHETHER THE  
3 ABORTION IS NEEDED IN THOSE INSTANCES?

4 A. THAT'S CORRECT.

5 Q. IN FACT, ISN'T IT TRUE THAT IT IS THE POLICY OF PLANNED  
6 PARENTHOOD TO PERFORM ABORTIONS FOR WOMEN WHO COME AND HAVE  
7 ADEQUATE INFORMATION TO MAKE A DECISION AND CHOOSE TO HAVE AN  
8 ABORTION?

9 A. THAT'S CORRECT.

10 MR. SIMPSON: YOUR HONOR, COULD I APPROACH THE  
11 WITNESS, PLEASE?

12 MS. PARKER: COULD YOU LET US KNOW WHAT EXHIBIT YOU  
13 ARE USING?

14 MR. SIMPSON: I HAVE GIVEN THE WITNESS EXHIBIT A-27.  
15 DEFENDANT'S A-27.

16 BY MR. SIMPSON:

17 Q. DR. SHEEHAN, EXHIBIT A-27, DOES THAT APPEAR TO BE AN E-MAIL  
18 FROM KAREN SHEA TO DEENA MAEROWITZ?

19 I AM REFERRING TO THE PORTION IN THE MIDDLE OF THE  
20 PAGE.

21 A. IT DOES SAY THE ORIGINAL MESSAGE IS FROM KAREN SHEA TO  
22 DEENA MAEROWITZ.

23 Q. HAVE YOU EVER SEEN THIS DOCUMENT BEFORE?

24 A. YES.

25 Q. THIS DOCUMENT INDICATES THAT KAREN SHEA IS THE CLINICAL



1 SERVICES MANAGER FOR PLANNED PARENTHOOD FEDERATION OF AMERICA;

2 IS THAT WHAT IT SAYS?

3 A. YES, IT DOES SAY THAT.

4 Q. DO YOU KNOW FOR A FACT THAT KAREN SHEA IS THE CLINICAL  
5 SERVICES MANAGER FOR PPFA?

6 A. I DON'T KNOW IF THAT IS STILL HER TITLE.

7 Q. DO YOU KNOW WHETHER THAT WAS HER POSITION IN NOVEMBER OF  
8 2002?

9 A. YES.

10 Q. IN THAT CAPACITY, DID KAREN SHEA OVERSEE THE MEDICAL  
11 PROTOCOLS TO BE FOLLOWED BY PPFA AFFILIATES?

12 A. I AM NOT SURE HER ROLE IS OVERSIGHT OF THE MEDICAL  
13 PROTOCOLS. SHE WAS INVOLVED WITH MAKING THEM.

14 Q. YOU GAVE A DEPOSITION IN THIS CASE; IS THAT CORRECT,  
15 DR. SHEEHAN?

16 A. YES.

17 Q. I ASSUME YOU TESTIFIED TRUTHFULLY DURING YOUR DEPOSITION?

18 A. I AM SURE I DID.

19 Q. DID YOU GET A CHANCE TO REVIEW A TRANSCRIPT OF YOUR  
20 DEPOSITION AFTERWARDS?

21 A. I DID.

22 MR. SIMPSON: YOUR HONOR, I AM GOING TO BE READING  
23 TO HER SOME FROM HER DEPOSITION. WOULD YOU LIKE ME TO HAND UP  
24 A COPY OF THE DEPOSITION?

25 THE COURT: YOU SHOULD LODGE THE ORIGINAL BEFORE YOU





1 USE IT. AND PLEASE ALLOW HER TO READ THE SECTION. IF YOU ARE  
2 GOING TO USE IT FOR IMPEACHMENT PURPOSES, ALLOW HER TO READ THE  
3 SECTION FIRST TO HERSELF.

4 MR. SIMPSON: CERTAINLY.

5 BY MR. SIMPSON:

6 Q. IN FACT, DR. SHEEHAN, LET ME HAND YOU A COPY OF YOUR  
7 DEPOSITION. IF YOU CAN REFER TO PAGE 47, PLEASE. I AM GOING  
8 TO BE READING STARTING FROM LINE 14 ON PAGE 47.

9 "QUESTION: HAVE YOU HAD CONTACT WITH KAREN  
10 SHEA IN HER CAPACITY AS CLINICAL SERVICES MANAGER  
11 FOR PPFA?

12 "ANSWER: YES.

13 "QUESTION: WHAT DOES SHE DO IN THAT CAPACITY?

14 "ANSWER: I BELIEVE SHE OVERSEES THE PROTOCOLS FOR  
15 PPFA.

16 "QUESTION: WHAT DO YOU MEAN BY 'OVERSEES THE  
17 PROTOCOLS'?

18 "ANSWER: SHE MANAGES THE AMENDMENTS AND  
19 COMMUNICATES THOSE TO THE AFFILIATES."

20 YOU TESTIFIED TRUTHFULLY AT THAT TIME, CORRECT?

21 A. YES.

22 Q. WOULD KAREN SHEA, THEN, BE RESPONSIBLE FOR INDICATING IN  
23 THE PROTOCOLS ANY PPFA-WIDE CHANGES IN THE WAY THAT PPFA  
24 AFFILIATES PERFORM ABORTIONS?

25 A. THE PROCESS OF PROTOCOLS IS ONE THAT PPFA MAKES STANDARDS



1 THAT ARE VERY GENERAL. AND THEN THE LOCAL AFFILIATES MAKE  
2 THEIR OWN PROTOCOLS FROM THOSE. SO, THEY DON'T TELL PLANNED  
3 PARENTHOOD HOW TO DO THE ABORTIONS.

4 Q. WHAT, THEN, IS THE PURPOSE OF THE PROTOCOLS?

5 A. TO MAKE SURE THAT THE AFFILIATES HAVE CERTAIN STANDARDS  
6 THAT THEY MUST MEET.

7 Q. SO THEN, THE PPFA AFFILIATES NEED TO FOLLOW THE PROTOCOLS  
8 THAT PPFA ESTABLISHES?

9 A. RIGHT. BUT THE AFFILIATE PROTOCOLS ARE MUCH MORE SPECIFIC  
10 THAN THE GENERAL STANDARDS OF THE PLANNED PARENTHOOD  
11 FEDERATION.

12 Q. DOCTOR, IF I COULD REFER YOU BACK TO EXHIBIT A-27, THE  
13 E-MAIL.

14 MS. PARKER: I AM GOING TO OBJECT, YOUR HONOR,  
15 BECAUSE THIS HAS NOT YET BEEN ENTERED INTO EVIDENCE, SO IT IS  
16 NOT A FORMAL EXHIBIT.

17 THE COURT: WELL, IF YOU REFER TO IT AS 27 FOR  
18 PURPOSES OF IDENTIFICATION. IT HAS NOT YET BEEN MOVED INTO  
19 EVIDENCE.

20 MR. SIMPSON: THANK YOU, YOUR HONOR.

21 BY MR. SIMPSON:

22 Q. THERE IS A SECTION THERE ENTITLED "INTACT D&X." DO YOU SEE  
23 THAT?

24 A. I DO.

25 Q. IT SAYS:



1 "INTACT D&X (D&X INTACT DILATION AND  
2 EXTRACTION, PARTIAL-BIRTH ABORTION) CONSISTS OF THE  
3 FOLLOWING FOUR ELEMENTS: ONE, DELIBERATE DILATION  
4 OF THE CERVIX, USUALLY OVER A SEQUENCE OF DAYS; TWO,  
5 INSTRUMENTAL CONVERSION OF THE FETUS TO A FOOTLING  
6 BREECH; THREE, BREECH EXTRACTION OF THE BODY  
7 EXCEPTING THE HEAD; AND FOUR, PARTIAL EVACUATION OF  
8 THE INTRACRANIAL CONTENTS OF THE LIVING FETUS TO  
9 EFFECT VAGINAL DELIVERY OF A DEAD, BUT OTHERWISE  
10 INTACT FETUS."

11 DID I READ THAT CORRECTLY, DOCTOR?

12 A. YOU DID READ THAT.

13 Q. HAVE YOU EVER PERFORMED THE INTACT D&X PROCEDURE DESCRIBED  
14 THERE?

15 A. NO.

16 MR. SIMPSON: YOUR HONOR, I WOULD LIKE TO MOVE  
17 EXHIBIT A-27 INTO EVIDENCE.

18 THE COURT: ON WHAT BASIS?

19 MR. SIMPSON: YOUR HONOR, IT IS -- IT IS NOT  
20 HEARSAY. WE ARE OFFERING IT -- WE ARE NOT OFFERING IT FOR ITS  
21 TRUTH. WE ARE OFFERING IT FOR THE FACT THAT THE CLINICAL  
22 SERVICES MANAGER AT PPFA STATED THAT INTACT D&X CONSISTS OF  
23 THESE ELEMENTS.

24 THE COURT: I DON'T UNDERSTAND WHAT THE RELEVANCE IS  
25 IF IT IS NOT OFFERED FOR THE TRUTH. OF WHAT IMPORT IS THE FACT



1 THAT THIS MANAGER BELIEVED THAT THIS WAS THE DEFINITION? WHAT  
2 DOES THAT MEAN?

3 MR. SIMPSON: YOUR HONOR, PART OF THE PLAINTIFFS'  
4 ARGUMENT HERE HAS BEEN AND IS AND WE THINK WILL BE THAT  
5 BASICALLY THERE IS NO SUCH PROCEDURE AS INTACT D&X AS A  
6 SEPARATE PROCEDURE. THE FACT THAT THE PERSON AT PPFA  
7 RESPONSIBLE FOR ESTABLISHING MEDICAL PROTOCOLS FOR PPFA  
8 AFFILIATES HAS STATED THAT THERE IS A PROCEDURE CALLED "INTACT  
9 D&X," AND IT HAS THOSE PARTICULAR ELEMENTS, WE BELIEVE IS VERY  
10 RELEVANT TO OUR ASSERTION THAT THE PLAINTIFFS' ARGUMENT IN THAT  
11 REGARD IS INCORRECT.

12 THE COURT: BUT NEITHER OF THE -- NEITHER AUTHOR NOR  
13 THE RECIPIENT WHO REQUESTED THE INFORMATION IS GOING TO BE A  
14 WITNESS; IS THAT CORRECT?

15 MR. SIMPSON: THAT'S CORRECT, YOUR HONOR.

16 THE COURT: HOW DO WE KNOW THAT THE INFORMATION  
17 PROVIDED IS THE OFFICIAL POSITION OF PLANNED PARENTHOOD?

18 MR. SIMPSON: YOUR HONOR, ALL WE ARE SAYING IS  
19 THAT -- I SUPPOSE THAT QUESTION CONSISTS OF TWO DIFFERENT  
20 ELEMENTS: AUTHENTICITY, ONE; AND MORE IMMEDIATELY, WHETHER  
21 THIS IS A POSITION OF PLANNED PARENTHOOD.

22 ON THE AUTHENTICITY ELEMENT OF IT, PPFA HAS PRODUCED  
23 THIS DOCUMENT TO US. IT BEARS PPFA'S BATES STAMP IN THE LOWER  
24 RIGHT CORNER. AND ONE WOULD THINK THAT PPFA WOULD NOT PRODUCE  
25 TO US AN E-MAIL BEARING INDICATIONS THAT IT WAS WRITTEN BY A





1 PPFA OFFICER UNLESS IT WERE, IN FACT, AN E-MAIL WRITTEN BY A  
2 PPFA OFFICER.

3 MS. PARKER: IF I MIGHT, YOUR HONOR.

4 THE COURT: WAIT. WAIT. AND THE SECOND ISSUE?

5 MR. SIMPSON: THE SECOND ONE, YOUR HONOR, WE HAVE  
6 ESTABLISHED THAT THIS PERSON IS RESPONSIBLE FOR SETTING THE  
7 PROTOCOLS THAT PPFA AFFILIATES ARE TO FOLLOW.

8 THE COURT: ARE YOU OFFERING THIS AS ONE OF THOSE  
9 PROTOCOLS?

10 MR. SIMPSON: NO. NO, YOUR HONOR. BUT THE  
11 PROTOCOLS INDICATE THE MANNER IN WHICH PPFA AFFILIATES SHOULD  
12 PERFORM THEIR SERVICES. OBVIOUSLY, ONE OF THE SERVICES THEY  
13 PERFORM IS ABORTION. AND THIS SETS OUT HOW THIS PERSON  
14 VIEWS --

15 THE COURT: THE DIFFICULTY THAT I AM HAVING -- I  
16 ALWAYS HAVE A LOT OF DIFFICULTY. IN ALL MY TRIALS EVERYBODY  
17 WANTS TO SUBMIT E-MAILS. AND THERE IS A REAL DIFFICULTY IN MY  
18 VIEW OF ME ACCEPTING THIS E-MAIL AS AN OFFICIAL POSITION OF THE  
19 EMPLOYER OF EITHER THE RECIPIENT OR THE AUTHOR WITHOUT HEARING  
20 FROM THEM EXACTLY WHAT WAS MEANT BY THIS.

21 I MEAN, I WOULD AGREE WITH YOU THAT IF THE  
22 INFORMATION CONTAINED IN THIS, IN THE RESPONSE TO THE E-MAIL  
23 WAS, INDEED, REFLECTIVE OF THE PROTOCOL, THAT THAT WOULD BE  
24 PERTINENT INFORMATION.

25 BUT I AM NOT SURE THAT IT IS. I HAVE READ THIS. I



1 AM NOT EXACTLY SURE HOW OFFICIAL THIS IS. IF IT IS NOT THE  
2 OFFICIAL POSITION, IF IT IS ONLY THE BELIEF OF THE MANAGER  
3 INVOLVED, I AM NOT SURE THAT IS ENOUGH.

4 MR. SIMPSON: YOUR HONOR, IF I COULD ASK THE WITNESS  
5 ONE MORE QUESTION BEFORE THE COURT MAKES A DECISION ON THAT.

6 BY MR. SIMPSON:

7 Q. DR. SHEEHAN, DO THE PROTOCOLS THAT PPFA ESTABLISHES THROUGH  
8 KAREN SHEA, DO THOSE PROTOCOLS TO SOME EXTENT DESCRIBE HOW PPFA  
9 AFFILIATES SHOULD PERFORM VARIOUS METHODS OF ABORTION?

10 A. NO. THIS IS NOT PART OF THE PROTOCOL.

11 Q. I UNDERSTAND, DOCTOR, THIS IS NOT PART OF THE PROTOCOL.  
12 BUT DO THE PROTOCOLS SET FORTH HOW ABORTIONS ARE PERFORMED?

13 A. NOT IN THIS DEGREE OF SPECIFICITY.

14 MR. SIMPSON: COULD I APPROACH THE WITNESS, PLEASE,  
15 YOUR HONOR?

16 THE COURT: YES.

17 MS. PARKER: COULD WE HAVE A COPY OF WHAT YOU ARE  
18 PROVIDING?

19 MR. SIMPSON: SURE. IT IS A-31.

20 IF I COULD HAVE THE COURT'S INDULGENCE FOR A MOMENT  
21 TO GET A DIFFERENT EXHIBIT.

22 BY MR. SIMPSON:

23 Q. DOCTOR, I HAVE JUST GIVEN YOU EXHIBIT A-31. I WOULD ALSO  
24 LIKE TO GIVE YOU A-11.

25 DO YOU RECOGNIZE EXHIBIT A-11, DOCTOR?



1 A. YES.

2 Q. WHAT IS THAT?

3 A. THIS IS A SECTION FROM THE MANUAL OF MEDICAL STANDARDS AND  
4 GUIDELINES OF PLANNED PARENTHOOD FEDERATION OF AMERICA.

5 Q. DOES THAT CONSIST OF -- DOES THAT CONSTITUTE TO SOME EXTENT  
6 THE PROTOCOLS THAT PPFA PROVIDES TO ITS AFFILIATES?

7 A. THIS IS NOT PART OF THE PROTOCOL. THIS IS PART OF  
8 INFORMATION THAT IS GIVEN TO THE PATIENTS. THIS IS CALLED A  
9 "CLIENT INFORMATION FOR INFORMED CONSENT."

10 Q. DOES PPFA -- I'M SORRY. DOES PLANNED PARENTHOOD SAN DIEGO  
11 USE THE INFORMATION FROM THE MANUAL TO ANY EXTENT IN  
12 ESTABLISHING ITS PROTOCOLS?

13 A. WE DEFINITELY USE THE INFORMATION FROM THE PROTOCOL -- FROM  
14 THE STANDARDS AND GUIDELINES TO MAKE OUR PROTOCOLS. THERE IS A  
15 STRONG DISTINCTION BETWEEN WHAT IS EXPECTED AS STANDARDS OF  
16 BEHAVIOR AND CARE, MEDICAL CARE, AND THE INFORMATION THAT IS  
17 GIVEN TO THE PATIENTS AS INFORMED CONSENT.

18 Q. WOULD YOU AGREE, DOCTOR, THAT EXHIBIT A-31 TO SOME EXTENT  
19 SETS FORTH THE WAY -- I AM SORRY, EXHIBIT -- WOULD YOU AGREE,  
20 DOCTOR, THAT EXHIBIT A-27 TO SOME EXTENT INDICATES THE WAY THAT  
21 ABORTIONS SHOULD BE PERFORMED?

22 A. NO. THIS HAS NOTHING AT ALL WITH DIRECTION TO THE  
23 AFFILIATES ABOUT HOW ABORTIONS SHOULD BE PERFORMED.

24 Q. I APOLOGIZE IF I ASKED THIS ALREADY, DOCTOR. DO THE  
25 PROTOCOLS THAT COME FROM PPFA SET FORTH STEPS FOR ABORTIONS?



1 A. COULD YOU BE MORE SPECIFIC? THEY SET FORTH STANDARDS THAT  
2 ARE EXPECTED TO BE MET, BUT THERE IS NO COOKBOOK:

3 "FIRST YOU DO THIS, FIRST. THEN YOU DO THIS."

4 IT IS NOT A HOW-TO-DO-AN-ABORTION PROTOCOL. IT IS:  
5 WHAT STANDARDS MUST BE MET FOR THE PATIENT'S SAFETY?

6 Q. WHAT DOES THE WORD "PROTOCOL" MEAN TO YOU, DOCTOR, IN A  
7 MEDICAL CONTEXT?

8 A. THE PROTOCOLS ARE WHAT IS GENERATED AT THE LOCAL AFFILIATE  
9 LEVEL, AND THAT IS WHERE THE INFORMATION IS THAT SAYS:

10 "YOU WILL DO THIS, AND YOU WILL DO THAT."

11 BUT THE STANDARDS AND GUIDELINES THAT COME FROM  
12 PLANNED PARENTHOOD FEDERATION ARE JUST THAT: THEY ARE  
13 STANDARDS AND GUIDELINES.

14 Q. DOCTOR, YOU TESTIFIED IN YOUR DEPOSITION THAT DOCTOR -- I  
15 AM SORRY -- THAT KAREN SHEA ESTABLISHES PROTOCOLS THAT PPFA  
16 SENDS OUT TO ITS AFFILIATES.

17 A. I THINK WE ARE HAVING A SEMANTIC ARGUMENT, BECAUSE WHAT SHE  
18 IS IN CHARGE OF IS THE STANDARDS AND GUIDELINES.

19 Q. AND THE STANDARDS AND GUIDELINES WOULD SET FORTH WHAT?

20 A. WHAT THE STANDARDS ARE THAT PLANNED PARENTHOOD AFFILIATES  
21 HAVE TO DO TO BE ABLE TO CONTINUE TO BE CONSIDERED PLANNED  
22 PARENTHOOD.

23 MR. SIMPSON: IF I COULD HAVE ONE MORE MOMENT.

24 (PAUSE IN THE PROCEEDINGS.)

25 MR. SIMPSON: YOUR HONOR, I BELIEVE THAT WE HAVE





1 ESTABLISHED AT LEAST PPFA PROVIDES TO ITS AFFILIATES AT LEAST  
2 THE STANDARDS AND GUIDELINES THAT THE AFFILIATES NEED TO FOLLOW  
3 IN PROVIDING ABORTION SERVICES.

4 THE WITNESS DID TESTIFY AT HER DEPOSITION THAT KAREN  
5 SHEA, THE PERSON WHO WROTE THIS E-MAIL, DOES PROVIDE OR  
6 PARTICIPATE IN PROVIDING PROTOCOLS TO PLANNED PARENTHOOD  
7 AFFILIATES. AND WE BELIEVE THAT -- AND THIS WITNESS ALSO HAS  
8 TESTIFIED -- THAT KAREN SHEA IS THE CLINICAL SERVICES MANAGER,  
9 IN FACT, FOR PPFA.

10 SO WE WOULD SUBMIT, YOUR HONOR, THAT THIS E-MAIL CAN  
11 BE ADMITTED, NOT FOR ITS TRUTH, BUT TO SHOW THAT THE PERSON  
12 RESPONSIBLE FOR SETTING FORTH PPFA PROTOCOLS TO THE AFFILIATES  
13 DEFINES "INTACT D&X" IN THIS WAY.

14 THE COURT: I UNDERSTAND. RESPONSE?

15 MS. PARKER: I AM GOING TO OBJECT, LACK OF  
16 FOUNDATION. WE DON'T HAVE MS. SHEA WITH US, NOR DO WE HAVE THE  
17 RECIPIENT, DEENA MAEROWITZ, OR THE COPIED -- PERSON WHO WAS  
18 COPIED, ELIZABETH TALMONT. THERE IS A COMPLETE LACK OF  
19 FOUNDATION.

20 PLUS, IT WAS CLASSIC HEARSAY, BECAUSE THEY ARE  
21 CLEARLY TRYING TO INTRODUCE IT FOR THE TRUTH OF WHAT IS IN IT,  
22 THAT, IN FACT, THIS IS WHAT PPFA BELIEVES "D&X, INTACT D&X" TO  
23 BE.

24 AND I DON'T BELIEVE THAT DR. SHEEHAN HAS DONE  
25 ANYTHING TO SATISFY EITHER THE FOUNDATIONAL OR THE HEARSAY



1 EXCEPTION REQUIREMENTS THAT MR. SIMPSON SEEMS TO BE ATTEMPTING.

2 MR. SIMPSON: IF I CAN JUST READ ONE MORE. I DON'T  
3 THINK I READ THIS BEFORE, YOUR HONOR, FROM DR. SHEEHAN'S  
4 DEPOSITION.

5 "QUESTION: WHAT DO YOU MEAN BY 'OVERSEES THE  
6 PROTOCOLS'?

7 "ANSWER: SHE MANAGES THE AMENDMENTS AND  
8 COMMUNICATES THEM TO THE AFFILIATES.

9 "QUESTION: MANAGES AMENDMENTS OF THE PROTOCOLS?

10 "ANSWER: I BELIEVE SO."

11 AND I BELIEVE I HAVE READ THIS LAST ONE BEFORE.

12 "QUESTION: SO YOU ARE TALKING ABOUT PROTOCOLS  
13 AFFILIATES ARE TO FOLLOW IN PROVIDING MEDICAL  
14 PROCEDURES?

15 "ANSWER: CORRECT."

16 THE COURT: I AM GOING TO SUSTAIN THE OBJECTION ON  
17 FOUNDATION GROUNDS AND HEARSAY GROUNDS. CERTAINLY IT SEEMS TO  
18 ME THAT IF THIS DOCUMENT IS AS IMPORTANT AS YOU SEEM TO BELIEVE  
19 IT IS, YOU COULD HAVE SIMPLY NAMED THE AUTHOR AS A WITNESS. IN  
20 THIS CASE YOU HAVE NOT DONE SO. IT IS NOT APPROPRIATE TO BRING  
21 IT IN THROUGH THIS WITNESS' TESTIMONY OR THE LAST WITNESS'  
22 TESTIMONY.

23 MR. SIMPSON: THANK YOU, YOUR HONOR.

24 BY MR. SIMPSON:

25 Q. DOCTOR, I THINK YOU'VE TESTIFIED THAT TO PREPARE THE CERVIX



1 FOR D&E YOU SOMETIMES USE LAMINARIA?

2 A. CORRECT.

3 Q. AND LAMINARIA IS A CLASS OF OSMOTIC DILATORS?

4 A. THAT'S CORRECT.

5 Q. WHEN YOU INSERT LAMINARIA, YOU HAVE TO INSERT THEM ALL THE  
6 WAY THROUGH INTO THE INTERNAL OS, CORRECT?

7 A. CORRECT.

8 Q. DO THE LAMINARIA THEN SOMETIMES BREAK THE AMNIOTIC SAC?

9 A. YES, THAT HAPPENS.

10 Q. A D&E ROUTINELY INVOLVES DISARTICULATION OF THE FETUS.

11 A. IT CERTAINLY DOES HAPPEN. I DON'T THINK I WOULD USE THE  
12 WORD "ROUTINELY," BUT PART OF THE CONTINUUM OF THE PRACTICE OF  
13 D&E.

14 Q. IF I COULD REFER YOU, DOCTOR, TO YOUR DEPOSITION. PAGE 59.  
15 START READING ON LINE 20.

16 "QUESTION: IF I COULD REFER YOU BACK TO THE E-MAIL,  
17 EXHIBIT 6."

18 THE COURT: COUNSEL, THE PROCEDURE FOR A WITNESS NOT  
19 A PARTY IS TO ALLOW THEM TO READ. IDENTIFY THE PAGE AND LINE  
20 NUMBER AND READ IT TO THEMSELVES FIRST.

21 MR. SIMPSON: SILENTLY, CERTAINLY.

22 THE COURT: SILENTLY AFTER SHE AFFIRMS THAT IS THE  
23 TESTIMONY SHE GAVE.

24 MR. SIMPSON: OKAY. CERTAINLY. THAT IS FINE.

25 ///



1 BY MR. SIMPSON:

2 Q. DOCTOR, IF I COULD HAVE YOU READ SILENTLY PAGE 59, FROM  
3 LINE 20, TO PAGE 60, LINE 13.

4 ARE YOU DONE WITH THAT?

5 A. I AM.

6 Q. IS IT CORRECT THERE, DOCTOR, THAT I WAS ASKING YOU ABOUT  
7 INTACT D&X AS IT IS DESCRIBED IN EXHIBIT A-27, THE E-MAIL?

8 A. THAT'S RIGHT.

9 Q. AND DID I NOT ASK YOU AT WHAT POINT IN GESTATION YOU  
10 THOUGHT THAT PROCEDURE COULD BE USED?

11 A. THAT'S CORRECT.

12 Q. IF I COULD START ON PAGE 60, LINE 4:

13 "QUESTION: WHY DO YOU THINK IT IS NOT USUALLY USED  
14 AT ANY POINT IN THE SECOND-TRIMESTER?

15 "ANSWER: BECAUSE ROUTINE D&E IS USUALLY AN ADEQUATE  
16 PROCEDURE."

17 YOU SAID "ROUTINE D&E," THERE, CORRECT?

18 A. YES.

19 Q. "QUESTION: WHAT DO YOU MEAN BY 'ROUTINE D&E'?

20 "ANSWER: THE D&E AS DESCRIBED HERE ON THIS  
21 EXHIBIT 6.

22 "QUESTION: AND BY 'ROUTINE D&E,' DO YOU MEAN D&E  
23 CHARACTERIZED BY A DISARTICULATION?

24 "ANSWER: OR BY SUCTION AND CURETTAGE."

25 IS THAT WHAT YOU SAID?





1 A. CORRECT.

2 Q. SO "'ROUTINE D&E" INVOLVES DISARTICULATION OF THE FETUS?

3 A. USUALLY.

4 Q. AND DOCTOR, IN YOUR EXPERIENCE IN DOING A D&E, THE  
5 DISARTICULATION OF THE FETUS USUALLY OCCURS AT THE JOINTS,  
6 CORRECT?

7 A. YES.

8 Q. AND IN RELATION TO THE MOTHER, IN RELATION TO THE MOTHER'S  
9 BODY, THE DISARTICULATION USUALLY OCCURS INSIDE THE UTERUS?

10 A. IT OCCURS RIGHT AT THE POINT OF THE CERVIX WHERE THE CERVIX  
11 IS THE SMALLEST APERTURE THAT IT HAS TO PASS THROUGH, AND THAT  
12 IS WHAT CAUSES THE TRACTION.

13 Q. INSIDE THE CERVICAL OS?

14 A. YES.

15 Q. ARE YOU AWARE OF ANY STUDIES, DOCTOR, REGARDING MATERNAL  
16 INJURY FROM THE FRAGMENTS OF THE FETUS IN A D&E?

17 A. YES.

18 Q. YOU ARE? IF I COULD REFER YOU TO 123 OF YOUR DEPOSITION.  
19 PAGE 123, FROM LINE 8 THROUGH LINE 17. IF YOU COULD READ THAT  
20 TO YOURSELF, PLEASE.

21 A. UH-HUH.

22 Q. AND THE TRANSCRIPT SAYS, STARTING ON LINE 8:

23 "ARE YOU AWARE OF ANY STUDIES REGARDING MATERNAL  
24 INJURY FROM FRAGMENTS OF THE FETUS?

25 ANSWER: YES.



1 "QUESTION: CAN YOU TELL ME ANY AUTHORS OR TITLES OF  
2 THOSE STUDIES?

3 "ANSWER: I'M SORRY. I MISUNDERSTOOD YOUR QUESTION.  
4 I THOUGHT YOU WERE ASKING ME IF I KNEW OF CASES.

5 "QUESTION: ANY PUBLISHED STUDIES REGARDING INJURY  
6 TO THE MOTHER FROM FETAL FRAGMENTS?

7 "ANSWER: SPECIFICALLY, NO."  
8 IS THAT YOUR TESTIMONY NOW?

9 A. YES.

10 Q. NOW, DOCTOR, CAN YOU TELL US, PLEASE, WHAT INSTRUMENTS YOU  
11 USE IN PERFORMING A D&E?

12 A. I USE A SPECULUM TO VIEW THE CERVIX. I USE A TENACULUM TO  
13 STABILIZE THE CERVIX. I USE A RING FORCEPS TO MANIPULATE WITH.  
14 I USE ANY ONE OF A NUMBER OF DIFFERENT TYPES OF FORCEPS TO  
15 EXTRACT THE FETAL PARTS. AND I USE A CANNULA, SUCTION CANNULA.

16 Q. YOU SAID "RING FORCEPS." CAN YOU DESCRIBE RING FORCEPS TO  
17 US?

18 A. RING FORCEPS ARE -- THEY ARE AN INSTRUMENT THAT IS KIND OF  
19 LIKE A SCISSORS, AND THEN IT HAS TWO CIRCLES FOR YOUR FINGERS.  
20 THERE IS CROSSED BLADES, AND AT THE END OF THE BLADE IS A ROUND  
21 APERTURE, LIKE A RING. AND THAT IS WHY THEY ARE CALLED  
22 "RINGS." AND THOSE TWO RINGS MEET EACH OTHER AT THE END SO YOU  
23 CAN GRASP THINGS WITH THEM.

24 Q. IS THAT THE SAME THING AS AN OVUM FORCEPS?

25 A. YES.



1 Q. YOU SAID "OTHER FORCEPS." CAN YOU IDENTIFY THOSE FOR US,  
2 PLEASE?  
3 A. BY NAME?  
4 Q. YES.  
5 A. IT WOULD BE SOPHER, BIERER AND BLUMENTHAL FORCEPS.  
6 Q. DO THOSE FORCEPS HAVE TEETH?  
7 A. YES, THEY ARE SERRATED.  
8 Q. WHERE THEY COME TOGETHER?  
9 A. CORRECT.  
10 Q. ARE THE TEETH ON THE INSIDE WHERE THEY COME TOGETHER?  
11 A. YES.  
12 Q. DOCTOR, IN PERFORMING A D&E, YOU GENERALLY REACH FOR  
13 WHATEVER PART, FETAL PART PRESENTS AT THE CERVIX, CORRECT?  
14 A. USING THE ULTRASOUND, I ASCERTAIN WHAT THE FETAL  
15 PRESENTATION IS, AND I TRY VERY HARD TO GET THE SMALL PARTS  
16 FIRST.  
17 Q. YOU GENERALLY REACH FOR WHATEVER PART PRESENTS AT THE  
18 CERVIX?  
19 A. I USE THE ULTRASOUND TO SHOW THAT I -- HELP ME GET TO THE  
20 SMALL PARTS OF THE FETUS THAT ARE CLOSEST TO THE CERVIX.  
21 Q. DOCTOR, IF A PHYSICIAN IS PERFORMING A D&E AND IS  
22 ATTEMPTING TO DISARTICULATE THE FETUS, AN OBSERVER WHO IS  
23 WATCHING THAT WOULD BE ABLE TO PERCEIVE, BASED ON THE  
24 PHYSICIAN'S MOVEMENTS WITH THE FORCEPS, THAT THE PHYSICIAN IS  
25 ATTEMPTING TO DISARTICULATE; IS THAT CORRECT?



1 A. NO, BECAUSE IT GENERALLY JUST LOOKS LIKE DOWNWARD TRACTION.

2 Q. YOU ARE TELLING ME THAT THE PHYSICIAN DOES NOT MAKE CERTAIN  
3 DISTINCTIVE MANEUVERS WITH THE FORCEPS WHEN THE INTENT IS TO  
4 DISARTICULATE?

5 A. CORRECT.

6 Q. DOCTOR, I WOULD LIKE TO SHOW YOU ANOTHER PART OF YOUR  
7 DEPOSITION. THIS PART WAS TRANSCRIBED SEPARATELY BECAUSE THIS  
8 PART WAS DESIGNATED AS "CONFIDENTIAL" BY YOUR ATTORNEY.

9 MR. SIMPSON: IF I COULD HAND THIS UP TO THE COURT,  
10 AS WELL.

11 YOUR HONOR, IF I COULD HAVE JUST HAVE A MOMENT.

12 YOUR HONOR, AT THIS POINT I NEED TO READ ABOUT A  
13 HALF PAGE OF MATERIAL FROM THAT CONFIDENTIAL PORTION. I HAVE  
14 THAT PARTICULAR PAGE --

15 THE COURT: ARE YOU USING THIS FOR IMPEACHMENT  
16 PURPOSES?

17 MR. SIMPSON: YES, I AM, YOUR HONOR. ABSOLUTELY. I  
18 HAVE THIS PARTICULAR PAGE HIGHLIGHTED, SO I CAN SHOW THE COURT  
19 AND PLAINTIFF'S COUNSEL EXACTLY WHAT LINES I WANT TO READ  
20 WITHOUT HAVING TO IDENTIFY THEM YET TO THE WITNESS UNTIL I  
21 ACTUALLY SHOW HER.

22 AND I AM READY TO ASK QUESTIONS ON IT. IF I COULD  
23 HAND THIS UP, AS WELL.

24 NOW, YOUR HONOR, WE DON'T PARTICULARLY AGREE WITH  
25 THE DESIGNATION OF THIS PORTION OF THE TRANSCRIPT AS





1 "CONFIDENTIAL" BY PLAINTIFF'S COUNSEL, BUT ONE COULD READ THE  
2 PROTECTIVE ORDER IN THIS CASE AS REQUIRING ME TO SEEK THE  
3 COURT'S CONSIDERATION BEFORE I READ THIS.

4 SO, AGAIN, IT IS THAT PART HIGHLIGHTED IN YELLOW.

5 MS. PARKER: YOUR HONOR, WE ARE NOT CONCERNED WITH  
6 THE CONFIDENTIALITY OF THIS PARTICULAR PORTION OF THE  
7 TRANSCRIPT. THE PORTION OF THE TRANSCRIPT WAS DESIGNATED  
8 "CONFIDENTIAL" BECAUSE OF A MEDICAL RECORD THAT WAS BEING USED  
9 WITH THE QUESTIONING, BUT IT DOESN'T APPEAR HE IS ATTEMPTING TO  
10 PUT THAT INTO THE RECORD AT THIS TIME.

11 MR. SIMPSON: THANK YOU.

12 THE COURT: WOULD YOU IDENTIFY THE PORTION TO THE  
13 WITNESS?

14 BY MR. SIMPSON:

15 Q. DOCTOR, COULD YOU TURN TO PAGE 15 IN THAT CONFIDENTIAL  
16 TRANSCRIPT, PLEASE? AND READ SILENTLY, PLEASE, VERSES -- I AM  
17 SORRY -- LINES 3 THROUGH 14.

18 ARE YOU DONE WITH THAT? THANK YOU.

19 MS. PARKER: ACTUALLY, I APOLOGIZE. WE HAVE AN  
20 ISSUE THAT IS RAISED WITH THIS PORTION OF THE TRANSCRIPT. WE  
21 ARE NOT SURE IF WE WERE EVER GIVEN A PORTION OF THE  
22 CONFIDENTIALITY SECTION FOR DR. SHEEHAN TO REVIEW AND SIGN.

23 AND SO BEFORE YOU BEGIN ASKING HER QUESTIONS ABOUT  
24 THIS SECTION, I THINK YOU NEED TO ESTABLISH THAT FOUNDATION.  
25 WHEN YOU ASKED HER PREVIOUSLY IF SHE HAD REVIEWED AND SIGNED



1 HER TRANSCRIPT, SHE WAS REFERRING TO THE NONCONFIDENTIAL  
2 PORTION OF IT.

3 BY MR. SIMPSON:

4 Q. DOCTOR, IS IT YOUR RECOLLECTION THAT DURING YOUR DEPOSITION  
5 THERE WAS A PORTION OF THE -- ACTUALLY, TWO PORTIONS OF THE  
6 DEPOSITION THAT WERE DESIGNATED AS "CONFIDENTIAL" BY YOUR  
7 ATTORNEY?

8 A. I DO RECALL THAT.

9 Q. DID YOU RECEIVE A COPY OF THOSE PORTIONS OF THE DEPOSITION  
10 TO REVIEW?

11 A. I DID NOT.

12 Q. BUT YOU RECEIVED A COPY OF THE REST OF THE DEPOSITION TO  
13 REVIEW?

14 A. CORRECT.

15 Q. DID YOU TESTIFY TRUTHFULLY DURING THE PORTIONS OF THE  
16 DEPOSITION THAT WERE DESIGNATED AS "CONFIDENTIAL"?

17 A. YES.

18 Q. I AM GOING TO READ TO YOU, DOCTOR, THE PORTION -- THOSE  
19 LINES THAT I HAVE DESIGNATED. THEN, I WILL ASK YOU WHETHER YOU  
20 GAVE THAT TESTIMONY.

21 THE COURT: JUST A MOMENT. BEFORE YOU DO IT, YOU  
22 HAVE READ THAT PORTION TO YOURSELF?

23 THE WITNESS: JUST NOW.

24 THE COURT: YES. DOES THAT ACCURATELY REFLECT YOUR  
25 TESTIMONY?



1 THE WITNESS: YES.

2 MR. SIMPSON: THANK YOU, YOUR HONOR.

3 BY MR. SIMPSON:

4 Q. DOCTOR, DURING YOUR DEPOSITION DID I ASK YOU ABOUT THE  
5 RECORDS OF A PARTICULAR ABORTION?

6 A. YES.

7 Q. AND THAT WAS AN ABORTION THAT YOU HAD OBSERVED?

8 A. CORRECT.

9 Q. YOU DID NOT PERFORM IT, BUT YOU OBSERVED IT?

10 A. CORRECT.

11 Q. I WOULD LIKE TO READ NOW THOSE LINES THREE THROUGH 14 ON  
12 PAGE 15:

13 "QUESTION YOU MAY HAVE TOLD ME THIS ALREADY. IF  
14 SO, I APOLOGIZE. YOU TOLD ME THAT YOU KNOW THE  
15 PHYSICIAN WAS NOT ATTEMPTING TO TURN THE LIE OF THE  
16 FETUS. HOW DO YOU KNOW THAT THEY WERE NOT TRYING TO  
17 DO THIS?

18 "ANSWER: BECAUSE THE PHYSICIAN WAS DISARTICULATING  
19 AND ATTEMPTING DISARTICULATION.

20 "QUESTION: BUT SHE DID NOT SUCCEED IN DOING THAT?

21 "ANSWER: CORRECT.

22 "QUESTION: HOW DO YOU KNOW SHE WAS ATTEMPTING  
23 DISARTICULATION?

24 "ANSWER: BECAUSE I COULD OBSERVE HER MANEUVERS WITH  
25 THE FORCEPS."



1                   COULD I ASK YOU AGAIN IS THAT WHAT YOU TESTIFIED?

2    A.   YES.

3    Q.   COULD I ASK YOU AGAIN, DOCTOR, ISN'T IT TRUE THAT IN  
4    PERFORMING AN ABORTION IN ATTEMPTING TO DISARTICULATE, THE  
5    PHYSICIAN MAKES CERTAIN MANEUVERS WHEN THE INTENT IS TO  
6    DISARTICULATE?

7    A.   THE MANEUVERS THAT A PHYSICIAN MAKES ARE TO ATTEMPT TO  
8    GRASP THE SMALL PARTS OF THE FETUS AND BRING THEM DOWN TO THE  
9    LEVEL OF THE CERVIX WHERE THE TRACTION MADE BY THAT SMALLER  
10   APERTURE CAUSES FRICTION OR PRESSURE AGAINST THE FETAL BODY,  
11   AND THEN THE PARTS DISARTICULATE.

12   Q.   YOU TESTIFIED IN YOUR DEPOSITION, DOCTOR, THAT YOU KNEW  
13   THAT THIS OTHER PHYSICIAN WAS ATTEMPTING DISARTICULATION,  
14   QUOTE:

15                   "BECAUSE I COULD OBSERVE HER MANEUVERS WITH THE  
16                   FORCEPS," CLOSE QUOTE.

17                   WHY DID YOU SAY THAT?

18   A.   SHE WAS ATTEMPTING TO REACH THE SMALL PARTS OF THE FETUS.  
19   SHE WAS ATTEMPTING TO MAKE CONTACT WITH THE FETUS.

20   Q.   LET ME ASK YOU THIS WAY, DOCTOR:  WHEN THE INTENT IS TO  
21   REMOVE THE FETUS INTACT, ARE THERE DIFFERENT TYPES OF MANEUVERS  
22   THAT THE PHYSICIAN USES?

23   A.   THOUGH MY INTENT WHEN I DO A D&E IS TO TRY TO PRESERVE THE  
24   MOST INTACT, THE FETUS, I NEVER MAKE AN ATTEMPT TO COMPLETELY  
25   REVERSE THE LIE OF THE FETUS.  IS THAT WHAT YOU ARE ASKING?





1 Q. THAT WAS NOT MY QUESTION. IS IT TRUE, DOCTOR, WE HAVE  
2 TALKED ABOUT WHEN THE PHYSICIAN IS ATTEMPTING DISARTICULATION,  
3 I HAVE ASKED YOU WHETHER THERE ARE CERTAIN MANEUVERS THAT THE  
4 PHYSICIAN USES WITH THE FORCEPS TO DO THAT.

5 NOW, I AM ASKING ABOUT THE OTHER SIDE OF IT. WHEN  
6 THE PHYSICIAN IS ATTEMPTING TO REMOVE THE FETUS INTACT, ARE  
7 THERE CERTAIN DISTINCT MANEUVERS THAT THE PHYSICIAN USES?

8 A. THEY ARE BASICALLY THE SAME MANEUVERS, AS LONG AS THE FETAL  
9 PARTS ARE LOW AND YOU ARE ATTEMPTING TO REACH THOSE.

10 Q. IF I COULD HAVE YOU TURN TO YOUR DEPOSITION, DOCTOR. THE  
11 WHOLE PART, THE MAIN PART, NOT THE CONFIDENTIAL PART.

12 PAGE 101, PLEASE.

13 IF I COULD ASK YOU TO READ, DOCTOR, TO YOURSELF  
14 PAGE 101, LINE 22 THROUGH PAGE 102, LINE 8.

15 HAVE YOU READ THAT?

16 A. UH-HUH.

17 Q. THANK YOU.

18 IF I COULD READ THAT TO YOU, PAGE 101, STARTING ON  
19 LINE 22.

20 AND I SHOULD SAY FIRST THIS QUESTION REFERS TO YOUR  
21 EXPERT REPORT; IS THAT CORRECT?

22 A. UH-HUH.

23 Q. "QUESTION: COULD YOU DESCRIBE, DOCTOR, WHAT YOU MEAN IN  
24 PARAGRAPH 4 BY YOUR 'BEST EFFORTS TO REMOVE THE  
25 FETUS INTACT'?



1 "ANSWER: I THINK I ALREADY DESCRIBED THAT, BUT WHAT  
2 I ATTEMPT TO DO IS TO GRASP THE FETAL FEET WITH THE  
3 INSTRUMENT, AND PUTTING GENTLE TRACTION ON THAT  
4 FETAL EXTREMITY, I TRY TO TEASE THE TISSUE DOWN SO  
5 THAT THE FETUS COMES DOWN FEET FIRST THROUGH THE  
6 CERVIX, THE PELVIS AND THE THORAX, AND I ACTUALLY  
7 GET THE ARMS OUT AND JUST USE GENTLE TRACTION,  
8 RATHER THAN USING THE KIND OF CRUSHING AND  
9 COMPRESSING GESTURES THAT ONE WOULD USE TO DO THE  
10 DISARTICULATION."

11 IS THAT WHAT YOU SAID?

12 A. YES.

13 Q. ISN'T IT TRUE, DOCTOR, THAT WHEN YOU WERE OBSERVING THE  
14 OTHER PHYSICIAN DO THE ABORTION THAT WE DISCUSSED DURING THE  
15 CONFIDENTIAL PORTION OF YOUR DEPOSITION, ISN'T IT TRUE THAT YOU  
16 COULD OBSERVE THAT SHE WAS ATTEMPTING DISARTICULATION BECAUSE  
17 SHE WAS USING THE CRUSHING AND COMPRESSING GESTURES THAT YOU  
18 REFERRED TO IN WHAT WE JUST READ?

19 A. WHAT SHE WAS TRYING TO DO WAS MAKE CONTACT WITH THE FETUS.  
20 SHE HAD NOT SUCCESSFULLY DONE THAT. SHE WAS EXPLORING THE  
21 UTERUS WITH HER FORCEPS TO ATTEMPT TO MAKE CONTACT WITH THE  
22 FETUS, AND TO BRING IT DOWN.

23 Q. DOCTOR, IT APPEARS THAT YOUR TESTIMONY DURING THE  
24 CONFIDENTIAL PORTION OF YOUR DEPOSITION IS INCONSISTENT WITH  
25 WHAT YOU ARE SAYING NOW. YOU SAID DURING THAT CONFIDENTIAL



1 PORTION:

2 "QUESTION: YOU TOLD ME THAT YOU KNOW THE PHYSICIAN  
3 WAS NOT ATTEMPTING TO TURN THE LIE OF THE FETUS.  
4 HOW DO YOU KNOW THAT THEY WERE NOT TRYING TO DO  
5 THIS?

6 "ANSWER: BECAUSE THE PHYSICIAN WAS DISARTICULATING  
7 AND ATTEMPTING DISARTICULATION.

8 "QUESTION: BUT SHE DID NOT SUCCEED IN DOING THAT?

9 "ANSWER: CORRECT.

10 "QUESTION: HOW DO YOU KNOW THAT SHE WAS ATTEMPTING  
11 DISARTICULATION?

12 "ANSWER: BECAUSE I COULD OBSERVE HER MANEUVERS WITH  
13 THE FORCEPS."

14 YOU ARE TELLING US NOW THAT THERE ARE NO SUCH  
15 GESTURES.

16 A. I THINK THE DISTINCTION IS THAT SHE -- THE DIFFERENCE OF  
17 WHAT SHE WAS DOING WAS INTRODUCING THE FORCEPS, OPENING THEM  
18 WIDE, COMPRESSING, AND TRYING TO BRING DOWN THE FETAL PART SO  
19 SHE COULD START THE PROCEDURE.

20 THE PROCEDURE THAT WE USE IS GENERALLY  
21 DISARTICULATION AND CRUSHING MANEUVERS TO GET THE FETUS SMALL  
22 ENOUGH TO FIT THROUGH THE APERTURE.

23 I WAS TRAINING THIS PERSON. I KNEW EXACTLY WHAT HER  
24 MANEUVERS WERE TRYING TO DO. SHE WAS NOT REACHING UP INSIDE  
25 THE UTERUS TO TRY TO GET THE FETAL FEET THAT WERE AT THE TOP OF



1 THE UTERUS AND REVERSE THE LIE OF THE UTERUS.

2 SHE WAS JUST TRYING TO GET -- GRAB ONTO THE FETUS  
3 AND BRING IT DOWN.

4 Q. BACK TO YOUR TESTIMONY ON PAGE 2 OF YOUR DEPOSITION, YOU  
5 WOULD AGREE NOW THAT THERE ARE CRUSHING AND COMPRESSING  
6 GESTURES THAT ONE WOULD USE TO DO THE DISARTICULATION OF A  
7 FETUS?

8 A. IT'S BASICALLY THE SAME: YOU CLOSE -- YOU OPEN AND CLOSE  
9 THE FORCEPS. AND IF YOU OPEN AND CLOSE IT ON A FETAL PART AND  
10 WITH TRACTION, THE WHOLE FETUS COMES DOWN, THAT IS GOOD. IF  
11 YOU OPEN AND CLOSE IT AND THERE IS TOO MUCH TRACTION AGAINST  
12 THE CERVIX SO THAT IT DISARTICULATES, THEN YOU GET  
13 DISARTICULATION.

14 Q. AND YOU WOULD AGREE WITH YOUR PRIOR TESTIMONY, I ASSUME,  
15 THAT WHEN YOU ARE ATTEMPTING TO REMOVE THE FETUS INTACT, YOU  
16 USE GENTLE TRACTION TO TRY TO TEASE THE TISSUE DOWN?

17 A. IT'S STILL THE SAME BASIC MANEUVER: YOU PUT -- YOU OPEN  
18 THE FORCEPS. YOU CLOSE IT ON THE FETAL PART, AND YOU BRING IT  
19 DOWN.

20 Q. YOUR TESTIMONY IN THE DEPOSITION WAS TRUTHFUL?

21 A. YES.

22 Q. DOCTOR, DO YOU KNOW -- DO YOU RECOLLECT IN THE CASE THAT WE  
23 DISCUSSED IN THE CONFIDENTIAL PORTION OF YOUR DEPOSITION, DO  
24 YOU RECOLLECT APPROXIMATELY THE GESTATIONAL AGE OF THAT FETUS?

25 A. I BELIEVE IT WAS 23 WEEKS.





1 Q. DO YOU KNOW WHY THE PHYSICIAN WAS NOT ATTEMPTING TO REMOVE  
2 THE FETUS INTACT?

3 A. SHE WAS AT THE VERY EARLY STAGES OF THE PROCEDURE RIGHT  
4 AFTER THE RUPTURE OF THE AMNIOTIC MEMBRANES. AND SHE WAS JUST  
5 INTENDING TO MAKE HER FIRST CONTACT WITH THE FETUS.

6 Q. YOU SAID IN YOUR DEPOSITION THAT SHE WAS ATTEMPTING TO  
7 DISARTICULATE.

8 A. BECAUSE THAT IS WHAT WE GENERALLY DO.

9 Q. SO SHE WAS NOT ATTEMPTING TO REMOVE THE FETUS INTACT?

10 A. I THINK YOU ARE NOT UNDERSTANDING THAT WE ARE TRYING TO DO  
11 THE SAME THING: WE ARE TRYING TO BRING THE FETUS DOWN TO THE  
12 LEVEL OF THE CERVIX. IF THE FETUS COMES OUT WITHOUT A LOT OF  
13 FRICTION AGAINST THE CERVIX, THEN IT COMES OUT INTACT. IF IT  
14 DOESN'T, THEN IT DISARTICULATES.

15 Q. I THINK YOU'VE TESTIFIED, DOCTOR, THAT YOU SOMETIMES HAVE  
16 TO COMPRESS THE FETAL SKULL TO COMPLETE THE REMOVAL OF THE  
17 FETUS?

18 A. CORRECT.

19 Q. YOUR PURPOSE IN COMPRESSING THE SKULL IS NOT NECESSARILY TO  
20 CAUSE FETAL DEMISE?

21 A. NO.

22 Q. YOU MAY HAVE TESTIFIED ABOUT THIS ALREADY, DOCTOR. IN  
23 PERFORMING A SECOND-TRIMESTER ABORTION, A SURGICAL ABORTION,  
24 YOU USE ULTRASOUND?

25 A. CORRECT.



1 Q. USING ULTRASOUND REDUCES THE RISK OF LEAVING FETAL PARTS  
2 INSIDE THE UTERUS?

3 A. YES.

4 Q. THE USE OF ULTRASOUND ALSO REDUCES THE RISK OF  
5 UNINTENTIONALLY INJURING THE PATIENT WITH YOUR INSTRUMENTS?

6 A. YES.

7 Q. IN APPROXIMATELY WHAT PERCENTAGE OF YOUR SECOND-TRIMESTER  
8 SURGICAL ABORTIONS HAVE YOU UNINTENTIONALLY INJURED THE PATIENT  
9 WITH AN INSTRUMENT?

10 A. I CAN TELL YOU THAT PERSONALLY LESS THAN 0.1 PERCENT OF THE  
11 TIME.

12 Q. THIS IS A REMARKABLY SMALL PERCENTAGE, CORRECT?

13 A. YES, IT IS.

14 Q. YOU ALSO BELIEVE THAT THE USE OF ULTRASOUND DECREASES THE  
15 NUMBER OF TIMES THAT YOU HAVE TO REACH IN INTO THE CERVIX TO  
16 GET A FETAL PART?

17 A. IT DOES HELP YOU DIRECT THE FORCEPS.

18 Q. IT REDUCES THE NUMBER OF TIMES THAT YOU HAVE TO REACH IN  
19 WITH THE FORCEPS?

20 A. IT PROBABLY DOES. IT IS NOT CERTAIN THAT IT DOES.

21 Q. DOCTOR, I THINK YOU'VE TESTIFIED THAT IN YOUR OPINION THE  
22 INTACT REMOVAL OF THE FETUS IN A SECOND-TRIMESTER ABORTION IS  
23 SAFER FOR THE WOMAN THAN REMOVAL BY DISARTICULATION?

24 A. YES.

25 Q. CAN YOU IDENTIFY, DOCTOR, FOR US WHICH RISKS OF D&E THAT



1 YOU BELIEVE ARE REDUCED BY REMOVING THE FETUS INTACT?

2 A. SPECIFICALLY, INJURY TO THE UTERUS WITH THE FORCEPS,  
3 LACERATION OF THE CERVIX WITH THE SHARP FETAL PARTS, REDUCTION  
4 OF BLOOD LOSS BY A QUICKER PROCEDURE, REDUCTION OF ANESTHETIC  
5 RISK BY A SHORTER PROCEDURE.

6 Q. IS THAT WHAT YOU CAN THINK OF?

7 A. YES.

8 Q. CAN YOU TELL US, DOCTOR, FOR EACH OF THOSE, PLEASE, CAN YOU  
9 QUANTIFY FOR US TO WHAT DEGREE AN INTACT REMOVAL REDUCES EACH  
10 OF THOSE RISKS?

11 FIRST OF ALL, INJURY WITH FORCEPS. CAN YOU QUANTIFY  
12 FOR US SOME PERCENTAGE OF THE REDUCTION OF THE RISK THAT YOU  
13 SEE IN INTACT REMOVAL?

14 A. I CAN'T ABSOLUTELY QUANTIFY IT. IF YOU ARE SUCCESSFUL WITH  
15 MAKING CONTACT WITH THE FETUS ON YOUR FIRST PASS WITH THE  
16 FORCEPS, AND THEN BRING IT DOWN INTACT, IT WOULD BE  
17 DRAMATICALLY REDUCED. BUT I CAN'T GIVE YOU A NUMBER.

18 Q. CAN YOU QUANTIFY THE REDUCTION OF THAT RISK FOR US IN, AS  
19 YOU SAID, THE RISK OF LACERATION FROM FETAL PARTS?

20 A. IF THE FETUS IS BROUGHT OUT INTACT, THEN THE LACERATION,  
21 THE RISK OF LACERATION BY FETAL PARTS WOULD BE REDUCED TO ZERO.

22 Q. NOW, WHEN YOU HAVE TO COMPRESS THE SKULL TO COMPLETE THE  
23 DELIVERY, THAT IS NOT AN INTACT REMOVAL, CORRECT?

24 A. CORRECT.

25 Q. THE RISK OF BLOOD LOSS YOU ALSO CITED. CAN YOU QUANTIFY



1 FOR US THE REDUCTION IN THE RISK OF BLOOD LOSS WHERE THERE IS  
2 AN INTACT REMOVAL?

3 A. I DON'T MEASURE THE BLOOD LOSS. I JUST NOTE IT. AND IT IS  
4 LESS WHEN THE PROCEDURE IS LESS TIME.

5 Q. AND, LASTLY, CAN YOU QUANTIFY FOR US THE REDUCTION IN THE  
6 RISK FROM ANESTHETIC WHERE THERE IS AN INTACT REMOVAL?

7 A. AGAIN, IT IS REDUCING THE NUMBER OF TIME OF EXPOSURE TO  
8 RISK.

9 Q. DOCTOR, HAVE YOU EVER LOOKED BACK TO YOUR PRACTICE  
10 RETROSPECTIVELY AND MADE THOSE COMPARISONS? IN OTHER WORDS,  
11 HAVE YOU IN YOUR RECORDS EVER COMPARED THE COMPLICATION RATES  
12 FOR YOUR PATIENTS FOR WHOM YOU HAVE PERFORMED DISMEMBERMENT  
13 D&E'S VERSUS COMPLICATION RATES FOR PATIENTS WHOM YOU HAVE DONE  
14 INTACT D&E'S?

15 A. I HAVE NOT DONE THAT.

16 Q. DO YOU NOTE ON YOUR PATIENTS' CHARTS WHEN YOU ARE ABLE TO  
17 EFFECT AN INTACT REMOVAL?

18 A. NO.

19 Q. SO EVEN IF YOU WANT TO MAKE THAT COMPARISON YOU WOULDN'T BE  
20 ABLE TO?

21 A. CORRECT.

22 THE COURT: MR. SIMPSON, I THINK IT IS TIME FOR OUR  
23 MORNING BREAK.

24 MR. SIMPSON: CERTAINLY.

25 THE COURT: WE WILL BREAK FOR 15 MINUTES.





1 (RECESS TAKEN AT 10:00 A.M.)

2 (PROCEEDINGS RESUMED AT 10:18 A.M.)

3 THE COURT: ALL RIGHT. PLEASE CONTINUE.

4 MR. SIMPSON: YOUR HONOR, WITH SOME APOLOGIES TO THE  
5 COURT, I WOULD LIKE TO REVISIT JUST VERY BRIEFLY THE ISSUE OF  
6 THIS E-MAIL, EXHIBIT A-27.

7 EARLIER WHEN WE WERE DISCUSSING THAT, WE WERE  
8 PRESENTING IT PRIMARILY SAYING THAT WE WERE NOT PRESENTING IT  
9 FOR ITS TRUTH.

10 BUT JUST FOR THE RECORD, YOUR HONOR, IF I COULD  
11 SUGGEST ANOTHER AVENUE FOR THAT. OBVIOUSLY, SOMETHING IS NOT  
12 HEARSAY IF IT IS AN ADMISSION. IF I COULD JUST POINT OUT THAT  
13 IN RULE 801(D)(2)(D), IT SPEAKS OF A STATEMENT BY THE PARTIES'  
14 AGENT OR SERVANT CONCERNING A MATTER WITHIN THE SCOPE OF THE  
15 AGENCY OR EMPLOYMENT MADE DURING THE EXISTENCE OF A  
16 RELATIONSHIP.

17 AND WE WOULD JUST SUBMIT THAT EXHIBIT A-27 APPEARS  
18 TO FALL WITHIN THAT LANGUAGE.

19 THE COURT: OKAY. DOES THE PLAINTIFFS' COUNSEL WISH  
20 TO MAKE A RESPONSE?

21 MS. PARKER: WELL, WE STILL HAVE FOUNDATION PROBLEMS  
22 THAT WE DON'T HAVE EITHER THE AUTHOR OR THE RECIPIENT PRESENT  
23 AT TRIAL OR ANYBODY WHO CAN TESTIFY ABOUT IT.

24 SO THE FACT THAT IT IS HEARSAY, THERE MAY BE AN  
25 EXCEPTION TO IT, IS DOWN ONE LEVEL. AND WE ALSO DON'T HAVE



1 TESTIMONY THAT IT'S BEEN MADE WITHIN THE SCOPE OF THE AGENCY OR  
2 EMPLOYMENT.

3 THE COURT: THAT ACTUALLY GOES TO A FOUNDATIONAL  
4 ISSUE, AS WELL. I STILL HAVE THE SAME DIFFICULTY THAT I  
5 INDICATED BEFORE. EVEN IF I CONSIDERED IT AN ADMISSION, I AM  
6 NOT SURE THAT I CAN WITHOUT A LITTLE MORE INFORMATION ABOUT THE  
7 AUTHOR. AND NOT JUST THE TITLE. IT STILL CAN'T BE INTRODUCED  
8 THROUGH THIS WITNESS' TESTIMONY.

9 YOU DON'T HAVE A PROPER FOUNDATION.

10 MR. SIMPSON: THANK YOU, YOUR HONOR.

11 BY MR. SIMPSON:

12 Q. DR. SHEEHAN, IN YOUR PRACTICE I THINK YOU TESTIFIED ON  
13 DIRECT WHEN YOU ARE PREPARING TO PERFORM A SURGICAL ABORTION IN  
14 THE SECOND-TRIMESTER, YOU ARE AIMING FOR A CERTAIN DEGREE OF  
15 CERVICAL DILATION; IS THAT CORRECT?

16 A. CORRECT.

17 Q. AND YOUR RULE OF THUMB IS TO AIM FOR 1 MILLIMETER DILATION  
18 FOR EACH WEEK OF GESTATIONAL AGE?

19 A. THAT'S CORRECT.

20 Q. SO AN ABORTION AT 18 WEEKS YOU WOULD BE AIMING FOR  
21 1.8 CENTIMETERS OF DILATION?

22 A. CORRECT.

23 Q. ONCE YOU HAVE ACHIEVED THAT DEGREE OF DILATION, YOU THEN  
24 PROCEED WITH THE REMOVAL OF THE FETUS?

25 A. YES.



1 Q. DOES THAT DEGREE OF DILATION CONSTITUTE SIGNIFICANTLY LESS  
2 THAN THE WIDTH OF THE WIDEST PART OF THE FETUS?

3 A. YES.

4 Q. DO YOU BELIEVE THAT THE PROCEDURE CAN BE DONE SAFELY WITH  
5 THAT DEGREE OF DILATION?

6 A. YES.

7 Q. IN DOING A D&E AT, LET'S SAY, 16 WEEKS, IN WHAT PERCENTAGE  
8 OF THOSE CASES ARE YOU ABLE TO REMOVE THE FETUS INTACT?

9 A. IT HAPPENS VERY RARELY.

10 Q. CAN YOU GIVE ME A PERCENTAGE?

11 A. ONE PERCENT OF THE TIME.

12 Q. DOES THAT PERCENTAGE GO UP SOMEWHAT WITH INCREASING  
13 GESTATIONAL AGE?

14 A. I BELIEVE IT STAYS IN THE SAME RANGE.

15 Q. SO, YOU'RE TESTIFYING THAT AT 17 WEEKS, 18 WEEKS, 19 WEEKS,  
16 20 WEEKS, 21 WEEKS, 22 WEEKS, YOU ARE ABLE TO REMOVE THE FETUS  
17 INTACT APPROXIMATELY ONE PERCENTAGE OF THE TIME?

18 A. CORRECT.

19 Q. WOULD THAT BE TRUE ALSO OF 23 AND 24 WEEKS?

20 A. YES.

21 Q. IF YOU DILATED MORE, WOULD YOU BE ABLE TO GET THE FETUS OUT  
22 INTACT MORE OFTEN?

23 A. IT WOULD OFTEN REQUIRE A SIGNIFICANT DEGREE OF MORE  
24 DILATION.

25 Q. HOW MUCH?



1 A. IF I HAVE ONLY 18 MILLIMETERS, 1.8 CENTIMETERS FOR AN 18  
2 WEEK FETUS, I WOULD HAVE TO DILATE AT LEAST ANOTHER CENTIMETER  
3 OR TWO TO GET COMPLETE INTACT EXTRACTION OF THE FETUS.  
4 Q. WHAT WOULD BE REQUIRED TO GET YOU THAT ADDITIONAL DILATION?  
5 A. I WOULD HAVE TO USE MECHANICAL DILATION OR ANOTHER SET OF  
6 LAMINARIA.  
7 Q. ANOTHER SET OF LAMINARIA AND WAIT ANOTHER NIGHT FOR THEM TO  
8 DILATE?  
9 A. CORRECT. ALTHOUGH THERE IS NO GUARANTEE THAT WOULD  
10 ACTUALLY BE SUCCESSFUL. SOME WOMEN'S CERVIXES DON'T DILATE  
11 THAT EASILY.  
12 Q. DOCTOR, DO YOU SOMETIMES GIVE AN INJECTION -- I THINK YOU  
13 TESTIFIED TO THIS. DO YOU SOMETIMES GIVE AN INJECTION TO CAUSE  
14 FETAL DEMISE BEFORE STARTING TO REMOVE THE FETUS IN A D&E?  
15 A. YES.  
16 Q. DO YOU ALWAYS OFFER THAT INJECTION BEYOND 21 WEEKS?  
17 A. NO.  
18 Q. IS THERE SOME POINT IN GESTATION AT WHICH YOU ALWAYS OFFER  
19 THAT INJECTION TO THE PATIENT?  
20 A. WE ALWAYS OFFER IT STARTING AT 22 WEEKS.  
21 Q. STARTING AT 22 WEEKS?  
22 A. CORRECT.  
23 Q. YOU WOULDN'T MAKE THAT OFFER IF YOU THOUGHT THE INJECTION  
24 WERE UNSAFE?  
25 A. I DON'T THINK IT IS UNSAFE.





- 1 Q. WHAT PERCENTAGE OF PATIENTS ACCEPT THAT OFFER?
- 2 A. ALL OF THEM.
- 3 Q. DO YOU KNOW WHETHER OTHER PLANNED PARENTHOOD AFFILIATES
- 4 OFFER DIGOXIN BEFORE D&E?
- 5 A. THEY DO.
- 6 Q. HOW MANY?
- 7 A. I KNOW OF ONE.
- 8 Q. WHICH ONE IS THAT?
- 9 A. LOS ANGELES.
- 10 Q. DO YOU KNOW OF ANY OTHERS?
- 11 A. NO.
- 12 Q. DO YOU KNOW AT WHAT POINT PLANNED PARENTHOOD LOS ANGELES
- 13 OFFERS AN INJECTION OF DIGOXIN? AT WHAT POINT OF GESTATIONAL
- 14 AGE THEY OFFER THAT?
- 15 A. I BELIEVE THEY START AT 21 WEEKS.
- 16 Q. IN YOUR EXPERIENCE, DOCTOR, DOES CAUSING FETAL DEMISE
- 17 BEFORE STARTING THE REMOVAL IN A D&E MAKE THE REMOVAL EASIER?
- 18 A. IN MY EXPERIENCE IT DOES MAKE IT EASIER.
- 19 Q. THAT IS BECAUSE THE FETUS IS EASIER TO DISARTICULATION?
- 20 A. THE FETAL TISSUE IS SOFTER.
- 21 Q. AND IT IS EASIER TO DISARTICULATE?
- 22 A. YES.
- 23 Q. AND DOES IT ALSO MAKE THE HEAD EASIER TO COMPRESS?
- 24 A. YES.
- 25 Q. IN YOUR EXPERIENCE, DOCTOR, DIGOXIN INJECTION IS



1 100 PERCENT EFFECTIVE IN CAUSING FETAL DEMISE WHEN YOU INJECT  
2 THE DIGOXIN INTO THE HEART, CORRECT?

3 A. CORRECT.

4 Q. YOU SAID EARLIER, DOCTOR, DID YOU NOT, ON DIRECT  
5 EXAMINATION, THAT YOU ARE ABLE TO GET THE DIGOXIN INTO THE  
6 FETAL HEART 50 PERCENT OF THE TIME?

7 A. APPROXIMATELY.

8 Q. IF I COULD REFER YOU TO YOUR DEPOSITION, PLEASE. PAGE 142.  
9 IF YOU COULD READ, PLEASE, DOCTOR, PAGE 142, LINES THREE  
10 THROUGH SIX.

11 IF YOU COULD READ THAT SILENTLY.

12 A. YES.

13 Q. AND THAT SAYS, LINE 3:

14 "QUESTION: DO YOU HAVE A VIEW AS TO WHAT PERCENTAGE  
15 OF CASES YOU SUCCEED IN GETTING IT INTO THE HEART?

16 "ANSWER: I WOULD BE APPROXIMATING: 50 TO  
17 75 PERCENT OF THE TIME."

18 WE WERE TALKING IN THAT INSTANCE ABOUT INJECTING  
19 DIGOXIN FOR FETAL DEMISE, CORRECT?

20 A. CORRECT.

21 Q. SO IS IT TRUE THAT YOU ARE ABLE TO GET THE DIGOXIN INTO THE  
22 FETAL HEART 50 TO 75 PERCENT OF THE TIME?

23 A. THAT IS AN APPROXIMATE NUMBER, YES.

24 Q. ON DIRECT EXAMINATION YOU GAVE THE NUMBER 50, BUT THE  
25 ACTUAL NUMBER WOULD BE SOMEWHERE BETWEEN 50 AND 75, CORRECT?



1 A. I THINK THAT IS WHAT THE WORD "APPROXIMATE" MEANS, YES.

2 MR. SIMPSON: COULD I APPROACH THE WITNESS, PLEASE,  
3 YOUR HONOR?

4 MS. PARKER: COULD --

5 THE COURT: YES.

6 MS. PARKER: COULD YOU LET US KNOW WHAT EXHIBIT?

7 MR. SIMPSON: EXHIBIT A-48.

8 THE WITNESS: THANK YOU.

9 BY MR. SIMPSON:

10 Q. DOCTOR, I HAVE SHOWN YOU EXHIBIT A-48 IN THIS CASE. ARE  
11 YOU FAMILIAR WITH THAT DOCUMENT?

12 A. YES.

13 Q. WHAT IS IT, PLEASE?

14 A. IT IS THE PLANNED PARENTHOOD OF SAN DIEGO AND RIVERSIDE  
15 COUNTIES CONSENT FOR LANOXIN.

16 Q. IT SAYS "LANOXIN". IS LANOXIN THE SAME THING AS DIGOXIN?

17 A. YES.

18 Q. LANOXIN IS THE BRAND NAME?

19 A. CORRECT.

20 Q. SO IS THIS THE FORM USED BY PLANNED PARENTHOOD SAN DIEGO TO  
21 ADVISE PATIENTS REGARDING THE USE OF DIGOXIN?

22 A. IT IS.

23 Q. AND THAT IS THE AFFILIATE AT WHICH YOU ARE THE MEDICAL  
24 DIRECTOR?

25 A. IT IS.



1 MR. SIMPSON: YOUR HONOR, I WOULD LIKE TO OFFER  
2 EXHIBIT A-48 INTO EVIDENCE.

3 THE COURT: ANY OBJECTION?

4 MS. PARKER: NO, YOUR HONOR.

5 THE COURT: ADMITTED.

6 THE CLERK: A-48 INTO EVIDENCE.

7 (DEFENDANT'S EXHIBIT A-48  
8 WAS RECEIVED IN EVIDENCE.)

9 BY MR. SIMPSON:

10 Q. IF I COULD READ PART OF THAT DOCUMENT TO YOU, DOCTOR. THE  
11 FIRST PARAGRAPH. I AM GOING TO START IN THE MIDDLE OF THE  
12 PARAGRAPH. IT SAYS:

13 "THE PURPOSE OF THE MEDICATION," DO YOU SEE THAT?

14 A. YES.

15 Q. IT SAYS:

16 "THE PURPOSE OF THE MEDICATION" -- AND IT'S  
17 REFERRING TO DIGOXIN, CORRECT?

18 A. YES.

19 Q. "THE PURPOSE OF THE MEDICATION IS TO CAUSE FETAL DEMISE TO  
20 PREVENT THE POSSIBILITY OF A LIVE BIRTH SHOULD I  
21 MISCARRY BEFORE HAVING MY D&E PROCEDURE AND TO HELP  
22 PREPARE MY BODY FOR THE TERMINATION PROCESS. THE  
23 USE OF THE LANOXIN FOR THIS PURPOSE IS VERY SAFE."  
24 DO YOU AGREE WITH THE STATEMENT HERE, DOCTOR, THAT  
25 THE USE OF DIGOXIN IS VERY SAFE?





1 A. YES.

2 Q. ARE YOU FAMILIAR, DOCTOR, WITH THE PROCEDURE KNOWN AS  
3 AMNIOCENTESIS?

4 A. YES.

5 Q. NOW, IS IT TRUE, DOCTOR, THAT A PHYSICIAN WHO HAS THE SKILL  
6 TO PERFORM AN AMNIOCENTESIS WOULD ALSO HAVE THE SKILL TO  
7 PERFORM AN INJECTION OF DIGOXIN FOR FETAL DEMISE?

8 A. YES.

9 Q. EVERY OB/GYN LEARNS HOW TO DO AN AMNIOCENTESIS?

10 A. CORRECT.

11 Q. CONTINUING THERE IN EXHIBIT A-48, SECOND PARAGRAPH, SECOND  
12 SENTENCE:

13 "HOWEVER, POSSIBLE COMPLICATIONS INCLUDE, BUT ARE  
14 NOT LIMITED TO, INFECTION, SHOCK, ALLERGIC REACTION  
15 AND EVEN DEATH."

16 YOU'VE SEEN TWO CASES OF INFECTION AFTER A DIGOXIN  
17 INJECTION; IS THAT CORRECT?

18 A. THESE CASES THAT I ASSUME ARE RELATED TO DIGOXIN INJECTION,  
19 YES.

20 Q. YOU JUST ASSUMED THAT, BUT IT WAS NEVER SHOWN DEFINITELY  
21 THAT THE INFECTIONS WERE CAUSED BY THE INJECTION?

22 A. CORRECT.

23 Q. YOU STARTED USING DIGOXIN TO CAUSE FETAL DEMISE IN THE YEAR  
24 2000?

25 A. CORRECT.



1 Q. AND YOU DO ABOUT 100 PER YEAR?

2 A. THAT'S CORRECT.

3 Q. AND IN THOSE TWO CASES THAT YOU REFERRED TO, NO LONGER TERM  
4 EFFECTS OCCURRED IN EITHER OF THOSE TWO CASES BECAUSE OF THE  
5 INFECTION?

6 A. THAT'S CORRECT.

7 Q. AND YOU DON'T KNOW OF ANY CASES OF INFECTION THAT WERE  
8 ACTUALLY SHOWN TO HAVE BEEN CAUSED BY A DIGOXIN INJECTION?

9 A. CORRECT.

10 Q. IF ANY SUCH INFECTION OCCURRED, DOCTOR, EMPTYING THE UTERUS  
11 IN THE COURSE OF THE ABORTION WOULD REDUCE THE RISK OF ANY  
12 LONG-TERM EFFECTS OF AN INFECTION?

13 A. YES.

14 Q. THE SENTENCE THAT I JUST READ FROM EXHIBIT A-48 LISTS SOME  
15 OTHER POTENTIAL COMPLICATIONS:

16 "SHOCK, ALLERGIC REACTION AND EVEN DEATH."

17 NOW, YOU HAVE NEVER HEARD OF ANY OCCURRENCE OF ANY  
18 OF THOSE COMPLICATIONS?

19 A. CORRECT.

20 Q. YOU'VE NEVER EXPERIENCED ANY OF THEM IN YOUR PRACTICE?

21 A. CORRECT.

22 Q. DOCTOR, I WOULD LIKE TO SHOW YOU PLAINTIFF'S EXHIBIT 31 IN  
23 THIS CASE. ARE YOU FAMILIAR WITH THAT BOOK?

24 A. I AM.

25 MR. SIMPSON: DOES THE COURT HAVE A COPY OF THAT?



1 THE COURT: NO.

2 (EXHIBIT HANDED TO COURT.)

3 BY MR. SIMPSON:

4 Q. DOCTOR, YOU SAID YOU ARE FAMILIAR WITH THAT BOOK?

5 A. I DID SAY THAT.

6 Q. DO YOU USE IT?

7 A. I DO.

8 Q. DO YOU CONSIDER IT TO BE RELIABLE?

9 A. I DO.

10 Q. PLEASE TURN TO PAGE 123. CHAPTER 10 STARTS ON THAT PAGE?

11 A. YES.

12 Q. HAVE YOU READ THAT CHAPTER BEFORE?

13 A. YES.

14 Q. IF YOU CAN TURN TO PAGE 131 WITHIN THAT CHAPTER, PLEASE.

15 THIS IS A PARAGRAPH THAT GOES FROM THE LEFT COLUMN

16 TO THE RIGHT COLUMN UNDER THE HEADING:

17 "FETICIDAL TECHNIQUES."

18 IF I COULD READ THAT ONE PARAGRAPH, PLEASE.

19 "WRIGHT AND JACKSON REPORTED SUCCESSFUL USE OF

20 1 MILLIGRAM DIGOXIN AS A FETICIDAL AGENT FOR 5,000

21 D&E ABORTIONS AT 19 WEEKS GESTATION OR MORE. THEY

22 INJECTED 1 MILLIGRAM TRANSABDOMINALLY INTO THE

23 FETUS, OR AMNIOTIC FLUID, WITHOUT ULTRASONOGRAPHIC

24 GUIDANCE. APART FROM THE FEW TRANSIENT EPISODES

25 OF MATERNAL BRADYCARDIA THAT RESULTS



1 SPONTANEOUSLY" -- IF I COULD STOP FOR A MOMENT  
2 THERE.

3 DOCTOR, BRADYCARDIA IS A SLOWING OF THE HEART RATE?

4 A. CORRECT.

5 Q. CONTINUING WITH THE QUOTATION:

6 "NO ADVERSE EFFECTS OCCURRED DESPITE INSTANCES OF  
7 INADVERTENT INTRAMYOMETRIAL AND SYSTEMIC  
8 INJECTION."

9 STOPPING AGAIN FOR A MOMENT. IS IT TRUE, DOCTOR,  
10 THAT "INTRAMYOMETRIAL INJECTION" WOULD BE INJECTION INTO THE  
11 MUSCLE OF THE UTERUS?

12 A. CORRECT.

13 Q. CONTINUING WITH THE QUOTATION:

14 "FETAL DEATH WAS CONFIRMED IN ALL CASES BY  
15 ULTRASONOGRAPHY WITHIN 30 MINUTES. ANOTHER PROVIDER  
16 OF LATE ABORTIONS REPORTED SUCCESS IN INDUCING  
17 DEMISE WITHOUT SERIOUS COMPLICATIONS USING THE SAME  
18 DOSE OF DIGOXIN AND A SIMILAR INJECTION PROTOCOL IN  
19 MORE THAN 10,000 INDUCTION/D&E PROCEDURES AT OR  
20 BEYOND 18 WEEKS GESTATION. IN BOTH SERIES DIGOXIN  
21 WAS WITHHELD UNTIL OSMOTIC DILATORS WERE  
22 SUCCESSFULLY INSERTED INTO THE CERVIX."

23 DID I READ THAT CORRECTLY?

24 A. YES.

25 Q. DOES THIS INFORMATION, DOCTOR, SUPPORT YOUR OPINION THAT





1 DIGOXIN INJECTION IS SAFE FOR THE MOTHER?

2 A. YES, IT DOES.

3 Q. DO YOU HAVE ANY REASON TO DOUBT THE FINDINGS DESCRIBED  
4 HERE?

5 A. NO.

6 Q. DOCTOR, WOULD YOU CONCEDE THAT THE PARTIAL BIRTH ABORTION  
7 BAN ACT DOES NOT APPLY WHERE THE PHYSICIAN HAS CAUSED FETAL  
8 DEMISE BEFORE ENTERING THE UTERUS?

9 A. YES.

10 Q. DOCTOR, ARE YOU AWARE OF ANY PUBLISHED STUDIES REGARDING  
11 INTACT D&X?

12 MS. PARKER: OBJECTION, LACK OF FOUNDATION WHAT  
13 "INTACT D&X" MEANS.

14 BY MR. SIMPSON:

15 Q. DOCTOR, IF I CAN REFER YOU TO EXHIBIT A-27. THAT IS THE  
16 E-MAIL WE WERE DISCUSSING EARLIER.

17 MS. PARKER: WHICH IS NOT IN EVIDENCE.

18 BY MR. SIMPSON:

19 Q. WHICH WE HAVE -- EARLIER, DOCTOR, DID I NOT READ OUT LOUD  
20 THE SECTION OF EXHIBIT A27 ENTITLED "INTACT D&X"?

21 A. YES.

22 Q. I DID DO THAT?

23 A. YOU DID.

24 THE COURT: HE CAN USE IT EVEN THOUGH IT IS NOT IN  
25 EVIDENCE.



1 BY MR. SIMPSON:

2 Q. DOCTOR, ARE YOU AWARE OF ANY PUBLISHED STUDIES REGARDING  
3 INTACT D&X AS IT'S DESCRIBED THERE?

4 A. YES.

5 Q. YOU ARE AWARE OF PUBLISHED STUDIES?

6 A. I AM AWARE OF THOSE STUDIES THAT YOU SHOWED ME DURING MY  
7 DEPOSITION.

8 Q. AND WHICH ONES ARE THOSE?

9 A. THE ONES THAT WERE PRESENTED AT NAF, SO I GUESS THEY ARE  
10 NOT ACTUALLY PUBLISHED. THEY ARE PRESENTED.

11 Q. YOU ARE NOT SPEAKING, THEN, OF PEER-REVIEWED PUBLICATIONS?

12 A. CORRECT.

13 Q. YOU DON'T KNOW OF ANY PEER-REVIEWED STUDIES ON INTACT D&X?

14 A. I DO NOT KNOW OF ANY.

15 Q. THERE IS NO REASON WHY INTACT D&X COULD NOT BE THE SUBJECT  
16 OF A PROSPECTIVE STUDY, CORRECT?

17 A. THE ONLY REASON I CAN THINK OF IT WOULD TAKE A HUGE, HUGE  
18 NUMBER OF PATIENTS TO BE ABLE TO DO SUCH A STUDY TO PROVE ANY  
19 DIFFERENCE FROM ANOTHER PROCEDURE.

20 Q. IS THAT PARTLY BECAUSE DISMEMBERMENT D&E IS SO SAFE?

21 A. YES. THE RISKS OF D&E, AS WE HAVE BEEN DISCUSSING,  
22 DISARTICULATION, ARE VERY, VERY LOW.

23 Q. IS THERE ANY REASON, DOCTOR, WHY INTACT D&X COULD NOT BE  
24 THE SUBJECT OF A RETROSPECTIVE STUDY?

25 A. AS FAR AS I KNOW THE PEOPLE THAT PERFORM PROCEDURES THAT



1 WOULD QUALIFY FOR INTACT D&X DO NOT KEEP THE STATISTICS  
2 ADEQUATELY TO BE ABLE TO EVALUATE THEM.

3 Q. DOCTOR, I AM NOT SURE THE COURT HAS HEARD YET IN THIS TRIAL  
4 THE DIFFERENCE BETWEEN A PROSPECTIVE AND RETROSPECTIVE STUDY.  
5 CAN YOU EXPLAIN THAT TO US?

6 A. PROSPECTIVE STUDY IS ONE THAT IS -- GOES FORWARD. IT ASKS  
7 A QUESTION. SEPARATES GROUPS BASED ON A QUESTION, AND THEN  
8 STUDIES THE OUTCOME IN THOSE PATIENTS GOING FORWARD.

9 A RETROSPECTIVE STUDY IS ONE THAT LOOKS BACKWARD AT  
10 INCIDENCES THAT HAVE ALREADY HAPPENED AND ARE DOCUMENTED.

11 Q. A RETROSPECTIVE STUDY IS A MATTER OF REVIEWING MEDICAL  
12 RECORDS?

13 A. USUALLY, YES.

14 Q. NOW, IN THE HIERARCHY OF MEDICAL RESEARCH AND PUBLICATION,  
15 IS THERE A PECKING ORDER BETWEEN RETROSPECTIVE STUDIES AND  
16 PROSPECTIVE STUDIES?

17 A. YES.

18 Q. AND CAN YOU EXPLAIN THAT TO US?

19 A. PROSPECTIVE STUDIES ARE CONSIDERED MORE AUTHORITATIVE.

20 Q. WOULD YOU SAY, DOCTOR, THAT IF THERE WERE A RETROSPECTIVE  
21 STUDY OF INTACT D&X, THAT IS A RETROSPECTIVE STUDY OF COLLECTED  
22 DATA, YOU WOULD NOT CONSIDER THAT METHODOLOGY TO BE OF THE  
23 HIGHEST CALIBER?

24 A. TRUE.

25 Q. YOU CONSIDER RETROSPECTIVE ANALYSIS OF DATA TO BE THE



1 CATEGORY RESEARCH?

2 A. CORRECT.

3 Q. DOCTOR, I THINK YOU'VE TESTIFIED A BIT ON DIRECT, HAVE YOU,  
4 REGARDING THE USE OF INTACT REMOVAL TO HAVE AN INTACT FETUS FOR  
5 PURPOSES OF PATHOLOGY?

6 A. I THINK THE POINT OF THE POINT OF THE TESTIMONY WAS TO SAY  
7 THAT A WOMAN HAS A KNOWN FETAL ANOMALY AND SHE WANTS TO HAVE  
8 THAT CONFIRMED OR STUDIED FURTHER AFTER THE TERMINATION  
9 PROCEDURE, THEN SHE AND HER DOCTOR WHO HAD REFERRED -- WHO HAS  
10 REFERRED THE PATIENT TO US REQUEST THAT WE TRY TO OBTAIN AS  
11 INTACT TISSUE AS POSSIBLE.

12 Q. NOW, AN INDUCTION ABORTION WOULD PROVIDE AN INTACT FETUS,  
13 WOULD IT NOT?

14 A. YES.

15 Q. AND DO YOU DO INDUCTIONS?

16 A. NO.

17 Q. INTACT D&X, AS IT IS DESCRIBED IN EXHIBIT A-27, THE E-MAIL,  
18 THAT PROCEDURE DESTROYS THE CENTRAL NERVOUS SYSTEM, CORRECT?

19 A. CORRECT.

20 Q. THE CENTRAL NERVOUS SYSTEM OF THE FETUS?

21 A. YES.

22 Q. SO IT WOULD BE -- THAT PROCEDURE WOULD BE WORTHLESS IN  
23 DIAGNOSING ANY FETAL ANOMALIES INVOLVING THE CENTRAL NERVOUS  
24 SYSTEM?

25 A. BRAIN, YES.





1 Q. DOCTOR, THE PHYSICIAN NEVER ACTUALLY NEEDS TO DIAGNOSE A  
2 FETAL ANOMALY IN ORDER TO TREAT THE MOTHER'S CONDITION; IS THAT  
3 RIGHT?

4 A. RIGHT.

5 Q. DOCTOR, DOES PLANNED PARENTHOOD SAN DIEGO GIVE ITS PATIENTS  
6 A WRITTEN INFORMATION ABOUT D&E?

7 A. YES.

8 Q. IN FACT, I BELIEVE I ALREADY GAVE YOU EARLIER, DOCTOR, A  
9 COPY OF EXHIBIT A-31?

10 A. YES.

11 Q. IS THAT A COPY OF THE WRITTEN MATERIAL THAT YOU GIVE TO  
12 PATIENTS ABOUT D&E?

13 A. IT IS.

14 MR. SIMPSON: YOUR HONOR, I WOULD LIKE TO OFFER  
15 EXHIBIT A-31 INTO EVIDENCE.

16 THE COURT: ANY OBJECTION?

17 MS. PARKER: NO, YOUR HONOR.

18 THE COURT: ADMITTED.

19 THE CLERK: A-31 INTO EVIDENCE.

20 (DEFENDANT'S EXHIBIT A-31  
21 WAS RECEIVED IN EVIDENCE.)

22 BY MR. SIMPSON:

23 Q. AND, DOCTOR, DOES PPSD GIVE ITS PATIENTS WRITTEN  
24 INFORMATION ABOUT THE USE OF OSMOTIC DILATORS?

25 A. YES, IT DOES.



1 MR. SIMPSON: CAN I APPROACH THE WITNESS, YOUR  
2 HONOR?

3 THE COURT: YES.

4 BY MR. SIMPSON:

5 Q. DOCTOR, I HAVE GIVEN YOU A COPY OF EXHIBIT A-32. IS THIS A  
6 COPY OF THE WRITTEN MATERIAL THAT YOU GIVE TO PATIENTS ABOUT  
7 THE USE OF OSMOTIC DILATORS?

8 A. YES, IT IS.

9 MR. SIMPSON: YOUR HONOR, I WOULD LIKE TO MOVE TO  
10 OFFER EXHIBIT A-32 INTO EVIDENCE.

11 THE COURT: ANY OBJECTION?

12 MS. PARKER: NO OBJECTION, YOUR HONOR.

13 THE COURT: ADMITTED.

14 THE CLERK: A-32 INTO EVIDENCE.

15 (DEFENDANT'S EXHIBIT A-32  
16 WAS RECEIVED IN EVIDENCE.)

17 BY MR. SIMPSON:

18 Q. DOCTOR, DOES PPSD GIVE PATIENTS INFORMATION ABOUT THE USE  
19 OF MISOPROSTOL?

20 A. YES.

21 Q. DOCTOR, I HAVE GIVEN YOU A COPY OF WHAT IS EXHIBIT A-33.  
22 IS THIS A COPY OF THE WRITTEN MATERIAL THAT YOU GIVE TO  
23 PATIENTS ABOUT THE USE OF MISOPROSTOL?

24 A. YES.

25 MR. SIMPSON: YOUR HONOR, I WOULD LIKE TO OFFER



1 EXHIBIT A-33 INTO EVIDENCE.

2 THE COURT: ANY OBJECTION?

3 MS. PARKER: NO OBJECTION.

4 THE COURT: ADMITTED.

5 THE CLERK: A-33 INTO EVIDENCE.

6 (DEFENDANT'S EXHIBIT A-33  
7 WAS RECEIVED IN EVIDENCE.)

8 BY MR. SIMPSON:

9 Q. AND, FINALLY, DOES PPSD HAVE A DOCUMENT SHOWING THE NUMBERS  
10 OF ABORTIONS THAT IT HAS RECENTLY PROVIDED AT DIFFERENT  
11 GESTATIONAL AGES?

12 A. I BELIEVE YOU ARE ASKING ABOUT THE PAPER YOU HAVE IN YOUR  
13 HAND. YES.

14 Q. I HAVE GIVEN YOU, DOCTOR, A COPY OF EXHIBIT A-28 IN THIS  
15 CASE. IS THIS A DOCUMENT CREATED BY PPSD SHOWING THE NUMBERS  
16 OF ABORTIONS PROVIDED IN 2002?

17 A. YES, IT IS.

18 Q. PROVIDED BY PPSD?

19 A. YES.

20 MR. SIMPSON: YOUR HONOR, I WOULD LIKE TO OFFER  
21 EXHIBIT A-28 INTO EVIDENCE, PLEASE.

22 MS. PARKER: NO OBJECTION.

23 THE COURT: ALL RIGHT, ADMITTED.

24 THE CLERK: A-28 INTO EVIDENCE.

25



1 (DEFENDANT'S EXHIBIT A-28  
2 WAS RECEIVED IN EVIDENCE.)

3 BY MR. SIMPSON:

4 Q. DOCTOR, IS THERE A TYPOGRAPHICAL ERROR IN THIS DOCUMENT?

5 A. THERE IS.

6 Q. IS IT ON THE LINE THAT SAYS:

7 "ABORTION GREATER THAN 12 WEEKS"?

8 A. YES, THAT SHOULD SAY "LESS THAN."

9 Q. THANK YOU.

10 NOW, DOCTOR, AS MEDICAL DIRECTOR OF PPSD, YOU ARE  
11 FAMILIAR WITH THE AFFILIATES' PRACTICES REGARDING THE CREATION  
12 AND MAINTENANCE OF MEDICAL RECORDS; ARE YOU NOT?

13 A. YES.

14 Q. IN THE REGULAR COURSE OF ITS PROVISION OF MEDICAL SERVICES,  
15 PPSD CREATES AND MAINTAINS RECORDS OF THE SERVICES PROVIDED TO  
16 INDIVIDUAL PATIENTS?

17 A. YES.

18 Q. I WOULD LIKE TO GIVE YOU, DOCTOR, WHAT HAS BEEN DESIGNATED  
19 AS EXHIBIT A-73 IN THIS CASE.

20 DOCTOR, IS THIS A MEDICAL RECORD CREATED AND  
21 MAINTAINED IN THE REGULAR COURSE OF PPSD'S PROVISION OF  
22 SERVICES?

23 A. YES.

24 MR. SIMPSON: YOUR HONOR, I WOULD LIKE TO MOVE  
25 EXHIBIT A-73 INTO EVIDENCE.





1 MS. PARKER: OBJECTION, YOUR HONOR, LACK OF  
2 FOUNDATION AND HEARSAY. AND THIS IS ONE OF THE MEDICAL RECORDS  
3 FOR WHOM YOUR HONOR WANTS THE GOVERNMENT FIRST TO ESTABLISH  
4 THAT AN INTACT PROCEDURE WAS USED. AND HE HAS NOT YET  
5 ESTABLISHED THAT.

6 THE COURT: I ASSUME THAT IS GOING TO BE THE NEXT  
7 QUESTION.

8 MR. SIMPSON: CERTAINLY, YOUR HONOR.

9 THE COURT: WELL, I AM NOT GOING TO ADMIT IT INTO  
10 EVIDENCE UNTIL IT IS ESTABLISHED THAT IT IS INTACT, INVOLVING  
11 AN INTACT PROCEDURE.

12 BY MR. SIMPSON:

13 Q. DOCTOR, DO YOU RECOGNIZE EXHIBIT A-73?

14 A. YES.

15 Q. WHY DO YOU RECOGNIZE THAT?

16 A. IT IS FROM MY AFFILIATE.

17 Q. SO, THIS IS A RECORD OF AN ABORTION PROVIDED BY PLANNED  
18 PARENTHOOD SAN DIEGO?

19 A. YES.

20 Q. DID YOU PERFORM THIS ABORTION?

21 A. I DID NOT.

22 Q. DID YOU OBSERVE THIS ABORTION?

23 A. I DID.

24 Q. IS THIS THE SAME ABORTION THAT WE DISCUSSED EARLIER THAT  
25 YOU HAD OBSERVED?



1 A. YES.

2 Q. THAT IS THE ABORTION THAT YOU HAD OBSERVED WHERE WE WERE  
3 DISCUSSING WHETHER THE PHYSICIAN PERFORMING THE ABORTION WAS  
4 ATTEMPTING DISARTICULATION?

5 A. CORRECT.

6 Q. DOCTOR, CAN YOU DESCRIBE TO ME THE CIRCUMSTANCES OF THIS  
7 CASE?

8 WHAT HAPPENED DURING THIS ABORTION?

9 MS. PARKER: OBJECTION, YOUR HONOR. I THINK THAT'S  
10 AN ATTEMPT TO CIRCUMVENT THE COURT'S RULING ON THE MEDICAL  
11 RECORDS.

12 IT SEEMS INAPPROPRIATE TO GO INTO THE DETAILS OF  
13 THIS PARTICULAR CASE UNTIL HE HAS ESTABLISHED ITS RELEVANCE  
14 BECAUSE IT'S HIGHLY PRIVATE --

15 THE COURT: I THINK I TOLD YOU AT THE END OF THE  
16 PROCEEDINGS YESTERDAY THAT ONLY THOSE RECORDS, AND YOU ADVISED  
17 ME YESTERDAY THERE WERE THREE TOTAL, I IMAGINE THERE ARE TWO  
18 REMAINING ONES, THAT INVOLVED, THAT CLEARLY REFLECT THAT THEY  
19 INVOLVED AN INTACT PROCEDURE WOULD BE PERMITTED FOR YOUR USE AT  
20 TRIAL. THAT SHOULD BE THE VERY FIRST QUESTION THAT IS ASKED.

21 MR. SIMPSON: I WILL ASK MORE FOCUSSED QUESTIONS,  
22 YOUR HONOR.

23 THE COURT: NO. THAT'S THE QUESTION, WHETHER OR NOT  
24 THIS INVOLVES AN INTACT PROCEDURE. OTHERWISE, YOU MAY NOT ASK  
25 ANY QUESTIONS ABOUT THESE PARTICULAR RECORDS UNLESS IT INVOLVES



1 AN INTACT PROCEDURE.

2 BY MR. SIMPSON:

3 Q. DOCTOR, IN THE CASE INVOLVED IN A-73, DID A COMPLICATION  
4 OCCUR IN THAT CASE?

5 THE COURT: I DON'T QUITE UNDERSTAND WHY YOU ARE NOT  
6 UNDERSTANDING WHAT I AM SAYING, MR. SIMPSON.

7 I WILL DO THE QUESTIONING.

8 DOCTOR, DOES THE PROCEDURE THAT WAS UTILIZED IN THE  
9 ABORTION PROCEDURE THAT IS REFLECTED IN A-73, INVOLVE AN INTACT  
10 PROCEDURE?

11 THE WITNESS: NO.

12 THE COURT: AN INTACT D&E?

13 THE WITNESS: NO, IT DOES NOT.

14 THE COURT: OKAY.

15 MR. SIMPSON: YOUR HONOR, IF I COULD REFER THE  
16 COURT -- DOES THE COURT HAVE A COPY OF EXHIBIT A-73?

17 THE COURT: YES.

18 MR. SIMPSON: IF I COULD ASK THE COURT, PLEASE, TO  
19 REFER TO BATES NUMBER PPFA001061.

20 THE COURT: ALL RIGHT. I AM LOOKING AT THAT.

21 MR. SIMPSON: AND IN THE LAST PARAGRAPH ON THAT  
22 PAGE -- OBVIOUSLY, I AM NOT TRYING TO TESTIFY, YOUR HONOR. IF  
23 I COULD JUST FROM MY KNOWLEDGE OF THESE RECORDS, IF I COULD  
24 TELL THE COURT WHAT THIS INVOLVES.

25 THIS WAS A CASE WHERE.



1 MS. PARKER: OBJECTION, YOUR HONOR. WE ARE GETTING  
2 INTO A LOT OF DETAILS ABOUT A VERY CONFIDENTIAL PROCEDURE. IF  
3 MR. SIMPSON FEELS --

4 THE COURT: I AM GOING TO ALLOW HIM TO MAKE AN OFFER  
5 OF PROOF.

6 TELL ME WHERE ON THIS PIECE -- ON THIS SHEET --

7 MR. SIMPSON: THAT'S WHAT I AM DOING, YOUR HONOR.

8 THE COURT: -- IT REFLECTS THAT IT IS SOMETHING  
9 OTHER THAN WHAT THE WITNESS HAS TESTIFIED, THAT IT WAS NOT AN  
10 INTACT D&E.

11 MR. SIMPSON: I WILL DO THAT, YOUR HONOR.

12 A TEAR IN THE WOMAN'S UTERUS OCCURRED IN THIS CASE.  
13 IT WAS A 5-CENTIMETER TEAR. OBVIOUSLY, THAT WAS AN EMERGENCY.  
14 THE PATIENT WAS TAKEN TO THE HOSPITAL. HER ABDOMEN WAS OPENED,  
15 AND IF I COULD REFER NOW TO THE LAST PARAGRAPH ON THAT PAGE  
16 1061.

17 ABOUT 60 PERCENT OF THE WAY THROUGH THAT PARAGRAPH  
18 IS A SENTENCE THAT BEGINS "THE UTERUS WAS DELIVERED."

19 IT SAYS: "THE UTERUS WAS DELIVERED FROM THE ABDOMEN  
20 ALONG WITH THE POC."

21 BY MR. SIMPSON:

22 Q. DR. SHEEHAN, "POC" STANDS FOR PRODUCTS OF CONCEPTION?

23 A. YES.

24 MR. SIMPSON: THEN THE DOCUMENT CONTINUES.

25 "POC WAS INTACT AND SENT TO PATHOLOGY."





1 IT INDICATES, YOUR HONOR, THAT THE FETUS WAS NOT  
2 REMOVED BECAUSE SOMEHOW THE PHYSICIAN PERFORMING THE ABORTION  
3 MADE A TEAR IN THE WOMAN'S UTERUS, BUT THE FETUS WAS NOT  
4 DISARTICULATED, THE FETUS WAS STILL INTACT.

5 MS. PARKER: OBJECTION, YOUR HONOR. THIS IS NOT A  
6 D&E PROCEDURE IF IT WAS REMOVED FROM THE ABDOMEN.

7 I WOULD MOVE TO STRIKE ALL OF HIS PRIOR STATEMENTS  
8 FROM THE RECORD BECAUSE IT REVEALS VERY CONFIDENTIAL MEDICAL.

9 THE COURT: I WILL GRANT THE REQUEST, COUNSEL. THIS  
10 IS NOT AN INTACT D&E PROCEDURE, AND THAT'S THE ONLY ONES THAT I  
11 WAS GOING TO PERMIT YOU TO MOVE INTO EVIDENCE.

12 SO I AM NOT GOING TO ALLOW ANY QUESTIONS ON THIS  
13 RECORD.

14 MR. SIMPSON: THANK YOU, YOUR HONOR.

15 THE COURT: DO YOU WANT TO SHOW HER ONE OF THE  
16 OTHERS?

17 MR. SIMPSON: YES, ONE OF THE OTHERS. IF I CAN ASK  
18 A FEW PRELIMINARY QUESTIONS TO THAT.

19 THE COURT: I WOULD LIKE YOU TO ASK THE FIRST  
20 QUESTION; ESTABLISH WHAT KIND OF PROCEDURE WAS INVOLVED FIRST.

21 MR. SIMPSON: YES. THIS ONE WILL BE QUITE CLEAR.

22 BY MR. SIMPSON:

23 Q. DR. SHEEHAN, PPFA REQUIRES THAT ITS AFFILIATES BE  
24 ACCREDITED AS PPFA AFFILIATES, CORRECT?

25 A. CORRECT.



1 Q. EACH AFFILIATE HAS TO BE REACCREDITED PERIODICALLY?

2 A. YES.

3 Q. AS PART OF THE REACCREDIATION PROCESS, PPFA SENDS  
4 REACCREDITATION REVIEW TEAMS TO THE AFFILIATES TO REVIEW THE  
5 AFFILIATE'S OPERATIONS?

6 A. YES.

7 Q. IN FACT, YOU SERVE ON THE REACCREDITATION REVIEW TEAMS,  
8 DON'T YOU?

9 A. THAT'S CORRECT.

10 Q. DO REACCREDITATION REVIEW TEAMS REVIEW THE MEDICAL RECORDS  
11 OF THE AFFILIATES?

12 A. YES, THEY DO.

13 Q. BASED ON YOUR SERVICE ON THE REACCREDITATION REVIEW TEAMS,  
14 ALL AFFILIATES CREATE AND MAINTAIN RECORDS OF SERVICES PROVIDED  
15 TO INDIVIDUAL PATIENTS, CORRECT?

16 A. YES.

17 Q. I HAVE GIVEN YOU, DOCTOR, EXHIBIT A-73 (SIC) FOR THIS CASE.  
18 IF YOU COULD TAKE A MOMENT TO REVIEW THAT PLEASE.

19 THE COURT: I AM SORRY, COUNSEL, WHAT WAS THE NUMBER  
20 OF THE EXHIBIT?

21 MR. SIMPSON: A-63. I MISSPOKE. A-63.

22 THE COURT: A-63. ALL RIGHT.

23 MS. PARKER: YOUR HONOR, IF I MIGHT, THIS DOCUMENT  
24 IS, I BELIEVE, ABOUT 50 PAGES LONG AND MR. SIMPSON HAS NOT YET  
25 ESTABLISHED WHETHER DR. SHEEHAN HAS EVER SEEN THIS DOCUMENT



1 BEFORE. IF HE WANTS HER TO STAY ON THE STAND AND READ IT, IT  
2 MIGHT TAKE HER CONSIDERABLE TIME.

3 MR. SIMPSON: I WILL REFER HER TO ONE PAGE, YOUR  
4 HONOR, RIGHT NOW.

5 MS. PARKER: OBJECTION. WE WANT A WITNESS,  
6 OBVIOUSLY, TO HAVE REVIEWED A FULL RECORD --

7 THE COURT: COUNSEL, LET'S LET HER TAKE A LOOK AT IT  
8 AND DETERMINE FOR HERSELF WHETHER OR NOT SHE NEEDS MORE TIME TO  
9 REVIEW IT.

10 WE ARE LOOKING AT EXHIBIT A-63.

11 MR. SIMPSON: YES.

12 THE COURT: CORRECT?

13 MR. SIMPSON: YES.

14 THE COURT: PLEASE ESTABLISH THAT THIS WAS AN INTACT  
15 D&E PROCEDURE.

16 MR. SIMPSON: I WILL DO THAT RIGHT NOW, YOUR HONOR.

17 BY MR. SIMPSON:

18 Q. DOCTOR, DO YOU SEE THE BATES STAMPS IN THE LOWER RIGHT  
19 CORNER OF THOSE PAGES OF EXHIBIT A-63?

20 A. YES.

21 Q. REFER, PLEASE, TO PPFA879, PLEASE.

22 IF YOU COULD LOOK ABOUT TWO INCHES UP FROM THE  
23 BOTTOM OF THE PAGE, THERE'S A SECTION OF BLANK LINES WITH  
24 HANDWRITING FILLED IN.

25 THE PRINTING SAYS, "PATIENT'S STABLE AND DISCHARGED



1 IN GOOD CONDITION." BELOW THAT IT SAYS, PRINTED  
2 "COMPLICATIONS".

3 CAN YOU READ TO US PLEASE THE -- WHAT'S WRITTEN  
4 THERE IN HANDWRITING?

5 A. "PATIENT DILATED TO", I BELIEVE THAT SAYS "30 TO  
6 40 MILLIMETERS, PASSED THE FETUS AND PLACENTA INTACT."

7 Q. DOES THIS REFLECT AN INTACT REMOVAL, DOCTOR?

8 A. IT APPEARS TO ME, AND I HAVEN'T READ THE REST OF THE PAGE,  
9 BUT IT APPEARS THE PATIENT DELIVERED SPONTANEOUSLY.

10 Q. WHY DO YOU SAY THAT?

11 A. IT SAYS "PASSED THE FETUS."

12 Q. WHAT IS THE DIFFERENCE BETWEEN AN INTACT REMOVAL AND  
13 PASSING THE FETUS SPONTANEOUSLY?

14 A. TO ME, INTACT REMOVAL MEANS THAT YOU ACTUALLY DO SOMETHING  
15 TO REMOVE THE FETUS. IT APPEARS THAT THE PATIENT PASSED THE  
16 FETUS HERSELF IN THIS SITUATION.

17 THE COURT: IS THAT AKIN TO WHAT WE WOULD REFER TO  
18 AS A SPONTANEOUS ABORTION?

19 THE WITNESS: YES.

20 BY MR. SIMPSON:

21 Q. NOW, DOCTOR, THE TERM "SPONTANEOUS ABORTION" IS THAT  
22 USUALLY USED FOR WHAT A LAYMAN WOULD CALL A MISCARRIAGE?

23 A. CORRECT.

24 Q. WOULD YOU NORMALLY USE THE PHRASE "SPONTANEOUS ABORTION" TO  
25 REFER TO A PROCEDURE WHERE A PHYSICIAN HAS ADMINISTERED





1 MISOPROSTOL OR PITOCIN?

2 A. I THINK IT MAY BE USED IN THAT SITUATION. IT'S BEEN USED  
3 ON ONE OF OUR CONSENT FORMS TO DESCRIBE TO THE PATIENT WHAT  
4 MIGHT HAPPEN TO HER.

5 MR. SIMPSON: YOUR HONOR, WE WOULD MOVE TO ADMIT  
6 EXHIBIT A-63 INTO EVIDENCE.

7 THE COURT: ON WHAT ISSUE?

8 MR. SIMPSON: YOUR HONOR, THE COURT HAS PERMITTED US  
9 AMONG THE MANY MEDICAL RECORDS THAT WE SECURED FROM PLAINTIFF'S  
10 COUNSEL, FROM THE PLAINTIFFS, THE COURT HAS PERMITTED US TO  
11 SUBMIT INTO THE COURT THOSE MEDICAL RECORDS THAT SHOW AN INTACT  
12 REMOVAL OF THE FETUS. THIS RECORD CLEARLY FALLS WITHIN THAT  
13 CATEGORY.

14 THE COURT: I BELIEVE MY RULING PERTAINED TO INTACT  
15 D&E. THAT'S THE SUBJECT HERE; NOT THIS KIND OF PROCEDURE.

16 AND I AM NOT EVEN SURE IT'S CORRECT TO CHARACTERIZE  
17 IT AS A PROCEDURE IF THE FETUS AND PLACENTA WAS PASSED  
18 SPONTANEOUSLY BY THE MOTHER.

19 DOES THAT MEAN THAT THE PHYSICIAN DID NOT TAKE ANY  
20 AFFIRMATIVE STEPS TO REMOVE THE FETUS?

21 THE WITNESS: WHAT I AM NOT CLEAR ON, YOUR HONOR, IS  
22 WHAT PREPARATION WAS DONE TO GET THE PATIENT TO THIS POINT.  
23 SHE MAY HAVE HAD MISOPROSTOL OR LAMINARIA OR OTHER INTERVENTION  
24 TO INITIATE THE PROCESS, BUT WHAT IT APPEARS TO ME IS THEN SHE  
25 WENT AHEAD AND DELIVERED. IF THAT WERE TRUE, IT WOULD BE AN



1 INDUCTION.

2 BY MR. SIMPSON:

3 Q. COULD I ASK YOU A QUESTION ON THAT, PLEASE, DOCTOR?

4 ON THAT PAGE 879, TOWARD THE MIDDLE OF THE PAGE  
5 THERE IS A GRID WITH FIVE COLUMNS, THE DATE, PREOPERATIVE  
6 ORDERS, AND SO FORTH.

7 DO YOU SEE THAT?

8 A. YES.

9 Q. HALFWAY DOWN THAT GRID IS A LINE THAT SAYS "CYTOTEC"?

10 A. YES.

11 Q. CYTOTEC IS THE BRAND NAME OF MISOPROSTOL, CORRECT?

12 A. CORRECT.

13 Q. DO YOU SEE THE FIGURES AND LETTERS FILLED IN ON THAT LINE  
14 THAT SAYS "CYTOTEC"?

15 A. MINE HAVE BEEN BLANKED OUT. I JUST SEE THE TIME AND SOME  
16 INITIALS.

17 Q. DO THOSE THINGS ON THAT LINE INDICATE THAT CYTOTEC WAS  
18 ADMINISTERED?

19 A. I WOULD IMAGINE THAT THAT'S WHAT HAPPENED, YES.

20 MR. SIMPSON: YOUR HONOR, WE WOULD MOVE THIS  
21 EXHIBIT A-63 INTO EVIDENCE.

22 THE COURT: RESPONSE?

23 MS. PARKER: WE OBJECT, YOUR HONOR. THIS IS NOT AN  
24 INTACT D&E AS THE WITNESS HAS TESTIFIED, IT'S A SPONTANEOUS  
25 ABORTION.



1                   AND I CAN DO REDIRECT IF YOUR HONOR WANTS ME TO  
2 ESTABLISH THAT FURTHER, BUT I THINK THE WITNESS HAS ALREADY  
3 TESTIFIED TO THAT.

4                   THE COURT: I AGREE.

5                   I WON'T PERMIT IT AT THIS TIME, MR. SIMPSON.

6 BY MR. SIMPSON:

7 Q. DOCTOR, JUST A FEW MORE QUESTIONS, IF I COULD.

8                   YOU'VE TESTIFIED THAT BEGINNING AT 22 WEEKS  
9 GESTATION YOU ALWAYS OFFER DIGOXIN TO CAUSE FETAL DEMISE?

10 A. YES.

11 Q. I THINK YOU SAID THAT ALL OF THE PATIENTS TO WHOM YOU OFFER  
12 THAT ACCEPT IT?

13 A. THAT'S CORRECT.

14 Q. BEFORE 22 WEEKS, SAY WHEN YOU ARE DOING A D&E AT 20 OR 21  
15 WEEKS -- LET ME RESTATE THAT QUESTION.

16                   YOU'VE ALSO TESTIFIED THAT SOMETIMES YOU REMOVE THE  
17 FETUS UP TO THE HEAD BEFORE COMPLETING THE DELIVERY, CORRECT?

18 A. CORRECT.

19 Q. WHEN YOU ARE DOING THAT AT 20 OR 21 WEEKS WHERE YOU HAVE  
20 NOT ADMINISTERED DIGOXIN TO CAUSE FETAL DEMISE, HAVE YOU SEEN  
21 ANY SIGNS OF THE LIFE IN THE FETUS WHEN YOU REMOVE THE FETUS UP  
22 TO THE HEAD?

23 A. NO.

24 Q. IS IT YOUR VIEW THAT IN ALL CASES THE FETUS HAS DIED BEFORE  
25 THAT POINT?



1 A. NO.

2 Q. ARE YOU TELLING ME THAT THE FETUS SHOWS NO SIGNS OF LIFE?

3 A. I AM SAYING THAT I AM NOT LOOKING FOR THAT.

4 Q. I HAVEN'T ASKED YOU, DOCTOR, WHETHER YOU ARE LOOKING FOR  
5 THOSE SIGNS OF LIFE.

6 DO YOU SEE OR OTHERWISE PERCEIVE SIGNS OF LIFE?

7 A. I HAVE NOT NOTED SIGNS OF LIFE.

8 Q. WHERE YOU ARE REMOVING THE FETUS AT 20 OR 21 WEEKS WHERE  
9 DIGOXIN HAS NOT BEEN ADMINISTERED, DO YOU SEE ANY MOVEMENT IN  
10 THE FETUS WHEN YOU EXTRACT IT UP TO THE HEAD?

11 A. I HAVE NOT NOTICED THAT.

12 Q. HAVE YOU NOTICED ANY BEATING OF THE HEART WHEN YOU HAVE  
13 EXTRACTED THE FETUS UP TO THE HEAD?

14 A. I HAVE NOT LOOKED FOR THAT. I HAVE NOT NOTED THAT.

15 Q. YOU TESTIFIED, DOCTOR, THAT YOU SOMETIMES HAVE TO COLLAPSE  
16 THE CALVARIUM TO COMPLETE THE DELIVERY.

17 WHERE YOU HAVE DONE THAT AT 20 OR 21 WEEKS, DO YOU  
18 SEE OR FEEL ANY MOVEMENT IN THE FETUS WHEN YOU COLLAPSE THE  
19 CALVARIUM?

20 A. NO.

21 MR. SIMPSON: I BELIEVE THAT IS ALL I HAVE, YOUR  
22 HONOR.

23 THE COURT: REDIRECT EXAMINATION?

24 ///

25 ///





1 REDIRECT EXAMINATION

2 BY MS. PARKER:

3 Q. IN RESPONSE TO SOME QUESTIONS BY MR. SIMPSON, YOU STATED  
4 YOU WERE NOT AWARE OF ANY STUDIES, BUT YOU WERE AWARE OF CASES  
5 REGARDING MATERNAL INJURY FROM FRAGMENTS OF THE FETUS.

6 DO YOU RECALL THAT TESTIMONY?

7 A. YES.

8 Q. WHAT WERE THOSE CASES?

9 A. I HAVE SEEN A FEW TIMES WHEN A BONEY PART IS -- OF THE  
10 FETUS IS BEING PULLED DOWN THROUGH THE CERVIX THAT IT LACERATES  
11 RIGHT THROUGH THE CERVIX. SO MY PERSONAL EXPERIENCE, I HAVE  
12 SEEN THAT.

13 I HAVE OBSERVED OTHER PEOPLE PERFORMING PROCEDURES  
14 WHERE THIS HAS HAPPENED. I HAVE DISCUSSED IT A NUMBER OF TIMES  
15 WITH OTHER EXPERTS WHO PROVIDE THESE PROCEDURES, AND IT'S A  
16 WELL-KNOWN RISK.

17 ALSO THERE IS AN AUDIOTAPE THAT'S BEEN PRODUCED ON  
18 THE TOPIC OF SECOND-TRIMESTER ABORTION. DR. DAVID GRIMES IS  
19 THE AUTHOR OF THAT AND IT IS COMMENTED UPON IN THAT AUDIOTAPE.

20 Q. AND MR. SIMPSON ALSO ASKED YOU A SERIES OF QUESTIONS ABOUT  
21 THE PERCENT OF TIME WHEN YOU WERE ABLE TO REMOVE THE FETUS  
22 INTACT.

23 DO YOU REMEMBER THAT SERIES OF QUESTIONS?

24 A. YES.

25 Q. AND I BELIEVE YOU INDICATED IT WAS ONE PERCENT OF THE TIME?

1 A. YES.

2 Q. IN THAT LINE OF QUESTIONING, WHAT DID YOU MEAN BY "INTACT"?

3 A. I MEAN WHEN THE ENTIRE FETUS IS COMPLETELY INTACT.

4 Q. SO DOES THE ONE PERCENT INCLUDE THE SITUATION WHERE THE  
5 FETUS IS REMOVED UP TO THE NECK AND THEN A FURTHER PROCEDURE IS  
6 USED TO COMPLETE --

7 A. NO, I MEAN THE FETUS IS ENTIRELY INTACT WHEN IT'S  
8 EXTRACTED.

9 Q. AND DO YOU KNOW IN WHAT PERCENT OF THE D&E PROCEDURES YOU  
10 PERFORM THAT THE PROCEDURE PROCEEDS IN A WAY THAT THE FETUS IS  
11 REMOVED UP TO THE NECK AND THEN YOU NEED TO DO A FURTHER  
12 MANEUVER PROCEDURE TO COMPLETE THE PROCESS?

13 A. THAT'S A MUCH MORE COMMON SITUATION. IT PROBABLY HAPPENS  
14 ONE IN 15 TIMES OR SO.

15 Q. DOES THAT NUMBER CHANGE AS THE GESTATIONAL AGE INCREASES?

16 A. IN GENERAL, OVER 18 WEEKS, I WOULD SAY, IT HAPPENS AT ANY  
17 OF THOSE GESTATIONAL AGES VERY UNIFORMLY.

18 Q. AND IS IT YOUR OPINION THAT THE PROCEDURE WHERE THE FETUS  
19 IS REMOVED UP TO THE NECK AND THEN YOU NEED TO DO SOME  
20 ADDITIONAL PROCEDURE TO COMPLETE THE PROCESS, DOES THAT  
21 VIOLATE, IN YOUR VIEW, THE PARTIAL-BIRTH ABORTION BAN ACT?

22 A. YES, IT DOES.

23 MS. PARKER: NO FURTHER QUESTIONS.

24 THE COURT: RE-CROSS?

25 MR. SIMPSON: THANK YOU, YOUR HONOR.



1 THE WITNESS: THANK YOU.

2 SHALL I LEAVE THIS HERE?

3 THE COURT: YOU CAN LEAVE IT ALL.

4 ALL RIGHT. PLEASE CALL YOUR NEXT WITNESS.

5 MS. MORRIS: YOUR HONOR, PLAINTIFFS CALL DR. ELEANOR

6 DREY.

7 THE CLERK: PLEASE TAKE THE STAND. PLEASE RAISE

8 YOUR RIGHT HAND.

9 ELEANOR DREY,

10 CALLED AS A WITNESS FOR THE PLAINTIFF, HAVING BEEN DULY SWORN,

11 TESTIFIED AS FOLLOWS:

12 THE WITNESS: YES.

13 THE CLERK: PLEASE STATE YOUR NAME FOR THE COURT.

14 THE WITNESS: ELEANOR DREY.

15 THE CLERK: SPELL YOUR LAST NAME.

16 THE WITNESS: D-R-E-Y.

17 DIRECT EXAMINATION

18 BY MS. MORRIS:

19 Q. GOOD MORNING.

20 A. GOOD MORNING.

21 Q. DR. DREY, YOU ARE A PHYSICIAN AT SAN FRANCISCO GENERAL

22 HOSPITAL; ISN'T THAT RIGHT?

23 A. YES.

24 Q. WHAT IS YOUR AREA OF MEDICAL SPECIALTY?

25 A. OBSTETRICS AND GYNECOLOGY.

1 Q. IS IT ALL RIGHT IF I REFER TO SAN FRANCISCO GENERAL  
2 HOSPITAL AS SFGH?

3 A. YES.

4 Q. WHAT IS YOUR TITLE AT SFGH?

5 A. I AM THE MEDICAL DIRECTOR OF THE WOMEN'S OPTION CENTER.

6 Q. ASIDE FROM BEING MEDICAL DIRECTOR OF THE WOMEN'S OPTION  
7 CENTER, WHAT OTHER PROFESSIONAL ACTIVITIES ARE YOU INVOLVED IN?

8 A. I'M A GENERALIST OBSTETRICIAN, GYNECOLOGIST, SO AS THAT, I  
9 WORK AS AN ATTENDANT. SO I ATTEND INPATIENT AND OUTPATIENT  
10 OBSTETRICS AND GYNECOLOGY.

11 Q. ANY OTHER PROFESSIONAL ACTIVITIES?

12 A. I TEACH RESIDENTS AND MEDICAL STUDENTS AND FELLOWS.

13 Q. AND IS THAT THROUGH SFGH OR SOME OTHER ENTITY?

14 A. THAT'S THROUGH THE UCSF.

15 Q. I WOULD LIKE TO TALK TO YOU ABOUT THE WOMEN'S OPTION  
16 CENTER.

17 WHAT IS THE BASIC MISSION OF THE WOMEN'S OPTION  
18 CENTER?

19 A. IT'S TO PROVIDE EXCELLENT CLINICAL CARE AND ABORTION AND IN  
20 CONTRACEPTION. OUR MISSION IS ALSO TO PERFORM RESEARCH AND  
21 ABORTION CARE AND TO TRAIN FUTURE ABORTION PROVIDERS.

22 Q. AND SPECIFICALLY WHAT SERVICES DOES THE WOMEN'S OPTION  
23 CENTER PROVIDE?

24 A. WE PROVIDE OPTIONS COUNSELING, CONTRACEPTION COUNSELING AND  
25 PROVISION, ABORTION COUNSELING AND PROVISION, AND PREGNANCY

1 TESTING.

2 Q. GENERALLY SPEAKING, TO WHOM DOES THE WOMEN'S OPTION CENTER  
3 PROVIDE THIS KIND OF CARE?

4 A. WE HAVE A VERY MIXED POPULATION. IT'S PREDOMINANTLY LOWER  
5 INCOME WOMEN, BUT WE ALSO SERVE HIGH RISK MEDICALLY-COMPLICATED  
6 PATIENTS.

7 Q. AND DO YOU SERVE PATIENTS JUST FROM THE SAN FRANCISCO  
8 GEOGRAPHIC AREA OR IS IT BROADER THAN THAT?

9 A. NO, ACTUALLY OUR PATIENTS ARE DRAWN FROM SORT OF ALL  
10 NORTHERN CALIFORNIA AND SOMETIMES OTHERS STATES AND OTHER  
11 COUNTRIES.

12 SO, WE ACTUALLY SERVE A LARGE GEOGRAPHIC REGION.

13 Q. YOU HAD MENTIONED A MOMENT AGO THAT YOU HAVE A GOOD NUMBER  
14 OF MEDICALLY COMPLEX CASES THAT ARE REFERRED TO YOU.

15 WHY ARE MEDICALLY COMPLEX CASES REFERRED TO THE  
16 WOMEN'S OPTION CENTER? IS THERE ANYTHING SPECIAL ABOUT IT THAT  
17 SPECIFICALLY -- THAT'S SPECIALLY EQUIPS IT TO HANDLE GREATER  
18 HEALTH RISKS?

19 A. WELL, WE ARE LOCATED IN A GENERAL TRAUMA CENTER AND A  
20 GENERAL HOSPITAL. SO, AS SUCH, WE HAVE FACILITIES SO THAT  
21 SHOULD A WOMAN HAVE A COMPLICATION IN THAT CASE, THEN WE WOULD  
22 BE ABLE TO HELP HER.

23 Q. NOW, YOU TESTIFIED THAT YOU ARE THE MEDICAL DIRECTOR. WHAT  
24 DOES THAT JOB INVOLVE?

25 A. IT INVOLVES MAKING SURE THAT WE PROVIDE EXCELLENT ABORTION

1 AND CONTRACEPTION CARE, SO OVERSEEING THE ACTIVITIES OF THE  
2 CLINIC PRIMARILY.

3 Q. DO YOU PERFORM ABORTIONS?

4 A. YES.

5 Q. DO YOU SUPERVISE ABORTIONS?

6 A. YES.

7 Q. DO YOU ESTABLISH PROTOCOLS FOR THE WOMEN'S OPTION CENTER?

8 A. YES.

9 Q. DO YOU SUPERVISE ANY EMPLOYEES AT THE WOMEN'S OPTION  
10 CENTER?

11 A. YES.

12 Q. WHO DO YOU SUPERVISE?

13 A. I AM THE DIRECT SUPERVISOR FOR THE HEAD NURSE, THE HEAD  
14 COUNSELOR AND ONE RESEARCH ASSISTANT, BUT THEN I GENERALLY  
15 SUPERVISE EVERYONE IN MY ROLE AS MEDICAL DIRECTOR.

16 Q. YOU MENTIONED TEACHING EARLIER, TEACHING MEDICAL STUDENTS  
17 AND RESIDENTS. DOES PART OF THAT TEACHING OCCUR AT THE WOMEN'S  
18 OPTION CENTER?

19 A. YES.

20 Q. DO YOU DO ANY ADMINISTRATIVE WORK IN CONNECTION WITH YOUR  
21 ROLE?

22 A. YES.

23 Q. CAN YOU DESCRIBE WHAT YOU DO?

24 A. I -- WELL, IN ADDITION TO THE FUNCTIONS THAT I DESCRIBED, I  
25 WORK WITH THE ADMINISTRATION OF THE HOSPITAL TO ENSURE THAT WE

1 CAN CONTINUE TO PROVIDE SAFE ABORTION AND CONTRACEPTION  
2 SERVICES.

3 Q. I WOULD LIKE TO TURN SPECIFICALLY TO THE PROVISION OF  
4 ABORTIONS AT THE WOMEN'S OPTION CENTER.

5 DOES THE CENTER PROVIDE WOMEN WITH D&E'S?

6 A. YES.

7 Q. UP TO WHAT GESTATIONAL AGE ARE D&E'S PERFORMED?

8 A. WE DO D&E'S UNTIL 23 WEEKS ONE DAY OR BIPARIETAL DIAMETER  
9 56 MILLIMETERS.

10 Q. NOW, YOU SAY 23 WEEKS ONE DAY IS EQUIVALENT TO BIPARIETAL  
11 DIAMETER OF 56 MILLIMETERS. YESTERDAY, DR. SHEEHAN TESTIFIED  
12 THAT IN HER PRACTICE SHE DOES ABORTIONS TO 24 WEEKS, WHICH  
13 IS -- WHICH SHE DESCRIBED AS 56 MILLIMETERS BIPARIETAL -- BY  
14 BPD.

15 CAN YOU EXPLAIN THE DISCREPANCY BETWEEN YOUR  
16 TESTIMONY AND DR. SHEEHAN'S TESTIMONY IN THAT REGARD?

17 A. YES. I THINK THOSE OF US WHO USE ULTRASOUND DETERMINATION  
18 OF GESTATIONAL AGE DO SO BASED ON THE GROWTH CHARTS THAT ARE  
19 ACTUALLY PROGRAMMED INTO THE COMPUTER.

20 SO IF YOU HAD A MEASUREMENT OF A CERTAIN NUMBER OF  
21 MILLIMETERS BASED ON THAT GROWTH CHART ON THE ULTRASOUND, YOU  
22 WILL EITHER GET A MEASUREMENT AT 56 MILLIMETERS ACCORDING TO  
23 ONE COMPUTER, ONE ULTRASOUND GROWTH CHART WILL BE 23 WEEKS ONE  
24 DAY ANOTHER GROWTH CHART -- SORRY. I'M SPEAKING TOO FAST.

25 THE REPORTER: I'M SORRY, 23 --



1 THE WITNESS: A GROWTH CHART THAT IN ONE ULTRASOUND  
2 AT A BPD OF 56 MILLIMETERS IS READ AS 23 WEEKS ONE DAY AND  
3 ANOTHER ULTRASOUND WILL READ THE SAME MEASUREMENT AS 24 WEEKS.  
4 BY MS. MORRIS:

5 Q. ARE YOU SAYING THAT WHAT REALLY MATTERS IS THE SIZE OF THE  
6 BPD?

7 A. THAT ULTIMATELY IS WHAT WE ARE ALL CONCERNED ABOUT IS THE  
8 SIZE OF THE FETUS AND SPECIFICALLY THE SIZE OF THE CALVARIUM.

9 Q. AND WHY IS 56 MILLIMETERS THE CUTOFF AT THE WOMEN'S OPTION  
10 CENTER?

11 A. THAT DECISION WAS MADE IN REFERENCE TO CONSIDERATIONS OF  
12 BOTH THE TECHNICAL DIFFICULTY OF THE PROCEDURES THAT WE WOULD  
13 BE PERFORMING, BUT ALSO IN REGARDS TO LIMITS ON VIABILITY.

14 Q. DOES THE WOMEN'S OPTION CENTER OFFER ABORTION METHODS OTHER  
15 THAN D&E?

16 A. WE DO.

17 Q. CAN YOU TELL ME WHAT THEY ARE?

18 A. WE ALSO DO SUCTION ABORTIONS, MEDICAL ABORTIONS, AND  
19 INDUCTION ABORTIONS.

20 Q. HOW MANY PHYSICIANS PROVIDE ABORTIONS AT THE WOMEN'S OPTION  
21 CENTER?

22 A. WE HAVE 16 ATTENDING PHYSICIANS AND THEY SUPERVISE A  
23 VARYING NUMBER OF RESIDENTS. SO THOSE ARE RESIDENT PHYSICIANS  
24 WHO ALSO PROVIDE ABORTIONS FOR FIRST-TRIMESTER ABORTIONS. THEY  
25 ALSO SUPERVISE MEDICAL STUDENTS WHO PROVIDE SOME OF THE

1 FIRST-TRIMESTER ABORTIONS, BUT NOT THE SECOND-TRIMESTER  
2 ABORTIONS.

3 Q. HOW MANY ABORTIONS DOES THE CENTER PROVIDE A YEAR ON  
4 AVERAGE?

5 A. APPROXIMATELY 2,000.

6 Q. AND OF THOSE 2,000 WHAT PERCENTAGE ARE SECOND-TRIMESTER  
7 ABORTIONS?

8 A. SLIGHTLY OVER HALF.

9 Q. AND OF THE SECOND-TRIMESTER ABORTIONS, WHAT PERCENTAGE ARE  
10 PERFORMED AT 20 WEEKS OR LATER?

11 A. APPROXIMATELY 60 PERCENT.

12 Q. WHY DOES THE WOMEN'S OPTION CENTER HAVE SUCH A HIGH  
13 PERCENTAGE OF PATIENTS AT 20 WEEKS OR LATER?

14 A. IT REALLY HAS TO DO WITH THE POPULATION THAT WE SEE OUR  
15 RESPONSIBILITY TO SERVE, AND THAT POPULATION IS POOR WOMEN. IN  
16 CALIFORNIA -- AS WELL AS MEDICALLY-COMPLICATED WOMEN.

17 SO WE, PREFERENTIALLY, WOMEN AT LATER GESTATIONS WHO  
18 HAVE MEDICAL COMPLICATIONS ARE REFERRED TO US BECAUSE WE ARE  
19 SEEN AS EXPERTS IN THE PROVISION OF LATE SECOND-TRIMESTER  
20 ABORTION.

21 BUT IN ADDITION TO THAT, WE SEE, AS PART OF OUR  
22 MISSION, SERVING THE POOR WOMEN OF NORTHERN CALIFORNIA AND  
23 REALLY THEY HAVE NOWHERE ELSE TO GO. THERE IS ONLY ONE OTHER  
24 CLINIC WHO CAN ACCEPT ALL TYPES OF MEDICAL OR THE STATE  
25 INSURANCE FOR THESE ABORTIONS AT 20 WEEKS AND ABOVE, AND THEY

1 DO -- THEY CAN ONLY ACCEPT VERY FEW OF THESE BECAUSE THEY  
2 REIMBURSE VERY LITTLE.

3 SO, ESSENTIALLY, WE END UP TAKING CARE OF ALL OF THE  
4 POOR WOMEN WHO NEED ABORTIONS AT 20 WEEKS AND ABOVE FOR ALL OF  
5 NORTHERN CALIFORNIA.

6 Q. I WOULD LIKE TO TALK ABOUT THE PARTIAL-BIRTH ABORTION BAN  
7 ACT OF 2003, WHICH I WILL CALL THE ACT.

8 HAVE YOU READ THE ACT?

9 A. YES.

10 Q. WE HAVE A PORTION OF THE ACT BLOWN UP WHICH I WILL -- WITH  
11 PERMISSION?

12 THE COURT: YES.

13 BY MS. MORRIS:

14 Q. WOULD YOU PLEASE TAKE A MOMENT TO READ THAT, AGAIN?

15 DO THE PHYSICIANS AT THE WOMEN'S OPTION CENTER  
16 PERFORM ABORTIONS THAT FALL WITHIN THE DEFINITION OF  
17 PARTIAL-BIRTH ABORTION IN THE ACT AS YOU READ IT THERE?

18 A. YES.

19 Q. DO YOU YOURSELF PERFORM ABORTIONS THAT FALL WITHIN THIS  
20 DEFINITION?

21 A. YES.

22 Q. COULD YOU PLEASE EXPLAIN WHAT YOU MEAN BY THAT?

23 A. OUR -- THE TYPE OF ABORTIONS WE PROVIDE IN THE  
24 SECOND-TRIMESTER LARGELY IS D&E, BUT ANY TIME YOU DO A D&E  
25 ABORTION, DEPENDING ON THE DEGREE OF CERVICAL DILATION THAT YOU

1 HAVE ACHIEVED, THEN IT'S POSSIBLE BY GRASPING FETAL PARTS TO  
2 DELIVER THE FETUS BEYOND THE NAVEL, AND THEN TO HAVE TO  
3 CONTINUE THE PROCEDURE AND VIOLATE THE ACT.

4 Q. DO YOU HAVE ANY CONTROL OVER WHEN FETAL DEATH OCCURS IN THE  
5 COURSE OF THAT PROCEEDING?

6 A. WELL, I MEAN YOU DON'T HAVE ANY CONTROL OVER -- I MEAN,  
7 WHAT YOU ARE REALLY PAYING ATTENTION TO IS TRYING TO COMPLETE  
8 THE ABORTION SAFELY. SO, WHAT YOU ARE MAINLY TRYING TO DO IS  
9 EXTRACT THE PART SAFELY. SO, NOT EXACTLY.

10 Q. YOU ARE AWARE, ARE YOU NOT, THAT THERE IS AN INJUNCTION IN  
11 THIS CASE THAT PROTECTS THE PHYSICIANS AT SFGH FROM BEING  
12 CRIMINALLY PROSECUTED UNDER THE ACT?

13 A. YES.

14 Q. IF THAT INJUNCTION WERE LIFTED AND THE ACT WENT INTO FULL  
15 EFFECT, WHAT IMPACT WOULD IT HAVE ON PHYSICIANS AT THE WOMEN'S  
16 OPTION CENTER?

17 A. I THINK IT WOULD HAVE A LARGE IMPACT ON OUR PHYSICIANS. I  
18 THINK THAT IT WOULD BE VERY DIFFICULT FOR US TO CONTINUE TO  
19 PROVIDE SECOND-TRIMESTER ABORTIONS BECAUSE OF THIS ACT.

20 Q. CAN YOU SAY MORE ABOUT THE EFFECT THE ACT WOULD HAVE ON  
21 YOUR ABILITY TO PERFORM THOSE ABORTIONS?

22 A. I THINK THAT BECAUSE ANY KIND OF -- ANY D&E THAT WE DO  
23 COULD END UP FALLING UNDER THAT DEFINITION, THE PHYSICIANS  
24 WOULD BE CONCERNED THAT THEY WOULD BE VIOLATING THIS ACT AND,  
25 THEREFORE, THE PHYSICIANS WITH WHOM I WORK WOULD NO LONGER FEEL

1 COMFORTABLE PERFORMING D&E'S.

2 AND, IN FACT, I ALREADY MADE SOME CHANGES IN THE  
3 CLINIC JUST BECAUSE OF CONCERNS ABOUT THE ACT.

4 Q. CAN YOU GIVE ME SOME EXAMPLES OF CHANGES THAT YOU'VE MADE?

5 A. WELL, WE USUALLY, WE USED TO REALLY COUNSEL ALL PATIENTS  
6 ABOUT WELCOMING SOMEONE TO COME IN THE PROCEDURE WITH THEM TO  
7 SERVE AS A SUPPORT PERSON, WHETHER THAT BE A FAMILY MEMBER OR  
8 PARENT, A FRIEND, OR SOMEONE WHO COULD COME IN THE ROOM WITH  
9 THEM.

10 BECAUSE OF THIS ACT, I WAS CONCERNED THAT IN THE  
11 LATER PROCEDURES THOSE PEOPLE MIGHT MISINTERPRET WHAT WAS  
12 HAPPENING, AND SO I NO LONGER ENCOURAGE THE COUNSELORS TO BRING  
13 THIS UP.

14 IF A PATIENT REQUESTS FOR A SUPPORT PERSON TO BE  
15 WITH THEM, WE DON'T ABSOLUTELY RESTRICT IT, BUT WE NO LONGER  
16 OFFER IT SORT OF PART OF OUR ROUTINE COUNSELING LIKE WE USED  
17 TO.

18 I ALSO FELT THAT INSTEAD OF ALLOWING MEDICAL  
19 STUDENTS TO OBSERVE ALL OF THE PROCEDURES THAT WE DO, I WOULD  
20 NO LONGER ENCOURAGE THEM TO SEE THE LATER PROCEDURES, AGAIN,  
21 BECAUSE I WAS CONCERNED THAT THEY MIGHT INTERPRET SOMETHING  
22 THAT WE WERE DOING AS VIOLATING THE ACT AND I DIDN'T WANT TO  
23 CAUSE THAT CONCERN AMONG OUR STAFF.

24 Q. OTHER THAN THE IMPACTS THAT YOU HAVE ALREADY TALKED ABOUT,  
25 WHAT OTHER IMPACTS WOULD THE ACT HAVE ON THE WOMEN'S OPTION

1 CENTER IF IT WERE ENFORCED?

2 A. I DON'T THINK WE HAVE ENOUGH PHYSICIANS TO PERFORM  
3 SECOND-TRIMESTER ABORTIONS, AND I THINK WHAT WOULD END UP  
4 HAPPENING IS THAT POOR WOMEN WOULD HAVE NOWHERE TO GO IN  
5 NORTHERN CALIFORNIA, NOR WOULD WOMEN WITH MEDICAL COMPLICATIONS  
6 THAT MIGHT MAKE A D&E MORE DIFFICULT BECAUSE A HUGE NUMBER, I  
7 DON'T KNOW IF ALL OF THEM, BUT WE GET MOST WOMEN AS FAR AS I  
8 KNOW WHO HAVE REALLY ACUTE MEDICAL OR RISKY SURGICAL ISSUES.

9 Q. CAN YOU STATE BROADLY WHAT IMPACT YOU THINK THE ACT WOULD  
10 HAVE ON THE AVAILABILITY OF ABORTION SERVICES IN NORTHERN  
11 CALIFORNIA GENERALLY?

12 A. I THINK THAT IF YOU WERE -- WELL, I WAS GOING TO SAY IF YOU  
13 WERE INSURED YOU MIGHT HAVE SOMEWHERE TO GO, BUT I THINK THAT  
14 MANY PROVIDERS -- IN FACT, I HAVE HAD PROVIDERS CALL ME AND SAY  
15 THAT THEY NO LONGER ARE SURE WHETHER THEY SHOULD DO  
16 SECOND-TRIMESTER ABORTIONS BECAUSE THEY DON'T KNOW IF THEY  
17 WOULD BE VIOLATING THIS ACT.

18 SO, I WAS GOING TO SAY THAT IF YOU HAD INSURANCE,  
19 YOU MIGHT STILL BE ABLE TO FIND A PROVIDER. IF YOU DON'T HAVE  
20 INSURANCE OR IF YOU HAVE MEDI-CAL, YOU MIGHT NOT BE ABLE TO  
21 FIND A PROVIDER IN NORTHERN CALIFORNIA WHO COULD PROVIDE THESE  
22 SERVICES. SO I AM CONCERNED THAT WOMEN WOULD HAVE NOWHERE TO  
23 GO TO GET A SECOND-TRIMESTER ABORTION.

24 MR. SIMPSON: YOUR HONOR, WE MUST OBJECT. I THINK  
25 WE'RE MOVING INTO THE AREA OF SPECULATION, AND WE OBJECT AND

1 ASK THAT THE ANSWER BE STRICKEN.

2 THE COURT: I AM NOT GOING TO STRIKE THE ANSWER, BUT  
3 I TEND TO AGREE THAT SOME OF IT IS A BIT SPECULATIVE. I AM NOT  
4 GOING TO STRIKE IT.

5 PERHAPS YOU CAN MOVE ON TO ANOTHER AREA.

6 MS. MORRIS: YES, THANK YOU, YOUR HONOR.

7 BY MS. MORRIS:

8 Q. JUST ONE LAST TOPIC.

9 YOU HAD MENTIONED EARLIER THAT YOU GET A  
10 DISPROPORTIONATE NUMBER OF WOMEN COMING TO THE WOMEN'S OPTION  
11 CENTER WHO HAVE MEDICAL COMPLICATIONS OR JUST MEDICALLY COMPLEX  
12 CASES.

13 CAN YOU GIVE ME SOME EXAMPLES OF CASES LIKE THAT?

14 A. WE GENERALLY GET REFERRED WOMEN WHO HAVE HAD MULTIPLE OR  
15 EVEN ONE CESAREAN SECTION IN THE PAST, WOMEN WHO ARE MORBIDLY  
16 OBESE, WOMEN WHO HAVE LIMITED INTRAVENOUS ACCESS, WOMEN WITH  
17 PLACENTA PREVIA, WOMEN WHO HAVE SEVERE MEDICAL CONDITIONS AMONG  
18 OTHER COMPLICATIONS.

19 Q. AGAIN, THEY ARE REFERRED TO YOU BECAUSE?

20 A. BECAUSE OF OUR BEING A TRAUMA HOSPITAL, WE ARE ABLE TO HELP  
21 THEM WHERE A FREE-STANDING CLINIC MIGHT NOT FEEL COMFORTABLE TO  
22 DO THEIR ABORTION BECAUSE WE ARE SEEN AS THE SAFEST PLACE TO DO  
23 ABORTIONS FOR THESE WOMEN.

24 MS. MORRIS: THANK YOU. I HAVE NO FURTHER  
25 QUESTIONS.

1 THE COURT: AS I RECALL YESTERDAY, YOU ADVISED THAT  
2 YOU WERE SPLITTING UP THE EXAMINATION.

3 MS. MORRIS: YES.

4 THE COURT: SO PLANNED PARENTHOOD COUNSEL WISHES TO  
5 EXAMINE DR. DREY FURTHER?

6 MS. PARKER: YES, YOUR HONOR.

7 THE REASON IS SHE IS A PERCIPIENT WITNESS FOR THE  
8 CITY AND SHE'S OUR EXPERT.

9 DIRECT EXAMINATION

10 BY MS. PARKER:

11 Q. GOOD MORNING, DR. DREY.

12 HOW ARE YOU DOING?

13 A. OKAY.

14 Q. I AM BETH PARKER, ATTORNEY FOR PLANNED PARENTHOOD.

15 DR. DREY, HAVE YOU ALSO COME HERE PREPARED TO STATE  
16 YOUR OPINION AS TO THE SAFETY AND EFFICACY OF ADMINISTERING  
17 DIGOXIN TO CAUSE FETAL DEMISE BEFORE TERMINATION OF A  
18 SECOND-TRIMESTER ABORTION?

19 A. YES.

20 Q. AND BEFORE WE GO INTO YOUR OPINIONS, I JUST WANTED TO ASK  
21 YOU A FEW MORE BACKGROUND QUESTIONS.

22 IN ADDITION TO SERVING AS THE MEDICAL DIRECTOR OF  
23 THE WOMEN'S OPTIONS CENTER, I BELIEVE YOU ALSO INDICATED THAT  
24 YOU DO SOME TEACHING; IS THAT RIGHT?

25 A. YES, I DO.



1 Q. AND WHERE DO YOU DO THAT TEACHING?

2 A. I LARGELY DO IT AT THE UNIVERSITY OF CALIFORNIA SAN  
3 FRANCISCO. SO THAT'S BOTH AT THE MEDICAL SCHOOL AND AT THE  
4 HOSPITAL AS ONE OF ITS SITES, BUT I ALSO TEACH AT OTHER  
5 UNIVERSITIES.

6 Q. DO YOU HAVE A TITLE AT UCSF? CAN WE CALL UNIVERSITY AT  
7 CALIFORNIA SAN FRANCISCO UCSF?

8 A. I'M AN ASSISTANT CLINICAL PROFESSOR IN THE DEPARTMENT OF  
9 OBSTETRICS, GYNECOLOGY, AND REPRODUCTIVE SCIENCES IS MY TITLE.

10 Q. WHAT ARE YOUR RESPONSIBILITIES AS AN ASSISTANT CLINICAL  
11 PROFESSOR?

12 A. IT IS TO TEACH RESIDENTS, MEDICAL STUDENTS, AND FELLOWS AS  
13 WELL AS TO DO CLINICAL CARE AND DO RESEARCH.

14 Q. DO YOU TEACH ANY METHODS OF ABORTION?

15 A. I DO.

16 Q. AND WHAT ARE THOSE METHODS?

17 A. I TEACH FIRST-TRIMESTER AND SECOND-TRIMESTER SURGICAL  
18 ABORTIONS. SO, THAT WOULD BE SUCTION ABORTION IN THE  
19 FIRST-TRIMESTER AND D&E IN THE SECOND-TRIMESTER.

20 WE ALSO TEACH INDUCTION TERMINATION AND MEDICAL  
21 ABORTION IN THE FIRST-TRIMESTER.

22 Q. WHAT DO YOU MEAN BY "MEDICAL ABORTION IN THE  
23 FIRST-TRIMESTER"?

24 A. THAT LARGELY IS USING THE MIFEPRISTONE, MISOPROSTOL AND IN  
25 THE FIRST-TRIMESTER.

1 Q. DO YOU ALSO DO RESEARCH?

2 A. YES.

3 Q. IN WHAT AREAS?

4 A. LARGELY ON CONTRACEPTION AND ABORTION.

5 Q. DO YOU HAVE ANY SPECIAL TRAINING THAT QUALIFIES YOU TO

6 TEACH IN THIS AREA AND DO RESEARCH IN THIS AREA?

7 A. I DID A CLINICAL AND RESEARCH FELLOWSHIP IN FAMILY

8 PLANNING.

9 Q. WHEN DID YOU DO THAT?

10 A. I DID THAT FROM 2000 TO 2002.

11 Q. WHERE?

12 A. AT UCSF.

13 Q. DO YOU HAVE ANY ONGOING ROLE IN THAT FELLOWSHIP PROGRAM?

14 A. I CONTINUE TO TEACH THE FELLOWS IN THAT PROGRAM AND ASSIST

15 THEM WITH THEIR RESEARCH.

16 MS. PARKER: MAY I APPROACH THE WITNESS, YOUR HONOR?

17 THE COURT: YES.

18 BY MS. PARKER:

19 Q. DR. DREY, I HAVE SHOWN YOU WHAT HAS BEEN MARKED AS

20 EXHIBIT 33.

21 DO YOU SEE THAT?

22 A. YES.

23 Q. IS THAT YOUR C.V.?

24 A. YES.

25 Q. DID YOU PREPARE IT?

1 A. YES.

2 Q. IS IT BASICALLY -- IS IT TRUE AND CORRECT OR ACCURATE?

3 A. YES.

4 THE COURT: IS IT BASICALLY?

5 MS. PARKER: NOT BASICALLY.

6 BY MS. PARKER:

7 Q. IS IT ACCURATE?

8 A. IT IS ACCURATE. BUT, YOU KNOW, AS TIME PASSES, YOU ARE  
9 SUPPOSED TO KEEP IT UP TO DATE. SO I HAVEN'T MADE ANY MINOR  
10 ADDITIONS SINCE THEN.

11 MS. PARKER: I WOULD LIKE TO OFFER EXHIBIT 33 INTO  
12 EVIDENCE.

13 MR. SIMPSON: NO OBJECTION.

14 THE COURT: ADMITTED.

15 THE CLERK: 33 INTO EVIDENCE.

16 (PLAINTIFF'S EXHIBIT 33  
17 RECEIVED IN EVIDENCE)

18 BY MS. PARKER:

19 Q. DR. DREY, HAVE YOU SERVED AS AN EXPERT WITNESS BEFORE IN A  
20 CASE INVOLVING THE PROVISION OF ABORTION SERVICES?

21 A. NO.

22 Q. HAVE YOU SERVED AS AN EXPERT WITNESS BEFORE?

23 A. NO.

24 Q. ARE YOU BEING COMPENSATED FOR PROVIDING EXPERT TESTIMONY IN  
25 THIS CASE?

1 A. NO.

2 MS. PARKER: I WOULD LIKE TO MOVE TO QUALIFY  
3 DR. DREY AS AN EXPERT WITNESS IN THE AREAS OF OBSTETRICS AND  
4 GYNECOLOGY IN THE PROVISION OF ABORTION.

5 THE COURT: ANY OBJECTION?

6 MR. SIMPSON: YOUR HONOR, I AM AFRAID WE DO HAVE TO  
7 OBJECT TO THE BREADTH OF THAT STATEMENT. DR. DREY'S EXPERT  
8 REPORT WAS VERY MUCH LIMITED TO THE INTRAUTERINE INJECTION OF  
9 DIGOXIN TO CAUSE FETAL DEMISE.

10 DURING HER DEPOSITION WE ASKED HER A LONG SERIES OF  
11 QUESTIONS AS TO WHETHER SHE'S OFFERING TESTIMONY AT TRIAL  
12 REGARDING OTHER THINGS. I CAN READ THAT SERIES TO THE COURT.

13 MS. PARKER: WE DON'T PLAN TO GO BEYOND THE DIGOXIN  
14 AREA, BUT I DO THINK SHE QUALIFIES AS AN EXPERT IN THESE AREAS,  
15 WHICH IS WHY I MOVED HER.

16 THE COURT: I DON'T KNOW IT IS NECESSARY ON THIS  
17 PARTICULAR SUBJECT TO PARSE OUT HER QUALIFICATIONS SO MINUTELY  
18 AS TO LIMIT HER TO THAT ONE AREA. SHE CLEARLY HAS EXPERTISE IN  
19 HER ROLE IN MORE THAN JUST THE AREA OF THE USE OF DIGOXIN.

20 SO, I UNDERSTAND IT'S BROADER THAN MOST OF THE  
21 OFFERS HAVE BEEN, AND PERHAPS YOU CAN NARROW IT IN SOME WAY,  
22 BUT I DON'T -- THOSE ARE TWO SEPARATE ISSUES WHAT SHE IS GOING  
23 TO TESTIFY ON TODAY IS SEPARATE FROM THE BREADTH OF HER  
24 QUALIFICATIONS. SHE IS CLEARLY, AND I BELIEVE YOU ALL HAVE  
25 STIPULATED TO THE BASIC QUALIFICATIONS OF ALL THE MEDICAL

1 PROVIDERS WHO HAVE MEDICAL DEGREES AND SOME DEGREE OF  
2 EXPERIENCE IN OB/GYN. SO SHE'S, IN OTHER WORDS, I AM GOING TO  
3 OVERRULE YOUR OBJECTION.

4 BY MS. PARKER:

5 Q. DR. DREY, YOU HAVE TOLD US THAT YOU ARE PREPARED TO STATE  
6 YOUR EXPERT OPINION ON THE SAFETY AND EFFICACY OF THE USE OF  
7 DIGOXIN IN THE SECOND-TRIMESTER ABORTION; IS THAT RIGHT?

8 A. YES.

9 Q. WHAT IS THAT OPINION?

10 A. MY OPINION IS THAT ALTHOUGH DIGOXIN IS SAFE IN MOST CASES,  
11 THAT THAT DOES NOT NECESSARILY MEAN THAT DIGOXIN IS SAFE IN  
12 EVERY CASE; THAT THERE'S SOME WOMEN IN WHOM -- AND THAT EVEN  
13 THOUGH DIGOXIN IS SAFE, THAT WE HAVE NOT REALLY DEMONSTRATED  
14 THAT IT IS ACTUALLY EFFECTIVE IN MAKING D&E PROCEDURES SAFER.

15 THERE ARE ALSO WOMEN IN WHOM DIGOXIN CANNOT BE USED  
16 BECAUSE IT IS CONTRAINDICATED. DIGOXIN DOES NOT WORK ALL THE  
17 TIME IN ORDER TO CAUSE FETAL DEMISE. DIGOXIN TECHNICALLY  
18 CANNOT BE ADMINISTERED IN SOME WOMEN DUE TO THEIR OBESITY, AND  
19 THAT'S ALL I CAN THINK OF RIGHT NOW.

20 Q. OKAY.

21 AND NOW YOU HAVE PROVIDED US WITH SEVERAL SORT OF  
22 ASPECTS OF THAT OPINION. WHAT ARE THE BASES FOR THESE OPINIONS  
23 ABOUT THE USE OF DIGOXIN?

24 A. THE BASES ARE THE RESEARCH STUDIES IN WHICH I PARTICIPATED  
25 AND MY CLINICAL EXPERIENCE.

1 Q. AND WHAT WERE THOSE RESEARCH STUDIES?

2 A. WE DID A STUDY ON THE SAFETY OF INTRA-AMNIOTIC DIGOXIN AND  
3 A STUDY ON THE EFFICACY OF INTRA-AMNIOTIC DIGOXIN.

4 Q. WOULD YOU JUST DESCRIBE FOR US WHAT A SAFETY STUDY IS?

5 A. A SAFETY STUDY, OFTEN YOU WILL HEAR TALKED ABOUT AS A  
6 PHASE I CLINICAL TRIAL. IT'S WHEN YOU HAVE A NONRANDOMIZED  
7 SMALL GROUP OF PATIENTS IN WHOM YOU ADMINISTER A CERTAIN  
8 INTERVENTION AND THEN YOU MAKE SURE THAT THAT INTERVENTION IS  
9 SAFE. USUALLY THAT'S A DRUG.

10 Q. WHAT IS AN EFFICACY STUDY?

11 A. AN EFFICACY STUDY IS WHERE YOU IDEALLY RANDOMIZE TWO GROUPS  
12 OF -- WELL, USUALLY TWO GROUPS OF SUBJECTS TO EITHER GET THE  
13 INTERVENTION OR NOT TO GET THE INTERVENTION, AND THEN YOU  
14 ACTUALLY SEE WHETHER THE INTERVENTION HAD THE INTENDED EFFECT  
15 OF IMPROVING THE HEALTH OR THE PROCEDURE THAT YOU ARE  
16 EVALUATING.

17 Q. SO YOU DID BOTH A SAFETY AND AN EFFICACY STUDY ON THE USE  
18 OF DIGOXIN?

19 A. YES.

20 Q. WHEN DID YOU DO THE SAFETY STUDY?

21 A. THE SAFETY STUDY WAS DONE IN 1998.

22 Q. WHEN WAS THE EFFICACY STUDY DONE?

23 A. IN 1999 TO 2000.

24 Q. WHY DID YOU DO THEM?

25 A. WELL, WE DID THEM BECAUSE, AS YOU'VE HEARD TESTIFY TO,

1 DIGOXIN IS REALLY USED WIDELY IN THE COMMUNITY OF PHYSICIANS  
2 WHO PROVIDE ABORTIONS BECAUSE THOSE PHYSICIANS -- WELL, THEY  
3 USE IT FOR A NUMBER OF REASONS, BUT I THINK IN PART THEY USE IT  
4 BECAUSE THEY BELIEVE THAT THE ABORTION WILL BE SAFER IF THEY  
5 USE DIGOXIN.

6 SO, WE WANTED TO STUDY THAT. THAT SEEMED AN  
7 IMPORTANT QUESTION BECAUSE YOU DON'T WANT TO DO UNNECESSARY OR  
8 POTENTIALLY DANGEROUS INTERVENTIONS IF YOU DON'T KNOW THAT THEY  
9 ACTUALLY WORK JUST BASED ON, YOU KNOW, CLINICAL EXPERIENCE.

10 BUT IN ORDER TO DO AN EFFICACY STUDY, YOU REALLY  
11 ETHICALLY NEED TO MAKE SURE THAT THE INTERVENTION IS SAFE. SO  
12 BEFORE WE COULD DO THE EFFICACY STUDY WE DID A SAFETY STUDY  
13 FIRST.

14 Q. BEFORE WE GET INTO THE DETAILS OF THE STUDIES I JUST WANT  
15 TO UNDERSTAND YOUR ROLE IN THE TWO OF THEM. WHAT WAS YOUR ROLE  
16 IN THE SAFETY STUDY?

17 A. MY ROLE IN THE SAFETY STUDY WAS TO HELP DESIGN IT,  
18 ADMINISTER IT AND ANALYZE THE RESULTS. AND THEN, I WAS -- I  
19 WROTE UP THAT STUDY.

20 Q. YOU WERE THE PRIMARY AUTHOR OF THE STUDY?

21 A. YEAH, I WAS THE LEAD AUTHOR.

22 Q. AND WHAT ABOUT THE EFFICACY STUDY? WHAT WAS YOUR ROLE IN  
23 THAT ONE?

24 A. AGAIN, I HELPED DESIGN THE STUDY, ADMINISTER AND SUPERVISE  
25 THE STUDY, AND HELPED IN THE ANALYSIS AND SOME OF THE WRITING.

1 Q. WERE OTHER PHYSICIANS AT UCSF INVOLVED IN THOSE TWO  
2 STUDIES?

3 A. YES.

4 Q. AND ON THE SAFETY STUDY, WHO ELSE PARTICIPATED?

5 A. THERE WERE TWO OTHER OBSTETRICIANS GYNECOLOGISTS, AND I  
6 BELIEVE THERE WAS TWO -- I CAN'T REMEMBER IF IT WAS TWO OR  
7 THREE. THERE WAS ALSO A CARDIOLOGIST AND A PHARMACOLOGIST, WHO  
8 IS ALSO A CARDIOLOGIST.

9 Q. AND HOW ABOUT THE EFFICACY STUDY, DID OTHER PHYSICIANS  
10 PARTICIPATE IN THAT STUDY, AS WELL?

11 A. YES.

12 Q. AND WHO WERE THEY?

13 A. MY MEMORY IS THEY WERE ALL OBSTETRICIAN GYNECOLOGISTS.

14 Q. BEFORE WE GET INTO THE STUDIES, CAN YOU DESCRIBE BRIEFLY  
15 HOW DIGOXIN IS GENERALLY USED? DO YOU KNOW HOW IT IS GENERALLY  
16 ADMINISTERED?

17 A. IT IS GENERALLY USED IN AN ORAL FORM FOR THE PATIENTS.

18 Q. IS THERE A PARTICULAR AMOUNT THAT IS USED?

19 A. THERE IS A RANGE OF DOSES THAT IS USED.

20 Q. AND HOW IS IT -- IS IT INJECTED, IF IT IS ORAL OR --

21 A. NO, IT IS ACTUALLY TAKEN IN PILL FORM IF IT IS ORAL. AND  
22 THAT IS THE MORE COMMON WAY FOR DIGOXIN TO BE USED.

23 Q. HOW ABOUT WHEN IT IS USED TO -- IN SECOND-TRIMESTER  
24 ABORTIONS?

25 A. IN SECOND-TRIMESTER ABORTIONS IT IS USED IN A SOLUBLE FORM,



1 SO IN A LIQUID FORM THAT CAN BE INJECTED, SO YOU WOULDN'T USE A  
2 PILL.

3 Q. AND IS THERE A CERTAIN AMOUNT THAT -- A CERTAIN AMOUNT THAT  
4 IS USED WHEN IT IS USED FOR SECOND-TRIMESTER ABORTIONS?

5 A. THERE IS A RANGE OF DOSES. THE MOST COMMON DOSE IS  
6 1 MILLIGRAM. BUT THOSE DOSES HAVE RANGED IN THE COMMUNITY AS  
7 BEST WE WERE ABLE TO ASSESS FROM ABOUT HALF A MILLIGRAM TO  
8 2 MILLIGRAMS.

9 Q. HOW IS IT GENERALLY ADMINISTERED WHEN IT IS USED FOR  
10 SECOND-TRIMESTER ABORTIONS?

11 A. IT IS INJECTED THROUGH THE PATIENT'S ABDOMEN, EITHER INTO  
12 THE AMNIOTIC FLUID OR INTO THE FETUS.

13 Q. ARE THOSE DIFFERENT ROUTES?

14 A. I WOULD CONSIDER THEM DIFFERENT ROUTES.

15 Q. CAN YOU DESCRIBE WHEN IT IS INJECTED INTRA-AMNIOTICALLY  
16 WHAT THAT MEANS?

17 A. WHAT YOU DO IN THAT CASE IS THAT YOU DIRECT THE NEEDLE INTO  
18 THE AMNIOTIC COMPARTMENT ESSENTIALLY THROUGH THE WALL OF THE  
19 ABDOMEN INTO THE UTERUS. AND THEN YOU ASSESS THAT YOU'RE IN  
20 THE AMNIOTIC SPACE BY SEEING AMNIOTIC FLUID. AND THEN, YOU  
21 INJECT THE DIGOXIN.

22 Q. AND HOW ABOUT WHEN IT IS ADMINISTERED INTRA-FETALLY? WHAT  
23 HAPPENS THERE?

24 A. IN THAT CASE YOU DIRECT THE NEEDLE TO GET IT INSIDE THE  
25 FETAL BODY.

1 Q. AT THE TIME YOU DID THE STUDIES IN THE LATE 1990'S, WAS  
2 DIGOXIN BEING USE AT THE WOMEN'S OPTIONS CENTER?

3 A. YES, IT WAS.

4 Q. HOW WAS IT BEING ADMINISTERED?

5 A. IT WAS LARGELY ADMINISTERED INTRA-AMNIOTICALLY.

6 Q. AND WAS THERE A TIME FRAME OF WHEN IT WAS GIVEN?

7 A. WHEN WE FIRST STARTED GIVING IT, WE ALWAYS GAVE IT AT THE  
8 TIME THAT WE WERE DOING OUR PRE-OPERATIVE EVALUATION, SO THAT  
9 THE PATIENT WOULD GET THE LAMINARIA PLACED. AND THEN, AFTER  
10 THAT, SHE WOULD HAVE THE DIGOXIN INJECTION.

11 AT THAT TIME WE WERE WAITING TWO DAYS WITH THE  
12 LAMINARIA IN PLACE. AND, SO, INITIALLY WE WERE GIVING DIGOXIN  
13 TWO DAYS BEFORE D&E.

14 Q. AND DID YOU EVER CHANGE THAT PROCEDURE, THAT TIME SCHEDULE?

15 A. WE DID. WHAT STARTED HAPPENING WAS WE HAD AN UNFORTUNATE  
16 NUMBER OF WOMEN WHO WERE SPONTANEOUSLY GOING INTO LABOR AND  
17 DELIVERING AT HOSPITALS SORT OF ALL OVER THE BAY AREA, AND IT  
18 WAS DISTRESSING TO EVERYONE.

19 Q. SO YOU CHANGED THE TIME SCHEDULE AFTER THAT?

20 A. WE DID.

21 Q. WHEN WAS DIGOXIN THEN PROVIDED?

22 A. THEN WE WOULD ONLY GIVE IT 24 HOURS BEFORE THE D&E.

23 Q. IS THERE A GESTATIONAL AGE AT WHICH DIGOXIN WAS BEING  
24 ADMINISTERED TO PATIENTS AT THE TIME YOU BEGAN THE STUDIES?

25 A. AT THE TIME WE BEGAN THE STUDY, IT WAS GENERALLY 20 WEEKS

1 AND ABOVE.

2 Q. AND WHY WAS THAT TIME FRAME USED?

3 A. THE MAIN REASON WE WERE ADMINISTERING DIGOXIN REALLY WAS TO  
4 MAKE THE PROCEDURE EASIER, BECAUSE THAT WAS THE BELIEF AMONG  
5 PROVIDERS. SO WE DID NOT THINK THAT WE NEEDED TO FACILITATE A  
6 PROCEDURE THAT WE ALREADY COULD DO WELL WITHOUT ANY HELP BEFORE  
7 20 WEEKS.

8 Q. YOU INDICATED WHEN WE STARTED TALKING ABOUT DIGOXIN THAT IN  
9 OTHER CIRCUMSTANCES IT'S GIVEN ORALLY; IS THAT RIGHT?

10 A. YES.

11 Q. WHAT ARE OTHER USES OF DIGOXIN?

12 A. IT IS A CARDIAC MEDICATION, SO THAT IN THE GENERAL  
13 POPULATION IT IS USUALLY USED EITHER FOR TACHYARRHYTHMIAS,  
14 MEANING ARRHYTHMIA WHERE THE HEART IS BEATING VERY FAST, OR FOR  
15 HEART FAILURE.

16 Q. SO NOW I WOULD LIKE TO TALK ABOUT THE STUDIES. AND YOU  
17 INDICATED -- WELL, HOW DID YOU COME TO DO THE STUDIES?

18 A. I BECAME INVOLVED IN THE STUDIES BECAUSE I WAS A RESIDENT  
19 AT THE TIME AND I WORKED WITH ONE OF THE PREVIOUS FELLOWS IN  
20 FAMILY PLANNING.

21 AND SHE WAS BEGINNING TO THINK ABOUT DOING THIS  
22 EFFICACY TRIAL OF DIGOXIN, BECAUSE OF THE WIDE USE THAT IT HAD  
23 IN AN ABORTION-PROVIDING COMMUNITY.

24 AND I WAS INTERESTED IN HELPING HER DO HER RESEARCH.  
25 SO I HELPED HER AS SHE WENT THROUGH THE STEPS OF DESIGNING THE

1 FIRST STUDY WHICH PROVED TO BE THE SAFETY STUDY.

2 Q. BEFORE YOU ALL DID YOUR STUDY, HAD STUDY AND EFFICACY EVER  
3 BEEN STUDIED?

4 A. THERE WERE CASE REPORTS OF, AND JUST SORT OF REPORTS OF USE  
5 IN THE COMMUNITY, BUT THERE WEREN'T ANY RANDOMIZED TRIALS, NOR  
6 WERE THERE ANY SAFETY TRIALS.

7 Q. AND WHAT WAS THE FIRST STEPS YOU DID ONCE YOU DETERMINED  
8 THAT YOU WANTED TO CONDUCT A STUDY OF DIGOXIN?

9 A. SO THE FIRST STEP THAT WE DID WAS WE WROTE A PROTOCOL AND  
10 THEN TOOK IT TO THE INSTITUTIONAL REVIEW BOARD AT UCSF, WHICH  
11 IS CALLED "THE COMMITTEE ON HUMAN RESEARCH," OR CHR. AND AFTER  
12 THEY APPROVED OUR PROTOCOL, THEN WE PROCEEDED TO ENROLL  
13 PATIENTS.

14 Q. WHY DID YOU CONDUCT THE SAFETY STUDY FIRST?

15 A. WE REALLY WANTED TO DO THE EFFICACY TRIAL FIRST, BUT  
16 BECAUSE THERE REALLY HAD BEEN NO STUDY SHOWING THAT THIS USE OF  
17 DIGOXIN WAS SAFE IN A RESEARCH SETTING, WE THOUGHT THAT WE  
18 REALLY HAD TO DO THE SAFETY STUDY BEFORE WE COULD DO THE  
19 EFFICACY TRIAL.

20 Q. AFTER YOU COMPLETED THE STUDY, DID YOU WRITE IT UP?

21 A. YES.

22 Q. AND YOU INDICATED YOU WERE THE PRINCIPAL AUTHOR?

23 A. YES.

24 Q. DID YOU SUBMIT IT ANYWHERE?

25 A. YES, I DID.

1 Q. WHERE DID YOU SUBMIT IT?

2 A. THE JOURNAL THAT'S CALLED AMERICAN JOURNAL OF OBSTETRICS  
3 AND GYNECOLOGY.

4 Q. IS THAT A PEER REVIEW JOURNAL?

5 A. YES.

6 Q. WHAT IS A PEER REVIEW JOURNAL?

7 A. IN THE JOURNALS, AFTER YOU SUBMIT AN ARTICLE, THOSE  
8 ARTICLES ARE THEN SENT TO A NUMBER OF EXPERTS IN THE FIELD WHO  
9 COMMENT ON WHETHER THE ARTICLE NEEDS FURTHER -- WHETHER THE  
10 ARTICLE IS JUST PLAIN FLAWED AND UNACCEPTABLE, OR WHETHER IT  
11 NEEDS ADDITIONAL MODIFICATIONS. AND IT IS RETURNED TO THE  
12 AUTHORS WHO THEN ARE ABLE TO MAKE THOSE MODIFICATIONS.

13 AND IT'S RESUBMITTED. AND IF AT THAT POINT IT IS  
14 JUDGED TO BE ACCEPTABLE, IT IS PRINTED.

15 MS. PARKER: YOUR HONOR, MAY I APPROACH THE WITNESS?

16 THE COURT: YES.

17 BY MS. PARKER:

18 Q. DR. DREY, I SHOW YOU WHAT HAS BEEN MARKED AS EXHIBIT 34.  
19 THAT'S AN ARTICLE ENTITLED"

20 "SAFETY OF INTRA-AMNIOTIC DIGOXIN ADMINISTRATION  
21 BEFORE LATE SECOND-TRIMESTER ABORTION BY DILATION  
22 AND EVACUATION."

23 IS THAT THE PEER-REVIEWED ARTICLE ON THE SAFETY OF  
24 DIGOXIN THAT YOU JUST DESCRIBED AND FOR WHICH YOU WERE THE  
25 PRINCIPAL AUTHOR?

1 A. YES.

2 MS. PARKER: YOUR HONOR, I WOULD LIKE TO OFFER  
3 EXHIBIT 34 INTO EVIDENCE.

4 THE COURT: ANY OBJECTION?

5 MR. SIMPSON: YOUR HONOR, WE DO HAVE TO OBJECT TO  
6 ADMITTING IT FOR ITS TRUTH. OBVIOUSLY, IT IS HEARSAY. AND I  
7 DON'T KNOW OF ANY HEARSAY EXCEPTION THAT APPLIES HERE OTHER  
8 THAN THE LEARNED TREATIES EXCEPTION, UNDER WHICH THE DOCUMENT  
9 ITSELF IS NOT ADMITTED INTO EVIDENCE.

10 THE COURT: ALL RIGHT.

11 MS. PARKER: YOUR HONOR, WE BELIEVE IT WOULD COME IN  
12 UNDER EXCEPTION 8031 AS A PRESENT SENSE IMPRESSION, BECAUSE IT  
13 IS A STUDY THAT SHE WROTE THAT WAS RECORDED AT OR NEAR THE TIME  
14 AT WHICH THE STUDY WAS CONDUCTED.

15 AND SO IT IS A MORE RELIABLE -- THAN HER TESTIMONY  
16 ABOUT THAT STUDY TODAY. IT IS ALSO RECORDED RECOLLECTION, I  
17 BELIEVE, UNDER 8035.

18 MR. SIMPSON: YOUR HONOR, I DON'T THINK WE HAVE  
19 HEARD FOUNDATIONAL QUESTIONS THAT HAVE ESTABLISHED --

20 THE COURT: EXCUSE ME. IT CERTAINLY IS NOT RECORDED  
21 RECOLLECTION, BECAUSE THAT REQUIRES A PRESENT FAILURE OF  
22 RECOLLECTION.

23 MS. PARKER: YOU'RE RIGHT.

24 THE COURT: SO THE ONLY EXCEPTION THAT COULD  
25 CONCEIVABLY APPLY WOULD BE THE PRESENT SENSE IMPRESSION, WHICH

1 SEEMS TO BE AN UNUSUAL SECTION TO APPLY TO A DOCUMENT SUCH AS  
2 THIS. BUT I AM NOT ENTIRELY PERSUADED THAT IT IS NOT  
3 APPROPRIATE.

4 DO YOU HAVE ANY OTHER ARGUMENTS YOU WANT TO MAKE ON  
5 THAT?

6 MS. PARKER: TO THE EXTENT THAT IT IS DESCRIBING OR  
7 EXPLAINING AN EVENT OR CONDITION AND THAT WOULD BE OBVIOUSLY  
8 THE TEST RESULTS THAT THE DECLARANT WAS RECEIVING, IN ADDITION.  
9 WE ARE ASSUMING THAT THE REPORT -- THAT AN APPROPRIATE  
10 FOUNDATION COULD BE ESTABLISHED THAT THAT WOULD BE APPROPRIATE.

11 THE COURT: SO YOU HAVEN'T ASKED SUFFICIENT  
12 QUESTIONS ABOUT THE CONDITIONS UNDER WHICH IT WAS MADE  
13 CONTEMPORANEOUS -- WHETHER OR NOT IT WAS MADE CONTEMPORANEOUS  
14 WITH THE PERCEPTION. THAT WOULD HELP.

15 MS. PARKER: YOU SAID I DID OR I DID NOT?

16 THE COURT: DID NOT. YOU NEED TO ASK FEW MORE  
17 QUESTIONS.

18 MS. PARKER: THAT IS FINE.

19 BY MS. PARKER:

20 Q. SO, DR. DREY, YOU INDICATED THAT YOU CONDUCTED THE STUDY, I  
21 BELIEVE, IN 1998; IS THAT RIGHT?

22 A. YES.

23 Q. AND THEN, AFTER YOU CONDUCTED THAT STUDY, DID YOU WRITE  
24 THAT STUDY UP?

25 A. YES.

1 Q. AND DID YOU CIRCULATE IT AMONGST OTHERS WHO HAD ALSO  
2 PARTICIPATED IN THE STUDY?

3 A. YES, ALL OF THE AUTHORS HAD TO REVIEW THE DRAFTS OF THE  
4 STUDY AS IT WAS WRITTEN.

5 Q. AND DOES EXHIBIT 34, WHICH IS THE ARTICLE, IS THAT AN  
6 ACCURATE REFLECTION OF THE STUDY THAT YOU CONDUCTED?

7 A. YES.

8 Q. AND WAS THAT DONE AT OR NEAR THE TIME OF WHEN YOU DID THE  
9 ACTUAL STUDY?

10 A. YES.

11 Q. AND THEN, DID YOU SUBMIT IT TO THE PEER REVIEW WITH JOURNAL  
12 AT OR AROUND OR SHORTLY AFTER THE TIME THAT YOU WROTE THE  
13 ARTICLE?

14 A. YES.

15 Q. AND CONDUCTED THE STUDY?

16 THE COURT: ALL RIGHT. ANY FURTHER OBJECTION ON  
17 FOUNDATION?

18 MR. SIMPSON: TWO FURTHER POINTS, YOUR HONOR. THE  
19 RULE SPEAKS OF AT OR NEAR THE TIME. I THINK THE IDEA BEHIND  
20 THE PERSONS WHO DRAFTED THAT PARTICULAR SECTION IS IT'S -- YOU  
21 SEE SOMETHING AND YOU EXCLAIM ON IT, AND, YOU KNOW, IT IS WHILE  
22 YOU ARE RECEIVING IT OR SHORTLY THEREAFTER. I DON'T WHEN YOU  
23 SEE SOMETHING AND YOU WRITE ABOUT IT OVER THE NEXT SEVERAL  
24 MONTHS, I DON'T THINK THAT IS SUPPOSED TO COME UNDER THE  
25 EXCEPTION.



1           AND ONE OTHER POINT, PLEASE, YOUR HONOR, IF I  
2    COULD -- I FORGOT WHAT THAT POINT WAS, YOUR HONOR. WE WOULD  
3    STILL OBJECT TO IT.

4           THE COURT: IT IS SOMEWHAT OF A STRETCH.

5           LET ME JUST ASK A FEW QUESTIONS.

6           YOU RECORDED THE INFORMATION THAT YOU OBSERVED  
7    THROUGHOUT THE COURSE OF THE STUDY.

8           THE WITNESS: RIGHT.

9           THE COURT: AND DID YOU DO THAT CONTEMPORANEOUSLY  
10   WITH YOUR PERCEPTIONS? FOR INSTANCE, DID YOU MAKE AN  
11   OBSERVATION AND IMMEDIATELY MAKE SOME NOTATION OF WHAT  
12   RESULTED?

13          THE WITNESS: RIGHT, YOU COLLECT THE DATA AT THE  
14   TIME THAT THE DATA IS BEING OBSERVED.

15          THE COURT: ALL RIGHT. AND DID YOU RECORD THE DATA  
16   THAT YOU OBSERVED IMMEDIATELY UPON MAKING THE OBSERVATION?

17          THE WITNESS: YES, OTHERWISE THERE WOULD BE NO  
18   ACCURATE WAY OF RECALLING THE INFORMATION, SO YOU HAVE TO DO IT  
19   AT THAT TIME.

20          THE COURT: THEN, IT JUST TOOK YOU A PERIOD OF TIME  
21   THEREAFTER TO COMPILE IT INTO AN ARTICLE. BUT THE DATA WAS  
22   ACTUALLY ALREADY RECORDED.

23          THE WITNESS: EXACTLY. AND WHEN YOU ARE WORKING  
24   WITH A NUMBER OF AUTHORS, ALL OF WHOM WANT TO MAKE SURE THAT  
25   THE INFORMATION IS ACCURATE, IT TAKES A CERTAIN AMOUNT OF TIME

1 TO CORRESPOND WITH VERY BUSY ACADEMICIANS TO DRAFT AN ARTICLE.

2 AND THEN, AGAIN, WHEN YOU GO TO THE PEER REVIEW  
3 JOURNAL, THEY, IN TURN, ALSO WILL ASK FURTHER QUESTIONS AND  
4 HAVE YOU GO BACK TO YOUR ORIGINAL DATA AND MAKE SURE THAT THOSE  
5 DATA ARE ACCURATELY REPRESENTED AND FULLY REPRESENTED IN YOUR  
6 ARTICLE.

7 THE COURT: RIGHT.

8 MR. SIMPSON: IF I COULD JUST MAKE ONE MORE POINT?  
9 I REMEMBERED MY ADDITIONAL POINT. THE WITNESS HAS TESTIFIED  
10 THAT THIS ARTICLE INCORPORATES COMMENTS THAT WERE MADE BY OTHER  
11 PERSONS, OTHER ACADEMICIANS. AND OBVIOUSLY THOSE OTHER  
12 ACADEMICIANS DID NOT PERCEIVE ANY OF THIS DATA THAT'S REFLECTED  
13 HERE.

14 AND SO WHAT WE ARE SEEING HERE IS NOT ONLY THE  
15 PERCEPTIONS OF WHAT THE PEOPLE WHO DID THE STUDY, BUT ALSO  
16 CHANGES THAT THEY MADE BASED ON THE COMMENTS FROM THE PEER  
17 REVIEW.

18 THE COURT: I HAVE SOME RELUCTANCE TO ALLOW IT IN  
19 UNDER THAT EXCEPTION. I WILL PERMIT HER TO TESTIFY ON IT. THE  
20 HALLMARK OF THE EXCEPTION IS OBVIOUSLY TRUSTWORTHINESS. TO THE  
21 EXTENT THAT SHE HAS INDEPENDENT RECOLLECTION OF IT, SHE MAY  
22 CERTAINLY TESTIFY ON IT.

23 AND I WILL ALLOW YOU ALL TO SUBMIT SOME AUTHORITY  
24 ONE WAY OR ANOTHER. IF YOU CAN SUBMIT AUTHORITY THAT THAT  
25 SECTION APPLIES TO THIS KIND OF DOCUMENT, I WOULD RECONSIDER

1 IT.

2 MS. PARKER: THANK YOU, YOUR HONOR.

3 BY MS. PARKER:

4 Q. SO WHAT WERE THE OBJECTIVES OF THE SAFETY STUDY?

5 A. THE OBJECTIVES WERE TO ANALYZE THE QUALITY OF DIGOXIN THAT  
6 WAS IN THE SERUM OF THE SUBJECTS; TO ALSO CHECK THE CLOTTING  
7 PARAMETERS OF THE SUBJECTS AND TO FOLLOW THEIR CARDIAC RHYTHMS  
8 WHILE THEY WERE -- AFTER THEY HAD RECEIVED THE DIGOXIN.

9 IN SUMMARY, IT WAS REALLY TO BE SURE THAT THE --  
10 THIS ADMINISTRATION OF THIS DOSE OF DRUG WAS SAFE.

11 Q. SO I BELIEVE THE FIRST ONE YOU INDICATED THAT YOU WANTED TO  
12 ASSESS THE AMOUNT OF DIGOXIN THAT WAS IN THE SERUM; IS THAT  
13 RIGHT?

14 A. RIGHT.

15 Q. CAN YOU DESCRIBE WHAT THAT MEANS IN LAY TERMS?

16 A. SO ESSENTIALLY WHAT YOU ARE LOOKING AT IS OVER TIME WHAT --  
17 WHEN YOU CHECK REPEATEDLY, HOW MUCH OF THE DRUG IS IN THE BLOOD  
18 OF THE SUBJECT OVER TIME, WHAT THE LEVELS DO, WHETHER THEY GO  
19 UP OR DOWN.

20 Q. AND THE SECOND THING YOU WERE LOOKING AT WAS -- I BELIEVE  
21 YOU CALLED IT "COAGULATION PARAMETERS"; IS THAT RIGHT?

22 A. YES. THAT IS LOOKING AT WHETHER THIS USE OF THE DRUG WOULD  
23 AFFECT THE SUBJECT'S ABILITY TO CLOT.

24 Q. THAT'S THE BLOOD TO CLOT?

25 A. YES, FOR THE BLOOD TO CLOT.

1 Q. AND THE THIRD THING YOU LOOKED AT WERE THE IMPACTS ON  
2 CARDIAC RHYTHMS?

3 A. RIGHT.

4 Q. CAN YOU DESCRIBE FOR US IN LAY TERMS WHAT THAT MEANS?

5 A. BECAUSE THIS IS A DRUG THAT IS INTENDED TO BE USED IN PART  
6 TO EFFECT CARDIAC RHYTHMS, IN OTHER WORDS, THE HEARTBEAT AND  
7 HOW THE HEART IS BEATING, WE WANTED TO MAKE SURE THAT THERE  
8 WEREN'T CARDIAC ARRHYTHMIAS OR IRREGULAR HEARTBEATS THAT WERE  
9 BASED ON THIS USE OF DIGOXIN.

10 Q. HOW DID YOU GO ABOUT DOING THE SAFETY STUDY?

11 A. SO WE SUBMITTED THE PROTOCOL TO THE INSTITUTIONAL REVIEW  
12 BOARD. AFTER THEIR APPROVAL, WE RECRUITED SUBJECTS WHO WERE  
13 APPROPRIATE FOR THE STUDY BASED ON OUR INCLUSION AND EXCLUSION  
14 CRITERIA.

15 IF THEY CONSENTED TO BE IN THE STUDY THEY RECEIVED  
16 THE USUAL PREOPERATIVE HISTORY, PHYSICAL AND ULTRASOUND.

17 THEY HAD BASELINE LABS DRAWN, AND THEY HAD  
18 CONTINUOUS CARDIAC MONITORING STARTED FOR AN HOUR BEFORE THE  
19 DIGOXIN ADMINISTRATION.

20 SO, THE WOMEN RECEIVED LAMINARIA, AND THEN THEY  
21 RECEIVED THE DIGOXIN ADMINISTRATION. AND THEN, THEY WERE  
22 ADMITTED OVERNIGHT FOR -- WELL, FOR APPROXIMATELY 24 HOURS SO  
23 THAT THEY COULD BE MONITORED, THEIR CARDIAC RHYTHMS COULD BE  
24 ASSESSED, AND WE COULD SERIALLY CHECK THEIR BLOOD FOR THE  
25 LABORATORY VALUES THAT WE WERE INTERESTED IN ASSESSING.

1 Q. YOU INDICATE YOU RECRUITED PATIENTS FOR THE STUDY. HOW  
2 MANY DID YOU RECRUIT?

3 A. WE RECRUITED EIGHT.

4 Q. WHY DID YOU SELECT ONLY EIGHT?

5 A. IN DESIGNING THE STUDY WE WORKED WITH ONE OF THE AUTHORS,  
6 DR. BENOWITZ, WHO IS AN EXPERT IN DESIGN OF SAFETY STUDIES.

7 HE IS BOTH A PHARMACOLOGIST AND AN INTERNAL MEDICINE  
8 PHYSICIAN. AND HE FELT THAT EIGHT WAS AN ADEQUATE NUMBER TO  
9 DETERMINE THE SAFETY OF INTERVENTION.

10 Q. DID YOU HAVE ANY EXCLUSION CRITERIA OR DID YOU EXCLUDE ANY  
11 TYPES OF PATIENTS FROM YOUR SAFETY STUDY?

12 A. WE HAD A SERIES OF EXCLUDING CRITERIA.

13 Q. WHAT WERE THEY?

14 A. WOMEN HAD TO BE AT LEAST 18 YEARS OLD.

15 THEY HAD TO BE ABLE TO CONSENT TO THE STUDY.

16 THEY HAD TO HAVE FETAL CARDIAC ACTIVITY. AND THEN,  
17 THEY WOULD BE EXCLUDED IF THEY HAD SIGNIFICANT MEDICAL  
18 ILLNESSES, CARDIOVASCULAR DISEASE, IF THEY WERE USING CARDIAC  
19 OR ANTIHYPERTENSIVE MEDICATIONS, IF THEY WERE ALLERGIC TO  
20 DIGOXIN, IF THEY HAD PREGNANCY COMPLICATIONS, AND IF THEY HAD  
21 MATERNAL WEIGHT GREATER THAN 30 PERCENT ABOVE IDEAL BODY  
22 WEIGHT, AND IF THEY HAD POOR VENOUS ACCESS OR ABNORMAL  
23 POTASSIUM LEVELS.

24 THE COURT: COUNSEL, IT IS TIME FOR OUR SECOND BREAK  
25 OF THE MORNING.

1 IS THIS A GOOD TIME TO STOP?

2 MS. PARKER: THANK YOU, YOUR HONOR.

3 THE COURT: WE WILL BREAK FOR 15 MINUTES.

4 THANK YOU.

5 (RECESS TAKEN AT 11:45 A.M.)

6 (PROCEEDINGS RESUMED AT 12:00 P.M.)

7 THE COURT: ALL RIGHT. LET'S CONTINUE WITH

8 DR. DREY.

9 BY MS. PARKER:

10 Q. HI, DR. DREY. BEFORE THE BREAK, WE WERE TALKING ABOUT THE  
11 EXCLUSION CRITERIA THAT YOU USED FOR THE SAFETY STUDY YOU USED  
12 ON THE USE OF DIGOXIN, AND YOU LISTED A NUMBER OF EXCLUSION  
13 CRITERIA. I WANT TO ASK YOU A FEW QUESTIONS ABOUT SOME OF  
14 THEM, IF I COULD.

15 A. OKAY.

16 Q. ONE OF THEM, AS I RECALL, YOU EXCLUDED WOMEN WITH  
17 SIGNIFICANT CARDIOVASCULAR DISEASE?

18 A. YES, WE DIDN'T WANT -- SINCE IT WAS A SAFETY STUDY  
19 INVOLVING A SMALL NUMBER OF PATIENTS, WE DIDN'T WANT TO PUT --  
20 POTENTIALLY PUT ANY WOMEN WHO HAD CARDIOVASCULAR DISEASE AT ANY  
21 UNINTENDED RISK.

22 Q. AND WHY WAS THAT? DID IT HAVE ANYTHING TO DO WITH THE  
23 DIGOXIN, IN PARTICULAR?

24 A. YES, BECAUSE DIGOXIN IS A CARDIAC MEDICATION.

25 Q. I THINK YOU ALSO INDICATED YOU EXCLUDED WOMEN WITH

1 PREGNANCY COMPLICATIONS?

2 A. WE DID.

3 Q. AND WHY WAS THAT?

4 A. PRIMARILY, AGAIN, BECAUSE THE STUDY WASN'T REALLY LOOKING  
5 AT HOW DIGOXIN MIGHT BE SAFE IN THE CASE OF PREGNANCY  
6 COMPLICATIONS. AND, IN PARTICULAR, THE PREGNANCY COMPLICATIONS  
7 OF HAVING EITHER TOO MUCH AMNIOTIC FLUID OR TOO LITTLE AMNIOTIC  
8 FLUID MIGHT CHANGE THE RESULTS THAT WE WOULD SEE.

9 Q. ANOTHER EXCLUSION CRITERIA WAS WOMEN WHO WERE MORE THAN 30  
10 PERCENT OVER IDEAL WEIGHT?

11 A. THAT HAS TO DO WITH THE FACT THAT, WELL, THERE IS MORE  
12 THERE THERE. SO I THINK IF YOU HAVE A LARGER SUBJECT YOU WOULD  
13 EXPECT THE RESULTS POTENTIALLY TO BE DIFFERENT BASED ON THAT.

14 SO IF WE WERE TRYING TO SEE HOW SAFE IT WAS FOR THE  
15 AVERAGE PERSON, AND GIVEN THAT WE WERE ONLY GOING TO INVOLVE  
16 EIGHT SUBJECTS, WE DIDN'T WANT TO CHANGE THE RESULTS BY  
17 INVOLVING WOMEN WHO WERE VERY OBESE.

18 Q. WAS THERE ANY OTHER ASPECT OF -- FOR VERY OVERLY OBESE  
19 WOMEN THAT CAUSED YOU TO EXCLUDE THEM FROM THE STUDY?

20 A. IT'S TECHNICALLY DIFFICULT TO DO -- SOMEBODY TAKE MY  
21 BEEPER. SORRY.

22 IT TECHNICALLY CAN BE DIFFICULT TO DO INTRA-AMNOITIC  
23 INJECTION IF SOMEONE IS MORBIDLY OBESE.

24 Q. AND WHY IS THAT?

25 A. AGAIN, THERE IS MORE THERE. I MEAN, SO YOU ARE USING A

1 NEEDLE OF A GIVEN LENGTH, AND YOU HAVE TO GET THROUGH THE  
2 ABDOMINAL WALL, AND THEN, IN TURN, THROUGH THE UTERINE WALL TO  
3 GET INTO THE PLACE WHERE YOU NEED TO INJECT THE MEDICINE.

4 SO IT IS JUST NOT EASY SOMETIMES IF SOMEONE HAS A  
5 LOT OF WEIGHT IN FRONT.

6 Q. WERE ANY WOMEN ACTUALLY EXCLUDED FROM YOUR SAFETY STUDY?

7 A. YES, WE DID EXCLUDE SOME SUBJECTS.

8 Q. DO YOU RECALL WHAT THE REASONS WERE FOR THE ACTUAL  
9 EXCLUSIONS?

10 A. TWO SUBJECTS WERE EXCLUDED BECAUSE THEY HAD LOW POTASSIUM  
11 LEVELS, AND ONE SUBJECT WAS EX -- THOSE WERE THE TWO -- THOSE  
12 WERE THE TWO I REMEMBER.

13 Q. THE TOO HIGH POTASSIUM LEVELS?

14 A. LOW POTASSIUM LEVELS.

15 Q. LOW POTASSIUM. AND WHY WERE THEY EXCLUDED?

16 A. BECAUSE WE DIDN'T WANT TO POTENTIALLY HAVE ANY ARRHYTHMIA  
17 ASSOCIATED WITH THE DIGOXIN OR AFFECT THE RESULTS THAT WE WOULD  
18 SEE.

19 Q. OKAY. SO YOU HAD EIGHT SUBJECTS WHO WERE IN THE STUDY; IS  
20 THAT RIGHT?

21 A. YES.

22 Q. AND THEN, YOU WENT ABOUT CONDUCTING -- I THINK YOU GAVE  
23 SORT OF AN OVERVIEW OF THAT. CAN YOU JUST DESCRIBE HOW YOU DID  
24 THE ADMINISTRATION OF DIGOXIN IN THE STUDY?

25 A. AFTER THEY HAD RECEIVED THEIR LAMINARIA, WE WOULD STERILELY



1 PREPARE THEIR ABDOMEN, AND THEN INJECT THE DIGOXIN BY PUTTING A  
2 SPINAL NEEDLE THROUGH THE WALL INTO THE UTERUS. AND THEN, ONCE  
3 WE SAW THAT WE WERE IN THE AMNIOTIC FLUID SPACE BY SEEING  
4 AMNIOTIC FLUID, WE WOULD ACTUALLY INJECT THE MEDICATION.

5 MS. PARKER: YOUR HONOR, MAY I APPROACH THE WITNESS?

6 THE COURT: YES.

7 BY MS. PARKER:

8 Q. DR. DREY, YOU HAVE BEEN REFERRING TO THE USE OF A NEEDLE TO  
9 DO THE PROCEDURE. I HAVE JUST HANDED YOU A NEEDLE. CAN YOU  
10 DESCRIBE FOR THE COURT WHAT THAT IS?

11 A. THIS IS A -- IT'S CALLED A 22 GAUGE SPINAL NEEDLE, AND IT  
12 IS THE TYPE THAT GENERALLY WE AND OTHER PHYSICIANS USE TO DO  
13 EITHER AMNIOCENTESIS OR TO INJECT DIGOXIN.

14 Q. CAN YOU SHOW THE COURT THE NEEDLE?

15 A. (INDICATING.) WHEN WE DO THE INJECTION, THIS ALLOWS YOU TO  
16 WITHDRAW FLUID OR INJECT. KIND OF FROM HERE TO HERE  
17 (INDICATING).

18 THE COURT: IT LOOKS LIKE IT IS 3 INCHES?

19 THE WITNESS: THREE-AND-A-HALF INCHES.

20 MR. SIMPSON: I AM SORRY?

21 THE COURT: COUNSEL, MR. SIMPSON, WOULD YOU LIKE TO  
22 COME UP?

23 MR. SIMPSON: I COULD NOT HEAR.

24 THE WITNESS: I AM SORRY. WHAT I SAID WAS YOU  
25 USUALLY DO THE INJECTION, AND THEN WITHDRAW THE STYLET. YOU DO

1 THE INJECTION WITH THE STYLET, AND OFTEN THEN YOU CAN WITHDRAW  
2 THE STYLET. MAKE SURE THERE IS AMNIOTIC FLUID SEEN, EITHER BY  
3 ASPIRATING OR JUST SEEING THE FLUID. AND THEN, YOU DO THE  
4 INJECTION.

5 BY MS. PARKER:

6 Q. IT'S BASICALLY TWO PARTS TO THE INJECTION?

7 A. YES. ONE, YOU KNOW, WHEN YOU ARE DOING AN INTRA-AMNIOTIC  
8 INJECTION, THE FIRST PART OF THE INJECTION AFTER CLEANING AND  
9 DOING ALL OF THAT IS MAKING SURE THAT YOU ACTUALLY ARE IN THE  
10 AMNIOTIC FLUID, AND THEN YOU INSTILL THE DIGOXIN.

11 Q. WHY DO YOU WANT TO ENSURE THAT YOU ARE IN THE AMNIOTIC  
12 FLUID?

13 A. IN OUR CASE WE THOUGHT IT WAS THE SAFEST WAY OF DOING IT,  
14 BECAUSE YOU'RE LESS LIKELY TO INADVERTENTLY PUT DIGOXIN IN THE  
15 WOMAN, SO EITHER TO DO AN INJECTION INTO HER UTERUS OR MORE  
16 SERIOUSLY INTO HER BLOOD VESSELS.

17 Q. SO YOU ARE AIMING FOR THE AMNIOTIC SAC WITH YOUR INJECTION?

18 A. YES.

19 Q. AND YOU DIDN'T DO IT INTRA-FETALLY IN THE STUDY?

20 A. NO.

21 Q. WHY WAS THAT?

22 A. AGAIN, BECAUSE IT TAKES MORE SKILL TO GET THE NEEDLE INTO  
23 THE FETUS. AND THERE IS MORE UNCERTAINTY ABOUT WHETHER YOU ARE  
24 IN THE FETUS POTENTIALLY OR IN THE UTERUS OF THE SUBJECT.

25 Q. SO AFTER YOU DID THE DIGOXIN ADMINISTRATION ON THE EIGHT

1 PATIENTS, DID YOU WRITE UP OR REACH SOME CONCLUSIONS ABOUT THE  
2 SAFETY?

3 A. WE THOUGHT THAT BASED ON OUR STUDY THAT DIGOXIN OVERALL  
4 APPEARED SAFE.

5 Q. AND CAN YOU SORT OF GIVE A LITTLE MORE INFORMATION ABOUT  
6 THAT IN LAYPERSON'S TERMS?

7 A. WELL, WHAT WE WERE LOOKING FOR WAS WHETHER IN MOST WOMEN  
8 YOU FELT YOU COULD ADMINISTER THIS DRUG SAFELY. SO WE LOOKED  
9 FOR THINGS THAT YOU WOULD WORRY ABOUT, LIKE CARDIAC RHYTHM  
10 PROBLEM THAT COULD LEAD TO EVEN TO DEATH, SO YOU WOULDN'T WANT  
11 TO HAVE ANY KIND OF DIGOXIN-ASSOCIATED CARDIAC RHYTHM PROBLEM.

12 AND THEN, WE ALSO WOULDN'T WANT TO USE THIS  
13 INTERVENTION IF IT CAUSED HER PROBLEMS IN CLOTTING BECAUSE THEN  
14 WHEN YOU WOULD DO YOUR PROCEDURE, ESSENTIALLY SHE WOULD BLEED  
15 MORE. SHE MIGHT HEMORRHAGE. AND THEN, YOU GENERALLY WOULD  
16 WANT TO MAKE SURE THAT THE AMOUNT OF DIGOXIN THAT SHE'S EXPOSED  
17 TO IS SAFE.

18 SO THAT YOU ARE NOT GETTING VERY, VERY HIGH BLOOD  
19 LEVELS OF DIGOXIN THAT YOU WOULD WORRY ABOUT IF YOU DID THIS,  
20 THIS INTERVENTION RELIABLY.

21 Q. HAVE YOU EVER SEEN ANY SITUATIONS WHERE YOU ARE CONCERNED  
22 ABOUT THE SAFETY OF THE USE OF DIGOXIN TO CAUSE FETAL DEMISE IN  
23 A SECOND-TRIMESTER ABORTION PROCEDURE?

24 A. NOT IN THIS STUDY. WE DID HAVE A COUPLE OF PATIENTS  
25 OUTSIDE OF THE STUDY WHERE WE THOUGHT WE HAD INJECTED THE

1 DIGOXIN INTO THE AMNIOTIC FLUID, BUT, IN FACT, THE WOMEN  
2 EXPERIENCED PAIN RIGHT AFTER THE INJECTION.

3 AND THE ONE THAT I AM MORE FAMILIAR WITH, I KNOW SHE  
4 WAS ADMITTED -- WELL, BOTH OF THEM WERE ADMITTED FOR  
5 OBSERVATION OVERNIGHT AND FOR CONTINUOUS CARDIAC MONITORING TO  
6 MAKE SURE THAT THEY DIDN'T DEVELOP ANY KIND OF ARRHYTHMIAS AND  
7 SO THAT WE COULD SEE THAT THEY DIDN'T HAVE VERY HIGH SERUM  
8 BLOOD LEVELS OF DIGOXIN. AND THE ONE I REMEMBER DID, IN FACT,  
9 HAVE VERY HIGH SERUM LEVELS OF DIGOXIN.

10 Q. DO YOU KNOW WHAT CAUSED THAT?

11 A. OUR PRESUMPTION WAS THAT DESPITE OUR THINKING THAT WE  
12 REALLY WERE IN THE RIGHT PLACE, GIVEN THE AMOUNT OF PAIN SHE  
13 EXPERIENCED AND THESE HIGH LEVELS, OUR CONCLUSION WAS THAT WE  
14 MUST HAVE INADVERTENTLY INJECTED INTO THE WALL OF THE UTERUS.

15 Q. AFTER YOU COMPLETED THE SAFETY STUDY, DID YOU DO ANY  
16 FURTHER STUDIES ON THE USE OF DIGOXIN?

17 A. WE DID THE EFFICACY TRIAL.

18 Q. WHAT WAS THE OBJECTIVE OF THAT TRIAL?

19 A. THE OBJECTIVE OF THAT TRIAL WAS TO SEE WHETHER  
20 INTRA-AMNIOTIC DIGOXIN, IN FACT, DID MAKE THE D&E ABORTIONS  
21 SAFER.

22 Q. AND WHY DID YOU DO THAT PARTICULAR -- WHY DID YOU DO THE  
23 EFFICACY STUDY?

24 A. BECAUSE IN ANY -- I MEAN, IDEALLY YOU LIKE TO DO BLINDED  
25 TRIALS, RANDOMIZED TRIALS, BECAUSE THAT IS THE BEST WAY OF

1 SEEING WHETHER YOUR BELIEF THAT AN INTERVENTION IS HELPFUL IN  
2 FACT REALLY IS HELPFUL, BECAUSE OFTEN, YOU KNOW, YOUR CLINICAL  
3 SENSE IS VERY MUCH SHAPED BY YOUR COLLEAGUES, BY YOUR CLINICAL  
4 EXPERIENCE. BUT IN A BLINDED TRIAL, IT IS KIND OF THE PUREST  
5 WAY TO SEE IF, IN FACT, YOUR WORKING HYPOTHESIS IS, IN FACT,  
6 TRUE.

7 Q. COULD YOU DO A BLINDED TRIAL FOR A SURGICAL PROCEDURE,  
8 BECAUSE THE DIGOXIN IS A TEST OF A MEDICINE, CORRECT?

9 A. I THINK WHAT'S SPECIAL AND WHAT MAKES BLINDED TRIALS WORK  
10 SO WELL WITH MEDICINES IS YOU CAN BLIND EVERYONE. YOU CAN  
11 BLIND THE PERSON WHO GAVE THE MEDICINE. YOU CAN BLIND THE  
12 PEOPLE DOING THE ANALYSIS OF THE DATA. YOU BLIND THE SURGEONS  
13 WHO ARE DOING THE PROCEDURES.

14 SO, THAT MAKES IT KIND OF EASY TO REALLY DO A REALLY  
15 ACCURATE ASSESSMENT OF WHETHER THE INTERVENTION HELPED.

16 UNFORTUNATELY, WITH SURGERY, YOU CAN'T -- IT WOULD  
17 BE QUITE DANGEROUS TO BLIND THE SURGEON. I THINK YOU REALLY --  
18 YOU CAN'T -- YOU CAN'T TAKE AWAY -- YOU CAN DO TRIALS WHERE YOU  
19 PUT A SURGEON IN A ROOM AND YOU HAND THEM A PIECE OF PAPER AND  
20 YOU SAY:

21 "NOW DO THE SURGERY THIS WAY."

22 AND YOU CAN DO THAT, BUT THEN YOU ARE DEALING WITH  
23 THE FACT THAT THE SURGEON IS DOING IT A CERTAIN WAY YOU ARE  
24 TELLING THEM TO. THEY MAY OR MAY NOT ACTUALLY BELIEVE THAT  
25 THAT IS THE RIGHT WAY TO DO IT.

1           THEY MAY NOT BE EQUALLY EXPERIENCED IN BOTH WAYS OF  
2 DOING A PARTICULAR SURGERY. SO THEY MAY HAVE EXPERTISE IN ONE  
3 WAY AND COMFORT AND PREFERENCE FOR ONE TYPE OF SURGERY AS  
4 OPPOSED TO ANOTHER.

5           SO, I THINK THAT ONE OF THE THINGS THAT WE SURGEONS  
6 HAVE DIFFICULTY WITH IS HOW TO DESIGN A REALLY EXCELLENT TRIAL  
7 OF SURGICAL TECHNIQUES.

8           SO, WHAT WE RELY ON INSTEAD IS OFTEN MORE  
9 EXPERIENTIAL OR MORE RETROSPECTIVE DATA.

10 Q. AND COULD YOU DO A SAFETY STUDY FOR A SURGICAL PROCEDURE?

11 A. A SAFETY STUDY REALLY IS A TERM THAT WE MORE USE FOR HOW WE  
12 ANALYZE MEDICAL -- THE FIRST PART OF A MEDICAL TRIAL, TRIAL OF  
13 MEDICAL THERAPY.

14 Q. SO YOU INDICATED THAT THE TRIAL THAT YOU DID FOR THE  
15 EFFICACY STUDY WAS A BLINDED TRIAL?

16 A. RIGHT.

17 Q. AND WHAT IS A "BLINDED TRIAL"?

18 A. IN A BLINDED TRIAL WHAT YOU ARE AIMING TO DO IS HAVE, AS I  
19 WAS ALLUDING TO, YOU TRY TO HAVE NO ONE KNOW WHAT THE  
20 INTERVENTION WAS THAT A PARTICULAR SUBJECT RECEIVED. SO YOU GO  
21 TO A REALLY QUITE ELABORATE LENGTH TO MAKE SURE THAT NO ONE  
22 KNOWS WHAT MEDICATION -- NO ONE WHOSE EITHER PERFORMANCE OF AN  
23 EVALUATION -- OF A CERTAIN PROCEDURE OR WHO -- WHOSE EVALUATION  
24 OF THAT PROCEDURE COULD BE INFLUENCED.

25           SO YOU DON'T WANT THE SUBJECT TO KNOW, BECAUSE THEN

1 HE OR SHE MIGHT EVALUATE HER EXPERIENCE BASED ON THAT  
2 KNOWLEDGE. AND THEN, YOU DON'T WANT THE ASSESSORS TO KNOW. SO  
3 WHAT YOU END UP DOING IS TRYING TO USE AN IDENTICAL-APPEARING  
4 PLACEBO SO NO ONE WILL KNOW SO THAT IT DOESN'T ALTER YOUR  
5 ABILITY TO FAIRLY ASSESS THAT INTERVENTION.

6 MR. SIMPSON: YOUR HONOR, I DON'T KNOW WHETHER THERE  
7 WILL BE ANY MORE QUESTIONS ALONG THIS LINE, WE HAVE STRAYED  
8 WELL BEYOND DR. DREY'S EXPERT REPORT.

9 MS. PARKER: I AM MOVING ON, YOUR HONOR.

10 THE COURT: ALL RIGHT.

11 BY MS. PARKER:

12 Q. WERE THE RESULTS OF YOUR EFFICACY STUDY PUBLISHED?

13 A. YES.

14 Q. WERE YOU ONE OF THE AUTHORS?

15 A. YES.

16 Q. WHERE WAS IT PUBLISHED?

17 A. IT WAS PUBLISHED IN "OBSTETRICS AND GYNECOLOGY."

18 Q. WHEN WAS IT PUBLISHED?

19 A. IT WAS PUBLISHED IN --

20 Q. THAT'S ALL RIGHT. WE WILL GET THERE.

21 IS "OBSTETRICS AND GYNECOLOGY" A PEER REVIEW  
22 JOURNAL?

23 A. IT IS.

24 MS. PARKER: YOUR HONOR, MAY I APPROACH THE WITNESS?

25 THE COURT: YES.

1 THE WITNESS: 2001.

2 BY MS. PARKER:

3 Q. IT WAS PUBLISHED IN 2001?

4 A. YES, IT WAS.

5 Q. DR. DREY, SHOWING YOU EXHIBIT 30, WHICH IS AN ARTICLE

6 ENTITLED:

7 "DIGOXIN TO FACILITATE LATE SECOND-TRIMESTER

8 ABORTION, A RANDOMIZED MASKED PLACEBO-CONTROLLED

9 TRIAL."

10 IS THAT THE PEER-REVIEWED STUDY YOU JUST DESCRIBED?

11 A. YES.

12 Q. AND WERE YOU ONE OF THE AUTHORS OF THAT STUDY?

13 A. I WAS.

14 MS. PARKER: YOUR HONOR, I WOULD LIKE --

15 BY MS. PARKER:

16 Q. WAS THE ARTICLE WRITTEN SORT OF AT OR NEAR THE TIME AT

17 WHICH THE STUDY WAS ACTUALLY CONDUCTED?

18 A. YES.

19 Q. AND DID IT CONTAIN DATA THAT WAS REPORTED AT OR NEAR THE

20 TIME THAT THE STUDY WAS CONDUCTED?

21 A. YES.

22 Q. AND CONTAINED SUMMARIES OF THAT DATA?

23 A. YES.

24 Q. AND TO THE BEST OF YOUR MIND IS THAT DATA ACCURATE, TRUE

25 AND CORRECT AT THE TIME IT WAS RECORDED?



1 A. YES.

2 Q. AND DOUBLE-CHECKED WHEN IT WENT INTO THE ARTICLE?

3 A. OH, YES.

4 MS. PARKER: YOUR HONOR, I WOULD LIKE TO INTRODUCE  
5 EXHIBIT 30 INTO EVIDENCE.

6 MR. SIMPSON: SAME OBJECTION, YOUR HONOR.

7 THE COURT: ALL RIGHT. SAME RULING.

8 WHILE IT SEEMS TO ME ON THE FACE OF IT THAT THE  
9 AUTHOR HERE THAT THESE ITEMS OUGHT TO COME IN. I AM STILL NOT  
10 PERSUADED THEY ARE NOT HEARSAY AND THAT THE PRESENT SENSE  
11 EXCEPTION APPLIES. SO MY RULING WILL BE THE SAME. SHE MAY  
12 TESTIFY ON THE DOCUMENT, BUT UNLESS YOU CAN CITE SOME AUTHORITY  
13 FOR THE APPLICABILITY OF THAT PARTICULAR SECTION I CAN'T LET IT  
14 IN ON THAT --

15 MS. PARKER: WE WILL DO SOME ADDITIONAL RESEARCH ON  
16 THAT, YOUR HONOR.

17 BY MS. PARKER:

18 Q. SO, DR. DREY, COULD YOU DESCRIBE FOR US HOW YOU WENT ABOUT  
19 DOING THE EFFICACY STUDY?

20 A. AGAIN. WE WROTE A PROTOCOL AND SUBMITTED THAT PROTOCOL TO  
21 THE INSTITUTIONAL REVIEW BOARD. AND AFTER THEY HAD APPROVED  
22 OUR PROTOCOL, WE ENROLLED 126 SUBJECTS. THOSE SUBJECTS WERE  
23 RANDOMIZED INTO TWO GROUPS. ONE GROUP -- IN BOTH GROUPS THEY  
24 WOULD GET THE USUAL PREOPERATIVE EVALUATION, THE USUAL  
25 ULTRASOUND AND USUAL LAMINARIA PLACEMENT.

1                   BUT AFTER LAMINARIA PLACEMENT, WE ADMINISTERED  
2 EITHER NORMAL SALINE, AS A PLACEBO THAT LOOKS EXACTLY LIKE  
3 DIGOXIN. AND THEY RECEIVED THAT INJECTION INTRA-AMNIOTICALLY.  
4 AND THEN THEY RECEIVED THEIR D&E 24 HOURS LATER, AGAIN, BY A  
5 SURGEON WHO DIDN'T KNOW WHETHER THEY HAD GOTTEN EITHER PLACEBO,  
6 THE SALINE OR THE DIGOXIN.

7 Q. YOU INDICATED THERE WERE 126 WOMEN WHO WERE ENROLLED IN THE  
8 STUDY. AND WHAT TYPE OF WOMEN WERE THEY?

9 A. THESE WERE WOMEN WHO WERE SURE THAT THEY WERE GOING TO HAVE  
10 AN ABORTION. THEY WERE ABLE TO CONSENT. THEY SPOKE EITHER  
11 ENGLISH OR SPANISH. AND THEN, WE HAD, AGAIN, VERY SIMILAR  
12 EXCLUSION CRITERIA FROM THE SAFETY STUDY.

13 Q. DID THEY FALL WITHIN A CERTAIN GESTATIONAL AGE?

14 A. YES, THEY WERE ALL BETWEEN 20 AND 23 WEEKS, ONE DAY.

15 Q. AND YOU INDICATED THE EXCLUSION CRITERIA WERE ESSENTIALLY  
16 THE SAME AS THE EXCLUSION CRITERIA FOR THE SAFETY STUDY?

17 A. VERY SIMILAR.

18 Q. DID YOU END UP EXCLUDING ANY WOMEN FROM PARTICIPATING?

19 A. SOME WOMEN WHO WERE NOT ENGLISH OR SPANISH SPEAKERS WERE  
20 EXCLUDED. THERE WAS A PATIENT WHO WAS IN PRISON AND THEREFORE  
21 UNABLE TO CONSENT FAIRLY.

22                   THERE WERE PATIENTS WHO HAD OBSTETRIC COMPLICATIONS,  
23 AND THEN THERE WERE WOMEN WHO WERE EXCLUDED FOR  
24 CONTRAINDICATIONS TO DIGOXIN. AND ONE OF THOSE WOMEN HAD RENAL  
25 FAILURE AND ANOTHER HAD UNCONTROLLED HYPERTHYROIDISM.

1 Q. DO YOU RECALL WHAT THE PREGNANCY COMPLICATION WAS THAT  
2 CAUSED THE EXCLUSION?

3 A. I BELIEVE THAT THEY HAD MULTIPLE GESTATIONS. THAT MEANS  
4 LIKE TWIN OR TRIPLET GESTATIONS.

5 AND THEN THERE WERE WOMEN WHO WANTED TO BE --  
6 INTENDED TO BE RANDOMIZED, BUT ONE WOMAN CHANGED HER MIND AND  
7 DECIDED NOT TO HAVE THE ABORTION. AND THEN, ANOTHER WOMAN, HER  
8 AMNIOTIC -- HER WATER BROKE WHEN WE WERE PUTTING IN THE  
9 DILATORS.

10 Q. SO HOW WAS THE STUDY THEN CONDUCTED?

11 A. SO, THE SUBJECTS, AS I SAID, THEY RECEIVED THE USUAL  
12 EVALUATION. THEN, THEY HAD THE DILATORS PLACED. THEY HAD THE  
13 DIGOXIN INJECTED INTRA-AMNIOTICALLY. THAT WAS NOT DONE UNDER  
14 ULTRASONIC GUIDANCE, BUT CORRECT PLACEMENT OF THE NEEDLE WAS  
15 ASSESSED, AS I DESCRIBED, LOOKING FOR THE AMNIOTIC FLUID TO  
16 COME UP THROUGH THE NEEDLE.

17 AND THEN, THE EITHER 1 MILLIGRAM OF DIGOXIN OR THE  
18 PLACEBO WAS INJECTED. THEN, THEY ALSO HAD BASELINE LABS AND  
19 FOLLOWUP LABS. AND THEN, THEY HAD THE D&E DONE IN THE USUAL  
20 WAY, BY EITHER AN ATTENDING OR A RESIDENT SURGEON.

21 Q. WHAT WERE THE RESULTS OF THE EFFICACY STUDY? WHAT WERE THE  
22 CONCLUSIONS THAT YOU REACHED AFTER YOU CONDUCTED THE STUDY?

23 A. THE CONCLUSIONS WERE THAT THE USE OF INTRA-AMNIOTIC DIGOXIN  
24 DID NOT MAKE THE PROCEDURE SAFER BY ANY OF THE WAYS THAT WE  
25 COULD ASSESS IT.

1 Q. AND DID YOU SUMMARIZE THOSE RESULTS IN THE ARTICLE THAT YOU  
2 HAVE BEEN LOOKING AT, EXHIBIT 30, WHICH IS THE PUBLISHED STUDY?

3 A. WE DID.

4 Q. WHERE ARE THOSE SUMMARIZED?

5 A. THEY ARE BOTH SUMMARIZED IN THE RESULT SECTION, BUT ALSO IN  
6 THE ABSTRACT, WHICH IS AT THE BEGINNING OF THE ARTICLE. AND I  
7 MEAN, ESSENTIALLY WHAT THEY SAY IS IT DIDN'T MAKE THE PROCEDURE  
8 ANY SAFER BECAUSE IT WASN'T ANY FASTER. THERE WAS NO  
9 DIFFERENCE IN BLOOD LOSS. THERE WAS NO DIFFERENCE IN PAIN  
10 SCORES. THERE WAS NO DIFFERENCE IN THE SURGEON'S SENSE OF THE  
11 DIFFICULTY OF THE PROCEDURE, AND THERE WAS NO DIFFERENCE IN  
12 COMPLICATIONS.

13 THE ONLY DIFFERENCE, REALLY, WAS ACTUALLY THAT THE  
14 PATIENTS VOMITED MORE IN THE GROUP THAT GOT DIGOXIN.

15 Q. SO IS THAT SUMMARIZED IN ONE OF THE TABLES IN EXHIBIT 30?

16 A. YES. THE FIRST TABLE WAS A DESCRIPTION OF JUST HOW THE TWO  
17 GROUPS WERE THE SAME, WHICH IS THAT THE RANDOMIZATION WORKED  
18 WELL.

19 BUT IN THE SECOND TABLE WE SUMMARIZED THESE RESULTS.

20 Q. THAT IS TABLE 2?

21 A. YEAH.

22 Q. PUT TABLE 2 UP FOR THE COURT, AND YOU CAN SEE IT. IN THE  
23 LEFT-HAND COLUMN ARE THE THREE RESULTS THAT YOU WERE STUDYING;  
24 IS THAT RIGHT?

25 A. RIGHT. I MEAN, IT IS ALWAYS NICE WHEN YOU DO A STUDY LIKE

1 THIS TO TRY AND LOOK AT VARIOUS WAYS OF ASSESSING THE SAME  
2 OUTCOME, BECAUSE THEN YOU FEEL MORE CONFIDENT THAT YOU ACTUALLY  
3 AREN'T MISSING SOMETHING.

4 SO WE LOOKED AT TIME AND DIFFICULTY, BECAUSE WE  
5 THINK THAT TIME IS AN EFFECTIVE WAY OF JUDGING THE SAFETY OF  
6 THE PROCEDURE. AND THAT THEN WE ALSO PUT BLOOD LOSS AND PAIN.

7 AND THE WAY THAT YOU CAN SEE THAT WE DIDN'T SEE ANY  
8 DIFFERENCE IS IN THE P VALUES, WHICH IS IN THE THIRD COLUMN.  
9 AND IN THAT COLUMN YOU CAN SEE THAT THEY ARE ALL GREATER THAN  
10 .05, WHICH MEANS THAT THEY WERE ESSENTIALLY SIMILAR, THAT THOSE  
11 RESULTS WERE LIKELY DUE TO JUST CHANCE, ANY DIFFERENCE BETWEEN  
12 THE TWO GROUPS.

13 SO IN OTHER WORDS, THE PROCEDURES DIDN'T -- THEY  
14 WEREN'T SHORTER. THEY WEREN'T EASIER. THE SURGEONS THOUGHT  
15 THEY WERE EQUALLY DIFFICULT. THE TOTAL NUMBER OF COMPLICATIONS  
16 WAS THE SAME. THE BLOOD LOSS WAS THE SAME. WHEN WE CHECKED  
17 THE FOLLOWUP BLOOD COUNT FOR ANEMIA, THOSE WERE THE SAME.

18 THE NUMBER OF HEMORRHAGES WERE NOT STATISTICALLY  
19 DIFFERENT, AND PAIN WAS THE SAME AS BEST WE COULD JUDGE IT  
20 OVERALL.

21 Q. DID YOUR STUDY NOTE ANY ADVERSE EFFECTS FROM THE  
22 ADMINISTRATION OF DIGOXIN?

23 A. THERE WAS MORE VOMITING. THERE WAS SIGNIFICANTLY MORE  
24 VOMITING IN THE DIGOXIN GROUP.

25 Q. DID YOU INDICATE THAT ANYWHERE IN THE STUDY? IS THERE ANY

1 SORT OF SUMMARY TABLE ABOUT THE ADVERSE EFFECTS?

2 A. WE DESCRIBE THOSE IN TABLE 3.

3 Q. COULD YOU SHOW US WHERE? DESCRIBE FOR US THIS TABLE.

4 A. SO, THIS TABLE IS A LIST OF THE SYMPTOMS OR ADVERSE EFFECTS  
5 THAT ARE MOST COMMONLY ASSOCIATED WITH DIGOXIN.

6 AND AGAIN, IF YOU LOOK AT THAT WHOLE LIST OF  
7 SYMPTOMS, YOU KNOW, EVEN THOUGH WOMEN HAVE THEM IN BOTH GROUPS,  
8 IF YOU LOOK AT THE P VALUE, THE ONLY P VALUE THAT IS LESS THAN  
9 .05, MEANING STATISTICALLY SIGNIFICANT, IS FOR VOMITING. AND  
10 IN THAT, ABOUT 16 PERCENT OF THE DIGOXIN GROUP HAD VOMITING,  
11 WHEREAS ONLY 3 PERCENT OF THE PLACEBO GROUP HAD THAT SYMPTOM.

12 Q. IN YOUR OPINION, IS THAT A SIGNIFICANT DIFFERENCE BETWEEN  
13 THE TWO GROUPS?

14 A. YES, BECAUSE THE P VALUE MEANS THAT THAT IS ESSENTIALLY  
15 98 PERCENT UNLIKELY TO HAVE OCCURRED BY JUST CHANCE ALONE.

16 Q. DID YOUR STUDY REACH ANY OTHER CONCLUSIONS OR MAKE ANY  
17 OTHER FINDINGS OF SIGNIFICANCE?

18 A. THAT THE DIGOXIN WAS NOT UNIFORMLY EFFECTIVE IN CAUSING  
19 FETAL DEMISE WITHIN THE APPROXIMATE 24-HOUR DURATION.

20 Q. WHAT WAS THE CONCLUSION ABOUT THE EFFECTIVENESS OF DIGOXIN  
21 CAUSING FETAL DEMISE?

22 A. IN 8 PERCENT OF THE SUBJECTS WHO RECEIVED DIGOXIN, THERE  
23 WAS NOTED TO STILL BE A FETAL HEARTBEAT AT THE TIME OF THE D&E.

24 Q. SO DO YOU HAVE ANY OVERALL CONCLUSIONS ABOUT THE STUDY, THE  
25 EFFICACY STUDY?

1 A. OUR OVERALL CONCLUSION WAS THAT DIGOXIN DID NOT MAKE THE  
2 D&E -- THE INTRA-AMNIOTIC DIGOXIN DID NOT MAKE D&E'S EASIER.  
3 IT DIDN'T MAKE THEM SAFER. AND IT DIDN'T IMPROVE THE PROCEDURE  
4 BY ANY OBJECTIVE MEASURE THAT WE COULD ASSESS.

5 THE ONLY SIGNIFICANT DIFFERENCE BETWEEN THE TWO  
6 GROUPS WAS THAT THE DIGOXIN GROUP HAD MORE VOMITING.

7 Q. SO DID YOU -- DO YOU HAVE ANY OPINIONS AS TO WHETHER  
8 DIGOXIN HAS THE POTENTIAL TO CAUSE ADVERSE MATERNAL OUTCOMES?

9 A. I DID. I MEAN, I THINK THAT CLEARLY CLINICAL EXPERIENCE  
10 HAS SHOWN US THAT DIGOXIN IS SAFE OVERALL. BUT, WE KNOW JUST  
11 SORT OF BY MEDICAL COMMON SENSE THAT IF YOU USE A MEDICINE OR  
12 AN INTERVENTION OFTEN ENOUGH, THERE CAN BE ADVERSE EVENTS  
13 ASSOCIATED WITH THAT MEDICATION.

14 SO, I MEAN, VOMITING IS UNPLEASANT, BUT IT IS NOT A  
15 PARTICULARLY SEVERE COMPLICATION FOR MOST PATIENTS. BUT, YOU  
16 KNOW, IF YOU USE A MEDICATION THAT POTENTIALLY HAS EFFECTS ON  
17 CARDIAC RHYTHM OR EVEN JUST THE AMNIOCENTESIS INJECTION PROCESS  
18 ITSELF, EVENTUALLY YOU MAY HAVE A RARE BUT SIGNIFICANT RISK  
19 ASSOCIATED WITH THAT.

20 Q. WHAT TYPE OF RARE BUT SIGNIFICANT RISKS COULD YOU HAVE FROM  
21 THE INJECTION OF DIGOXIN?

22 A. I MEAN, MOST DRAMATICALLY, BUT I AM SURE, VERY CLEARLY  
23 UNUSUALLY WOULD BE TO CAUSE AN ARRHYTHMIA THAT COULD EVEN LEAD  
24 TO THE STOPPAGE OF THE HEART, AND YOU WOULDN'T EXPECT TO SEE  
25 THAT OFTEN, AND APPARENTLY WE HAVEN'T. BECAUSE WE WOULD EXPECT

1 PEOPLE WOULD REPORT THAT, AND THAT CASE WOULD MAKE IT INTO THE  
2 MEDICAL LITERATURE, AND IT NEVER HAS.

3 SO THAT IS GOOD. BUT, THEN OTHER PROBLEMS COULD BE  
4 JUST MORE COMMONLY THE PROBLEMS LIKE INFECTION THAT IS CAUSED  
5 BY TAKING WHAT HAS BEEN A STERILE SPACE, USUALLY STERILE SPACE  
6 AROUND THE FETUS AND NOW BROACHING THAT AND ENTERING -- YOU  
7 KNOW, PUTTING SOMETHING IN THERE THAT IS POTENTIALLY GOING TO  
8 CAUSE AN INFECTION.

9 SO THAT IS A RISK LEADING -- CAUSING MISCARRIAGE  
10 BEFORE YOUR ABORTION PROCEDURE. THOSE ARE SOME OF THE RISKS.

11 Q. ARE THERE RISKS ASSOCIATED WITH THE INFECTION THAT COULD BE  
12 CAUSED BY, AS YOU SAID, BROACHING THE OTHERWISE STERILE SPACE?

13 A. I THINK FROM OBSTETRICS WE ARE UNFORTUNATELY ALL TOO AWARE  
14 OF HOW SERIOUS -- I AM SORRY -- INFECTIONS CAN BE WITHIN THE  
15 UTERUS. BECAUSE ESSENTIALLY WE VIEW THAT SPACE AS -- WE CALL  
16 IT "A GROWTH MEDIUM," ESSENTIALLY. IT IS A VERY RICH AREA FOR  
17 BACTERIA. POTENTIALLY COULD CAUSE A VERY SERIOUS INFECTION.

18 SO WE WORRY A LOT WHEN A WOMAN HAS AN INFECTION IN  
19 HER UTERUS THAT IT CAN SORT OF REALLY DEVELOP QUITE QUICKLY  
20 INTO A SERIOUS INFECTION AND CAUSE SEPSIS AND POTENTIALLY EVEN  
21 DEATH.

22 SO USUALLY IF A WOMAN HAS A SIGNIFICANT INFECTION IN  
23 HER UTERUS YOU WANT TO GET THAT INFECTION OUT OF HER UTERUS  
24 BECAUSE IT CAN BE SO LIFE-THREATENING.

25 Q. AND YOU WANT TO AVOID IT, AS WELL?



1 A. AND YOU WANT TO AVOID IT. YOU REALLY DON'T WANT TO  
2 POTENTIALLY TAKE THAT RISK UNLESS YOU HAVE A GOOD REASON TO.

3 Q. AND I DON'T THINK WE HAVE HAD BEFORE A DESCRIPTION OF WHAT  
4 SEPSIS IS. DO YOU MIND DESCRIBING THAT TO THE COURT?

5 A. SO SEPSIS IS WHEN YOU HAVE SUCH OVERWHELMING INFECTION,  
6 ESSENTIALLY THE BODY IN FIGHTING OFF THAT INFECTION SORT OF  
7 SHUTS DOWN. AND YOU END UP WITH LOW BLOOD PRESSURE AND  
8 POTENTIALLY DYING FROM THAT.

9 Q. ARE THERE ANY RISKS -- ARE THE RISKS WITH THE INJECTION OF  
10 DIGOXIN ANY DIFFERENT THAN THE RISKS THAT YOU EXPERIENCE WHEN  
11 YOU DO AMNIOCENTESIS?

12 A. OUR STUDIES DON'T REALLY LOOK AT THAT SPECIFICALLY, BUT  
13 FROM JUST CLINICAL KNOWLEDGE, THE DIFFERENCE ULTIMATELY IS THAT  
14 IN AN AMNIOCENTESIS YOU ARE WITHDRAWING FLUID. YOU ARE JUST --  
15 SO THAT THE DIRECTION IS OUT. WHEREAS, IN THIS CASE YOU ARE  
16 ACTUALLY INSTILLING SOMETHING THAT HAS BEEN FROM THE OUTSIDE  
17 IN. AND THOUGH YOU TRY TO KEEP THE CONDITIONS STERILE, ONE  
18 WOULD THINK THAT YOU MIGHT HAVE MORE RISK OF INTRODUCING  
19 INFECTION GOING FROM THE OUTSIDE INTO THE UTERUS.

20 Q. AND HAVE YOU SEEN ANY SITUATIONS WHERE THE RISKS WERE  
21 INCREASED AS A RESULT OF THE USE OF DIGOXIN TO CAUSE FETAL  
22 DEMISE?

23 A. WELL, WE HAD THOSE TWO CASES WHERE WE DID NOTE THAT WE DID  
24 SOMETHING THAT CAUSED THE WOMEN TO HAVE HIGH DIGOXIN -- SERUM  
25 DIGOXIN LEVELS. SO THAT I HAVE SEEN PERSONALLY.

1                   AND THEN, THERE ARE CASES WHERE WOMEN HAVE HAD  
2   SERIOUS INFECTIONS, EVEN LETHAL INFECTIONS FROM AMNIOCENTESIS.  
3   SO THAT IS RARE.

4                   AND I WAS ASKED TO REVIEW A CASE WHERE A WOMAN  
5   RECEIVED INTRACARDIAC POTASSIUM CHLORIDE TO GET -- TO CAUSE  
6   FETAL DEMISE AND WHERE THE WOMAN SUFFERED A CARDIAC ARREST  
7   WHERE HER HEART STOPPED.

8   Q.   DO YOU KNOW WHAT HAPPENED IN THAT CASE?

9   A.   OH, SHE WAS RESUSCITATED.   SHE HAD MEASURES TO RESUSCITATE  
10   HER, AND SHE LIVED.

11   Q.   AND THEN, IN ADDITION TO THE ADVERSE MATERNAL OUTCOMES, YOU  
12   PREVIOUSLY TALKED ABOUT VOMITING AS A SIDE EFFECT?

13   A.   RIGHT.

14   Q.   IS THAT THE MOST SIGNIFICANT SIDE EFFECT THAT YOU ARE AWARE  
15   OF?

16   A.   THAT WE SAW IN OUR STUDY.

17   Q.   ARE YOU AWARE OF ANY OTHER SIDE EFFECTS FROM YOUR CLINICAL  
18   EXPERIENCE?

19   A.   JUST THE DISCOMFORT OF THE INJECTION.   I HAVEN'T SEEN --  
20   PERSONALLY, I HAVEN'T SEEN OTHER SIDE EFFECTS FROM IT.

21   Q.   SO AFTER YOU COMPLETED THE TWO SAFETY --

22   A.   I AM SORRY, EXCEPT THE WOMEN MISCARRYING ALL OVER THE BAY  
23   AREA.   THAT WAS ANOTHER SIDE EFFECT.   SORRY.

24   Q.   SO AFTER YOU COMPLETED THE SAFETY AND EFFICACY STUDIES, DID  
25   THE PRACTICES AT THE WOMEN OPTIONS CENTER CHANGE IN TERMS OF

1 THEIR USE OF DIGOXIN?

2 A. YEAH, WE -- I WOULD SAY THAT OUR PROVIDERS ALMOST  
3 IMMEDIATELY VERY MUCH DECREASED THEIR USE OF DIGOXIN BECAUSE WE  
4 HAD BEEN USING IT IN ORDER TO MAKE THESE PROCEDURES SAFER.

5 BUT WHEN, BY THE BEST STUDY WE KNOW HOW TO DO, WE  
6 DIDN'T SHOW THAT IT MADE THE PROCEDURES SAFER, WE PRETTY MUCH  
7 ABANDONED USING IT BEFORE D&E.

8 Q. AND WHY WAS IT ABANDONED?

9 A. BECAUSE YOU DON'T WANT TO FIRST CAUSE PAIN IN THE WOMAN.  
10 SECOND, PUT HER AT RISK FOR SOMETHING THAT YOU DON'T ACTUALLY  
11 THINK IS IN HER BENEFIT AS A PATIENT.

12 Q. DO YOU PERSONALLY OFFER DIGOXIN NOW TO YOUR PATIENTS?

13 A. YES.

14 Q. DO YOU DO IT ROUTINELY?

15 A. NO, I DON'T OFFER IT ROUTINELY. I WILL OFFER IT IF THE  
16 WOMAN SPECIFICALLY ASKS FOR IT, I WILL GO THROUGH MY  
17 UNDERSTANDING OF THE RISKS, BENEFITS AND ALTERNATIVES AS I  
18 WOULD NORMALLY CONSENT SOMEONE.

19 AND WE ALSO DO OFFER IT BEFORE INDUCTION  
20 TERMINATIONS OF 22 WEEKS AND ABOVE.

21 Q. DOES THE WOMEN'S OPTIONS CENTER HAVE ANY PROTOCOLS FOR THE  
22 USE OF DIGOXIN?

23 A. WE DO.

24 Q. WHY DO YOU HAVE THOSE PROTOCOLS?

25 A. THEY PROVIDE OUR DOCTORS WITH GUIDELINES THAT THEY CAN USE

1 TO PROVIDE INTRA-AMNIOTIC DIGOXIN SAFELY, SHOULD THEY CHOOSE TO  
2 USE IT.

3 MS. PARKER: YOUR HONOR, MAY I APPROACH THE WITNESS?

4 THE COURT: YES.

5 BY MS. PARKER:

6 Q. DR. DREY, I WOULD LIKE TO SHOW YOU WHAT HAS PREVIOUSLY BEEN  
7 MARKED AS EXHIBIT 35. HAVE YOU SEEN THAT BEFORE?

8 A. YES.

9 Q. AND WHAT IS IT?

10 A. THAT IS OUR PROTOCOL FOR THE USE OF INTRA-AMNIOTIC DIGOXIN  
11 BEFORE D&E.

12 MS. PARKER: AND IF I MAY, YOUR HONOR, I WOULD LIKE  
13 TO PUT IT ON THE ELMO.

14 THE COURT: ALL RIGHT.

15 THE WITNESS: I HAVE TO SAY I NEVER NOTICED THIS  
16 BEFORE. OBVIOUSLY, IT IS REALLY JUST THE PROTOCOL ABOUT THE  
17 INTRA-AMNIOTIC USE OF DIGOXIN, IN GENERAL. BUT IT APPLIES TO  
18 D&E, AS WELL AS INDUCTION, BECAUSE THAT BOTH ARE MENTIONED. I  
19 HAVE NEVER NOTICED THAT BEFORE.

20 BY MS. PARKER:

21 Q. DOES THE PROTOCOL INCLUDE THE RISKS OF ADMINISTERING  
22 DIGOXIN?

23 A. YES, IT DOES.

24 Q. AND ARE THOSE RISKS ESSENTIALLY THE ONES THAT YOU HAVE BEEN  
25 SPEAKING ABOUT THIS MORNING?

1 A. YES. AND THE RISKS ARE ONES THAT ARE ASSOCIATED WITH  
2 THERAPEUTIC USE OF DIGOXIN. BUT WE ALSO ADDED THE RISK OF LACK  
3 OF EFFECTIVENESS. WE COULD INCLUDE THAT BECAUSE OF OUR -- THE  
4 DATA WE HAD RECEIVED FROM OUR CLINICAL TRIAL.

5 AND THEN, AGAIN, WE ALSO INCLUDED THE INFORMATION  
6 ABOUT VOMITING FROM OUR CLINICAL TRIAL.

7 Q. SO COULD YOU JUST READ THOSE SEVEN RISKS FOR THE RECORD?

8 A. THE RISKS ARE COMPLICATIONS OF AMNIOCENTESIS. AND THESE  
9 INCLUDE: AMNIONITIS, WHICH IS INFECTION; AMNIOTIC FLUID  
10 LEAKAGE; VAGINAL BLEEDING; THE ONSET OF SPONTANEOUS LABOR,  
11 WHICH I HAVE BEEN DISCUSSING AS MISCARRIAGE; LACK OF  
12 EFFECTIVENESS. DIGOXIN WAS NOT NOTED TO CAUSE FETAL DEATH  
13 WITHIN 24 HOURS ONE OUT OF 10 TIMES; GI SYMPTOMS, MOST  
14 FREQUENTLY NAUSEA AND VOMITING IN APPROXIMATELY 15 PERCENT;  
15 DIARRHEA OR ABDOMINAL PAIN; CARDIAC ARRHYTHMIAS, INCLUDING  
16 VENTRICULAR PREMATURE CONTRACTIONS, VENTRICULAR TACHYCARDIA;  
17 ATRIOVENTRICULAR DISSOCIATION; SINUS BRADYCARDIA; AV BLOCK AND  
18 COMPLETE HEART BLOCK; CNS -- THAT IS CENTRAL NERVOUS SYSTEM --  
19 ABNORMALITIES; VISUAL DISTURBANCES, HEADACHE, WEAKNESS, APATHY  
20 AND PSYCHOSIS; AND, FINALLY DERMATOLOGIC REACTIONS, SUCH AS  
21 MACULOPAPULAR RASH.

22 MS. PARKER: YOUR HONOR, DID I ASK WHETHER I COULD  
23 ADMIT EXHIBIT 35 INTO EVIDENCE?

24 THE COURT: NOT YET.

25 MS. PARKER: MAY I DO SO?

1 THE COURT: YOU MAY DO SO.

2 ANY OBJECTION?

3 MR. SIMPSON: NO OBJECTION.

4 THE COURT: IT MAY BE ADMITTED.

5 THE CLERK: THIRTY-FIVE INTO EVIDENCE.

6 (PLAINTIFFS' EXHIBIT 35  
7 WAS RECEIVED IN EVIDENCE.)

8 BY MS. PARKER:

9 Q. DOES EXHIBIT 35, THE PROTOCOL FOR INTRA-AMNIOTIC DIGOXIN,  
10 ALSO LIST CONTRAINDICATIONS?

11 A. IT DOES.

12 Q. AND ARE THOSE CONTRAINDICATIONS ONES YOU HAVE BEEN  
13 TESTIFYING BEFORE THIS MORNING?

14 A. THEY ARE.

15 Q. AND ARE THERE ANY OTHER SITUATIONS WHERE YOU WOULD NOT  
16 RECOMMEND THE USE OF DIGOXIN TO CAUSE FETAL DEMISE IN A LATE  
17 SECOND-TRIMESTER ABORTION?

18 A. THERE ARE SITUATIONS WHERE YOU REALLY DON'T POTENTIALLY  
19 HAVE TIME TO USE DIGOXIN. IF SOMEONE IS -- FOR EXAMPLE, IF YOU  
20 ARE DOING AN ABORTION BECAUSE SOMEONE IS IN THE PROCESS OF  
21 MISCARRYING, YOU MAY NOT HAVE TIME TO ACTUALLY THEN DO THE  
22 INJECTION IN AN ATTEMPT TO CAUSE FETAL DEMISE. AND I --

23 Q. ARE THERE ANY OTHER SITUATIONS, SORT OF MATERNAL HEALTH  
24 CONDITIONS WHERE YOU MIGHT NOT USE DIGOXIN?

25 A. WELL, IF THERE WERE MATERNAL CONTRAINDICATIONS YOU WOULD

1 NOT USE DIGOXIN. IF --

2 Q. AND IF YOU --

3 A. SORRY. AND IF THERE WERE MATERNAL INDICATION THAT YOU  
4 NEEDED TO DO THE PROCEDURE MORE QUICKLY, THE DIGOXIN MIGHT NOT  
5 HAVE HAD EFFECT.

6 Q. HAVE YOU HAD ANY RECENT SITUATIONS WHERE YOU HAVE  
7 ENCOUNTERED THIS WHERE THE SITUATION WAS DETERIORATING SO  
8 RAPIDLY THAT YOU DIDN'T HAVE ENOUGH TIME TO ADMINISTER DIGOXIN?

9 A. YES. THERE WAS A PATIENT WHO I TOOK CARE OF WHO WAS UNSURE  
10 ABOUT WHETHER SHE WANTED TO CONTINUE HER PREGNANCY, BUT THEN  
11 STARTED HAVING VERY HIGH BLOOD PRESSURES. AND BECAUSE SHE WAS  
12 CONCERNED ABOUT HER OTHER TWO CHILDREN AND THE RISKS TO HER  
13 HEALTH THAT THIS PREGNANCY APPEARED TO BE CAUSING, SHE DECIDED  
14 TO TERMINATE.

15 AND, IN FACT, BY THE TIME SHE MADE THAT DECISION HER  
16 BLOOD PRESSURES WERE SO HIGH THAT SHE HAD TO BE ADMITTED TO THE  
17 HOSPITAL WHILE WAITING FOR HER ABORTION. SO WE INTENDED TO DO  
18 THE ABORTION THE FOLLOWING DAY. SHE WAS DIAGNOSED WITH A  
19 CONDITION CALLED "SEVERE PREECLAMPSIA." AND IT WAS EVEN WORSE  
20 THAN THE USUAL SEVERE PREECLAMPSIA, BECAUSE IT WAS AFFECTING  
21 HER LIVER AND CAUSING HER LIVER TO FAIL.

22 AND IT WAS ALSO CAUSING HER TO LOSE HER ABILITY TO  
23 CLOT. AND SO WE PLACED LAMINARIA INTENDING TO DO THE ABORTION  
24 THE FOLLOWING DAY, BUT ACTUALLY BECAUSE HER PLATELETS, HER  
25 CLOTTING FACTORS CONTINUED TO DROP OVERNIGHT, I WAS ACTUALLY

1 CALLED INTO THE HOSPITAL IN THE MIDDLE OF THE NIGHT, AND WE DID  
2 HER D&E AT ABOUT 2:30 IN THE MORNING.

3 Q. DID YOU ADMINISTER DIGOXIN IN THAT CASE?

4 A. NO.

5 Q. DO YOU RECALL WHAT GESTATIONAL AGE SHE WAS?

6 A. I BELIEVE SHE WAS 22 WEEKS.

7 Q. I BELIEVE WHEN MS. MORRIS WAS ASKING YOU SOME QUESTIONS SHE  
8 TALKED TO YOU ABOUT THE IMPACT OF THE PARTIAL-BIRTH ABORTION  
9 BAN ACT ON THE WOMEN'S OPTIONS CENTER AT SAN FRANCISCO GENERAL;  
10 IS THAT RIGHT?

11 A. YES.

12 Q. AND YOU INDICATED THAT YOU WERE CONCERNED ABOUT THE IMPACT  
13 ON THE CENTER; IS THAT RIGHT?

14 A. YES.

15 Q. AND WHAT WOULD THAT IMPACT BE, JUST BRIEFLY?

16 A. I WOULD BE CONCERNED THAT WE WOULD NO LONGER BE ABLE TO  
17 PROVIDE THESE SERVICES, SECOND-TRIMESTER ABORTIONS. I MEAN, BY  
18 D&E.

19 Q. WOULD YOU CONSIDER USING DIGOXIN TO AVOID VIOLATING THE  
20 ACT?

21 A. THAT WOULD BE REALLY A VERY PAINFUL DECISION FOR ME TO  
22 MAKE, AND I WOULD REALLY HAVE TROUBLE DOING THAT.

23 Q. WHY IS THAT?

24 A. BECAUSE WHEN YOU USUALLY DO A MEDICAL INTERVENTION ON A  
25 PATIENT IT IS FOR HER BENEFIT. SO, IF I AM GOING TO USE



1 DIGOXIN, FOR EXAMPLE, IT'S -- AND WHEN I CONSENT HER FOR  
2 DIGOXIN, I AM SAYING THAT I THINK THIS IS FOR HER BENEFIT AND  
3 THAT THE RISKS ARE SMALL AND THAT WHATEVER BENEFIT IS INVOLVED  
4 IS WORTH THOSE RISKS.

5 I WOULDN'T EVEN HAVE ANY IDEA HOW TO CONSENT A  
6 PATIENT IF I AM GIVING DIGOXIN FOR MY BENEFIT AS A PROVIDER.  
7 SO I DON'T KNOW WHAT I WOULD SAY. I WOULDN'T BE SAYING THAT  
8 THIS IS FOR HER CLINICAL BENEFIT. I WOULD BE SAYING:

9 "WELL, I NEED TO GIVE YOU DIGOXIN BECAUSE OTHERWISE  
10 I CAN'T PERFORM YOUR ABORTION."

11 SO, IT IS NOT FOR HER CLINICAL BENEFIT. IT IS FOR  
12 ME. AND I JUST -- I WOULD FEEL VERY MUCH FORCED TO DO  
13 SOMETHING TO A PATIENT THAT WASN'T FOR HER. THAT WOULD JUST  
14 REALLY BE AWFUL FOR ME.

15 Q. AND ARE THERE SITUATIONS WHERE EVEN IF YOU USED DIGOXIN YOU  
16 WOULD STILL VIOLATE THE ACT?

17 A. WELL, FROM OUR STUDY, IF WE USED DIGOXIN AS WE FELT WAS  
18 SAFEST, WHICH -- AND THE WAY WE FELT WE COULD DO MOST RELIABLY,  
19 WHICH WAS INTRA-AMNIOTIC DIGOXIN, I WOULDN'T HAVE ACHIEVED  
20 FETAL DEMISE WITHIN 24 HOURS 8 PERCENT OF THE TIME.

21 SO IN THAT CASE, EVEN IF I GAVE DIGOXIN TO PROTECT  
22 MYSELF, IN FACT, I COULD STILL BE VIOLATING THE ACT IN TRYING  
23 TO THEN FINISH THE D&E.

24 AND THEN, THERE ARE SITUATIONS WHERE, AGAIN, IF I  
25 HAVE USED DIGOXIN, BUT THEN I FELT FOR CLINICAL REASONS I HAD

1 TO PROCEED WITH THE ABORTION BEFORE I HAD PLANNED, I WOULD BE  
2 VIOLATING THE ACT.

3 AND THEN, THERE ARE SITUATIONS THAT ARE EMERGENT  
4 WHERE A WOMAN'S HEALTH MAY BE AT RISK, BUT I DON'T HAVE TIME TO  
5 GIVE DIGOXIN, WHERE AGAIN I COULD BE VIOLATING THE ACT. AND I  
6 DON'T KNOW WHAT I WOULD DO IN THOSE SITUATIONS.

7 Q. WOULD THERE ALSO BE SITUATIONS -- WELL, I GUESS IF IT WAS  
8 CONTRAINDICATED YOU WOULDN'T BE USING IT.

9 A. RIGHT. YES, I MEAN, IN WOMEN IN WHO IT IS CONTRAINDICATED.  
10 THEN, THERE ARE THE WOMEN WHO I CAN'T GET THERE FROM HERE. I  
11 MEAN, IT'S FUNNY. LOOKING AT THIS NEEDLE, IN SOME WAYS IT  
12 LOOKS LONG. IF YOU ARE THE WOMAN WHO IS HAVING THE INJECTION,  
13 IT LOOKS QUITE LONG.

14 BUT IF YOU ARE THE DOCTOR DOING THE INJECTION,  
15 SOMETIMES IT JUST DOESN'T LOOK LONG ENOUGH, BECAUSE YOU  
16 CAN'T -- YOU KNOW, YOU CAN PUT IT UP TO THE HUB AND STILL NOT  
17 BE ABLE TO GET WHERE YOU WANT TO GO. SO IF I CAN'T PHYSICALLY  
18 GET THERE, I MIGHT NOT BE ABLE TO ADMINISTER DIGOXIN THAT WAY.

19 MS. PARKER: I HAVE NO FURTHER QUESTIONS, YOUR  
20 HONOR.

21 THE COURT: ALL RIGHT. CROSS-EXAMINATION.

22 CROSS-EXAMINATION

23 BY MR. SIMPSON:

24 Q. HI, DR. DREY.

25 A. HELLO.

1 Q. HOW ARE YOU?

2 A. FINE.

3 Q. I THINK THERE WAS A MOMENT A FEW MOMENTS AGO WHEN YOU SAID  
4 THAT YOU WOULD ADMINISTER DIGOXIN OR YOU COULD ADMINISTER  
5 DIGOXIN IN THE WAY THAT YOU BELIEVE IS MOST EFFECTIVE, WHICH IS  
6 INTRA-AMNIOTICALLY.

7 A. I SHOULD PROBABLY NOT HAVE SAID IT THAT WAY. I SHOULD HAVE  
8 SAID IN THE WAY THAT WE KNEW WE HAD SAFELY ADMINISTERED IT  
9 BY -- AS BEST WE COULD TELL BY GETTING IT INTO THAT SPACE. BUT  
10 I DON'T KNOW THAT IT IS MORE EFFECTIVE THAT WAY. I AM SORRY.

11 Q. THAT ACTUALLY IS NOT TRUE, IS IT?

12 A. NO, IT IS NOT -- I THINK IT IS PROBABLY NOT TRUE, THOUGH I  
13 DON'T KNOW THAT THERE HAVE BEEN STUDIES LOOKING AT THE TWO TO  
14 COMPARE THEM, BUT I DOUBT IT IS TRUE.

15 Q. WHAT IS THE OTHER OPTION YOU WERE REFERRING TO?

16 A. IT'S INTRA-FETALLY.

17 Q. YOU BELIEVE THAT WOULD BE MORE EFFECTIVE IN BRINGING ABOUT  
18 FETAL DEMISE?

19 A. I WOULD ASSUME THAT THAT IS TRUE, THOUGH I HAVE NOT SEEN  
20 ANY STUDIES LOOKING AT THAT.

21 Q. DOCTOR, WHEN DID YOU FINISH YOUR TRAINING AS A PHYSICIAN?

22 A. I COMPLETED MY TRAINING IN 2000.

23 Q. THAT WAS YOUR RESIDENCY, CORRECT?

24 A. RIGHT.

25 Q. WHEN DID YOU COMPLETE YOUR FELLOWSHIP?

1 A. IN 2002.

2 Q. YOU BECAME MEDICAL DIRECTOR OF THE WOMEN'S OPTIONS CENTER  
3 AT SAN FRANCISCO GENERAL LAST YEAR?

4 A. YES.

5 Q. NOW, DOCTOR, I AM A LITTLE CONFUSED ABOUT SOME OF THE  
6 TESTIMONY YOU GAVE AT THE BEGINNING OF THE DIRECT EXAMINATION  
7 HERE IN RELATION TO WHOM YOU SUPERVISE AT THE WOMEN'S OPTIONS  
8 CENTER.

9 AS MEDICAL DIRECTOR, YOU ARE NOT THE DIRECT  
10 SUPERVISOR OF THE NURSES OR COUNSELORS OR PHYSICIANS AT THE  
11 WOMEN'S OPTIONS CENTER; IS THAT CORRECT?

12 A. I AM THE DIRECT SUPERVISOR OF THEIR DIRECT SUPERVISOR. I AM  
13 THE DIRECT SUPERVISOR OF THE HEAD NURSE, THE HEAD COUNSELOR AND  
14 ONE RESEARCH ASSISTANT.

15 BUT THEN, I SUPERVISE ALL OF THEM BY HAVING REGULAR  
16 MEETINGS WITH ALL OF THE OTHER STAFF. SO, THOUGH THEY MAY NOT  
17 GET THEIR ANNUAL EVALUATIONS FROM ME, ULTIMATELY I AM  
18 SUPERVISING THEIR ACTIVITIES AND THEIR PERFORMANCE.

19 Q. DOCTOR, DID YOU GIVE A DEPOSITION IN THIS CASE?

20 A. I DID.

21 Q. I HAVE HANDED YOU, DOCTOR, WHAT I BELIEVE IS A COPY OF YOUR  
22 DEPOSITION TRANSCRIPT. DID YOU RECEIVE A COPY OF YOUR  
23 TRANSCRIPT AFTER THE DEPOSITION?

24 A. I DID.

25 Q. DID YOU REVIEW THE TRANSCRIPT?

1 A. I DID.

2 Q. DID YOU SIGN IT?

3 A. I DID.

4 Q. DID YOU FIND AS YOU READ THAT THE WAY IT RECORDED HOW YOU  
5 HAD TESTIFIED WAS ACCURATE?

6 A. I REALIZED AFTER MY TESTIMONY THAT I WAS THE SUPERVISOR OF  
7 THE HEAD NURSE AND THE HEAD COUNSELOR. I HAD NOT REALIZED THAT  
8 I WAS THEIR DIRECT SUPERVISOR IN THAT ROLE. SO I HAD TO REPORT  
9 THAT AFTER MY DEPOSITION.

10 Q. YOU WERE SO NEW IN THE ROLE THAT YOU DIDN'T REALIZE THAT?

11 MS. PARKER: OBJECTION; MISCHARACTERIZES THE  
12 TESTIMONY.

13 THE COURT: OVERRULED.

14 THE WITNESS: YES, THOUGH I PROBABLY -- I WISH I HAD  
15 KNOWN, YOU KNOW, EARLIER.

16 BY MR. SIMPSON:

17 Q. IS IT TRUE, DOCTOR, THAT THERE IS A FACULTY MEMBER FROM  
18 UCSF WHO IS ASSIGNED TO ATTEND AT THE WOMEN'S OPTIONS CENTER  
19 EACH DAY?

20 A. YES.

21 Q. AND IS IT TRUE THAT THAT FACULTY MEMBER SUPERVISES ALL OF  
22 THE ABORTIONS THAT ARE PROVIDED THAT DAY AT THE WOMEN'S OPTIONS  
23 CENTER?

24 A. YES, SUPERVISES OR PERFORMS.

25 Q. NOW, YOU HAVE TESTIFIED, OBVIOUSLY, TO A GREAT EXTENT ABOUT

1 THE INJECTION OF DIGOXIN TO CAUSE FETAL DEMISE BEFORE D&E. YOU  
2 YOURSELF HAVE ACTUALLY GIVEN SUCH INJECTIONS LESS THAN 50  
3 TIMES, CORRECT?

4 A. AS BEST I CAN REMEMBER.

5 Q. CAN YOU TELL US AT THIS POINT, DOCTOR, APPROXIMATELY HOW  
6 MANY TIMES YOU HAVE DONE THAT YOURSELF?

7 A. MY BEST MEMORY WAS WHATEVER I SAID IN THE DEPOSITION, AND I  
8 THINK THAT WAS LESS THAN 50 TIMES -- FEWER THAN 50 TIMES.

9 Q. WAS IT FEWER THAN 25?

10 A. I WOULD HAVE TO LOOK, BECAUSE I WAS TRYING TO REMEMBER AT  
11 THAT TIME.

12 Q. NOW, DOCTOR, YOU HAVE REFERRED -- I BELIEVE IT WAS EARLY ON  
13 IN YOUR DIRECT EXAMINATION -- TO THE ORAL ADMINISTRATION OF  
14 DIGOXIN; IS THAT CORRECT?

15 A. YES.

16 Q. WHEN DIGOXIN IS ADMINISTERED ORALLY IS THAT FOR THERAPEUTIC  
17 PURPOSES?

18 A. YES.

19 Q. NOT FOR ABORTION?

20 A. RIGHT.

21 Q. AND DIGOXIN IS USED FOR HEART CONDITIONS?

22 A. YES.

23 Q. NOW, DOCTOR, CAUSING FETAL DEMISE BEFORE D&E MAKES THE  
24 FETAL TISSUE EASIER TO DISARTICULATE?

25 A. YES.

1 Q. IN YOUR EFFICACY STUDY, THE SECOND STUDY THAT YOU TESTIFIED  
2 TO IN YOUR DIRECT EXAMINATION, THE PHYSICIANS WHO PERFORMED THE  
3 D&E'S AFTER THE INJECTIONS, WHETHER WITH DIGOXIN OR PLACEBO,  
4 THOSE PHYSICIANS WERE ABLE TO GUESS WHETHER THE PATIENT  
5 RECEIVED THE DIGOXIN OR A PLACEBO 75 PERCENT OF THE TIME?

6 A. YES, BUT YOU WOULD HAVE EXPECTED THEM TO GET IT RIGHT  
7 50 PERCENT OF THE TIME. SO 75 PERCENT OF THE TIME WASN'T  
8 REALLY AS HIGH AS WE EXPECTED.

9 THOSE OF US WHO HAD BEEN USING DIGOXIN THOUGHT WE  
10 WOULD ALWAYS KNOW. AND, IN FACT, WE WEREN'T AS RIGHT AS WE  
11 THOUGHT WE WOULD BE.

12 Q. AND THE REASON YOU THOUGHT THE DOCTORS WOULD ALWAYS KNOW IS  
13 THAT IT MAKES IT EASIER TO DISARTICULATE THE FETUS?

14 A. THE TISSUE IS SOFTER, SO IT IS EASIER TO DISARTICULATE, BUT  
15 THAT CAN ALSO MAKE IT EASIER TO ACTUALLY -- I AM SORRY -- MORE  
16 DIFFICULT TO ACTUALLY COMPLETE THE ABORTION.

17 Q. HOW IS THAT?

18 A. BECAUSE IF THE TISSUE IS VERY SOFT, INSTEAD OF BEING ABLE  
19 TO DRAW THE FETUS DOWN INTO THE LOWER PART OF THE UTERUS WHERE  
20 IT IS SAFER TO DO THE ABORTION, IT MAY STAY UP HIGHER IN THE  
21 UTERUS, AND YOU MAY HAVE TO HAVE MORE PASSES WITH THE FORCEPS  
22 TO DRAW THE FETUS OUT.

23 SO, THE DOWNSIDE OF HAVING SOFTER TISSUE IS THAT IF  
24 IT COMES APART VERY EASILY YOU HAVE TO MAKE MORE PASSES, AND  
25 YOU HAVE TO GO UP HIGHER IN THE UTERUS.

1 Q. I THINK YOU TESTIFIED TO THIS ALREADY, DOCTOR. WHEN YOU'RE  
2 INJECTING DIGOXIN TO CAUSE FETAL DEMISE, WHEN YOU WANT TO  
3 INJECT IT INTO THE AMNIOTIC FLUID, YOU CAN VERIFY THAT THE  
4 NEEDLE IS IN THE AMNIOTIC FLUID BY PULLING BACK ON THE SYRINGE,  
5 CORRECT?

6 A. YES.

7 Q. AND AMNIOTIC FLUID HAS A CERTAIN DISTINCTIVE APPEARANCE?

8 A. YES, OR TYPES OF APPEARANCES.

9 Q. FOR SOME PATIENTS, DOCTOR, IS IT TRUE THAT CAUSING FETAL  
10 DEMISE BEFORE EMPTYING THE UTERUS MAKES THE PROCEDURE  
11 EMOTIONALLY EASIER FOR THE PATIENT?

12 A. THAT CAN GO BOTH WAYS.

13 Q. CAN YOU EXPLAIN WITH YOU MEAN BY THAT, DOCTOR?

14 A. FOR SOME PATIENTS THEY MAY FIND IT MORE EMOTIONALLY  
15 UPSETTING TO HAVE THE DEMISE CAUSED BEFORE THE PROCEDURE.

16 Q. YOU HAVE A COPY IN FRONT OF YOU OF YOUR EFFICACY STUDY,  
17 DOCTOR?

18 A. YES.

19 Q. IF YOU COULD LOOK AT THE FIRST PAGE OF THAT STUDY, OF THAT  
20 ARTICLE. IN THE ABSTRACT, IN THE RESULTS SECTION, DO YOU SEE  
21 THE LAST SENTENCE OF THE RESULT SECTION WHERE IT SAYS:

22 "MOST SUBJECTS (91 PERCENT) REPORTED THAT THEY  
23 PREFERRED THEIR FETUSES WERE DEAD BEFORE THE  
24 ABORTIONS"?

25 A. YES.



1 Q. IS THAT CONSISTENT WITH YOUR RECOLLECTION OF THIS STUDY?

2 A. THAT IS CONSISTENT WITH MY RECOLLECTION. HOWEVER, WHAT  
3 MAKES ASSESSING THIS DIFFICULT IS THAT WHEN YOU ARE CONSENTING  
4 A PATIENT FOR A RANDOMIZED TRIAL, YOU HAVE TO STATE THAT THE  
5 REASON YOU ARE DOING THE TRIAL IS BECAUSE YOU'RE ASSESSING  
6 WHETHER THIS INTERVENTION IS HELPFUL. AND SO IN -- I THINK THE  
7 PATIENTS KIND OF ASSUME WHEN DOCTORS DO THINGS TO THEM THAT IT  
8 IS GOING TO HELP THEM.

9 SO THEN IF YOU SAY TO THEM:

10 "WELL, DO YOU WANT ME TO DO THIS THING TO YOU,"  
11 THEY SAY, "WELL, YES, BECAUSE I THINK IT WILL BE  
12 HELPFUL."

13 SO IT MAKES IT HARDER TO MAKE A CONCLUSION ABOUT  
14 OUTSIDE OF THIS PARTICULAR STUDY WHERE THEY HAD BEEN CONSENTED  
15 PROPERLY FOR THE STUDY HOW MANY PATIENTS NECESSARILY WOULD  
16 PREFER THAT.

17 Q. WERE THERE PATIENTS TO WHOM YOU OFFERED PARTICIPATION IN  
18 THIS STUDY WHO SIMPLY DECLINED PARTICIPATION, NOT FOR A REASON  
19 THAT YOU WANTED TO EXCLUDE THEM?

20 A. YES.

21 Q. DO YOU THINK, DOCTOR, THAT SOME OF THOSE PATIENTS DECIDED  
22 NOT TO PARTICIPATE BUT FOR SOME REASON, FOR SOME STATEMENT THAT  
23 WAS MADE TO THEM BY A PERSONNEL AT SAN FRANCISCO GENERAL?

24 A. I DON'T KNOW.

25 Q. I AM JUST ASKING, DOCTOR, YOU'VE SAID THAT THAT THE

1 SENTENCE HERE REGARDING:

2 "MOST SUBJECTS REPORTED THAT THEY PREFERRED THEIR  
3 FETUSES WERE DEAD BEFORE THE ABORTIONS," YOU SAID  
4 THAT THAT COULD HAVE BEEN BECAUSE OF SOMETHING THAT THE DOCTORS  
5 SAID TO THEM.

6 AND I AM ASKING NOW: ARE THERE OTHER RESULTS OF  
7 THIS STUDY THAT COULD HAVE OCCURRED BECAUSE OF SOMETHING THE  
8 DOCTORS SAID TO THE SUBJECTS?

9 A. I THINK THAT WHAT IS GOOD ABOUT THIS TRIAL IS THAT MOST OF  
10 OUR RESULTS ARE ONES THAT WOULDN'T HAVE BEEN INFLUENCED BY WHAT  
11 WE SAID TO PATIENTS. AND PATIENTS DIDN'T KNOW WHAT MEDICATION  
12 OR PLACEBO THEY HAD RECEIVED. SO I THINK THAT THAT REALLY -- I  
13 WOULD HAVE TO LOOK THROUGH TO MAKE SURE I AM NOT MISSING  
14 ANYTHING.

15 BUT VIRTUALLY ALL OF THE RESULTS WOULD NOT HAVE BEEN  
16 EFFECTED BY ANYTHING THAT WE HAD SAID TO THEM.

17 Q. DOCTOR, ARE YOU FAMILIAR WITH THE PROCEDURE KNOWN AS  
18 AMNIOCENTESIS?

19 A. YES.

20 Q. I THINK YOU'VE TESTIFIED REGARDING THAT. WOULD YOU AGREE,  
21 DOCTOR, THAT A PHYSICIAN WITH THE SKILL TO PERFORM AN  
22 AMNIOCENTESIS WOULD ALSO HAVE THE SKILL TO PERFORM A DIGOXIN  
23 INJECTION?

24 A. YES.

25 Q. WOULD YOU CONCEDE, DOCTOR, THAT THE PARTIAL-BIRTH ABORTION

1 BAN ACT WOULD NOT APPLY WHERE THE PHYSICIAN HAS CAUSED FETAL  
2 DEMISE BEFORE EMPTYING THE UTERUS?

3 A. YES.

4 Q. DOCTOR, I BELIEVE YOU TESTIFIED THAT THE WOMEN'S OPTIONS  
5 CENTER WAS PROVIDING DIGOXIN INJECTIONS BEFORE YOUR TWO STUDIES  
6 WERE CONDUCTED; IS THAT CORRECT?

7 A. YES.

8 Q. WAS THE WOMEN'S OPTIONS CENTER OFFERING THAT OPTION TO ALL  
9 D&E PATIENTS?

10 A. I CAN'T SAY THAT IT WAS OFFERED TO ALL D&E PATIENTS. IT  
11 WOULD NOT HAVE BEEN OFFERED BEFORE 20 WEEKS.

12 Q. WAS IT BEING OFFERED, DO YOU KNOW, TO ALL D&E PATIENTS  
13 AFTER 20 WEEKS?

14 A. I DON'T KNOW. SOME OF THOSE DECISIONS ARE MADE BASED ON  
15 ATTENDING PREFERENCE, AND I DON'T KNOW AT THAT TIME WHAT EVERY  
16 ATTENDING PREFERRED.

17 Q. YOU DON'T KNOW WHAT THE PROTOCOL WAS BEFORE THAT TIME?

18 A. WELL, PROTOCOLS DON'T MEAN THAT EVERY PATIENT NECESSARILY  
19 HAS THE SAME INTERVENTION. ULTIMATELY PROTOCOLS ARE TO GUIDE  
20 CLINICAL CARE, BUT ULTIMATELY IT IS THE ATTENDINGS WHO DECIDE  
21 HOW TO TREAT PATIENTS.

22 Q. YOUR TWO STUDIES, DOCTOR, WERE NOT CONDUCTED, WERE NOT  
23 INITIATED BECAUSE OF ANY EVENT THAT OCCURRED IN A DIGOXIN  
24 INJECTION AT THE WOMEN'S OPTIONS CENTER, CORRECT?

25 A. NO.

1 Q. CAN YOU TELL US, THEN, WHY THE STUDIES WERE UNDERTAKEN?

2 A. THE STUDIES WERE UNDERTAKEN BECAUSE IT'S REALLY BEST  
3 PRACTICE TO MAKE SURE THAT THINGS THAT WE'RE DOING TO MAKE  
4 PROCEDURES SAFER OR MEDICINES THAT WE ARE USING, IN FACT, ARE  
5 SAFE OR ARE EFFECTIVE IN THEIR USE.

6 SO I THINK THAT BECAUSE ONE OF THE GOALS OF OUR  
7 CLINIC IN PARTICULAR IS TO IMPROVE THE PRACTICE OF ABORTION  
8 CARE WE HAVE AS PART OF THAT GOAL DOING RESEARCH AND ABORTION  
9 AND MAKING SURE THAT WE ARE OPTIMIZING THE SAFETY OF THE  
10 PROCEDURES THAT WE DO.

11 Q. SO --

12 A. AND THAT OTHER PROVIDERS DO, AS WELL.

13 Q. -- YOU WOULD NOT WANT TO DO A PROCEDURE UNTIL ITS SAFETY  
14 AND EFFECTIVENESS HAVE BEEN SHOWN IN STUDIES?

15 A. I THINK MEDICINE, UNFORTUNATELY, DEVELOPS IN A MORE GRADUAL  
16 PROCESS THAN THAT. SO THAT, YOU KNOW, AT SOME POINT  
17 RESPONSIBLE PRACTITIONERS START DOING PROCEDURES, AND THEN  
18 THESE PROCEDURES ARE EVALUATED SYSTEMATICALLY WHEN EXPERIENCE  
19 SHOWS THAT IT IS WORTH EVALUATING.

20 Q. SO YOU ARE SAYING, DOCTOR, THAT ONCE PROCEDURES ARE USED,  
21 AT LEAST AT SOME POINT AFTER THEIR USE BEGINS, THEY SHOULD BE  
22 SUBJECTED TO STUDY?

23 A. WELL, THAT'S IDEAL. YOU CAN'T DO THAT FOR ALL THINGS. I  
24 MEAN, AS I WAS EXPLAINING EARLIER ABOUT TRYING TO ASSESS  
25 SURGERY, FOR EXAMPLE. IDEALLY YOU WOULD ASSESS EVERY ASPECT OF

1 EVERY PROCEDURE THAT YOU DO AS A SURGEON.

2 WELL, IT'S REALLY NOT POSSIBLE OR FEASIBLE TO DO  
3 THAT FOR EVERY SURGERY OR EVERY ASPECT OF EVERY SURGERY. SO  
4 EVEN THOUGH WE REALLY LOVE THESE KINDS OF RANDOMIZED BLINDED  
5 TRIALS AND WE REALLY LOVE RELYING ON THEM AS PHYSICIANS, AND I  
6 THINK THAT THAT'S KIND OF OUR GOLD STANDARD. WE CAN'T ALWAYS  
7 RELY ON THEM. SO WE HAVE TO RELY ON AN ADDITIONAL SORT OF ART  
8 THAT IS A MIX OF CLINICAL EXPERIENCE, OTHER PHYSICIANS'  
9 EXPERIENCE, AND THEN RETROSPECTIVE STUDIES, IN ADDITION.

10 BECAUSE, FOR EXAMPLE, FOR SURGERIES, MOST SURGERIES  
11 ARE SAFE, YOU MAY HAVE TO LOOK BACK THROUGH LOTS AND LOTS OF  
12 SURGERIES TO SEE JUST HOW SAFE A PARTICULAR PROCEDURE IS.

13 AND IT IS HARD TO DISSECT EVERY PROCEDURE INTO ALL  
14 OF ITS COMPONENT PARTS.

15 Q. THE GOLD STANDARD IN THAT STUDY IS, AS I THINK YOU'VE SAID,  
16 PROSPECTIVE -- I AM SORRY -- YES, PROSPECTIVE STUDY, CORRECT?

17 A. I THINK AS THE GOLD STANDARD AS BEING A BLINDED, RANDOMIZED  
18 TRIAL, IF YOU CAN ACHIEVE THAT. BUT IT IS JUST NOT FEASIBLE IN  
19 DOING -- AND IT IS ALSO -- YOU ARE LOOKING AT A VERY SPECIFIC  
20 OUTCOME.

21 SO IT'S -- IN SOME WAYS YOU CAN -- YOU CHOOSE YOUR  
22 TARGET TO SEE WHAT YOU WANT TO STUDY IS VERY IMPORTANT, BECAUSE  
23 EVERY ONE OF THOSE STUDIES REQUIRES A HUGE INVESTMENT OF TIME  
24 AND SUBJECT ASSISTANCE. AND YOU'RE ONLY GOING TO BE ABLE TO  
25 ANALYZE THE THING THAT YOU RANDOMIZED VERSUS THE PLACEBO, FOR

1 EXAMPLE.

2 Q. A BLINDED, RANDOMIZED STUDY IS A PROSPECTIVE STUDY?

3 A. IT IS.

4 Q. IS THERE ANY REASON WHY DIFFERENCES IN SURGICAL PROCEDURES  
5 COULD NOT BE SUBJECTED TO A RETROSPECTIVE STUDY?

6 A. YOU CAN'T -- ONE OF THE PROBLEMS WITH THE RETROSPECTIVE  
7 STUDY IS THAT YOU ACTUALLY HAVE TO COLLECT THE DATA AND RELY ON  
8 THE DATA THAT SOMEONE ELSE HAS COLLECTED.

9 SO IF YOU WANT TO RETROSPECTIVELY ASSESS A SURGERY,  
10 YOU HAVE TO RELY ON WHAT WAS CHARTED, WHAT THE PHYSICIAN OR  
11 OTHER PEOPLE IN THE ROOM THOUGHT TO WRITE DOWN.

12 SO, FOR EXAMPLE, IF NO ONE WRITES DOWN AN ASPECT OF  
13 A PROCEDURE, AND THAT IS THE THING THAT YOU ARE MOST INTERESTED  
14 IN, YOU CAN'T DO YOUR RETROSPECTIVE STUDY, EVEN IF YOU WANT TO.  
15 SO THAT CAN BE A PROBLEM.

16 Q. DOCTOR, YOUR SAFETY STUDY, THE ONE THAT IS REPORTED IN  
17 EXHIBIT 34, WHICH I THINK YOU HAVE, THAT STUDY WAS DESIGNED TO  
18 DETERMINE WHETHER DIGOXIN INJECTION TO CAUSE FETAL DEMISE  
19 BEFORE AN ABORTION IS SAFE FOR THE PREGNANT WOMAN, CORRECT?

20 A. YES.

21 Q. THAT STUDY LOOKED AT THREE MEASURABLE RESULTS OF DIGOXIN  
22 INJECTION, I BELIEVE: SYSTEMIC ABSORPTION OF DIGOXIN; CARDIAC  
23 RHYTHM AND COAGULATION PARAMETERS?

24 A. YES.

25 Q. AND THOSE THREE RESULTS WERE CHOSEN BECAUSE IT WAS FELT

1 THAT THOSE WERE THE MOST SIGNIFICANT AREAS OF POTENTIAL RISK?

2 A. YES.

3 Q. YOUR STUDY, IN FACT, WAS DESIGNED TO SHOW THAT A LARGER  
4 STUDY COULD BE DONE SAFELY?

5 A. RIGHT.

6 Q. AND, DOCTOR, YOU WERE LISTED AS THE FIRST AUTHOR ON THAT  
7 STUDY BECAUSE YOU DID MOST OF THE WRITING?

8 A. YES.

9 Q. DOCTOR, I WOULD LIKE TO READ PART OF THE LAST PARAGRAPH OF  
10 THAT STUDY. SO WE ARE IN EXHIBIT 34, PAGE 1066, THE LAST PAGE.  
11 THE LAST PARAGRAPH STARTS:

12 "WE CONCLUDE THAT INTRA-AMNIOTIC ADMINISTRATION  
13 OF 1 MILLIGRAM DIGOXIN BEFORE TERMINATION OF  
14 PREGNANCY DURING THE LATE SECOND-TRIMESTER DOES NOT  
15 RESULT IN CLINICALLY-SIGNIFICANT ELEVATION OF  
16 MATERNAL SERUM DIGOXIN LEVELS; IS NOT ASSOCIATED  
17 WITH EVIDENCE OF DIGOXIN TOXICITY; DOES NOT ALTER  
18 MATERNAL CARDIAC RATE OR RHYTHM; AND DOES NOT CHANGE  
19 CLOTTING PARAMETERS."

20 SO YOUR STUDY, DOCTOR, FOUND NO RISK TO THE WOMEN IN  
21 ANY OF THOSE THREE AREAS THAT WERE CONSIDERED TO BE THE MOST  
22 SIGNIFICANTLY -- MOST SIGNIFICANT POTENTIAL AREAS OF RISK?

23 A. WE SAW NO RISK IN THE EIGHT SUBJECTS WE ENROLLED IN THE  
24 TRIAL.

25 Q. AND THE PURPOSE OF THE TRIAL WAS TO DETERMINE THE SAFETY OF

1 DIGOXIN INJECTION?

2 A. IT IS AN INITIAL ATTEMPT TO SAY THAT THE MEDICATION IS SAFE  
3 ENOUGH THAT YOU FEEL THAT YOU CAN PROCEED TO ADMINISTER IT TO A  
4 LARGE NUMBER OF PATIENTS. IT DOESN'T MEAN THAT EVEN IF YOU DO  
5 A SAFETY STUDY THAT THE MEDICINE IS NECESSARILY UNIVERSALLY  
6 SAFE.

7 Q. NOW, YOUR EFFICACY STUDY, DOCTOR, WHICH YOU HAVE IN  
8 EXHIBIT 30, THAT INVOLVED INJECTION OF DIGOXIN INTO 126  
9 SUBJECTS, CORRECT?

10 A. YES.

11 Q. AND NO COMPLICATIONS OCCURRED IN ANY OF THOSE PATIENTS?

12 A. THERE WERE COMPLICATIONS. THERE WERE NO COMPLICATIONS THAT  
13 WE SPECIFICALLY ASSOCIATED WITH THE DIGOXIN INJECTION. I MEAN,  
14 THERE WAS ONE MAJOR COMPLICATION.

15 Q. BUT, AGAIN, IT WAS NOT ASSOCIATED WITH THE INJECTION?

16 A. WE -- YOU KNOW, THERE'S THE SCIENCE END OF IT. WE CAN'T  
17 SPECIFICALLY SAY IT WAS ASSOCIATED WITH THE DIGOXIN INJECTION.  
18 AT THE SAME TIME, I WAS EXPLAINING THAT MY CONCERN WITH DIGOXIN  
19 IS THAT, YOU KNOW, POTENTIALLY THE TISSUE IS SOFTER SO YOU HAVE  
20 TO REACH UP HIGHER IN THE UTERUS.

21 AND AS YOU HEARD EARLIER WHEN YOU HAVE TO REACH UP  
22 HIGHER IN THE UTERUS YOU ARE CONCERNED THAT YOU MIGHT PERFORATE  
23 THE UTERUS.

24 AND THE ONE PATIENT WHO HAD A UTERINE PERFORATION  
25 HAD RECEIVED DIGOXIN. NOW, YOU CAN'T CONCLUDE THAT IT WAS THE



1 DIGOXIN, BECAUSE THAT IS ONLY ONE PERSON. AND YOU NEED A MUCH,  
2 MUCH LARGER STUDY THAN REALLY PROBABLY ANYONE COULD EVER AFFORD  
3 TO DO.

4 SO, I MEAN, YOU CAN'T DEFINITELY SAY IT WAS THE  
5 DIGOXIN, BUT YOU COULD POTENTIALLY BE LEFT WITH A CONCERN THAT  
6 MAYBE IT WAS THE DIGOXIN, BUT YOU CAN'T CONCLUDE ANYTHING LIKE  
7 THAT.

8 Q. THE REASON YOU WOULD NEED SUCH A LARGE STUDY FOR THAT,  
9 DOCTOR, THAT'S BECAUSE D&E IN GENERAL IS SUCH A SAFE PROCEDURE?

10 A. RIGHT. AND SO YOU WOULD BE LOOKING AT RARE COMPLICATIONS.  
11 AND YOU HAVE, TO AS WE SAY, POWER THE STUDY WITH ENOUGH PEOPLE  
12 SO THAT YOU CAN SEE A SIGNIFICANT DIFFERENCE IN THOSE RARE  
13 COMPLICATIONS. THAT WOULD BE A HUGE STUDY AND INCREDIBLY  
14 EXPENSIVE.

15 Q. IF I COULD REFER YOU, DOCTOR, IN EXHIBIT -- IN YOUR  
16 EFFICACY STUDY, EXHIBIT 30.

17 IF YOU COULD LOOK AT THE FOURTH PAGE, PLEASE. THAT  
18 IS EXHIBIT -- I AM SORRY -- PAGE 474.

19 DID YOU REVIEW -- YOU DID NOT ACTUALLY -- WERE NOT  
20 PRIMARILY RESPONSIBLE FOR WRITING THE EFFICACY ARTICLE,  
21 CORRECT?

22 A. RIGHT.

23 Q. DID YOU REVIEW IT AFTER IT WAS WRITTEN?

24 A. YES.

25 Q. DID YOU PROVIDE ANY COMMENTS ON IT?

1 A. YES.

2 Q. IF I COULD REFER YOU TO PAGE 474, RIGHT-HAND COLUMN, THE  
3 LAST SENTENCE BEFORE THE FIRST FULL PARAGRAPH. THE FIRST FULL  
4 PARAGRAPH BEGINS:

5 "PROCEDURE PAIN."

6 AND I AM GOING TO READ THE SENTENCE RIGHT BEFORE  
7 THAT:

8 "THERE WERE NO COMPLICATIONS ASSOCIATED WITH.  
9 INTRA-AMNIOTIC INJECTION."

10 DO YOU DEGREE WITH THAT, DOCTOR?

11 A. YES, I DO, BECAUSE I SAID THAT WAS SPECIFICALLY  
12 COMPLICATIONS WITH THE INJECTION ITSELF.

13 Q. DOCTOR, I HAVE GIVEN YOU EXHIBIT 31 IN THIS CASE. ARE YOU  
14 FAMILIAR WITH THAT BOOK?

15 A. YES.

16 Q. DO YOU USE IT?

17 A. YES.

18 Q. DO YOU CONSIDER IT TO BE RELIABLE?

19 A. YES.

20 Q. IF I COULD ASK YOU TO TURN TO PAGE 123, PLEASE. CHAPTER 10  
21 STARTS THERE, CORRECT?

22 A. YES, IT DOES.

23 Q. HAVE YOU READ THAT CHAPTER BEFORE?

24 A. YES.

25 Q. IF YOU COULD TURN NOW TO PAGE 131. DOCTOR, WERE YOU IN THE

1 COURTROOM DURING DR. SHEEHAN'S TESTIMONY TODAY?

2 A. PART OF IT.

3 Q. WERE YOU IN THE COURTROOM WHEN I READ THE PARAGRAPH ON  
4 PAGE 131 THAT GOES FROM THE BOTTOM OF THE LEFT-HAND COLUMN TO  
5 THE TOP OF THE RIGHT-HAND COLUMN?

6 A. I WAS.

7 Q. DOES THE INFORMATION IN THAT PARAGRAPH SUPPORT THE FINDINGS  
8 OF YOUR STUDY REGARDING THE SAFETY OF DIGOXIN INJECTION?

9 A. IT SUPPORTS THAT OVERALL THE INJECTION OF DIGOXIN FOR  
10 FETICIDAL PURPOSES IS OVERALL SAFE.

11 Q. WE TALKED EARLIER, DOCTOR, ABOUT THE POTENTIAL OF INJECTING  
12 DIGOXIN INTO THE FETAL HEART AND THE EFFECTIVENESS OF THAT  
13 APPROACH. ASIDE FROM ITS EFFECTIVENESS IN CAUSING FETAL  
14 DEMISE, DO YOU BELIEVE THAT THAT APPROACH WOULD ALSO BE FASTER  
15 THAN INTRA-AMNIOTIC INJECTION?

16 A. I THINK IT IS LIKELY THAT IT'S FASTER, THOUGH, AGAIN, NO  
17 ONE HAS STUDIED THE TIME FRAME, SO WE ARE ASSUMING.

18 Q. DOCTOR, YOU TESTIFIED ON DIRECT ABOUT TWO CASES WHERE  
19 POTENTIAL COMPLICATIONS HAD OCCURRED AFTER DIGOXIN INJECTION.  
20 AND IN THE FIRST CASE I BELIEVE YOU TESTIFIED THAT THERE WAS  
21 SOME PAIN ON THE PART OF THE PATIENT?

22 A. RIGHT.

23 Q. IS IT CORRECT, DOCTOR, THAT IT WAS NOT DEFINITELY SHOWN  
24 THAT THAT PAIN WAS CAUSED BY THE DIGOXIN?

25 A. I THINK IT WOULD BE DIFFICULT TO DEFINITELY SHOW THAT.

1 BUT IT WAS SEVERE PAIN IMMEDIATELY AFTER THE INJECTION.

2 Q. IT WAS NOT SHOWN TO BE CAUSED BY THE INJECTION?

3 A. I DON'T KNOW HOW ELSE TO ASSUME THAT. WHY WOULD SHE  
4 SUDDENLY HAVE A SEVERE PAIN IN HER LOWER ABDOMEN IF IT WASN'T  
5 FROM THE INJECTION? I DON'T UNDERSTAND.

6 Q. THERE WERE NO LONG-TERM EFFECTS IN EITHER OF THOSE TWO  
7 CASES?

8 A. NO, THERE WERE NOT THAT I KNOW OF.

9 Q. AND THE SECOND CASE THAT YOU MENTIONED, DOCTOR, YOU DIDN'T  
10 MENTION ANY SYMPTOMS SUCH AS PAIN. AS FAR AS YOU KNOW WERE  
11 THERE NO SUCH SYMPTOMS?

12 A. I DON'T KNOW.

13 Q. DOCTOR, IN ADDITION TO THE POTENTIAL RISKS THAT WE HAVE  
14 DISCUSSED YOUR SAFETY STUDY ALSO RECORDED SIDE EFFECTS REPORTED  
15 BY THE SUBJECT; IS THAT CORRECT?

16 A. YES.

17 Q. TWO OF THE SIDE EFFECTS REPORTED WERE NAUSEA AND FATIGUE?

18 A. YES.

19 Q. THOSE ARE -- YOU CALL THOSE SIDE EFFECTS, NOT  
20 COMPLICATIONS, CORRECT?

21 A. RIGHT.

22 Q. AND AS SIDE EFFECTS, THEY WOULD NOT HAVE ANY LASTING EFFECT  
23 ON THE PATIENT?

24 A. IT WOULD BE UNLIKELY TO HAVE ANY LASTING EFFECT.

25 Q. IF I CAN REFER YOU, DOCTOR, TO EXHIBIT 34, YOUR ARTICLE ON

1 THE SAFETY STUDY. TABLE 3 ON THE THIRD PAGE OF THAT ARTICLE.  
2 IS IT CORRECT, DOCTOR, THAT AS REPORTED THERE IN TABLE 3, THE  
3 SIDE EFFECTS THAT WERE MOST OFTEN REPORTED IN SUBJECTS -- THAT  
4 SIDE EFFECTS WERE MOST OFTEN REPORTED IN THE SUBJECTS WHO HAD  
5 THE LOWEST LEVELS OF DIGOXIN IN THEIR BLOOD?  
6 A. CAN YOU ASK THAT AGAIN? I AM SORRY.  
7 Q. LET'S LOOK AT THE TABLE, TABLE 3. IT HAS FOUR COLUMNS.  
8 THE HEADING FOR THE FOURTH COLUMN I AM READING:  
9 "MEAN PEAK DIGOXIN LEVEL IN PATIENTS WITH SIDE  
10 EFFECT."  
11 DID I READ THAT CORRECTLY?  
12 A. RIGHT.  
13 Q. THE FOURTH COLUMN SAYS:  
14 "MEAN PEAK DIGOXIN LEVEL IN PATIENT WITH OUTSIDE  
15 EFFECT."  
16 A. RIGHT.  
17 Q. THESE WERE SELF-REPORTED SIDE EFFECTS, CORRECT?  
18 A. YES.  
19 Q. DO YOU AGREE, DOCTOR, THAT THE NUMBERS ON THE FAR  
20 RIGHT-HAND COLUMN, THE FOURTH COLUMN, ARE LARGER THAN THE  
21 NUMBERS IN THE THIRD COLUMN?  
22 A. YES.  
23 Q. SO, THE PATIENTS WHO HAD THE LOWEST LEVELS OF DIGOXIN IN  
24 THEIR BLOOD REPORTED SIDE EFFECTS MOST OFTEN?  
25 A. I AM NOT SURE YOU CAN CONCLUDE THAT. I THINK THAT WHAT IT

1 MEANS IS THAT OVERALL WHEN YOU LOOKED AT THE PATIENTS WHO HAD  
2 THE SIDE EFFECT VERSUS THE PATIENTS WHO DIDN'T, THAT THE PEAK  
3 OF THE DIGOXIN HAD A HIGHER MEAN IN THE PATIENTS WHO DID NOT  
4 HAVE SIDE EFFECTS.

5 SHOULD I SAY THAT AGAIN? IS THAT CONFUSING?

6 SO, IN OTHER WORDS, THE MEAN PEAK DIGOXIN LEVEL WAS,  
7 IN FACT, LOWER IN PATIENTS WITHOUT THE SIDE EFFECT. IS THAT  
8 RIGHT OR NO?

9 Q. DOCTOR, ISN'T IT TRUE THAT ONE OF YOUR SUBJECTS IN YOUR  
10 SAFETY STUDY REPORT IN EXHIBIT 34, ISN'T IT TRUE THAT ONE OF  
11 THE SUBJECTS IN THAT STUDY WEIGHED 222 POUNDS?

12 A. YES.

13 Q. WAS THAT SUBJECT OBESE?

14 A. I DON'T REMEMBER.

15 Q. DOCTOR, HOW LIKELY IS IT THAT A WOMAN OF 222 POUNDS WOULD  
16 NOT BE OBESE?

17 A. IT DEPENDS ON HER HEIGHT.

18 Q. IN YOUR EXPERIENCE AS A PHYSICIAN, AS A PHYSICIAN, DO YOU  
19 ROUTINELY KNOW THE WEIGHT OF THE PATIENTS THAT YOU TREAT?

20 A. NO.

21 Q. DOES YOUR STAFF WEIGH THE PATIENTS WHO COME TO YOUR CLINICS  
22 TO BE TREATED?

23 A. THEY DO, BUT I OFTEN DON'T REVIEW THEIR WEIGHT.

24 Q. DOCTOR, DO YOU THINK IT WOULD BE VERY LIKELY FOR A WOMAN OF  
25 222 POUNDS NOT TO BE OBESE?

1 A. IT'S POSSIBLE. I MEAN, AGAIN, THERE IS OBESITY. THERE IS  
2 MORBID OBESITY. IT ALL DEPENDS ON HOW THE WEIGHT IS  
3 DISTRIBUTED ON A WOMAN OF A GIVEN HEIGHT.

4 Q. DOCTOR, YOU TESTIFIED THAT -- I BELIEVE YOU USED THIS TERM  
5 TWICE -- THAT DIGOXIN INJECTION WOULD TECHNICALLY BE MORE  
6 DIFFICULT OR TECHNICALLY CAN'T BE DONE IN A WOMAN WHO IS OBESE.

7 BY THAT DO YOU MEAN -- MAYBE I SHOULD ASK YOU TO  
8 EXPLAIN: WHAT DO YOU MEAN BY SAYING "TECHNICALLY" IN THAT  
9 CONTEXT?

10 A. I WILL HOLD UP MY NEEDLE AGAIN. SO, THIS LENGTH OF THE  
11 NEEDLE THAT WE USUALLY USE, AND WE USE IT. IT IS A STANDARD  
12 LENGTH OF NEEDLE. IT'S A THREE-AND-A-HALF INCH NEEDLE.

13 IF SOMEONE'S WHAT WE CALL "PANNUS," SO SOMEONE'S  
14 OVERLYING FAT THAT LIES ON THEIR ABDOMEN IS THICKER THAN THIS,  
15 IT WOULD BE IMPOSSIBLE. AND YOU DON'T JUST HAVE TO GET THROUGH  
16 THE FAT THAT LIES OVER THEIR MUSCLE AND THEIR FASCIA, BUT YOU  
17 ALSO HAVE TO GET TO THE UTERUS, THROUGH THE UTERUS AND INTO THE  
18 UTERINE CAVITY.

19 SO IF THOSE LAYERS ALL COMBINED ARE LONGER THAN  
20 THREE-AND-A-HALF INCHES, IT CAN BE DIFFICULT OR IMPOSSIBLE TO  
21 GET THE NEEDLE INTO THE RIGHT PLACE.

22 Q. BUT YOU CAN SIMPLY USE A LONGER NEEDLE, IF NECESSARY?

23 A. YES, BUT THEN IT IS HARDER TO DIRECT IT. AND SOMETIMES  
24 THERE JUST ISN'T A NEEDLE LONG ENOUGH. THAT HAS BEEN OUR  
25 EXPERIENCE.

1 Q. IF I CAN REFER YOU, AGAIN, DOCTOR, TO THE ARTICLE ON YOUR  
2 EFFICACY STUDY, ON THE FOURTH PAGE, PAGE 474, THERE IS A TABLE,  
3 TABLE 2.

4 AND THE FIRST RESULT THERE IN THE LEFT-HAND COLUMN,  
5 THE RESULT COLUMN, SAYS:

6 "PROCEDURE TIME AND DIFFICULTY."

7 AND A FEW LINES DOWN IT SAYS:

8 "SURGEON-RATED DIFFICULTY."

9 DO YOU SEE THAT?

10 A. YES.

11 Q. NOW, ON THE PLACEBO COLUMN, THE DIFFICULTY IS RATED THREE.  
12 ON THE DIGOXIN COLUMN, THE PLACEBO -- I MEAN, I AM SORRY -- THE  
13 DIFFICULTY IS RATED 2.5; IS THAT CORRECT?

14 A. THAT'S RIGHT.

15 Q. SO, AM I CORRECT IN UNDERSTANDING THAT OVERALL THE SURGEONS  
16 RATED THE DIFFICULTY -- RATED THE PROCEDURE AS LESS DIFFICULT  
17 WHEN THE PATIENT HAD RECEIVED DIGOXIN?

18 A. THEY -- NUMERICALLY, THAT'S CORRECT. BUT IT IS NOT  
19 STATISTICALLY SIGNIFICANT, MEANING THAT THIS RESULT WAS LIKELY  
20 DUE TO JUST CHANCE, BECAUSE IT WASN'T A LARGE ENOUGH  
21 DIFFERENCE.

22 SO IT -- ESSENTIALLY, IN TERMS OF HOW TO INTERPRET  
23 IT, YOU WOULD SAY THEY ARE THE SAME BECAUSE ANY DIFFERENCE IS  
24 PROBABLY JUST CHANCE.

25 Q. NOW, THE STATISTICAL SIGNIFICANCE OF A RESULT SHOWN IN THE



1 STUDY DEPENDS NOT ONLY ON THE NUMERICAL RESULT, BUT ALSO ON THE  
2 SIZE OF THE STUDY, CORRECT?

3 A. YES.

4 Q. SO, IF THESE SAME RESULTS, 3 AND 2.5, HAD BEEN ACHIEVED IN  
5 A LARGER STUDY, IF YOU HAD HAD 2000 SUBJECTS, AND YOU STILL HAD  
6 THOSE RESULTS, 3 AND 2.5, THEN PROBABLY THAT WOULD BE  
7 STATISTICALLY SIGNIFICANT, CORRECT?

8 A. YEAH. BUT, I THINK WHAT'S IMPORTANT ISN'T JUST STATISTICAL  
9 SIGNIFICANCE. IF YOU GET A LARGE ENOUGH STUDY YOU CAN FIND  
10 STATISTICALLY SIGNIFICANT DIFFERENCE OF .1 IN DIFFICULTY, BUT  
11 YOU WOULDN'T CARE, BECAUSE IT'S NOT CLINICALLY IMPORTANT.

12 SO WHAT YOU ARE LOOKING AT IS A STUDY THAT HAS  
13 ENOUGH SIZE THAT YOU HAVE A CLINICALLY-IMPORTANT RESULT THAT'S  
14 DIFFERENT.

15 SO, A DIFFERENCE BETWEEN 3 AND 2.5, YOU WOULD NOT  
16 ONLY HAVE TO PROVE THAT IT IS STATISTICALLY SIGNIFICANT, BUT  
17 THE OTHER TEST ABOUT WHETHER YOU CARE ABOUT IT IS WHETHER IT IS  
18 CLINICALLY IMPORTANT OR CLINICALLY SIGNIFICANT.

19 SO IN THIS CASE, YOU WOULD ALSO HAVE TO MAKE THAT  
20 CONCLUSION.

21 Q. BUT IF YOU DID HAVE A LARGE ENOUGH STUDY, IF YOU HAD A  
22 STUDY OF 2,000 OR 5,000 SUBJECTS, AND YOU HAD THOSE RESULTS,  
23 THAT DIFFERENCE IN DIFFICULTY, THAT WOULD BE CLINICALLY  
24 SIGNIFICANT, CORRECT?

25 A. NO. IT MIGHT BE STATISTICALLY SIGNIFICANT WITHOUT BEING

1 CLINICALLY SIGNIFICANT. SO IF YOU THINK THERE IS A WORLD OF  
2 DIFFERENCE IF SOMEONE ON A SIX POINT SCALE SAYS:

3 "WELL, THIS PROCEDURE IS 2.5 AND THE OTHER ONE IS A  
4 3," YOU STILL HAVE TO REALLY USE YOUR CLINICAL  
5 JUDGMENT ABOUT WHETHER THAT REALLY IS THAT IMPORTANT. YOU  
6 KNOW, NOW, IF IT'S -- AGAIN, I MEAN, THAT IS WHERE, YOU KNOW,  
7 YOUR MEDICAL TRAINING AND YOUR READING OF THE LITERATURE COMES  
8 INTO IT. YOU REALLY ARE ALWAYS TRYING TO DECIDE: IS THIS A  
9 MEANINGFUL DIFFERENCE THAT I AM GOING TO CHANGE MY PRACTICE ON?

10 SO, YES. I MEAN, IF YOU HAD A LARGER STUDY AND YOU  
11 HAD HAD A 3 VERSUS A 2.5, YOU MIGHT HAVE FOUND STATISTICAL  
12 SIGNIFICANCE, AND THEN IT DEPENDS ON YOUR READING OF THE  
13 LITERATURE WHETHER THAT IS GOING TO CHANGE YOUR PRACTICE.

14 SO, DID THAT CLARIFY IT AT ALL?

15 Q. DOCTOR, AFTER THE NUMBERS 3 AND 2.5 ON THAT SAME LINE, THE  
16 NUMBERS IN PARENTHESES "(1 THROUGH 4)," DOES THAT MEAN THAT THE  
17 DOCTORS WERE ASKED TO RATE THE DIFFICULTY ON A SCALE OF 1 TO 4?

18 A. NO, IT WAS ON A SIX-POINT SCALE.

19 Q. ON A SCALE OF 1 TO 6?

20 A. YEAH.

21 IT MIGHT HAVE BEEN 0 TO 5. I DON'T REMEMBER. BUT  
22 IT COMES DOWN TO SIX OPTIONS.

23 Q. DOCTOR, YOU'VE TESTIFIED THAT YOU BELIEVE THERE ARE  
24 OCCASIONS IN WHICH THERE ISN'T TIME TO USE DIGOXIN; AM I  
25 STATING THAT TESTIMONY CORRECTLY?

1 A. YES.

2 Q. AND I BELIEVE YOU CITED A CASE OF SEVERE PREECLAMPSIA. WHY  
3 WAS DIGOXIN NOT ADMINISTERED? LET ME GO BACK. I BELIEVE YOU  
4 TESTIFIED THAT THE ABORTION IN THAT CASE OCCURRED IN THE  
5 MORNING?

6 A. YES.

7 Q. AND THE WOMAN HAD BEEN ADMITTED THE NIGHT BEFORE?

8 A. YES.

9 Q. WHY WAS DIGOXIN NOT ADMINISTERED THE NIGHT BEFORE?

10 A. WE DON'T GENERALLY USE DIGOXIN ANYMORE IN PATIENTS WHO ARE  
11 GOING TO HAVE A D&E, BECAUSE WE DON'T THINK IT MAKES THE D&E  
12 SAFER FOR THE WOMAN HAVING THE ABORTION.

13 Q. RIGHT.

14 A. SO BECAUSE SHE WAS GOING TO HAVE AN ABORTION THE FOLLOWING  
15 MORNING, THERE WOULD HAVE BEEN NO REASON TO GIVE HER DIGOXIN.

16 Q. BUT THERE WOULD HAVE BEEN NO REASON NOT TO GIVE HER  
17 DIGOXIN, CORRECT?

18 A. I ONLY DO THINGS TO PATIENTS IF I THINK IT IS IN THEIR  
19 BENEFIT. AND IF I DON'T THINK DIGOXIN IS IN HER BENEFIT, I AM  
20 NOT GOING TO GIVE HER DIGOXIN. I DON'T THINK IT MAKES THE  
21 PROCEDURE SAFER, BASED ON THE BEST STUDY THAT I KNOW OF, WHICH  
22 IS OUR GOLD STANDARD STUDY, WHICH IS RANDOMIZED, BLINDED,  
23 PLACEBO-CONTROLLED TRIAL.

24 SO BASED ON MY CONCLUSION THAT IT IS NOT GOING TO  
25 MAKE HER PROCEDURE SAFER, THAT IS MY READING OF THE LITERATURE,

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1 THEN I AM NOT GOING TO DO THAT PROCEDURE TO HER. I AM NOT  
2 GOING TO DO AN INTERVENTION THAT IS, AT THE VERY LEAST,  
3 UNCOMFORTABLE, WITH SOME SMALL SIDE EFFECTS, AND THEN HAS A  
4 SMALL, SMALL RISK ASSOCIATED WITH IT, BUT NOT NO RISK, FOR NO  
5 BENEFIT FOR THE PATIENT.

6 Q. DOCTOR, IF 91 PERCENT OF PATIENTS REPORT THAT THEY PREFER  
7 THEIR FETUSES BE DEAD BEFORE THE ABORTION, WOULDN'T YOU SAY  
8 THAT IT IS TO THEIR BENEFIT TO DO THAT?

9 A. IF THAT 95 PERCENT WERE KNOWN IN A WAY THAT -- THAT I COULD  
10 TRUST THAT THAT WAS SORT OF THEIR UNINFLUENCED BELIEF, THEN I  
11 WOULD SAY YES, THERE IS SOME PSYCHOLOGICAL BENEFIT.

12 IT WOULD BE NEXT TO IMPOSSIBLE TO ASSESS THIS. IN  
13 FACT, I WENT THROUGH ALL OF THE STEPS OF DESIGNING A STUDY TO  
14 SEE IF WE COULD COME UP WITH A WAY OF ANSWERING THIS.

15 I EVEN WENT TO THE INSTITUTIONAL REVIEW BOARD. I  
16 WORKED WITH OTHER PEOPLE TO TRY AND DESIGN THIS STUDY. AND  
17 ULTIMATELY, I FELT LIKE THE STUDY WAS FLAWED. AS SOON AS YOU  
18 ASK SOMEONE THIS QUESTION AND ENROLL THEM IN A STUDY TO SEE,  
19 YOU KNOW, WHAT THEY THINK, YOU'VE ALREADY CHANGED WHAT THEY  
20 MIGHT HAVE ANSWERED.

21 SO I NEVER THOUGHT WE COULD GET A REASONABLE ANSWER  
22 TO THAT QUESTION.

23 Q. DID YOU HEAR DR. SHEEHAN'S TESTIMONY EARLIER TODAY, DOCTOR,  
24 TO THE EFFECT THAT AT PLANNED PARENTHOOD SAN DIEGO THEY OFFER  
25 DIGOXIN TO ALL PATIENTS AFTER A CERTAIN GESTATIONAL AGE?

1 A. YES, I DID.

2 Q. DID YOU HEAR HER SAY THAT ALL THE PATIENTS TO WHOM THEY  
3 MAKE THAT OFFER ACCEPT IT?

4 A. YES.

5 Q. DO YOU BELIEVE THAT THERE IS SOMETHING IN THE WAY THAT THEY  
6 MAKE THAT OFFER THAT INFLUENCES WHETHER THE PATIENTS ACCEPT IT?

7 A. EVERYTHING WE DO IN MEDICINE, THE WAY WE PRESENT IT TO  
8 PATIENTS, AFFECTS WHETHER THEY ACCEPT WHAT WE RECOMMEND TO  
9 THEM.

10 MR. SIMPSON: YOUR HONOR, COULD I APPROACH THE  
11 WITNESS?

12 THE COURT: YES.

13 MS. PARKER: WHAT EXHIBIT ARE YOU USING?

14 MR. SIMPSON: EXHIBIT A-44.

15 BY MR. SIMPSON:

16 Q. DR. DREY, I HAVE SHOWN YOU WHAT HAS BEEN MARKED AS  
17 EXHIBIT A -- I AM SORRY -- A-50. A-50, I AM SORRY. WHAT HAS  
18 BEEN MARKED AS EXHIBIT A-50 FOR THIS CASE. DOCTOR, DID YOU  
19 PROVIDE THIS DOCUMENT IN RESPONSE TO A SUBPOENA FROM THE  
20 GOVERNMENT IN THIS CASE?

21 A. YES.

22 THE COURT: EXCUSE ME. A-50?

23 MR. SIMPSON: A-50, YES.

24 THE COURT: A-50 IS AN E-MAIL.

25 MR. SIMPSON: EXCUSE ME, YOUR HONOR.

1 THE COURT: I MAY HAVE THE WRONG ONE. WHAT WAS IT,  
2 AGAIN?

3 MR. SIMPSON: I HAVE IT AS A-50 IN OUR BINDERS.

4 THE COURT: I WAS LOOKING AT PLAINTIFFS', PERHAPS.  
5 OKAY.

6 BY MR. SIMPSON:

7 Q. DOCTOR, IS THIS PAGE PART OF A SLIDE PRESENTATION THAT YOU  
8 GAVE?

9 A. YES.

10 Q. DO YOU HAVE ANY REASON TO QUESTION THE ACCURACY OF THESE  
11 FIGURES?

12 A. NO.

13 MR. SIMPSON: YOUR HONOR, WE WOULD LIKE TO OFFER  
14 EXHIBIT A-50 INTO EVIDENCE.

15 THE COURT: ANY OBJECTION?

16 MS. PARKER: NO, YOUR HONOR.

17 THE COURT: OKAY.

18 THE CLERK: A-50 INTO EVIDENCE.

19 MR. SIMPSON: MAY I APPROACH THE WITNESS AGAIN,  
20 PLEASE?

21 THE COURT: ALL RIGHT.

22 BY MR. SIMPSON:

23 Q. DOCTOR, I AM SHOWING YOU WHAT HAS BEEN MARKED A-44 IN THIS  
24 CASE. HAVE YOU SEEN THIS DOCUMENT BEFORE?

25 A. YES.

1 Q. IF YOU CAN TURN TO THE LAST PAGE OF THAT DOCUMENT, PLEASE.

2 IS THAT YOUR SIGNATURE THERE?

3 A. YES.

4 MR. SIMPSON: YOUR HONOR, WE WOULD LIKE TO OFFER  
5 EXHIBIT A-44 INTO EVIDENCE, PLEASE.

6 MS. PARKER: WE WILL OBJECT TO THAT TO A-50 --- I  
7 MEAN, I AM SORRY, TO A-50.

8 THE COURT: WELL --

9 MS. PARKER: I AM SORRY. I THINK WE GOT LOST HERE.  
10 WE ARE OBJECTING --

11 THE COURT: WHICH ONE ARE YOU OBJECTING TO?

12 MS. PARKER: -- TO A-50 BECAUSE IT IS -- SHE  
13 TESTIFIED IT'S THE PRESENTATION SHE PREPARED. SHE DID NOT  
14 TESTIFY THAT THESE ARE ANY STATISTICS. AND THEY CITE AN  
15 ARTICLE FROM 1988, WHICH WOULD BE HEARSAY.

16 MR. SIMPSON: YOUR HONOR, IN OUR EXHIBIT LIST --

17 THE COURT: WHICH ONE ARE WE TALKING ABOUT?

18 MR. SIMPSON: I AM SORRY. WE ARE TALKING NOW ABOUT  
19 A-50.

20 I HAVEN'T HEARD -- PERHAPS WE SHOULD FINISH WITH  
21 A-44 FIRST. I HAVEN'T HEARD --

22 THE COURT: YOU STARTED WITH 50 AND YOU WENT TO 44.

23 MR. SIMPSON: BECAUSE I THOUGHT THAT PLAINTIFFS'  
24 COUNSEL SAID THEY HAD NO OBJECTION TO 50.

25 THE COURT: RIGHT. I WAS ACTUALLY SURPRISED. I

1 DON'T SEE HOW THIS IS ANY DIFFERENT THAN THE TABLES THAT ARE  
2 CONTAINED IN THE STUDIES.

3 MR. SIMPSON: YOUR HONOR, IN OUR EXHIBIT LISTS WHEN  
4 PLAINTIFFS INDICATED THEIR OBJECTIONS TO OUR EXHIBITS, THEY DID  
5 NOT STATE AN OBJECTION TO A-50.

6 THE COURT: ALL RIGHT.

7 MS. PARKER: WELL, WE ARE RAISING IT NOW, YOUR  
8 HONOR. WE ARE LOOKING AT IT, AND IT APPEARS TO BE THERE IS NO  
9 FOUNDATION THAT SHE CREATED THESE STATISTICS. AND WE ARE ALSO  
10 OBJECTING ON HEARSAY GROUNDS, BECAUSE THEY ARE 14 YEARS OLD.  
11 THERE IS NO EVIDENCE THAT THESE --

12 THE COURT: LIKE I SAID, I DON'T KNOW WHAT THIS IS,  
13 FOR ONE.

14 TO THE EXTENT IT REPRESENTS A STUDY OF SOME KIND,  
15 HOW IS THIS ANY DIFFERENT THAN THE OTHER STUDIES YOU OBJECTED  
16 TO?

17 MR. SIMPSON: YOUR HONOR, I AM BASING MY CONDUCT AT  
18 THIS POINT ON THE FACT THAT THERE WAS NO OBJECTION STATED TO  
19 THIS DOCUMENT ON OUR EXHIBIT LIST.

20 THE COURT: ALL RIGHT. I AM GOING TO ENTERTAIN THE  
21 OBJECTION TO IT NOW. SO WHAT IS YOUR OBJECTION TO THE -- WHAT  
22 IS YOUR RESPONSE TO THE HEARSAY OBJECTION?

23 MR. SIMPSON: FRANKLY, I HAD NOT THOUGHT MUCH ABOUT  
24 THAT SINCE NO OBJECTION WAS STATED TO IT.

25 THE COURT: SO I AM NOT GOING TO ADMIT IT NOW. IF



1 YOU CAN ESTABLISH THAT IT IS NOT HEARSAY AND THAT THERE IS A  
2 PROPER FOUNDATION, WE CAN ADMIT IT.

3 MR. SIMPSON: OKAY.

4 THE CLERK: A-50 WITHDRAWN?

5 THE COURT: IT IS NOT WITHDRAWN. IT IS JUST NOT  
6 ADMITTED YET.

7 THE CLERK: NOT ADMITTED?

8 THE COURT: NOT ADMITTED YET.

9 MR. SIMPSON: BEFORE I FORGET, YOUR HONOR, WHY DON'T  
10 WE GO BACK TO A-44? I DON'T THINK WE HAVE HEARD FROM  
11 PLAINTIFFS' COUNSEL AS TO WHETHER THEY HAVE AN OBJECTION TO  
12 A-44.

13 MS. PARKER: YOUR HONOR, WE OBJECT TO A-44 TO THE  
14 EXTENT IT SEEKS TO INTRODUCE THE DOCUMENT RESPONSES FOR THE  
15 TRUTH. SHE DID VERIFY THE INTERROGATORY RESPONSES, WHICH I  
16 HAVE NO OBJECTION TO THAT.

17 MR. SIMPSON: IT IS FOR THE INTERROGATORIES THAT WE  
18 ARE OFFERING.

19 THE COURT: AND FOR ALL OF THEM?

20 MR. SIMPSON: SPECIFICALLY, NUMBER 24.

21 THE COURT: ALL RIGHT. THAT WILL BE ADMITTED. WE  
22 WILL ADMIT THE ENTIRE DOCUMENT, BUT I AM GOING TO REVIEW -- YOU  
23 ALL ARE GIVING ME SO MANY PIECES OF PAPER. I AM NOT REVIEWING  
24 ALL OF THE INTERROGATORIES IN THIS CASE. ONLY THE ONES YOU ARE  
25 IDENTIFYING.

1 MR. SIMPSON: I UNDERSTAND.

2 THE COURT: ADMITTED, A-44.

3 THE CLERK: A-44 INTO EVIDENCE.

4 (DEFENDANT'S A-44  
5 WAS RECEIVED IN EVIDENCE.)

6 BY MR. SIMPSON:

7 Q. DOCTOR, BACK TO EXHIBIT A-50, THE PAGE FROM THE SLIDE  
8 PRESENTATION, DID YOU GATHER THE STATISTICS THAT ARE REFLECTED  
9 IN THAT DOCUMENT?

10 A. DID I GATHER THEM MYSELF? NO, BUT I HAVE READ THIS  
11 ARTICLE, BUT I -- IS THAT -- MAYBE I DIDN'T HEAR YOU CORRECTLY.

12 Q. DID YOU GATHER THE STATISTICS THAT ARE REFLECTED HERE?

13 A. I DIDN'T PERSONALLY GATHER THE STATISTICS THAT ARE  
14 REFLECTED HERE.

15 Q. WAS THAT DONE UNDER YOUR DIRECTION?

16 A. NO. IT WAS DONE WHILE I WAS STILL IN MEDICAL SCHOOL OR  
17 EVEN BEFORE THAT. WAY BEFORE I WAS IN MEDICAL SCHOOL. SORRY.

18 Q. I UNDERSTAND THAT. IT SAYS "1988."

19 A. YEAH.

20 Q. BUT, THIS PRECISE DOCUMENT, CAN YOU TELL ME HOW THAT WAS  
21 CREATED?

22 A. THIS WAS A SLIDE THAT WAS CREATED BY SOMEONE ELSE.

23 Q. WAS THAT PERSON WORKING UNDER YOUR DIRECTION?

24 A. NO.

25 Q. CAN YOU TELL ME, DOCTOR, WHETHER YOU HAVE KNOWLEDGE AS TO

1 THE INFORMATION REFLECTED HERE?

2 A. I'VE READ THE ARTICLE. I HAVEN'T GONE THROUGH PERCENTAGE  
3 BY PERCENTAGE TO MAKE SURE THAT THEY WERE CORRECT, YOU KNOW, TO  
4 THE LAST DIGIT. BUT THEY APPEAR CORRECT TO MY MEMORY OF THE  
5 ARTICLE.

6 Q. IS IT CORRECT THAT THIS DOCUMENT PURPORTS TO STATE THE  
7 PERCENTAGE OF PATIENTS -- OF WOMEN WHO REPORT THEIR REASONS FOR  
8 SEEKING ABORTION AFTER 16 WEEKS GESTATION?

9 A. I LOST YOUR QUESTION. I AM SORRY.

10 Q. IS IT CORRECT, DOCTOR, THAT THIS DOCUMENT PURPORTS TO  
11 REFLECT THE PERCENTAGE OF WOMEN WHO REPORT VARIOUS REASONS,  
12 THEIR REASONS FOR ABORTION AFTER 16 WEEKS GESTATION?

13 A. YES.

14 Q. I NOTICE THAT THE LAST ITEM HERE, THE LAST ITEM ON THE LIST  
15 IS THE AVERAGE NUMBER OF REASONS GIVEN. THE SECOND ITEM UP  
16 BEFORE THAT:

17 "FETAL ABNORMALITY DIAGNOSED LATE"?

18 A. RIGHT.

19 Q. TWO PERCENT. IN YOUR EXPOSURE TO THE MEDICAL LITERATURE,  
20 DOCTOR, DO YOU KNOW WHETHER THAT PERCENTAGE IS CORRECT?

21 A. I IMAGINE IT WOULD REALLY DEPEND ON WHAT SAMPLE OF -- YOU  
22 KNOW, YOU ARE DISCUSSING. SO --

23 Q. HAVE --

24 A. -- I DON'T KNOW IF I CAN MAKE THAT CONCLUSION. I MEAN,  
25 CERTAINLY OVERALL IT IS THE MINORITY OF PATIENTS. BUT WHETHER

1 IT IS 2 PERCENT OR SOME OTHER PERCENTAGE, I CAN'T SAY.

2 Q. OKAY. THANK YOU.

3 THE COURT: COUNSEL, IT IS 1:30.

4 MR. SIMPSON: I THINK I HAVE ONE QUESTION LEFT.

5 THE COURT: THEN YOU WILL BE FINISHED?

6 MR. SIMPSON: YES.

7 BY MR. SIMPSON:

8 Q. DOCTOR, YOU'VE TESTIFIED REGARDING A SLIGHT RISK OF

9 INFECTION PRESENTED IN A DIGOXIN INJECTION, CORRECT?

10 A. YES.

11 Q. WOULD YOU AGREE, DOCTOR, THAT THAT RISK IS SO SMALL THAT IT

12 IS BASICALLY OVERWHELMED BY THE OVERALL RISK OF INFECTION

13 INVOLVED IN PERFORMING AN ABORTION, IN GENERAL?

14 A. NO, CERTAINLY NOT.

15 Q. WELL, LET'S TRY TO QUANTIFY THOSE RISKS. CAN YOU QUANTIFY

16 FOR ME THE RISK OF AN INFECTION FROM A DIGOXIN INJECTION?

17 A. NO.

18 Q. CAN YOU QUANTIFY FOR ME THE RISK OF INFECTION INVOLVED IN A

19 D&E, IN GENERAL?

20 A. I WOULD HAVE TO LOOK UP THE EXACT RISK. I CAN'T QUANTIFY

21 THAT OFF THE TOP OF MY HEAD. BUT, I MEAN, I THINK THAT IT

22 DEPENDS -- I MEAN, AS I SAID, YOU CAN HAVE A VERY SERIOUS

23 INFECTION IF YOU HAVE THE INFECTION CONTAINED WITHIN THE

24 UTERUS. SO THAT THE INFECTION IS ESSENTIALLY BREWING WHILE YOU

25 ARE WAITING TO DO THE D&E.

1           IT IS A VERY DIFFERENT QUESTION IF YOU HAVE AN  
2 INFECTION DURING -- THAT IS ACQUIRED DURING THE COURSE OF DOING  
3 A D&E. SO, IT'S PROBABLY VERY DIFFERENT RISKS OF SEPSIS AND  
4 SEVERE CONSEQUENCES OF SEPSIS IF YOU WERE TO GET A DIGOXIN  
5 INJECTION AND YOU WERE TO GET INFECTED FROM IT, BUT I CAN'T  
6 QUANTIFY THAT.

7 Q. IF AN INFECTION WERE INTRODUCED IN THE COURSE OF A DIGOXIN  
8 INJECTION, NECESSARILY, THE INFECTION WOULD BE REMOVED IN THE  
9 COURSE OF THE ABORTION, CORRECT?

10 A. YES, BUT THERE IS A TIME DELAY BETWEEN WHEN YOU HAVE DONE  
11 THE DIGOXIN INJECTION AND WHEN YOU DO THE D&E. SO IN THAT  
12 DELAY YOU ARE EXPOSING THE WOMAN TO THE RISK, YOU KNOW, OF THE  
13 INFECTION.

14 Q. IF AN INFECTION, AN INTRA-AMNIOTIC INFECTION WERE TO OCCUR,  
15 ONE OF THE FIRST THINGS THE DOCTOR SHOULD DO IS EMPTY THE  
16 UTERUS, CORRECT?

17 A. THAT'S TRUE. BUT, AGAIN, IT DEPENDS HOW DILATED THE CERVIX  
18 IS WHETHER YOU CAN DO THAT AT THAT TIME OR IF MORE TIME HAS TO  
19 PASS BEFORE YOU CAN ACTUALLY EMPTY THE UTERUS.

20           MR. SIMPSON: THANK YOU, DOCTOR. THAT'S ALL I HAVE.

21           THE COURT: THAT WAS SEVEN QUESTIONS.

22           MR. SIMPSON: I AM SORRY. WHEN A LAWYER SAYS "ONE  
23 MORE QUESTION" IT'S ALWAYS GOING TO BE MORE. I APOLOGIZE.

24           THE COURT: ALL RIGHT. WE ARE GOING TO ADJOURN FOR  
25 THE DAY.

1 MS. PARKER: WE HAVE NO REDIRECT, YOUR HONOR.

2 THE COURT: ALL RIGHT. THANK YOU.

3 MS. PARKER: THANK YOU VERY MUCH.

4 THE COURT: YOU ARE EXCUSED.

5 WE WILL RECONVENE ON THURSDAY MORNING AT 8:30. SEE  
6 YOU THEN.

7 (THEREUPON, THIS TRIAL WAS CONTINUED UNTIL THURSDAY,  
8 APRIL 1ST, 2004, AT 8:30 O'CLOCK A.M.)

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

I N D E X			
	PLAINTIFFS' WITNESSES:	PAGE	VOL.
1			
2			
3	SHEEHAN, KATHARINE		
4	DIRECT EXAMINATION RESUMED BY MS. PARKER	178	2
5	CROSS-EXAMINATION BY MR. SIMPSON	206	2
6	REDIRECT EXAMINATION BY MS. PARKER	271	2
7	RECROSS-EXAMINATION BY MR. SIMPSON	273	2
8			
9	DREY, ELEANOR		
10	DIRECT EXAMINATION BY MS. MORRIS	274	2
11	DIRECT EXAMINATION BY MS. PARKER	286	2
12	CROSS-EXAMINATION BY MR. SIMPSON	336	2
13			
14	PLAINTIFFS' EXHIBITS:	EVD.	
15	33	289	
16	35	332	
17			
18	DEFENDANT'S EXHIBITS:		
19	A-28	257	
20	A-31	255	
21	A-32	256	
22	A-33	257	
23	A-44	368	
24	A-48	246	
25			

