

VOLUME 6

PAGES 869 - 992

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA

BEFORE THE HONORABLE PHYLLIS J. HAMILTON, JUDGE

PLANNED PARENTHOOD)	
FEDERATION OF AMERICA, INC.)	
AND PLANNED PARENTHOOD)	
GOLDEN GATE,)	
)	
PLAINTIFFS,)	
)	
VS.)	NO. C 03-4872 PJH
)	
JOHN ASHCROFT, ATTORNEY)	THURSDAY, APRIL 8, 2004
GENERAL OF THE UNITED)	
STATES, IN HIS OFFICIAL)	SAN FRANCISCO, CALIFORNIA
CAPACITY,)	
)	
DEFENDANT.)	
)	

REPORTER'S TRANSCRIPT OF PROCEEDINGS

APPEARANCES:

FOR PLAINTIFFS:	BINGHAM MCCUTCHEON LLP
	THREE EMBARCADERO CENTER
	SAN FRANCISCO, CALIFORNIA 94111-4003
BY:	BETH H. PARKER, ATTORNEY AT LAW
	DEBORAH ADLER, ESQUIRE
	PLANNED PARENTHOOD FEDERATION OF
	AMERICA
	434 W. 33RD STREET.
	NEW YORK, NEW YORK 10001
BY:	EVE C. GARTNER, ESQUIRE

(APPEARANCES CONTINUED ON NEXT PAGE)

REPORTED BY:	DIANE E. SKILLMAN, CSR 4909
	OFFICIAL COURT REPORTER

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

PLANNED PARENTHOOD FEDERATIONF AMERICA
1780 MASSACHUSETTS AVENUE, N.W.
WASHINGTON, D.C. 200036
BY: HELENE T. KRASNOFF, ESQUIRE

FOR INTERVENOR OFFICE OF THE CITY ATTORNEY
PLAINTIFFS CITY 1390 MARKET STREET, SUITE 1008
AND COUNTY OF SAN FRANCISCO, CALIFORNIA 94102
SAN FRANCISCO: BY: KATHLEEN SUZANNE MORRIS,
ALEETA MARIE VAN RUNKLE,
DEPUTY CITY ATTORNEYS

FOR DEFENDANT: U.S. DEPARTMENT OF JUSTICE
20 MASSACHUSETTS AVENUE, N.W. ROOM 7128
WASHINGTON, D.C. 20530
BY: MARK THOMAS QUINLIVAN
W. SCOTT SIMPSON,
KAIJA MARIE CLARK,
ASSISTANT U.S. ATTORNEYS

1 APRIL 8, 2004

8:30 O'CLOCK A.M.

2

3

P R O C E E D I N G S

4

THE COURT: ALL RIGHT. GOOD MORNING.

5

ARE YOU READY WITH YOUR FIRST WITNESS?

6

MR. QUINLIVAN: WE ARE, YOUR HONOR.

7

MS. PARKER: WE HAVE TWO SMALL -- OTHER THAN DR.

8

CLARK (SIC), WE HAVE CONCLUDED OUR CASE, YOUR HONOR.

9

HERE'S OUR OPPOSITION.

10

THE COURT: DR. CHASEN.

11

MS. PARKER: YOU'RE RIGHT, DR. CHASEN.

12

(LAUGHTER)

13

MS. PARKER: HERE IS OUR OPPOSITION TO DEFENDANT'S

14

MOTION TO STRIKE.

15

AND THE SECOND WAS AT THE TIME OF THE PRETRIAL

16

CONFERENCE, YOUR HONOR, YOU AND WE DISCUSSED SUBMITTING

17

MR. KIM'S DEPOSITION TRANSCRIPT. WE TALKED ABOUT DOING A

18

STIPULATION WITH THE GOVERNMENT IN LIEU OF SUBMITTING HIS

19

TRANSCRIPT.

20

WE WERE UNABLE TO REACH AGREEMENT WITH THE

21

GOVERNMENT ON ANY STIPULATION, AND THEN WE SUBMITTED WITH THE

22

OTHER DEPOSITION TRANSCRIPTS MR. KIM'S. WE WANT TO MAKE SURE

23

THERE IS NO OBJECTION TO THAT.

24

THE COURT: ALL RIGHT. I RECEIVED THAT. I THINK

25

YOU EXPLAINED THAT IN A COVER LETTER.

1 MS. PARKER: WE DID. WE WANTED TO MAKE SURE THERE
2 WAS NO PROBLEM WITH IT.

3 THE COURT: I URGED YOU TO REACH A STIPULATION. I
4 THOUGHT THAT WAS PREFERABLE. SINCE YOU WEREN'T ABLE TO, WE
5 HAVE LOOKED AT THE DEPOSITION.

6 MS. PARKER: ALL RIGHT. THANK YOU.

7 THE COURT: WITH REGARD TO THE TWO MATTERS EACH ONE
8 OF YOU WANTED TO BRIEF A MATTER. I AM NOT SURE WE GOT YOUR
9 OPPOSITION, EITHER. DID YOU FILE THAT LAST NIGHT?

10 MR. SIMPSON: YES, YOUR HONOR, WE DID.

11 THE COURT: YOU DID. WE HAVEN'T SEEN IT YET. SO I
12 WILL GIVE YOU A RULING ON IT TOMORROW.

13 ALL RIGHT. ANYTHING ELSE?

14 ALL RIGHT. CALL YOUR FIRST WITNESS.

15 MR. QUINLIVAN: THANK YOU, YOUR HONOR. DEFENDANT
16 CALLS DR. WATSON BOWES TO THE STAND.

17 THE CLERK: PLEASE RAISE YOUR RIGHT HAND.

18 DR. WATSON A. BOWES, JR.

19 CALLED AS A WITNESS FOR THE DEFENDANT, HAVING BEEN DULY SWORN,
20 TESTIFIED AS FOLLOWS:

21 THE WITNESS: I DO.

22 THE CLERK: PLEASE TAKE THE STAND.

23 PLEASE STATE YOUR NAME FOR THE COURT?

24 THE WITNESS: MY NAME IS WATSON A. BOWES, JUNIOR.

25 THE CLERK: SPELL YOUR LAST NAME.

1 THE WITNESS: B-O-W-E-S.

2 THE CLERK: THANK YOU.

3 DIRECT EXAMINATION

4 BY MR. QUINLIVAN:

5 Q. GOOD MORNING, DR. BOWES. WELCOME.

6 A. THANK YOU. GOOD MORNING.

7 Q. DR. BOWES, ARE YOU AFFILIATED WITH ANY ACADEMIC
8 INSTITUTIONS?

9 A. YES, I AM. I AM EMERITUS PROFESSOR OF OBSTETRICS AND
10 GYNECOLOGY AT THE UNIVERSITY OF NORTH CAROLINA IN CHAPEL HILL.

11 Q. CAN YOU TELL US WHAT THAT MEANS TO BE AN EMERITUS
12 PROFESSOR?

13 A. IT ESSENTIALLY MEANS I HAVE RETIRED FROM MY CLINICAL
14 PRACTICE, ALTHOUGH I STILL HAVE SOME OTHER RESPONSIBILITIES ON
15 THE FACULTY.

16 Q. DOCTOR, ARE YOU LICENSED TO PRACTICE MEDICINE?

17 A. I AM.

18 Q. IN WHAT STATE OR STATES?

19 A. I AM LICENSED TO PRACTICE IN NORTH CAROLINA. I WAS
20 PREVIOUSLY LICENSED TO PRACTICE IN COLORADO, AS WELL, BUT THAT
21 LICENSE IS NOW INACTIVE.

22 Q. DOCTOR, ARE YOU BOARD CERTIFIED IN ANY FIELDS?

23 A. YES, I AM BOARD CERTIFIED IN OBSTETRICS AND GYNECOLOGY AND
24 ALSO IN THE SUBSPECIALTY OF MATERNAL FETAL MEDICINE.

25 Q. CAN YOU BRIEFLY EXPLAIN WHAT THE SUBSPECIALTY OF MATERNAL

1 FETAL MEDICINE IS?

2 A. THE SUBSPECIALTY OF MATERNAL FETAL MEDICINE IS -- DEALS
3 LARGELY WITH PROBLEMS OF FETAL DIAGNOSIS, FETAL THERAPY, IN
4 OTHER ASPECTS AS HIGH RISK PREGNANCIES.

5 MR. QUINLIVAN: YOUR HONOR, MAY I APPROACH THE
6 WITNESS?

7 THE COURT: YES.

8 BY MR. QUINLIVAN:

9 Q. DOCTOR, I AM SHOWING YOU WHAT HAS BEEN MARKED AS
10 DEFENDANT'S EXHIBIT A-2, AND I WOULD LIKE YOU TO IDENTIFY THAT,
11 PLEASE.

12 A. THIS IS MY CURRICULUM VITAE.

13 Q. DID YOU PREPARE IT?

14 A. YES, I DID.

15 Q. IS IT CURRENT?

16 A. YES, IT IS.

17 Q. IS THERE ANYTHING IN YOUR CURRICULUM VITAE THAT IS
18 INACCURATE?

19 A. NOT TO MY KNOWLEDGE.

20 MR. QUINLIVAN: YOUR HONOR, AT THIS TIME I MOVE THE
21 ADMISSION OF DEFENDANT'S EXHIBIT A-2.

22 THE COURT: ANY OBJECTION?

23 MS. KRASNOFF: NO OBJECTION, YOUR HONOR.

24 THE COURT: ADMITTED.

25 THE CLERK: A-2 INTO EVIDENCE.

1 (DEFENDANT'S EXHIBIT A-2
2 WAS RECEIVED IN EVIDENCE.)

3 BY MR. QUINLIVAN:

4 Q. DOCTOR, I WOULD LIKE TO ASK YOU SOME QUESTIONS ABOUT YOUR
5 PROFESSIONAL BACKGROUND. WHEN DID YOU RECEIVE YOUR MEDICAL
6 DEGREE?

7 A. 1959.

8 Q. AND FOLLOWING MEDICAL SCHOOL, CAN YOU BRIEFLY SUMMARIZE
9 YOUR POST-GRADUATE EDUCATION?

10 A. FOLLOWING MY GRADUATION FROM MEDICAL SCHOOL, I HAD AN
11 INTERNSHIP AT THE MARY HITCHCOCK HOSPITAL IN HANOVER, NEW
12 HAMPSHIRE, WHICH IS AFFILIATED WITH DARTMOUTH'S MEDICAL SCHOOL.

13 THEN, I HAD A ONE-YEAR RESIDENCY IN GENERAL PRACTICE
14 AT THE UNIVERSITY OF COLORADO MEDICAL CENTER IN DENVER. THIS
15 WAS FOLLOWED BY A ONE-YEAR FELLOWSHIP IN FETAL PHYSIOLOGY AT
16 THE SAME INSTITUTION.

17 THEREAFTER, I SPENT THREE YEARS AS A RESIDENT IN
18 OBSTETRICS AND GYNECOLOGY AT THE UNIVERSITY OF COLORADO MEDICAL
19 CENTER IN DENVER. AND THAT COMPLETED MY FORMAL TRAINING.

20 Q. FOLLOWING YOUR POST-GRADUATE EDUCATION, DID YOU HAVE
21 OCCASION TO PRACTICE IN THE FIELD OF OBSTETRICS AND GYNECOLOGY?

22 A. YES, I DID. FROM 1965 UNTIL 1967 I WAS IN A PRIVATE
23 PRACTICE OF OBSTETRICS AND GYNECOLOGY IN DENVER, COLORADO, AND
24 AT THE SAME TIME WAS A PART-TIME FACULTY MEMBER AT THE
25 UNIVERSITY OF COLORADO MEDICAL CENTER.

1 Q. WHAT WERE YOUR RESPONSIBILITIES AS A PART-TIME FACULTY
2 MEMBER AT THE UNIVERSITY OF COLORADO?

3 A. I WAS A MEMBER OF THE DEPARTMENT OF OBSTETRICS AND
4 GYNECOLOGY, AND MY PRIMARY RESPONSIBILITIES WERE IN THE
5 TEACHING OF MEDICAL STUDENTS, AND DEVELOPING MEDICAL STUDENT
6 CURRICULUM FOR OBSTETRICS AND GYNECOLOGY AND TEACHING RESIDENTS
7 THAT WERE IN OBSTETRICS AND GYNECOLOGY.

8 Q. DOCTOR, FOLLOWING PRIVATE PRACTICE, YOU THEN JOINED THE
9 MILITARY?

10 A. WELL, YES. I WAS INVITED TO PARTICIPATE IN 1967. I SPENT
11 TWO YEARS IN THE U.S. ARMY MEDICAL CORPS DURING THOSE TWO
12 YEARS, AND WAS STATIONED AT MADIGAN GENERAL HOSPITAL IN TACOMA,
13 WASHINGTON.

14 Q. WHAT WERE YOUR RESPONSIBILITIES AT MADIGAN GENERAL HOSPITAL
15 IN TACOMA, WASHINGTON?

16 A. FOR MOST OF THE TIME I WAS ASSIGNED TO THE OB/GYN SERVICE.
17 AT THAT TIME IT WAS A LARGE TEACHING SERVICE WITH RESIDENTS,
18 AND MY RESPONSIBILITIES WERE TO SUPERVISE AND TEACH RESIDENTS
19 AT THAT SERVICE.

20 ANOTHER PORTION OF THE TIME I WAS ACTUALLY ASSIGNED
21 TO SERVICES AS A GENERAL MEDICAL OFFICER.

22 Q. DOCTOR, WHAT WAS YOUR RANK DURING YOUR TENURE OR YOUR TIME
23 IN THE U.S. MEDICAL CORPS?

24 A. WHEN I ENTERED THE MEDICAL CORPS, I WAS A CAPTAIN. AND
25 WHEN I LEFT THE MEDICAL CORPS I WAS A MAJOR.

1 Q. WERE YOU HONORABLY DISCHARGED?

2 A. YES, I WAS.

3 Q. DOCTOR, FOLLOWING YOUR MILITARY SERVICE, DID YOU THEN
4 CONTINUE YOUR CAREER IN ACADEMIA?

5 A. YES, I RETURNED TO DENVER, COLORADO, WHERE I WAS A
6 FULL-TIME -- APPOINTED TO THE FULL-TIME FACULTY AT THE
7 UNIVERSITY OF COLORADO MEDICAL CENTER IN THE DEPARTMENT OF
8 OBSTETRICS AND GYNECOLOGY.

9 Q. AND WHAT POSITIONS OR ACADEMIC TITLES DID YOU HAVE WHILE AT
10 THE UNIVERSITY OF COLORADO?

11 A. I WAS INITIALLY AN ASSISTANT PROFESSOR AND WAS PROMOTED
12 OVER THE YEARS THERE TO ASSOCIATE PROFESSOR, AND FINALLY TO A
13 FULL PROFESSOR IN THE DEPARTMENT.

14 Q. AND CAN YOU GIVE US A BRIEF DESCRIPTION OF YOUR
15 RESPONSIBILITIES WHILE A FACULTY MEMBER AT THE UNIVERSITY OF
16 COLORADO?

17 A. MY RESPONSIBILITIES INCLUDED THREE AREAS. ONE WAS THE
18 TEACHING AND SUPERVISION OF MEDICAL STUDENTS, RESIDENTS AND
19 FELLOWS IN OBSTETRICS AND GYNECOLOGY.

20 I WAS ALSO INVOLVED IN DOING RESEARCH, SUPERVISING
21 RESEARCH, HELPING RESIDENTS AND FELLOWS DEVELOP RESEARCH
22 PROTOCOLS AND SO FORTH.

23 AND I WAS ALSO A MEMBER OF THE GROUP PRACTICE OF
24 FACULTY MEMBERS AT THE UNIVERSITY OF COLORADO WHICH WE OFFERED
25 OR PROVIDED CARE FOR PATIENTS IN THAT PRACTICE.

1 Q. DOCTOR, YOU MENTIONED YOUR RESEARCH RESPONSIBILITIES OR
2 ACTIVITIES. DID YOU FOCUS ON ANY PARTICULAR AREA OF RESEARCH
3 WHILE AT THE UNIVERSITY OF COLORADO?

4 A. MY MAJOR AREA OF RESEARCH AT THE UNIVERSITY OF COLORADO WAS
5 THE TREATMENT OF A DISEASE CALLED ERYTHROBLASTOSIS. THAT'S THE
6 RH FACTOR AND ITS EFFECT ON FETUSES, RH INCOMPATIBILITY BETWEEN
7 MOTHERS AND BABIES. AND WE DEVELOPED THE FETAL TRANSFUSION
8 TECHNIQUES FOR THAT DISEASE.

9 Q. DOCTOR, DID YOU HAVE OCCASION TO LEAVE THE UNIVERSITY OF
10 COLORADO AT SOME POINT?

11 A. IN 1982, I JOINED THE FACULTY AT THE UNIVERSITY OF NORTH
12 CAROLINA WHERE I HAVE BEEN SINCE THAT TIME.

13 Q. AND WHAT POSITIONS OR TITLES DID YOU HOLD WHILE AT THE
14 UNIVERSITY OF NORTH CAROLINA?

15 A. WELL, THROUGHOUT MY TIME ON THE ACTIVE FACULTY THERE, I WAS
16 A FULL PROFESSOR.

17 Q. AND CAN YOU BRIEFLY DESCRIBE YOUR RESPONSIBILITIES AS A
18 MEMBER OF THE FACULTY AT THE UNIVERSITY OF NORTH CAROLINA?

19 A. WELL, MY RESPONSIBILITIES AT THE UNIVERSITY OF NORTH
20 CAROLINA WERE QUITE SIMILAR TO THOSE AT THE UNIVERSITY OF
21 COLORADO. I SUPERVISED OBSTETRICAL AND GYNECOLOGICAL
22 RESIDENTS. I HELPED IN THE EDUCATION OF THE MEDICAL STUDENTS.

23 I WAS ACTIVE IN DEVELOPING RESEARCH PROTOCOLS AND
24 PERFORMING RESEARCH, CLINICAL RESEARCH. AND I ALSO
25 PARTICIPATED IN THE GROUP PRACTICE AMONG THE FACULTY MEMBERS

1 THAT PROVIDED CARE TO PATIENTS.

2 Q. DOCTOR, SIMILAR TO MY QUESTION ABOUT YOUR RESEARCH
3 INTERESTS AT THE UNIVERSITY OF COLORADO, DID YOU FOCUS ON ANY
4 PARTICULAR AREA OF RESEARCH WHILE AT THE UNIVERSITY OF NORTH
5 CAROLINA?

6 A. WE WERE -- I PARTICIPATED IN THE FETAL THERAPY THAT WAS
7 GOING ON AT THE TIME, INCLUDING THE TREATMENT OF RH DISEASE AND
8 OTHER FETAL CONDITIONS AND ALSO WAS INVOLVED IN OTHER RESEARCH
9 ON HIGH-RISK PREGNANCIES. THESE ARE PREGNANCIES WHICH INVOLVE
10 MEDICAL DISEASES DURING PREGNANCIES, DIABETES AND SO FORTH.

11 Q. DOCTOR, DID YOU SERVE IS A MEMBER OF ANY REVIEW COMMITTEES
12 WHILE AT THE UNIVERSITY OF NORTH CAROLINA?

13 A. YES. IN 1984, THE MEDICAL SCHOOL ESTABLISHED THE INFANT --
14 IT WAS ACTUALLY THE HOSPITAL CONNECTED WITH THE MEDICAL
15 SCHOOL -- ESTABLISHED AN INFANT CARE REVIEW COMMITTEE. AND I
16 WAS CHAIRMAN OF THAT COMMITTEE FROM 1984 UNTIL APPROXIMATELY
17 1995, WHEN THAT COMMITTEE WAS ABSORBED INTO A LARGER ETHICS
18 COMMITTEE OF THE HOSPITAL.

19 Q. AND WHAT WAS THE INFANT CARE REVIEW COMMITTEE?

20 A. THE INFANT CARE REVIEW COMMITTEE HAD THE RESPONSIBILITY OF
21 REVIEWING ALL CASES IN WHICH MEDICAL CARE WAS EITHER WITHHELD
22 OR WITHDRAWN FROM A CRITICALLY-ILL INFANT.

23 Q. AND WHAT WERE YOUR RESPONSIBILITIES AS CHAIRMAN OF THAT
24 COMMITTEE?

25 A. WE SUPERVISED -- I SUPERVISED AND LED THE DISCUSSIONS AT

1 THE MEETINGS IN WHICH THESE CASES WERE PRESENTED, INVITING THE
2 INTERESTED PARTIES WHO COULD CONTRIBUTE TO THE CONVERSATION AND
3 OVERSAW THE DELIBERATIONS OF THE COMMITTEE IN MAKING SOME
4 DECISIONS ABOUT THOSE CASES.

5 Q. DOCTOR, YOU MENTIONED YOU ARE NOW RETIRED?

6 A. YES.

7 Q. DO YOU CURRENTLY SEE PATIENTS?

8 A. I DO NOT SEE PATIENTS CLINICALLY, NO, I DO NOT.

9 Q. AND WHEN WAS THE LAST TIME THAT YOU CLINICALLY SAW
10 PATIENTS?

11 A. 1999.

12 Q. DO YOU STILL MAINTAIN AN ACTIVE ASSOCIATION WITH THE
13 UNIVERSITY OF NORTH CAROLINA?

14 A. YES, I HAVE. I AM A MEMBER AND HAVE BEEN A MEMBER OF WHAT
15 IS CALLED THE INSTITUTIONAL REVIEW BOARD. AND THAT IS THE
16 COMMITTEE THAT OVERSEES OR REVIEWS RESEARCH PROTOCOLS THAT ARE
17 SUBMITTED FOR APPROVAL BEFORE THOSE RESEARCH STUDIES ARE
18 CONDUCTED.

19 Q. AND HOW LONG HAVE YOU BEEN A MEMBER OF THE INSTITUTIONAL
20 REVIEW BOARD?

21 A. LITTLE OVER FIVE YEARS.

22 Q. AND OUTSIDE OF THE INSTITUTIONAL REVIEW BOARD, DO YOU HAVE
23 ANY OTHER DUTIES OR RESPONSIBILITIES AS AN EMERITUS PROFESSOR?

24 A. I PARTICIPATE IN TEACHING CONFERENCES AND HAVE SMALL
25 SEMINARS WITH THE OB/GYN RESIDENTS FROM TIME TO TIME.

1 Q. DOCTOR, OVER THE COURSE OF YOUR PROFESSIONAL CAREER, HAVE
2 YOU HAD OCCASION TO BECOME FAMILIAR WITH THE MEDICAL TECHNIQUES
3 TO PERFORM ABORTIONS?

4 A. YES I HAVE.

5 Q. CAN YOU DESCRIBE FOR THE COURT HOW YOU HAVE BECOME FAMILIAR
6 WITH THOSE PROCEDURES?

7 A. I HAVE BEEN -- DURING MY RESIDENCY EDUCATION, WE WERE
8 TAUGHT PROCEDURES THAT WERE INVOLVED IN COMPLETING WHAT WERE
9 CALLED "INCOMPLETE SPONTANEOUS ABORTIONS" OR MISSED ABORTIONS.
10 THIS IS WHERE THE FETUS DIES EARLY IN PREGNANCY. ALSO WE HAD
11 PREGNANCY TERMINATIONS FOR FETAL DEATHS. AND ALSO DURING THAT
12 TIME THERE WERE INDUCED ABORTIONS FOR MEDICAL COMPLICATIONS,
13 MEDICAL OR PSYCHIATRIC COMPLICATIONS IN PREGNANCY.

14 Q. CAN YOU TELL US WHAT PARTICULAR TECHNIQUES OF ABORTION YOU
15 WERE TAUGHT?

16 A. I WAS TAUGHT AT THAT TIME THREE TECHNIQUES: THE TECHNIQUE
17 OF DILATATION CURETTAGE FOR EARLY ABORTIONS, LATER THE SUCTION
18 CURETTAGE WAS INTRODUCED AS A TECHNIQUE THAT WAS USED. AND FOR
19 SECOND-TRIMESTER ABORTIONS AT THAT TIME WE WERE DOING LABOR
20 INDUCTION-TYPE OF ABORTIONS.

21 Q. AND HAVE YOU SUBSEQUENT OR SUBSEQUENT TO YOUR RESIDENCY DID
22 YOU BECOME FAMILIAR WITH OTHER METHODS OF ABORTION?

23 A. YES, LATER -- AND THIS WAS WHEN I WAS AT THE UNIVERSITY OF
24 NORTH CAROLINA -- THE D&E PROCEDURE HAD BEEN DEVELOPED AS AN
25 ALTERNATIVE TO THE LABOR INDUCTION ABORTIONS IN THE

1 SECOND-TRIMESTER.

2 Q. CAN YOU TELL US WHAT A "D&E" MEANS?

3 A. D&E, IT'S -- THOSE TERMS MEAN DILATATION AND EVACUATION.

4 DILATATION OF THE CERVIX, DILATING THE CERVIX OF THE UTERUS AND

5 THEN EVACUATING THE CONTENTS OF THE UTERUS WITH EITHER

6 INSTRUMENTS OR SUCTION DEVICES.

7 Q. DOCTOR, CAN YOU ESTIMATE HOW MANY ABORTION PROCEDURES YOU

8 HAVE PERFORMED DURING YOUR CAREER?

9 A. WELL, IF WE CAN SEPARATE THOSE INTO THOSE PROCEDURES WE

10 WERE COMPLETING PREGNANCIES WHICH A FETAL DEATH OR EARLY

11 SPONTANEOUS DEATH OF A FETUS HAD OCCURRED, IN THE

12 SECOND-TRIMESTER PROBABLY 150 OF THOSE PROCEDURES. OF

13 PROCEDURES WHICH WERE DONE EARLIER IN PREGNANCY, MANY MORE THAN

14 THAT, BECAUSE THAT IS A MUCH MORE COMMON COMPLICATION, SO I

15 CAN'T GIVE YOU AN EXACT FIGURE.

16 FOR INDUCED ABORTIONS, ABORTIONS IN WHICH ABORTION

17 WAS PERFORMED WITH A LIVING FETUS, FAR FEWER. MAYBE FIVE TO

18 10. I DON'T KNOW THE EXACT NUMBER, BUT IT IS A SMALL NUMBER

19 DURING MY TIME AT THE UNIVERSITY OF NORTH CAROLINA IN WHICH THE

20 PROCEDURES WERE PERFORMED. AND PROBABLY 10 OR 15 AT THE

21 UNIVERSITY OF COLORADO.

22 Q. DOCTOR, YOU MENTIONED ONLY A SMALL NUMBER OF INDUCED

23 ABORTIONS. DO YOU HAVE A PHILOSOPHICAL OR ETHICAL POSITION

24 REGARDING THE CIRCUMSTANCES IN WHICH YOU WILL PERFORM

25 ABORTIONS?

1 A. YES, I DO.

2 Q. CAN YOU TELL US WHAT THAT IS?

3 A. I BELIEVE THAT INDUCED ABORTIONS ARE INDICATED ONLY WHEN
4 THERE ARE MEDICAL COMPLICATIONS THAT ARE A SERIOUS THREAT TO
5 THE MOTHER'S LIFE.

6 Q. NOW, DOCTOR, HAVE YOU HAD OCCASIONS IN YOUR PRACTICE IN
7 WHICH ONE OF YOUR PATIENTS CHOSE TO TERMINATE HER PREGNANCY FOR
8 A CONDITION OTHER THAN ONE WHICH WOULD PUT HER LIFE AT RISK?

9 A. YES.

10 Q. WHAT WOULD YOU DO IN THOSE CIRCUMSTANCES?

11 A. IN THOSE CIRCUMSTANCES I'VE ALWAYS RECOMMENDED THE PATIENT
12 SEE ONE OF MY COLLEAGUES WHO HAS DIFFERENT FEELINGS ABOUT
13 ABORTION THAN I DO.

14 ALMOST ALL MY PATIENTS ARE WELL-AWARE OF MY FEELINGS
15 ABOUT THIS. BUT I RECOMMEND THAT THEY GET CARE FROM ONE OF MY
16 OTHER COLLEAGUES.

17 Q. DOCTOR, HAVE YOU EVER ADDRESSED THE ETHICAL OBLIGATIONS OF
18 A PRO-LIFE OBSTETRICIAN AND GYNECOLOGIST IN ANY PUBLISHED WORK?

19 A. YES, A NUMBER OF YEARS AGO OR SEVERAL YEARS AGO, TWO OF MY
20 COLLEAGUES AND I WROTE AN ARTICLE THAT WAS PUBLISHED IN THE NEW
21 ENGLAND JOURNAL OF MEDICINE THAT WAS IN RESPONSE TO AN ARTICLE
22 THAT HAD APPEARED SUGGESTING THAT A DOCTOR WHO WOULD NOT DO
23 ABORTIONS SHOULD NOT BE A MATERNAL FETAL MEDICINE SPECIALIST.
24 AND WE WERE SIMPLY RESPONDING TO THAT ASSERTION.

25 Q. DOCTOR, ARE YOU A MEMBER OF ANY PROFESSIONAL ASSOCIATIONS?

1 A. I AM A MEMBER OF THE AMERICAN COLLEGE OF OBSTETRICIANS AND
2 GYNECOLOGISTS AND THE SOCIETY FOR MATERNAL FETAL MEDICINE.

3 Q. IS THE AMERICAN COLLEGE OF OBSTETRICIANS AND GYNECOLOGISTS
4 OFTENTIMES REFERRED BY THE ACRONYM ACOG?

5 A. YES, IT IS.

6 Q. HAVE YOU SEVERED IN ANY SPECIAL CAPACITY WITH ACOG?

7 A. YES. FOR FIVE YEARS I WAS A MEMBER OF THE ACOG'S COMMITTEE
8 ON ETHICS. AND FOR THREE OF THOSE YEARS I CHAIRED THAT
9 COMMITTEE.

10 Q. AND WHAT WERE YOUR RESPONSIBILITIES AS CHAIRMAN OF THAT
11 COMMITTEE?

12 A. MY RESPONSIBILITY WAS TO ORGANIZE AND LEAD THE DISCUSSIONS
13 OF THAT COMMITTEE THAT DEALT WITH VARIOUS ETHICAL ISSUES IN
14 OBSTETRICS AND GYNECOLOGY, AND PREPARE WRITTEN REPORTS THAT
15 WOULD THEN BE DISSEMINATED TO THE FELLOWSHIP OR THE MEMBERSHIP
16 OF ACOG ON THOSE VARIOUS TOPICS.

17 Q. DOCTOR, ARE YOU --

18 THE COURT: EXCUSE ME.

19 MR. QUINLIVAN: YES.

20 THE COURT: I JUST WANT TO CLARIFY SOMETHING THE
21 DOCTOR SAID.

22 YOU INDICATED THAT OF THE FIVE TO 10 INDUCED
23 ABORTIONS THAT YOU HAVE PERFORMED, THAT THOSE WERE INDICATED
24 BECAUSE OF MEDICAL PROBLEMS OR CONDITIONS OF THE MOTHERS,
25 CORRECT?

1 THE WITNESS: YES, MA'AM. THESE ARE THE ONES AT THE
2 UNIVERSITY OF NORTH CAROLINA.

3 THE COURT: OKAY. AND OF THE 150 OR SO OTHER
4 PROCEDURES, I AM ASSUMING WE ARE REFERRING TO D&E'S; IS THAT
5 CORRECT?

6 THE WITNESS: NO, MA'AM. SOME WOULD BE D&E'S. BUT
7 SOME WERE ALSO PROCEDURES DONE BY THE LABOR INDUCTION METHODS.
8 AND THOSE WERE PROCEDURES I WAS REFERRING TO IN WHICH THE FETUS
9 HAD DIED. THESE WERE DONE TO COMPLETE A PREGNANCY WHEN A FETAL
10 DEATH OCCURRED.

11 THE COURT: ALL 150 OF THOSE?

12 THE WITNESS: YES.

13 THE COURT: OKAY. ALL RIGHT. THANK YOU.

14 BY MR. QUINLIVAN:

15 Q. DOCTOR, JUST TO CLARIFY ONE POINT, YOU HAD MENTIONED FIVE
16 TO 10 OCCASIONS IN WHICH YOU PERFORMED AN ABORTION IN WHICH THE
17 LIFE OF THE MOTHER WAS AT RISK. DO YOU RECALL THE SPECIFIC
18 NUMBER?

19 A. I DON'T RECALL THE EXACT NUMBER. I DON'T HAVE DOCUMENTS,
20 BUT MY RECOLLECTION IS THAT I THINK TWO OR THREE WERE D&E'S IN
21 WHICH I WAS THE SUPERVISING PHYSICIAN WITH THE RESIDENT. THE
22 RESIDENT AND I PERFORMED THE PROCEDURE.

23 AND THE OTHERS WERE INDUCTION PROCEDURES.

24 Q. DOCTOR, ARE YOU CURRENTLY INVOLVED IN ANY SCHOLARLY
25 ACTIVITIES IN THE FIELD OF OBSTETRICS AND GYNECOLOGY?

1 A. YES. MY PRIMARY SCHOLARLY ACTIVITY IS THE SERVING AS THE
2 CO-EDITOR-IN-CHIEF OF THE JOURNAL ENTITLED OBSTETRICAL AND
3 GYNECOLOGICAL SURVEY.

4 Q. AND HOW LONG HAVE YOU SERVED AS CO-EDITOR-IN-CHIEF OF THAT
5 JOURNAL?

6 A. I SERVED IN THAT CAPACITY SINCE 1992, SO FOR 12 YEARS.

7 Q. CAN YOU TELL US WHAT OBSTETRICAL AND GYNECOLOGICAL SURVEY
8 IS?

9 A. IT IS A JOURNAL NOW ABOUT OVER 50 YEARS OLD THAT PUBLISHES
10 ABSTRACTS FROM THE WIDER MEDICAL LITERATURE ON TOPICS RELATED
11 TO OBSTETRICS AND GYNECOLOGY. THESE ABSTRACTS ARE EACH
12 FOLLOWED BY A COMMENTARY WRITTEN BY ONE OF THE FOUR CO-EDITORS.

13 AND THE JOURNAL ALSO PUBLISHES REVIEW ARTICLES, TWO
14 OR THREE EACH MONTH, ON TOPICS RELATED TO SUBJECTS IN
15 OBSTETRICS AND GYNECOLOGY.

16 Q. CAN YOU TELL US WHAT YOUR RESPONSIBILITIES AS A
17 CO-EDITOR-IN-CHIEF OF THAT JOURNAL ARE?

18 A. MY RESPONSIBILITIES ARE THREEFOLD: ONE IS TO REVIEW THE
19 MEDICAL LITERATURE AND SELECT THE ABSTRACTS THAT WE PUBLISH;
20 SECOND, TO WRITE THE COMMENTARIES ABOUT THOSE ARTICLES; AND,
21 THIRD, TO REVIEW MANUSCRIPTS THAT ARE SENT TO THE JOURNAL TO BE
22 CONSIDERED FOR PUBLICATION AND TO ASSESS THOSE ARTICLES AND
23 SELECT THOSE THAT WE WILL PUBLISH.

24 Q. HOW OFTEN IS OBSTETRICAL AND GYNECOLOGICAL SURVEY
25 PUBLISHED?

1 A. MONTHLY.

2 Q. AND CAN YOU APPROXIMATE HOW MANY JOURNALS YOU REVIEW A
3 MONTH IN YOUR SERVICE AS CO-EDITOR-IN-CHIEF?

4 A. WE REVIEW APPROXIMATELY 100 JOURNALS OVER A PERIOD OF TIME
5 TO SELECT THE ARTICLES THAT WE WILL ABSTRACT FOR THE JOURNAL.

6 MR. QUINLIVAN: YOUR HONOR, MAY I APPROACH THE
7 WITNESS?

8 BY MR. QUINLIVAN:

9 Q. DOCTOR, I AM HANDING YOU WHAT HAS BEEN MARKED AS
10 DEFENDANT'S EXHIBIT 56. ARE YOU FAMILIAR WITH THAT DOCUMENT?

11 A. YES, I AM.

12 Q. AND WITHOUT COMMENTING ON THE SUBSTANCE, CAN YOU JUST TELL
13 US WHAT THAT DOCUMENT IS?

14 A. THIS IS AN ARTICLE THAT -- A REVIEW ARTICLE THAT WE
15 PUBLISHED IN THE OBSTETRICAL AND GYNECOLOGICAL SURVEY.

16 Q. AND IS THAT AN EXAMPLE OF THE KINDS OF REVIEW ARTICLES THAT
17 YOU HAVE BEEN DISCUSSING?

18 A. YES, IT IS.

19 Q. DOCTOR, ARE YOU A PEER REVIEWER FOR ANY OTHER JOURNALS?

20 A. YES, I AM.

21 Q. AND WHAT JOURNALS WOULD THOSE BE?

22 A. I REVIEW ARTICLES PRIMARILY FOR TWO JOURNALS: OBSTETRICS
23 AND GYNECOLOGY. THIS IS THE OFFICIAL JOURNAL OF THE AMERICAN
24 COLLEGE OF OBSTETRICIANS AND GYNECOLOGISTS, AND ALSO FOR THE
25 AMERICAN JOURNAL OF OBSTETRICS AND GYNECOLOGY.

1 Q. HOW LONG HAVE YOU BEEN A PEER REVIEWER FOR THOSE JOURNALS?

2 A. AT LEAST 10 YEARS.

3 Q. ARE YOU A PEER REVIEWER FOR ANY OTHER JOURNALS?

4 A. FROM TIME TO TIME ARTICLES ARE SENT TO ME FROM OTHER
5 JOURNALS FOR REVIEW, SUCH AS THE JOURNAL OF MATERNAL FETAL
6 MEDICINE, JOURNAL OF PEDIATRICS AND OTHERS.

7 Q. DOCTOR, HAVE YOU YOURSELF PUBLISHED SCHOLARLY ARTICLES IN
8 THE FIELD OF OBSTETRICS AND GYNECOLOGY?

9 A. YES, I HAVE.

10 Q. CAN YOU TELL US HOW MANY?

11 A. I BELIEVE MY CURRICULUM VITAE LISTS 140 ARTICLES.

12 Q. AND HOW MANY OF THOSE WERE PUBLISHED IN PEER REVIEW
13 JOURNALS?

14 A. ONE HUNDRED OF THOSE ARE IN PEER REVIEWED JOURNALS.

15 Q. DOCTOR, ARE YOU FAMILIAR WITH THE MEDICAL LITERATURE
16 REGARDING ABORTION?

17 A. YES, I AM.

18 Q. HOW ARE YOU FAMILIAR WITH THAT LITERATURE?

19 A. WELL, I AM FAMILIAR WITH IT IN TWO OR THREE CAPACITIES. I
20 REVIEW THE MEDICAL LITERATURE MONTHLY TO SELECT ARTICLES AS I
21 HAVE DESCRIBED FOR OUR JOURNAL. AND IN DOING SO YOU COME
22 ACROSS A NUMBER OF ARTICLES ABOUT ABORTION, WHICH I SCAN READ
23 TO SEE IF THERE IS INTERESTING INFORMATION IN THERE, ALTHOUGH I
24 AM NOT PRIMARILY THE PERSON ON OUR EDITORIAL GROUP THAT SELECTS
25 ARTICLES IN THAT AREA.

1 I ALSO READ OUR CURRENT JOURNALS, TWO JOURNALS I
2 MENTIONED FOR WHICH I REVIEW. I BOTH RECEIVE THOSE MONTHLY,
3 AND THEY INCLUDE A NUMBER OF ARTICLES ABOUT ABORTION.

4 FROM TIME TO TIME I HAVE BEEN ASKED TO COMMENT ON OR
5 TESTIFY ABOUT ABORTION, LIKE I AM HERE TODAY. SO I REVIEW
6 ARTICLES ABOUT ABORTION WHEN I DO THAT. AND I HAVE DONE THAT
7 IN THE PAST.

8 AND I HAVE ALSO READ THE TEXTBOOKS WHICH ARE
9 PERTINENT TO THE SUBJECT, SUCH AS TEXTBOOK BY DR. -- THAT IS
10 EDITED BY DR. MAUREEN PAUL AND DAVID GRIMES AND THEIR
11 ASSOCIATES, AND THE TEXTBOOK BY DR. WARREN HUNTER.

12 SO THAT'S, IN GENERAL, HOW I HAVE BEEN FAMILIAR
13 WITH THE LITERATURE.

14 MR. QUINLIVAN: YOUR HONOR, MAY I APPROACH THE
15 WITNESS?

16 THE COURT: YES.

17 BY MR. QUINLIVAN:

18 Q. DOCTOR, I AM HANDING YOU WHAT HAS BEEN MARKED AS
19 PLAINTIFF'S EXHIBIT 31. IS THAT THE PAUL TEXTBOOK TO WHICH YOU
20 ARE REFERRING?

21 A. YES, IT IS.

22 Q. DO YOU OWN A COPY OF THAT TEXTBOOK?

23 A. YES, I DO.

24 Q. DOCTOR, DOES THE JOURNAL FOR WHICH YOU SERVE AS
25 CO-EDITOR-IN-CHIEF PUBLISH ARTICLES ON ABORTION?

1 A. YES, IT DOES.

2 MR. QUINLIVAN: YOUR HONOR, AT THIS TIME I WOULD
3 MOVE TO QUALIFY DR. BOWES AS AN EXPERT IN THE FIELDS OF
4 OBSTETRICS AND GYNECOLOGY, IN THE FIELD OF MATERNAL FETAL
5 MEDICINE AND THE MEDICAL LITERATURE REGARDING OBSTETRICS AND
6 GYNECOLOGY.

7 THE COURT: ANY OBJECTION?

8 MS. KRASNOFF: NO, YOUR HONOR, AS LONG AS IT'S CLEAR
9 HE'S NOT BEING QUALIFIED AS AN EXPERT IN ABORTION PRACTICE.

10 THE COURT: I BELIEVE --

11 MR. QUINLIVAN: I DID NOT MOVE FOR THAT.

12 THE COURT: HE WILL BE ACCEPTED AS AN EXPERT.

13 MR. QUINLIVAN: THANK YOU, YOUR HONOR.

14 BY MR. QUINLIVAN:

15 Q. DOCTOR, ARE YOU FAMILIAR WITH THE TERM "EVIDENCE-BASED
16 MEDICINE"?

17 A. YES.

18 Q. CAN YOU TELL US OR DESCRIBE FOR US WHAT THAT TERMS MEANS?

19 A. "EVIDENCE-BASED MEDICINE" IS THE TERM THAT IS APPLIED TO
20 THE CONCEPT OF DEVELOPING DATA AND STUDIES THAT SUPPORT VARIOUS
21 PRACTICES OF MEDICINE AND TO ENSURE THAT NEW INNOVATIONS IN
22 MEDICINE, OR EVEN OLDER PRACTICES, ARE SUPPORTED BY SUFFICIENT
23 DATA TO CONFIRM THEIR EFFICACY AND THEIR SAFETY.

24 Q. DOCTOR, IN YOUR EXPERIENCE HAS EVIDENCE-BASED MEDICINE
25 BECOME ACCEPTED IN THE MEDICAL COMMUNITY?

1 A. YES, IT HAS.

2 Q. AND COULD YOU EXPLAIN FOR THE COURT WHAT TYPES OF STUDIES
3 ARE CONSIDERED RELIABLE UNDER THE RUBRIC OF EVIDENCE-BASED
4 MEDICINE?

5 A. SEVERAL CATEGORIES OF STUDIES HAVE BEEN DESIGNATED AND
6 BEGINNING WITH THE -- LET ME BEGIN WITH THOSE AT THE LOWEST
7 RUNG OF THE LADDER, IF I MAY.

8 THERE IS WHAT IS CALLED A CASE REPORT. THIS IS A
9 REPORT IN WHICH A SINGLE INTERESTING CASE HAVING TO DO WITH A
10 DISEASE OR A MEDICAL COMPLICATION IS REPORTED BY A PHYSICIAN.

11 THE SECOND LEVEL IS THE SO-CALLED CASE SERIES. THIS
12 IS WHEN A NUMBER OF CASES OF A SIMILAR NATURE ARE PUBLISHED
13 WITH THE OUTCOME OF A DISEASE PROCESS. THIS WOULD BE THE
14 DESCRIPTION OF HOW A DISEASE PROCESS WORKS OR A TREATMENT
15 WORKS.

16 AND THESE DO NOT HAVE COMPARATIVE GROUPS. THEY
17 DON'T COMPARE THE RESULTS TO ANYTHING.

18 THEN, THE NEXT LEVEL -- WE ARE GOING UP IN
19 INCREASING EVIDENCE, QUALITY OF EVIDENCE -- ARE THE SO-CALLED
20 RETROSPECTIVE STUDIES. AND THESE ARE STUDIES IN WHICH SOMEONE
21 ACCUMULATES DATA ON A BROAD BASIS ABOUT A DISEASE, A
22 MEDICATION, A SURGICAL PROCEDURE, AND THEN COMPARES THE
23 TREATMENT OR THE OUTCOMES WITH THE GROUP THAT HAS NOT HAD THAT
24 TREATMENT OR NOT HAD THAT OUTCOME.

25 AND THESE ARE CALLED "RETROSPECTIVE STUDIES." THERE

1 ARE TWO KINDS OF THOSE. THE FIRST IS CALLED "A CASE CONTROLLED
2 STUDY." AND THAT IS WHERE YOU START WITH THE DISEASE OUTCOME.

3 IF I MAY USE AN EXAMPLE, LET'S SAY YOU WERE STUDYING
4 CANCER OF THE LUNG. YOU WOULD TAKE A GROUP OF PATIENTS WHO HAD
5 CANCER OF THE LUNG AND TAKE A GROUP OF PATIENTS WHO DID NOT
6 HAVE CANCER OF THE LUNG AND LOOK BACK IN THEIR HISTORIES AND
7 FIND OUT ALL OF THE DETAILS ABOUT THAT.

8 AND IN DOING SO, YOU WOULD FIND THAT THE PATIENTS,
9 THE SUBJECTS WHO HAD CANCER OF THE LUNG HAD MORE OFTEN SMOKED
10 FOR A NUMBER OF YEARS. THAT'S, IN FACT, HOW WE FOUND OUT THAT
11 CANCER AND SMOKING WERE RELATED. THAT IS CALLED "A CASE
12 CONTROLLED STUDY."

13 THEN, THERE IS "A COHORT STUDY." AND THAT'S A STUDY
14 IN WHICH, AGAIN, USING RETROSPECTIVE DATA, YOU START WITH WHAT
15 IS CALLED "THE EXPOSURE." USING THE SIMILAR EXAMPLE, YOU WOULD
16 TAKE ALL INDIVIDUALS WHO SMOKED CIGARETTES IN A POPULATION AND
17 TAKE ALL THE PATIENTS WHO DIDN'T SMOKE CIGARETTES. YOU WOULD
18 FOLLOW THEM AND FIND OUT WHAT HAPPENED. YOU WOULD SEE WHAT
19 HAPPENED TO THEM IN THEIR LIFE, AND YOU WOULD SEE THAT MORE
20 PATIENTS WHO WERE SMOKERS, MORE OF THE SMOKERS DEVELOPED
21 CANCER.

22 SO YOU WOULD BE LOOKING AT IT IN A FORWARD
23 DIRECTION. THOSE ARE STILL RETROSPECTIVE STUDIES. OKAY. THOSE
24 ARE MIXED.

25 NOW, THE ULTIMATE IN EVIDENCE-BASED MEDICINE IS WHAT

1 IS CALLED A "RANDOMIZED CONTROLLED TRIAL."

2 NOW, THE RANDOMIZED CONTROLLED TRIAL IS WHERE YOU
3 IDENTIFY THE SUBJECT THAT YOU ARE STUDYING. LET'S TAKE A VERY
4 SIMPLE EXAMPLE WOULD BE A DRUG. AND YOU WOULD WANT TO FIND OUT
5 IF DRUG A IS BETTER THAN DRUG B FOR TREATING PNEUMONIA.

6 SO YOU WOULD TAKE PATIENTS WHO HAVE PNEUMONIA, AND
7 YOU WOULD ASK THEM TO BE PART OF THIS STUDY. AND YOU ARE GOING
8 TO TREAT THEM WITH ONE OR THE OTHER DRUG. NEITHER THEY NOR THE
9 DOCTOR WOULD KNOW WHAT THE DRUG IS. AND YOU WOULD FLIP A COIN
10 TO SEE WHICH GROUP THEY ARE IN. THAT IS, THEY ARE RANDOMIZED.

11 AND THEN THEY WOULD BE TREATED WITH THE DRUG THAT
12 WAS INDICATED BY THE RANDOMIZATION, AND THEN YOU LOOK AT THE
13 OUTCOME IT SEE AT WHAT POINT WHICH GROUP DID BETTER.

14 THAT IS CALLED THE "RANDOMIZED PROSPECTIVE
15 CONTROLLED STUDY."

16 Q. DOCTOR, I THINK YOU MENTIONED THAT THAT IS AT THE TOP OF
17 EVIDENCE-BASED MEDICINE IN TERMS OF RELIABILITY. CAN YOU JUST
18 EXPLAIN WHY THAT IS?

19 A. THE MAJOR REASON IT IS LOOKED AT AS THE MOST ELEGANT TYPE
20 OF EVIDENCE IS THAT THE RANDOMIZED CONTROLLED TRIAL ELIMINATES
21 A LOT OF THE BIAS THAT CAN BE INTRODUCED IN THE STUDY WHEN YOU
22 ARE LOOKING AT RETROSPECTIVELY.

23 BY "BIAS" I MEAN THE AGE DIFFERENCES IN SUBJECTS,
24 RACIAL DIFFERENCES IN SUBJECTS, DISEASE, OTHER DISEASES THAT
25 MIGHT CONFOUND IT. WHEN YOU RANDOMIZE SUBJECTS OVER A PERIOD

1 OF TIME AND THROUGHOUT THE STUDY, THOSE THINGS ARE RANDOMLY
2 ASSIGNED TO EACH GROUP SO THEY DON'T MAKE -- HAVE AN IMPACT ON
3 THE OUTCOME, BECAUSE THEY ARE RANDOMLY DISTRIBUTED BETWEEN THE
4 TWO GROUPS.

5 SO ALL YOU HAVE THAT IS DIFFERENT BETWEEN THEM IS
6 WHETHER THEY TOOK DRUG A OR DRUG B OR HAD PROCEDURE A OR HAD
7 PROCEDURE B.

8 Q. DOCTOR, UNDER THE RUBRIC OF EVIDENCE-BASED MEDICINE, DOES
9 PEER REVIEW PLAY ANY ROLE?

10 A. PEER REVIEW, WHICH SIMPLY MEANS A REVIEW OF THE SUBJECT
11 RESEARCH PROTOCOL OR THE MANUSCRIPT, WHATEVER, BY THOSE IN THE
12 PROFESSION WHO HAVE KNOWLEDGE AND EXPERTISE IN THAT AREA.

13 THAT'S CALLED "PEER REVIEW." AND IT OPERATES AT
14 VARIOUS DIFFERENT LEVELS. IF YOU ARE DESIGNING A STUDY YOU ASK
15 FOR PEER REVIEW TO BE SURE IT IS DESIGNED PROPERLY.

16 IF YOU ARE SUBMITTING A MANUSCRIPT TO A JOURNAL, ALL
17 PEER REVIEW JOURNALS WILL THEN SUBJECT THAT MANUSCRIPT TO
18 SCRUTINY BY SELECTED INDIVIDUALS ON THEIR REVIEW PANELS TO
19 COMMENT ON THE QUALITY OF THE RESEARCH: WAS IT DONE PROPERLY?
20 DID THEY CONSIDER THE CORRECT THINGS? AND OFTEN MAKE
21 RECOMMENDATIONS ABOUT HOW THE RESEARCH OR THE MANUSCRIPT CAN BE
22 IMPROVED BEFORE IT IS ACCEPTED FOR PUBLICATION.

23 Q. TAKING THE ISSUE OF PUBLICATION WHICH YOU JUST MENTIONED,
24 DOES PUBLICATION ITSELF PLAY A ROLE IN -- UNDER THE RUBRIC OF
25 EVIDENCE-BASED MEDICINE?

1 A. WELL, ULTIMATELY, STUDIES OR DATA THAT IS COLLECTED IN THE
2 INTEREST OF EVIDENCE-BASED MEDICINE HAS TO BE DISSEMINATED TO
3 THE MEDICAL COMMUNITY IN SOME WAY. AND IT IS THROUGH
4 PUBLICATION AND PEER-REVIEWED JOURNALS THAT THAT IS DONE.

5 Q. NOW, DOCTOR, WE HAVE BEEN MENTIONING SEVERAL DIFFERENT
6 TYPES OF STUDIES THAT ARE USED UNDER THE RUBRIC OF
7 EVIDENCE-BASED MEDICINE. ARE THESE THE TYPES OF STUDIES THAT
8 ARE DONE TO TEST THE DEVELOPMENT OF NEW SURGICAL PROCEDURES?

9 A. YES.

10 Q. ARE THESE THE TYPES OF STUDIES THAT ARE DONE IN THE FIELD
11 OF OBSTETRICS AND GYNECOLOGY?

12 A. YES.

13 Q. DOCTOR, COULD YOU COMMENT ON WHAT ROLE, IF ANY, INTUITION
14 PLAYS UNDER THE RUBRIC OF EVIDENCE-BASED MEDICINE?

15 A. WELL, INTUITION REALLY RELATES TO A PHYSICIAN OR FOR AN
16 INDIVIDUAL DEVELOPING OR SUGGESTING HOW IMPROVEMENTS CAN BE
17 MADE IN TREATMENTS OR IN DIAGNOSIS AND SO FORTH.

18 YOU INTUITIVELY SAY:

19 "THIS MIGHT IMPROVE THE OUTCOME IN THIS
20 PARTICULAR DISEASE."

21 AND THAT'S THE WAY THESE NEW TECHNIQUES, OPERATIVE
22 PROCEDURES, MEDICATIONS ARE OFTEN DEVELOPED, BY INTUITIVELY
23 USING ONE'S EXPERIENCE AND INTUITIVELY DECIDING HOW IT MIGHT BE
24 DONE BETTER.

25 Q. DOCTOR, DO YOU HAVE AN OPINION AS TO WHETHER A PHYSICIAN'S

1 INTUITIVE JUDGMENT ABOUT A TECHNIQUE'S SAFETY, FOR EXAMPLE, IS
2 A RELIABLE INDICATOR OF WHETHER, IN FACT, THAT TECHNIQUE IS
3 SAFE?

4 A. IT CAN'T BE THE FINAL ARBITER OF SAFETY OR EFFICACY. ONCE
5 A NEW INNOVATIVE PROCEDURE OR MEDICATION OR DIAGNOSTIC
6 TECHNIQUE IS INTRODUCED IT HAS TO BE SUBJECTED TO MORE THAN A
7 SINGLE INTUITIVE RECOGNITION THAT THIS MIGHT BE BETTER. AND
8 THAT IS WHERE EVIDENCE-BASED MEDICINE COMES INTO PLAY.

9 Q. AND YOU JUST MENTIONED THAT AN INTUITIVE ASSESSMENT HAS TO
10 BE SUBJECTED TO STUDY. CAN YOU JUST ELABORATE ON THAT? WHY IS
11 THAT YOUR OPINION?

12 A. WELL, WITHOUT SUCH A STUDY YOU MAY NOT RECOGNIZE OR YOU MAY
13 NOT BE ABLE TO ASCERTAIN HOW THAT PARTICULAR INTUITIVE
14 KNOWLEDGE WILL BE -- WILL APPLY TO ALL SITUATIONS. LET ME GIVE
15 YOU -- MAY I USE AN EXAMPLE OF -- IN OUR OWN FIELD OF
16 OBSTETRICS AND GYNECOLOGY, IT WAS INTUITIVELY -- INTUITIVELY,
17 WE THOUGHT THAT DOING A PROCEDURE CALLED AN "EPISIOTOMY" WOULD
18 BE BENEFICIAL TO PREGNANT WOMEN AND THEIR BABIES.

19 NOW, EPISIOTOMY IS WHERE THE OBSTETRICIAN MAKES AN
20 INCISION IN THE BIRTH CANAL TO ALLOW MORE ROOM FOR A BABY TO
21 DELIVER. IT WAS THOUGHT THAT WOULD PROTECT WOMEN FROM MORE
22 SEVERE LACERATIONS OR INJURY TO THE BIRTH CANAL. FOR YEARS,
23 ALMOST 30 OR 40 YEARS, IT WAS ROUTINE, PARTICULARLY IN WOMEN
24 HAVING THEIR FIRST INFANT, TO MAKE THIS INCISION CALLED AN
25 EPISIOTOMY, UNTIL FINALLY THIS WAS SUBJECTED TO A MODERN

1 EVIDENCE DAY TECHNIQUES AND SCRUTINY. AND A LARGE -- IN FACT,
2 THERE HAVE BEEN SEVERAL LARGE RANDOMIZED CONTROLLED TRIALS, AS
3 I DESCRIBED THEM, WHERE WOMEN WERE ASKED TO PARTICIPATE AND
4 AGREED TO EITHER HAVE AN EPISIOTOMY PERFORMED WHEN THEIR BABY
5 WAS BORN OR TO HAVE NO EPISIOTOMY.

6 AND LO AND BEHOLD IT TURNED OUT THAT HAVING NO
7 EPISIOTOMY HAD A BETTER OUTCOME. HAVING AN EPISIOTOMY LED TO
8 MORE SERIOUS LACERATIONS THAT RESULTED IN THINGS SUCH AS RECTAL
9 INCOMPETENCE.

10 AND THAT WAS A RATHER ASTOUNDING FINDING, BUT IT
11 SHOWED THAT INTUITION WAS ENTIRELY MISLEADING. AND IT TOOK AN
12 ELEGANT STUDY TO ACTUALLY TEACH US OTHERWISE.

13 NOW, EPISIOTOMIES WHICH WERE DONE ALMOST ROUTINELY
14 IN THE PAST ARE NOW DONE ONLY IN SELECTED PATIENTS, AND THERE
15 ARE INDICATIONS FOR IT.

16 Q. DOCTOR, ARE YOU AWARE OF ANY OTHER EXAMPLES IN THE FIELD OF
17 OBSTETRICS AND GYNECOLOGY WHERE AN INTUITIVE JUDGMENT THAT A
18 PROCEDURE WAS SAFE HAS BEEN DISPROVEN AFTER THAT PROCEDURE WAS
19 SUBJECTED TO STUDY?

20 A. YES, FETAL HEART RATE MONITORING WOULD BE AN EXAMPLE. THE
21 STUDY OF BREECH DELIVERY WOULD BE AN EXAMPLE WHERE THESE
22 TECHNIQUES INITIALLY WERE THOUGHT TO BE OF GREAT VALUE AND HAVE
23 CERTAIN EFFICACY AND SAFETY THAT WAS PROVEN NOT TO BE TRUE WHEN
24 SUBJECTED TO A RANDOMIZED CONTROLLED TRIAL.

25 Q. DOCTOR, NOW, GOING BACK A LITTLE BIT, I THINK YOU MENTIONED

1 THE WORD "CONFOUNDING." CAN YOU TELL US WHAT -- WELL, LET ME
2 ASK YOU: ARE YOU FAMILIAR WITH THE TERM "CONFOUNDING FACTOR"?

3 A. YES.

4 Q. AND CAN YOU TELL US WHAT A "CONFOUNDING FACTOR" IS?

5 A. WELL, IN A MEDICAL STUDY, A RESEARCH STUDY, A CONFOUNDING
6 FACTOR IS ANYTHING THAT MIGHT INFLUENCE THE OUTCOME OF THE
7 STUDY, WHICH IS NOT RELATED TO WHAT YOU ARE STUDYING, PER SE.

8 IF I CAN GO BACK TO MY EXAMPLE OF LUNG CANCER. IF
9 YOU WERE LOOKING AT OUTCOME OF LUNG CANCER RELATED TO SMOKING,
10 CONFOUNDING FACTORS WOULD BE THE AGE OF THE PATIENTS WHEN THEY
11 STARTED SMOKING, WHETHER THEY WERE WORKING IN AN INDUSTRY THAT
12 ALSO CAUSED LUNG PROBLEMS, SUCH AS MINERS. THOSE WOULD BE
13 CONFOUNDING FACTORS.

14 SOCIOECONOMIC STATUS, THAT IS A CONFOUNDING FACTOR.
15 ALL THAT MEANS THAT THOSE THINGS CAN INFLUENCE THE OUTCOME AND
16 MUST BE IN SOME WAY ACCOUNTED FOR IF YOU ARE LOOKING AT WHETHER
17 OR NOT THE PRIMARY PROBLEM THAT YOU ARE STUDYING, NAMELY,
18 SMOKING IN THIS CASE, IS REALLY RELATED TO THE OUTCOME OF LUNG
19 CANCER.

20 MR. QUINLIVAN: YOUR HONOR, MAY I APPROACH THE
21 WITNESS?

22 THE COURT: YES.

23 BY MR. QUINLIVAN:

24 Q. DOCTOR, I AM HANDING YOU WHAT'S BEEN MARKED AS DEFENDANT'S
25 LEARNED TREATISE A-20. ARE YOU FAMILIAR WITH THAT DOCUMENT?

1 A. YES, I AM.

2 Q. CAN YOU TELL US WHAT IT IS?

3 A. THIS IS A STUDY THAT WAS RECENTLY PUBLISHED IN THE.
4 AMERICAN JOURNAL OF OBSTETRICS AND GYNECOLOGY. THE TITLE IS:
5 "PREGNANCY ASSOCIATED MORTALITY AFTER BIRTH, SPONTANEOUS
6 ABORTION OR INDUCED ABORTION IN FINLAND, 1987 TO 2000."

7 Q. AND CAN YOU TELL US WHAT THE DESIGN OF THAT STUDY WAS?

8 A. THIS WAS A STUDY CONDUCTED IN FINLAND WHICH -- IN WHICH
9 THEY USED SEVERAL REGISTRIES, HEALTH REGISTRIES, THE BIRTH
10 REGISTRY, THE ABORTION REGISTRY AND THE HOSPITAL DEATH
11 CERTIFICATES REGISTRY.

12 AND BY USING THOSE, THEY LOOKED AT ALL PREGNANCIES
13 AND COMPARED PREGNANCIES THAT WERE ENDED BY ABORTION, EITHER
14 SPONTANEOUS ABORTION OR INDUCED ABORTION, AS COMPARED TO
15 PREGNANCIES THAT WERE ENDED BY WOMEN WHO HAD BIRTHS LATER IN
16 PREGNANCY, SPONTANEOUS BIRTHS.

17 AND THEY COMPARED THE OUTCOMES OF THOSE RELATED TO
18 MORTALITY -- WHETHER THE MOTHER LIVED OR DIED -- DURING THE ONE
19 YEAR AFTER THOSE PREGNANCIES ENDED BY WHATEVER METHOD.

20 Q. AND WHAT CONCLUSION DID THE AUTHORS REACH?

21 A. WELL, INTERESTINGLY, THEY CAME TO THE CONCLUSION THAT IF
22 LOOKING AT THE OVERALL -- LOOKING AT THE OVERALL DATA, WHICH
23 CONTAINED HUNDREDS OF THOUSANDS OF PATIENTS, THAT THE MORTALITY
24 WAS LOWER IF THE PREGNANCY WENT TO TERM, IF THE MOTHER GAVE
25 BIRTH, THAN IF THE MOTHER HAD A SPONTANEOUS ABORTION OR AN

1 ABORTION -- INDUCED ABORTION, FOR WHATEVER REASON.

2 Q. DOCTOR, DOES THAT SUGGEST THAT THE MORTALITY RATES FOR
3 WOMEN WHO HAD INDUCED ABORTION IS ACTUALLY HIGHER THAN FOR
4 WOMEN WHO CARRY A PREGNANCY TO TERM?

5 A. WELL, ACCORDING TO THEIR RAW RESULTS, NO, IT DOES NOT.

6 Q. CAN YOU EXPLAIN THAT FOR US?

7 A. WELL, AS I SAID, THEY -- IF I UNDERSTAND YOUR QUESTION
8 THEIR DATA SHOWED THAT THE MORTALITY INITIALLY WAS HIGHER IF
9 ONE HAD AN ABORTION OR SPONTANEOUS ABORTION.

10 NOW, WHEN THEY LOOKED AT THE -- AND HERE IS WHERE WE
11 GET INTO CONFOUNDING FACTORS. IF THEY EXCLUDED ALL OF THE
12 WOMEN WHO HAD ABORTIONS FOR MEDICAL COMPLICATIONS, IN OTHER
13 WORDS, THEY HAD SOME MEDICAL DISEASE, AND THAT MIGHT MEAN THAT
14 THOSE MEDICAL DISEASES HAD SOMETHING TO DO WITH WHY THE WOMEN
15 DIED.

16 IF THEY EXCLUDED THOSE, THEN THE ABORTION -- THE
17 DEATH RATES AFTER ABORTION WERE ACTUALLY LOWER THAN THE DEATH
18 RATES AFTER HAVING A BIRTH.

19 SO, IF YOU ADJUSTED IT IN THAT WAY, IT CHANGES THE
20 OUTCOME.

21 NOW, WHAT THEY DIDN'T DO IS THEY DIDN'T ALSO ADJUST
22 THE DATA FOR THE WOMEN WHO GAVE BIRTH WHO HAD MEDICAL DISEASES.
23 SO YOU ALSO HAVE HAD TO DO THAT. IT JUST POINTS OUT THAT HOW
24 CONFOUNDING FACTORS CAN OFTEN CONFUND THE RESULTS. AND YOU
25 HAVE TO ACCOUNT FOR THEM.

1 YOU HAVE TO MAKE SOME ASSURANCES THAT YOU CORRECTED
2 THE DATA FOR THOSE.

3 Q. DOCTOR, NOW I WOULD LIKE TO TURN YOUR ATTENTION TO THE
4 ISSUE OF WHAT HAS BEEN KNOWN AS "PARTIAL-BIRTH ABORTION."

5 LET ME ASK YOU FIRST: IS "PARTIAL-BIRTH ABORTION" A
6 TERM THAT CAN BE FOUND IN THE MEDICAL LITERATURE?

7 A. YES, IT IS.

8 Q. WHERE, IF ANYWHERE, HAVE YOU SEEN IT IN THE MEDICAL
9 LITERATURE?

10 A. WELL, AMONG OTHER -- I THINK I HAVE SEEN IT IN THE
11 NEW ENGLAND JOURNAL OF MEDICINE, WHICH IS A REPUTABLE JOURNAL.

12 Q. ARE YOU FAMILIAR WITH OTHER TERMINOLOGY OR TERMS THAT ARE
13 USED FOR THE PARTIAL-BIRTH ABORTION PROCEDURE?

14 A. YES, I AM.

15 Q. AND WHAT ARE THOSE?

16 A. OTHER TERMS HAVE BEEN USED, SUCH AS INTACT DILATATION AND
17 EXTRACTION. IT IS ALSO BEEN CALLED INTACT D&X, TO SHORTEN THE
18 TERM. AND THOSE TWO TERMS SEEM TO BE USED SYNONYMOUSLY WITH
19 PARTIAL-BIRTH ABORTION.

20 Q. AND, DOCTOR, CAN YOU DESCRIBE FOR THE COURT WHEN YOU FIRST
21 BECAME AWARE OF THE ISSUE OR CONTROVERSY ABOUT PARTIAL-BIRTH
22 ABORTION?

23 A. WELL, I BELIEVE I FIRST BECAME AWARE OF IT IN 1995, WHEN I
24 WAS ASKED BY CONGRESSMAN CANADY, OR SOMEONE IN HIS OFFICE -- I
25 DIDN'T SPEAK TO THE CONGRESSMAN -- BUT TO COMMENT UPON SOME

1 ASSERTIONS THAT WERE BEING MADE ABOUT THIS PROCEDURE WHEN THERE
2 WERE HEARINGS ABOUT IT BEFORE CONGRESS. AND I WROTE A LETTER
3 TO THAT EFFECT.

4 Q. DID YOU HAVE ANY OTHER CONTACT WITH CONGRESSMAN CANADY
5 AFTER YOU SUBMITTED THAT LETTER?

6 A. NO.

7 Q. DID YOU HAVE ANY CONTACT WITH ANY OTHER MEMBER OF CONGRESS
8 OR MEMBER OF THE UNITED STATES SENATE IN 1995, DURING THAT
9 PERIOD?

10 A. WELL, ABOUT THE SAME TIME THE -- SENATOR HATCH'S OFFICE
11 ASKED IF I WOULD SUBMIT A SIMILAR RESPONSE TO ASSERTIONS. IT
12 WAS ESSENTIALLY THE SAME LETTER, BUT ADDRESSED TO HIS OFFICE.

13 Q. AND, DOCTOR, JUST TO CLARIFY, IN THOSE LETTERS DID YOU TAKE
14 A POSITION ON THE MERITS OF THE BILLS THAT WERE THEN PENDING
15 BEFORE CONGRESS?

16 A. NO, I DID NOT.

17 Q. SINCE YOU SUBMITTED THOSE TWO LETTERS HAVE YOU HAD ANY
18 OTHER CONTACT OR CORRESPONDENCE WITH A MEMBER OF CONGRESS OR
19 UNITED STATES SENATOR REGARDING THE PARTIAL-BIRTH ABORTION
20 ISSUE?

21 A. YES. I WAS ASKED BY SENATOR SANTORUM TO COMMENT ON SOME OF
22 THE ASSERTIONS BEING MADE ABOUT THE DELIBERATIONS OF THE ACT
23 THAT WE'RE DISCUSSING TODAY. THAT WAS ROUGHLY A YEAR AGO. AND
24 I WROTE A BRIEF LETTER RESPONDING TO THAT.

25 Q. SIMILAR TO MY QUESTION REGARDING THE LETTERS IN 1995, DID

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1 YOU TAKE A POSITION IN YOUR LETTER WITH SENATOR SANTORUM
2 REGARDING THE MERITS OF THE BILL THEN PENDING BEFORE CONGRESS?

3 A. NO.

4 Q. OTHER THAN SUBMITTING THOSE THREE LETTERS TO CONGRESS, HAVE
5 YOU BEEN OTHERWISE INVOLVED IN THE PARTIAL-BIRTH ABORTION ISSUE
6 IN ANY WAY?

7 A. YES. I HAVE BEEN ASKED TO TESTIFY IN SEVERAL OF THE
8 SITUATIONS IN WHICH STATUTES IN STATES, LAWS THAT HAVE BEEN
9 PASSED REGARDING THIS WERE ENJOINED, AND THERE WERE CIVIL
10 LITIGATIONS ABOUT THAT.

11 Q. AND DID YOU TESTIFY ON BEHALF OF THE STATES IN THOSE CASES?

12 A. YES.

13 Q. TO YOUR KNOWLEDGE, WERE YOU QUALIFIED AS AN EXPERT IN THOSE
14 CASES?

15 A. I BELIEVE I WAS, YES.

16 Q. IN ANY OF THOSE CASES TO YOUR KNOWLEDGE WERE YOU
17 DISQUALIFIED FROM TESTIFYING AS AN EXPERT?

18 A. NO.

19 Q. DOCTOR, TURNING BACK TO THE INTACT D&X PROCEDURE, CAN YOU
20 BRIEFLY DESCRIBE FOR US WHAT YOU UNDERSTAND THAT TERM TO MEAN?

21 A. IT HAS BEEN VARIOUSLY DESCRIBED, SO IT, IN GENERAL, IS A
22 PROCEDURE WHICH BEGINS IN A WOMAN WHO HAS INDICATION FOR OR HAS
23 REQUESTED AN ABORTION. BY DILATING THE CERVIX TO THE UTERUS BY
24 INSERTING SMALL DEVICES CALLED LAMINARIA OR DILAPAN.

25 THESE TERMS SIMPLY ARE DESCRIBING SUBSTANCES WHICH

1 EXPAND WHEN THEY ARE BY WATER, AND THEREBY, IN EXPANDING THEY
2 DILATE THE CERVIX.

3 THAT IS DONE OVER A PERIOD OF 24 TO 48 HOURS.
4 WHEREUPON, THE PATIENT IS THEN BROUGHT TO THE OPERATING AREA.
5 AND THE FETUS, AFTER THE CERVIX IS SUFFICIENTLY DILATED,
6 GENERALLY THE BAG OF WATER IS RUPTURED, AND THE FETUS IS
7 MANIPULATED INTO A POSITION IN WHICH IT CAN BE DELIVERED
8 PARTIALLY, USUALLY, IN MY UNDERSTANDING OF IT, AS A BREECH,
9 THAT IS, THE BOTTOM OR LEGS ARE DELIVERED, THE BUTTOCKS OR LEGS
10 ARE DELIVERED FIRST UP TO THE POINT AT WHICH THE HEAD, WHICH IS
11 THE LARGEST PART OF THE FETUS WILL NOT PASS THROUGH THE CERVIX.

12 AND AT THAT POINT, AN INCISION IS MADE INTO THE BASE
13 OF THE SKULL OF THE BABY AND A SUCTION DEVICE IS INTRODUCED
14 THAT REMOVES THE FETAL BRAIN BY SUCTION, AND THAT COLLAPSES THE
15 SKULL SO THAT THE BABY'S SKULL IS SMALL ENOUGH AND THE HEAD IS
16 SMALL ENOUGH THAT IT WILL PASS THROUGH THE PARTIALLY DILATED
17 CERVIX.

18 Q. DOCTOR, HAVE YOU REVIEWED THE EXPERT REPORTS SUBMITTED BY
19 THE PLAINTIFFS IN THIS CASE AND IN THE NEBRASKA AND NEW YORK
20 CASES?

21 A. YES, I HAVE.

22 Q. DO YOU KNOW HOW MANY YOU HAVE REVIEWED?

23 A. I BELIEVE IT'S 36 SEPARATE DOCUMENTS.

24 Q. DOES THAT INCLUDE BOTH EXPERT REPORTS AND REBUTTAL EXPERT
25 REPORTS?

1 A. YES.

2 Q. HAVING REVIEWED THOSE EXPERT REPORTS, ARE YOU FAMILIAR WITH
3 THE ASSERTION THAT THERE ARE CERTAIN SAFETY ADVANTAGES TO THE
4 INTACT D&X PROCEDURE AS COMPARED TO WHAT'S DISARTICULATION D&E
5 PROCEDURE?

6 A. I BELIEVE SO, YES.

7 Q. AND CAN YOU SUMMARIZE WHAT YOU UNDERSTAND THOSE CLAIMED
8 SAFETY ADVANTAGES TO BE?

9 A. AS I UNDERSTAND FROM READING THESE DECLARATIONS, THERE'S
10 THREE MAJOR ADVANTAGES THAT THEY ASSERT.

11 ONE IS THAT THE PROCEDURE RESULTS IN FEWER PASSAGES
12 OF INSTRUMENTS INTO THE UTERUS THEREBY REDUCING THE POTENTIAL
13 DAMAGE TO THE UTERINE WALL FROM THESE INSTRUMENTS.

14 THE SECOND IS THAT IT TENDS TO BE A SHORTER
15 PROCEDURE AND ASSOCIATED WITH LESS BLOOD LOSS.

16 AND THE THIRD IS THAT IT DOESN'T LEAVE BEHIND BITS
17 OF THE FETAL, BITS OF FETAL PARTS, PARTICULARLY BONEY PARTS
18 THAT MIGHT LATER BE A PROBLEM.

19 Q. NOW, DOCTOR, YOU TALKED ABOUT THE VARIOUS TYPES OF STUDIES
20 IN THE MEDICAL LITERATURE. ARE YOU AWARE OF ANY PUBLISHED PEER
21 REVIEWED STUDIES THAT HAVE COMPARED THE SAFETY OF THE INTACT
22 D&X PROCEDURE WITH A DISARTICULATION D&E PROCEDURE?

23 A. NO, I AM NOT.

24 Q. DOES THAT INCLUDE PROSPECTIVE RANDOMIZED TRIALS?

25 A. YES.

1 Q. DOES THAT INCLUDE RETROSPECTIVE CASE CONTROL SERIES?

2 A. YES.

3 Q. DOES THAT INCLUDE RETROSPECTIVE COHORT STUDIES?

4 A. YES.

5 Q. DOCTOR, I WOULD LIKE TO TURN YOUR ATTENTION BACK TO
6 PLAINTIFFS' EXHIBIT 31, WHICH IS THE PAUL TEXTBOOK. IF YOU
7 COULD DIRECT YOUR ATTENTION TO PAGE 136 AND 137.

8 LET ME ASK YOU FIRST, DOCTOR, ARE YOU FAMILIAR WITH
9 CHAPTER 10 OF THAT TEXTBOOK?

10 A. YES.

11 Q. CAN YOU JUST TELL US WHAT THAT CHAPTER IS ENTITLED?

12 A. IT IS ENTITLED "ABORTION PROCEDURES AND TECHNIQUES."

13 Q. YOU HAVE READ THAT CHAPTER?

14 A. I HAVE.

15 Q. DOCTOR, TURNING YOUR ATTENTION TO PAGE 136 AND 137, THE
16 AUTHORS REPORT ON THE EXPERIENCE OF DR. MCMAHON AND DR. HASKELL
17 WITH INTACT D&X OR INTACT D&E PROCEDURES.

18 DOCTOR, HOW WOULD YOU CHARACTERIZE THE DATA REPORTED
19 BY DR. MCMAHON AND DR. HASKELL AS REPORTED IN THAT CHAPTER?

20 A. BOTH OF THESE REFER TO, BOTH OF THESE REFERENCES REFER TO
21 CASE SERIES SUBMITTED BY THE RESPECTIVE DOCTORS.

22 Q. DO YOU HAVE AN OPINION ABOUT THE RELIABILITY OF THE DATA
23 REPORTED BY DR. MCMAHON AND DR. HASKELL?

24 A. IT'S RELIABLE INsofar AS IT IS THEIR REPORT ON A SERIES OF
25 CASES THAT THEY PERFORMED AND THE OUTCOMES.

1 NEITHER STUDY OR NEITHER SERIES INVOLVED A
2 COMPARISON GROUP THAT WAS COLLECTED UNDER SIMILAR CIRCUMSTANCES
3 TO COMPARE THIS PROCEDURE WITH OTHERS.

4 ALSO, YOU NEVER KNOW IN A SERIES LIKE THIS WHAT
5 SELECTION BIAS WAS INVOLVED.

6 Q. LET ME STOP YOU THERE.

7 WHAT DO YOU MEAN BY "SELECTION BIAS"?

8 A. WELL, WERE THERE ANY PATIENTS WHO WERE ACTUALLY NOT
9 INCLUDED IN THE SERIES FOR ONE REASON OR ANOTHER. THEY DIDN'T,
10 THEY COULDN'T FIND ALL THE RECORDS ON A PATIENT, SO THEY DIDN'T
11 PUT THAT PATIENT IN.

12 THIS IS OFTEN WHAT YOU HAVE TO BE CAUTIOUS OF WHEN
13 YOU'RE EVALUATING A SERIES, A CASE SERIES.

14 Q. YOU MENTIONED THE TERM "BIAS" BEFORE. ARE THERE ANY OTHER
15 FORMS OF BIAS THAT MIGHT BE APPLICABLE TO THESE TWO CASE
16 SERIES?

17 A. WELL, YOU'RE ALWAYS CONCERNED ABOUT NOT ONLY SELECTION
18 BIAS, THAT IS, WHO GETS INTO THE STUDY, BUT THERE'S ALSO, THERE
19 IS ALSO REPORTING BIAS.

20 IN OTHER WORDS, WERE ALL OF THE COMPLICATIONS OR
21 WHATEVER OUTCOME YOU ARE LOOKING AT, WERE ALL OF THEM REPORTED.
22 THAT IS, WAS THERE SOME BIAS IN NOT -- SOME PATIENT MAY HAVE
23 MOVED AWAY OR SOMETHING HAPPENED AND WE ARE NOT ABLE TO FOLLOW
24 UP THAT PATIENT. THAT IS CALLED BIAS IN REPORTING THE ACTUAL
25 OUTCOME.

1 Q. DOCTOR, DO YOU HAVE AN OPINION AS TO WHETHER THESE CASE
2 SERIES ESTABLISH THE COMPARATIVE SAFETY OF THE INTACT D&X
3 PROCEDURE AS COMPARED TO THE DISARTICULATION D&E PROCEDURE?

4 A. YES.

5 Q. WHAT IS YOUR OPINION?

6 A. MY OPINION IS THAT THESE DO NOT ESTABLISH THE RELATIVE
7 SAFETY OF THESE PROCEDURES.

8 Q. CAN YOU JUST ELABORATE ON YOUR OPINION A LITTLE MORE?

9 A. WELL, SIMPLY BECAUSE THEY ARE NOT COMPARED TO ANYTHING,
10 THEY ARE NOT COMPARED TO A COMPARABLE GROUP OF PATIENTS IN
11 THE -- SO YOU DON'T KNOW WHETHER THEY ARE A GREATER SAFETY,
12 LESSER SAFETY, LESS EFFICACY, GREATER EFFICACY UNLESS YOU HAVE
13 A COMPARISON GROUP.

14 NOW, IN ONE CASE, I BELIEVE DR. MCMAHAN OR
15 DR. HASKELL SAID RELATED TO OTHER PROCEDURES AS REPORTED IN THE
16 LITERATURE, BUT YOU ACTUALLY HAVE TO GO BEYOND THAT BECAUSE
17 THOSE WERE QUITE DIFFERENT SETTINGS, QUITE DIFFERENT
18 POPULATIONS OF PATIENTS, SO YOU DON'T REALLY HAVE THE KIND OF
19 COMPARISON YOU WOULD WANT IN AN EPIDEMIOLOGICALLY SOUND
20 RETROSPECTIVE STUDY.

21 Q. NOW, DOCTOR, WE HAVE BEEN TALKING ABOUT THE ABSENCE OF
22 PUBLISHED PEER-REVIEWED STUDIES COMPARING THE SAFETY OF THE
23 INTACT D&X PROCEDURE WITH THE DISARTICULATION D&E. DURING THE
24 COURSE OF THIS CASE HAVE YOU BECOME AWARE OF ANY UNPUBLISHED
25 STUDIES THAT COMPARE THE SAFETY OF THE INTACT D&X PROCEDURE

1 WITH THE DISARTICULATION D&E?

2 A. YES, I HAVE.

3 MR. QUINLIVAN: YOUR HONOR, MAY I APPROACH THE
4 WITNESS?

5 THE COURT: YES.

6 BY MR. QUINLIVAN:

7 Q. DOCTOR, I AM SHOWING YOU WHAT'S BEEN MARKED PLAINTIFFS'
8 EXHIBIT 19. I AM SORRY, PLAINTIFFS' EXHIBIT 19.

9 FOR THE RECORD, THIS IS A STUDY ENTITLED "DILATION
10 AND EVACUATION AT GREATER THAN OR EQUAL TO 20 WEEKS:
11 COMPARISON OF OPERATIVE TECHNIQUES."

12 THE AUTHORS ARE DR. STEPHEN T. CHASEN, ET AL. THIS
13 IS AN ARTICLE WHICH IS IN PRESS AND SCHEDULED FOR PUBLICATION
14 IN AN UPCOMING ISSUE OF THE AMERICAN JOURNAL OF OBSTETRICS AND
15 GYNECOLOGY, COPYRIGHT 2004, ELSEVIER.

16 DOCTOR, HAVE YOU SEEN THIS DOCUMENT BEFORE?

17 A. YES, I HAVE.

18 Q. HAVE YOU REVIEWED IT?

19 A. I HAVE -- YES, I REVIEWED THIS AND A MANUSCRIPT THAT WAS
20 ESSENTIALLY THIS SAME.

21 THIS IS A PROOF PAGE, I BELIEVE.

22 Q. CAN YOU DESCRIBE THE DIFFERENCE TO ME, BETWEEN A PROOF --

23 A. THE MANUSCRIPT IS WHAT THE AUTHOR ACTUALLY SUBMITS. AFTER
24 IT IS ACCEPTED, THEY MAKE A PROOF, CALLED A PROOF PAGE. IT'S
25 WHAT IT IS TO LOOK LIKE WHEN IT'S IN THE JOURNAL FOR THE AUTHOR

1 TO REVIEW.

2 Q. HAVE YOU REVIEWED ANY COMMENTS BY PEER REVIEWERS TO THIS
3 STUDY?

4 A. YES, I HAVE.

5 Q. AND HAVE YOU REVIEWED DR. CHASEN AND HIS COLLEAGUE'S
6 RESPONSES TO THOSE PEER REVIEW COMMENTS?

7 A. YES, I HAVE.

8 Q. HAVE YOU REVIEWED THE UNDERLYING DATA FOR THE CHASEN STUDY?

9 A. YES.

10 Q. HAVE YOU SUBMITTED A SUPPLEMENTAL EXPERT REPORT IN THIS
11 CASE SETTING FORTH YOUR OPINIONS ABOUT THE CHASEN STUDY?

12 A. I DID.

13 Q. THANK YOU, DOCTOR.

14 I AM GOING TO ASK YOU SOME SPECIFIC QUESTIONS ABOUT
15 THAT STUDY.

16 FIRST OFF, CAN YOU JUST EXPLAIN THE STUDY DESIGN FOR
17 THE COURT?

18 A. THIS WAS A STUDY OF PATIENTS WHO HAD HAD ABORTIONS BY ONE
19 OR THE OTHER OF THESE TECHNIQUES. DILATATION AND EVACUATION,
20 D&E, AND THE PROCEDURE INTACT DILATATION AND EXTRACTION.

21 THERE WERE 383 TOTAL PATIENTS IN THIS EXPERIENCE.
22 THERE WERE 120 WHO HAD THE INTACT D&X PROCEDURE PERFORMED, AND
23 THE REMAINDER HAD HAD THE D&E PROCEDURE PERFORMED.

24 THESE WERE LARGELY -- THESE WERE PERFORMED BY TWO OF
25 THE AUTHORS, THE ACTUAL PROCEDURES WERE PERFORMED BY TWO OF THE

1 AUTHORS, AND THEN THE OUTCOME PARTICULARLY RELATED TO TWO
2 THINGS. SURGICAL COMPLICATION OF THE TWO PROCEDURES AND TWO
3 BIRTHS IN PREGNANCY SUBSEQUENT TO THAT PARTICULAR PREGNANCY.
4 IN OTHER WORDS, AFTER THE PATIENT HAD THIS PROCEDURE AND
5 FOLLOWED UP IN A SUBSEQUENT PREGNANCY.

6 WHAT THE AUTHORS FOUND WAS NO DIFFERENCE IN THE
7 COMPLICATION RATE BETWEEN -- WELL, YOU DIDN'T ASK ME --

8 Q. WE WILL GET TO THAT, DOCTOR.

9 LET ME JUST ASK YOU, WE TALKED ABOUT THE DESCRIPTION
10 OF THE VARIOUS TYPES OF STUDIES RANDOMIZED CONTROL TRIALS, CASE
11 SERIES, COHORT STUDIES, WHAT WOULD THIS STUDY -- HOW WOULD YOU
12 DESCRIBE THIS STUDY?

13 A. THIS IS A COHORT, RETROSPECTIVE COHORT STUDY. IN OTHER
14 WORDS, THEY STARTED WITH THE PATIENTS WHO HAD ONE OR THE OTHER
15 PROCEDURE AND THEN LOOKED AT WHAT HAPPENED TO THEM AFTER THAT.
16 SO THAT'S CALLED A RETROSPECTIVE COHORT STUDY.

17 Q. GOING BACK TO THE QUESTION THAT I THINK YOU ANTICIPATED,
18 WHAT WAS THE AUTHORS' CONCLUSION REGARDING THE COMPLICATION
19 RATES BETWEEN THE TWO GROUPS?

20 A. THEIR CONCLUSIONS WERE THAT THE INCIDENTS OF COMPLICATION,
21 WHICH WAS ABOUT 5 PERCENT IN EACH GROUP, WAS SIMILAR. THEIR
22 OTHER MAJOR INTEREST WAS THE OUTCOME OF THE SUBSEQUENT
23 PREGNANCY, AND THEY FOUND NO INCREASE IN PREMATURE BIRTH IN
24 SUBSEQUENT PREGNANCIES FOLLOWED UP AFTER THIS PARTICULAR
25 PROCEDURE WAS DONE.

1 Q. DOCTOR, TURNING YOUR ATTENTION TO THE FOURTH PAGE, OR
2 PAGE 4 OF THE CHASEN STUDY.

3 A. I HAVE IT.

4 Q. LEFT-HAND COLUMN IN THE MIDDLE OF THE PAGE, THE PARAGRAPH
5 BEGINNING, "OUR APPROACH." AND IT READS:

6 "OUR APPROACH IN PERFORMING INTACT DILATION AND
7 EXTRACTION WHENEVER POSSIBLE IS INTENDED TO
8 MINIMIZE THE USE OF FORCEPS IN EXTRACTING THE
9 FETUS. WE BELIEVE THAT THE USE OF FORCEPS TO
10 GRASP THE FETUS CAN CAUSE INADVERTENT TRAUMA TO
11 THE UTERINE WALL AT THESE GESTATIONAL AGES
12 EVACUATION OF A FETUS CAN REQUIRE MULTIPLE
13 INSERTIONS OF FORCEPS AND INTACT DILATION AND
14 EXTRACTION AVOIDS THIS."

15 DO YOU SEE THOSE SENTENCES, DOCTOR?

16 A. YES, I DO.

17 Q. DOCTOR, DOES THE DATA RECORDED BY THE AUTHORS OF THE CHASEN
18 STUDY SUPPORT THE SAFETY ADVANTAGES THAT ARE SET FORTH IN THAT
19 PARAGRAPH?

20 A. NO, IT DOESN'T.

21 Q. DOCTOR, YOU TESTIFIED THAT HAVING REVIEWED THE EXPERT
22 REPORTS SUBMITTED BY THE EXPERTS IN THIS CASE AND IN THE
23 NEBRASKA AND NEW YORK CASES YOU'RE AWARE OF A CLAIM BEING MADE
24 THAT THE INTACT D&X PROCEDURE OFFERS SAFETY ADVANTAGES OVER THE
25 D&E PROCEDURE BECAUSE IT RESULTS IN A SHORTER PROCEDURE; IS

1 THAT RIGHT?

2 A. YES.

3 Q. DID THE CHASEN STUDY FIND ANY DIFFERENCE IN PROCEDURE TIME
4 BETWEEN THE INTACT D&X GROUP AND THE D&E GROUP?

5 A. IT DID NOT.

6 Q. DOCTOR, HOW DID THE CHASEN STUDY COMPARE THE PROCEDURE
7 TIMES BETWEEN THE TWO GROUPS?

8 A. THEY RECORDED THE MEDIAN -- THEY RECORDED THE TIMES IN EACH
9 PROCEDURE THEY HAD THAT DATA AND THEN THEY REPORTED IN THE
10 STUDY THE MEDIAN TIME FOR THAT GROUP.

11 Q. AND, DOCTOR, IN YOUR OPINION, IS THAT THE APPROPRIATE
12 MEASURE OF ANALYSIS IN COMPARING THE PROCEDURE TIMES?

13 A. YES, IT'S ONE APPROPRIATE MEASURE. IT'S CERTAINLY AN
14 ACCEPTABLE ONE.

15 Q. DOCTOR, TURNING YOUR ATTENTION TO PAGE 3 AND, IN
16 PARTICULAR, TABLE 3 OF THE CHASEN STUDY, WHAT WAS THE MEDIAN
17 PROCEDURE TIME FOR THE D&E GROUP AS REPORTED?

18 A. TWENTY-TWO MINUTES.

19 Q. AND WHAT WAS THE MEDIAN PROCEDURE TIME FOR THE INTACT D&X
20 GROUP AS REPORTED?

21 A. TWENTY-TWO MINUTES.

22 Q. NOW, DOCTOR, LOOKING AT THAT SAME TABLE, DO YOU ALSO SEE
23 THAT IN ADDITION TO REPORTING ON THE MEDIAN PROCEDURE TIME, THE
24 AUTHORS ALSO REPORTED ON THE RANGE OF PROCEDURE TIMES BETWEEN
25 THE D&E GROUP AND THE INTACT D&X GROUP?

1 A. YES.

2 Q. AND WHAT WAS THE RANGE OF PROCEDURE TIMES FOR THE D&E
3 GROUP?

4 A. THE RANGE OF TIMES FOR THE D&E GROUP WAS 60 TO 60 MINUTES.

5 Q. AND WHAT WAS --

6 A. SIX TO 60 MINUTES.

7 Q. WHAT WAS THE RANGE OF PROCEDURE TIMES FOR THE INTACT D&X
8 GROUP?

9 A. SIX TO 45 MINUTES.

10 Q. DOCTOR, IN YOUR OPINION, DOES THE FACT THAT THE LONGEST D&E
11 PROCEDURE TOOK 60 MINUTES WHILE THE LONGEST INTACT D&X
12 PROCEDURE TOOK 45 MINUTES SUGGEST THAT THE INTACT D&X PROCEDURE
13 IS A COMPARATIVELY SHORTER PROCEDURE?

14 A. NO. THOSE ARE INDIVIDUAL TIMES. AND ONE OUTLINER DOESN'T
15 NECESSARILY DEFINE THE ENTIRE GROUP. THAT IS, THE ONE LONG
16 PROCEDURE IN EACH GROUP WAS 60 MINUTES VERSUS 45 MINUTES.

17 THE IMPORTANT THING IS THE ANALYSIS OF THE ENTIRE
18 SPECTRA OF DATA. IF YOU WILL NOTICE, THERE IS THE TEST OF
19 SIGNIFICANCE, WHICH IS JUST TO THE RIGHT OF THAT DATA, AND THIS
20 TEST OF SIGNIFICANCE MEANS, IS THERE ANY DIFFERENCE IN THESE
21 TWO GROUPS.

22 AND THAT'S DONE BY A TEST THAT THE AUTHORS SELECTED
23 CALLED THE MANN-WHITNEY TEST. THAT'S SIMPLY A STATISTICAL TEST
24 FOR TAKING THE ENTIRE GROUP OF THOSE TWO PATIENTS, SUBJECTING
25 IT TO THIS TEST, AND THOSE TWO DISTRIBUTIONS OF TIME ARE NOT

1 STATISTICALLY DIFFERENT.

2 Q. DOES THE MANN-WHITNEY TEST TAKE INTO ACCOUNT THE RANGE OF
3 PROCEDURE TIMES IN REACHING A CONCLUSION?

4 A. YES, IT DOES.

5 Q. DOCTOR, I BELIEVE YOU'VE ALSO TESTIFIED HAVING REVIEWED THE
6 EXPERT REPORTS IN THIS CASE AND IN THE NEBRASKA AND NEW YORK
7 CASE YOU ARE AWARE OF AN ASSERTION BEING MADE THAT THE INTACT
8 D&X PROCEDURE OFFERS SAFETY ADVANTAGES OVER THE D&E PROCEDURE
9 BECAUSE IT RESULTS IN LESS BLOOD LOSS; IS THAT RIGHT?

10 A. YES.

11 Q. DID THE CHASEN STUDY FIND ANY DIFFERENCE IN BLOOD LOSS
12 BETWEEN THE INTACT D&X GROUP AND THE D&E GROUP?

13 A. NO.

14 Q. DOCTOR, TURNING YOUR ATTENTION, AGAIN, TO TABLE 3.

15 WHAT WAS THE MEDIAN ESTIMATED BLOOD LOSS FOR THE D&E
16 GROUP?

17 A. ONE HUNDRED MILLILITERS.

18 Q. WHAT WAS THE ESTIMATED BLOOD LOSS FOR THE INTACT D&X GROUP?

19 A. ONE HUNDRED MILLILITERS.

20 Q. DOCTOR, DO YOU HAVE AN OPINION REGARDING WHETHER THERE ARE
21 ANY METHODOLOGICAL LIMITATIONS TO THE CHASEN STUDY?

22 A. YES.

23 Q. CAN YOU TELL US WHAT YOU BELIEVE THOSE LIMITATIONS ARE?

24 A. THERE ARE SEVERAL WHICH I MENTIONED IN THAT SUPPLEMENTAL
25 REPORT.

1 Q. LET'S GO -- TAKE THEM IN TURN. SO LET'S START WITH -- WHAT
2 IS ONE METHODOLOGICAL LIMITATION?

3 A. ONE, AND I THINK THE MOST IMPORTANT ONE, IS THAT THE NUMBER
4 OF PATIENTS IN THIS STUDY, THE NUMBER OF SUBJECTS WAS NOT
5 SUFFICIENTLY LARGE TO CONFIRM STATISTICAL SIGNIFICANCE OF THEIR
6 BEING NO DIFFERENCE IN THESE POPULATIONS FOR THESE OUTCOMES.

7 AND THAT'S JUST A MATTER OF, A VERY IMPORTANT MATTER
8 OF STATISTICAL VALIDITY. IN OTHER WORDS, THEY ARE NOT -- YOU
9 CAN'T EXCLUDE THE POSSIBILITY THAT IF YOU HAD DONE A GREATER
10 NUMBER OF PATIENTS THERE WOULD HAVE DEVELOPED ONE OR THE OTHER
11 GROUP WOULD HAVE SHOWN MORE COMPLICATIONS.

12 I AM NOT SAYING WHICH ONE, BUT YOU SIMPLY CAN'T
13 CONFIRM THAT THESE ARE ABSOLUTELY VALID, STATISTICALLY VALID
14 NONDIFFERENCES.

15 Q. DID THE AUTHORS OF THE CHASEN STUDY ACKNOWLEDGE THIS
16 LIMITATION?

17 A. YES, THEY DID. THEY WERE, IN SOME OF THE REVIEWERS'
18 REMARKS THIS WAS BROUGHT UP AND THEY ACKNOWLEDGED AND PUT A
19 SENTENCE IN THEIR ON THEIR STUDY ACKNOWLEDGING THAT, YES.

20 Q. DOCTOR, CAN YOU TELL US WHAT IS ANOTHER METHODOLOGICAL
21 LIMITATION?

22 A. ANOTHER METHODOLOGICAL STUDY IS THAT THE GROUPS OF PATIENTS
23 WERE QUITE HOMOGENEOUS IN TERMS OF A NUMBER OF THINGS, THEIR
24 AGES IN THE TWO GROUPS WERE DIFFERENT, THE DURATION OF
25 GESTATION AT WHICH TIME THE ABORTION WAS PERFORMED WERE

1 DIFFERENT, THE MEAN GESTATIONAL AGE; THERE WAS QUITE A
2 DIFFERENCE IN THE INDICATIONS FOR PERFORMING THE PREGNANCY
3 TERMINATION THROUGH ABORTIONS WERE QUITE DIFFERENT.

4 NOW, AGAIN, THESE ARE THOSE CONFOUNDING FACTORS, AND
5 THERE WAS NO ATTEMPT TO CORRECT FOR THOSE. AND THERE WAS NOT
6 SUFFICIENT NUMBERS OF PATIENTS TO APPLY THE STATISTICAL
7 ANALYSIS THAT WOULD DO THAT.

8 Q. DOCTOR --

9 A. BUT THAT MEANS, TO SOME EXTENT, COMPARING APPLES AND
10 ORANGES HERE.

11 Q. DOCTOR, YOU MENTIONED THERE WAS A DIFFERENCE IN GESTATIONAL
12 AGES. WHAT WAS THE MEAN GESTATIONAL AGE FOR THE INTACT D&X
13 GROUP?

14 A. I THINK IT WAS 20 -- FOR THE D&X?

15 Q. THE D&X.

16 A. TWENTY-THREE WEEKS GESTATION.

17 Q. WHAT WAS THE MEDIAN GESTATIONAL AGE FOR THE D&E GROUP?

18 A. TWENTY-ONE WEEKS.

19 Q. DOCTOR, GIVEN THAT YOU TESTIFIED THAT THE COMPLICATION
20 RATES WERE SIMILAR, DOESN'T THE FACT THAT THE INTACT D&X GROUP
21 INVOLVED WOMEN WITH A HIGHER MEDIAN GESTATIONAL AGE, DOESN'T
22 THAT SUGGEST THAT IT, IN FACT, OFFERS SAFETY ADVANTAGES AS
23 COMPARED TO THE D&E GROUP?

24 A. WELL, HERE, AGAIN, INTUITIVELY, THAT MIGHT MAKE SOME SENSE,
25 BUT LET ME STRESS THAT OVERALL THE ASSERTION THAT THERE WAS NO

1 DIFFERENCE IN THESE GROUPS CANNOT BE CONFIRMED STATISTICALLY.
2 SO WE DON'T KNOW THAT THEY WEREN'T DIFFERENT. SO TO SAY THAT
3 THAT IMPLIES SIMPLY THAT THE LATER GESTATIONAL AGE SUGGESTS
4 THAT THIS IS A SAFER PROCEDURE, I JUST DON'T THINK YOU CAN
5 SUPPORT THAT WITH THE DATA IN THIS MANUSCRIPT OR IN THIS STUDY.

6 Q. DOCTOR, YOU MENTIONED THAT THESE DIFFERENCES BETWEEN THE
7 TWO GROUPS ARE CONFOUNDING FACTORS. IS THERE A WAY IN
8 CONSTRUCTING A MEDICAL STUDY TO CONTROL FOR DIFFERENCES, SAY,
9 IN GESTATIONAL AGES OR OTHER FACTORS?

10 A. YES, IF THE STUDY IS LARGE ENOUGH AND THE DATA IS
11 AVAILABLE, YOU APPLY STATISTICAL METHODS KNOWN AS REGRESSION
12 ANALYSIS. THIS IS WHERE EACH ONE OF THOSE FACTORS IS
13 SEPARATELY TAKEN OUT AND THEN THE DATA REANALYZED TO SEE WHAT
14 EFFECT THAT WOULD HAVE WITH OR WITHOUT THAT FACTOR IN THE MIX.

15 I AM NOT A BIOSTATISTICIAN, AND I COULD NOT SIT DOWN
16 AND DO THAT FOR YOU, BUT THERE ARE TECHNIQUES FOR DOING THAT.
17 AND THEY WERE NOT UTILIZED IN THIS STUDY FOR GOOD REASON.

18 Q. DOCTOR, YOU MENTIONED THE LACK OF SUFFICIENT NUMBERS AND
19 THE DIFFERENCES BETWEEN THE TWO GROUPS. IN YOUR OPINION ARE
20 THERE ANY OTHER METHODOLOGICAL LIMITATIONS TO THIS STUDY?

21 A. ANOTHER IN THE METHODS AND MATERIALS THERE IS A SECTION IN
22 EVERY STUDY WHERE THEY DESCRIBE HOW THEY DID THE STUDY. AND
23 THEY FAILED TO DESCRIBE THEIR FOLLOW-UP PROCEDURES, THEIR
24 FOLLOW-UP. BY THAT, I MEAN, HOW OFTEN DO THEY SEE THESE
25 PATIENTS AFTER THE ABORTION PROCEDURE? WERE ALL THE PATIENTS

1 SEEN? AND THAT GETS TO THE POINT OF: DID THEY OVERLOOK
2 COMPLICATIONS THAT WEREN'T INCLUDED IN THE DATA ITSELF?

3 IN OTHER WORDS, A PATIENT MAY HAVE HAD A
4 COMPLICATION AND WENT TO ANOTHER HOSPITAL OR ANOTHER FACILITY
5 FOR TREATMENT, SO WE DON'T KNOW HOW WELL THEY FOLLOWED UP THOSE
6 PATIENTS. PERHAPS THEY GOT ALL OF THEM, BUT YOU SHOULD SAY
7 SOMETHING ABOUT THAT.

8 Q. YOU JUST MENTIONED THE FOLLOW-UP FOR SURGICAL PROCEDURES.
9 ANY -- OUTSIDE THE YOUR CONCERN ABOUT OR LIMITATION OF
10 DESCRIBING THE FOLLOW-UP FOR SURGICAL PROCEDURES, ARE THERE ANY
11 OTHER METHODOLOGICAL LIMITATIONS?

12 A. WELL, ON WHICH THE AUTHORS READILY ADMITTED WAS IN THEIR
13 FOLLOW-UP OF PATIENTS WHO HAD SUBSEQUENT PREGNANCIES -- FIRST
14 OF ALL, THERE WERE VERY FEW PREGNANCIES. AND THEY ADMITTED
15 THAT NOT ALL OF THOSE PATIENTS CAME BACK TO THEIR FACILITY FOR
16 OBSTETRICAL CARE. AND THEY USED ONLY THEIR OWN MEDICAL
17 RECORDS.

18 SO WE DON'T KNOW HOW MANY OTHER PATIENTS HAD
19 PREGNANCIES, AND HAD PREGNANCIES -- OR WHAT THE OUTCOMES OF
20 THOSE WERE. SO THEY ARE BASING THEIR CONCLUSION THAT THERE WAS
21 NO DIFFERENCE IN PREMATURE BIRTH ON A FAR TOO SMALL PROPORTION
22 OF THE PATIENTS THAT ACTUALLY HAD THE ABORTION PROCEDURES AND
23 THOSE WITH INADEQUATE FOLLOW-UP.

24 SO I THINK THEY -- THAT WAS NOT JUSTIFIED TO MAKE
25 THAT CONCLUSION.

1 Q. AND IN ADDITION TO THE CONCERNS OR LIMITATIONS THAT YOU
2 HAVE JUST DISCUSSED, ARE THERE ANY OTHER METHODOLOGICAL
3 LIMITATIONS, IN YOUR OPINION, TO THE CHASEN STUDY?

4 A. WELL, ONE LIMITATION -- THIS -- MOST OF THESE, AS I SAY,
5 WERE MENTIONED BY THE REVIEWERS -- WAS, WELL, IS THAT THERE WAS
6 NO CLEAR-CUT DESCRIPTION OF HOW THE PATIENTS WERE SELECTED FOR
7 ONE PROCEDURE OR THE OTHER.

8 IN GENERAL, THEY SAID IT HAD TO DO WITH WHETHER
9 THERE WAS -- HOW FAR THE CERVIX WAS DILATED AND WHAT POSITION
10 THE BABY WAS IN, BUT THEY DIDN'T DESCRIBE IT BEYOND THAT.

11 SO IT WAS HARD TO KNOW WHETHER THERE WAS, AS I SAY,
12 A SELECTION BIAS IN SELECTING A PATIENT FOR THIS PROCEDURE
13 VERSUS THAT ONE.

14 AND THAT'S, AGAIN, INHERENT IN A RETROSPECTIVE
15 STUDY. AND I THINK IT ALSO EFFECTS WHAT WE CALL GENERALIZED
16 ABILITY.

17 Q. WHAT IS GENERALIZED ABILITY?

18 A. WELL, GENERALIZED ABILITY IS WHERE THE RESULTS OF THE STUDY
19 ARE PUBLISHED. AND HOW DOES THIS RELATE TO PRACTICE ELSEWHERE?
20 AND HOW CAN THESE RESULTS BE EXTRAPOLATED INTO THE GENERAL
21 MEDICAL CARE PATIENTS FOR THESE PROCEDURES? BECAUSE WE DON'T
22 KNOW HOW THEY SELECTED THE PATIENTS, NO ONE ELSE WOULD KNOW
23 PRECISELY HOW TO DO IT TO REPRODUCE THEIR RESULTS.

24 SO THAT'S A LIMITATION, I THINK, OF SELECTION OF
25 PATIENTS THAT WE JUST NEEDED MORE INFORMATION ABOUT.

1 Q. DOCTOR, ARE YOU FAMILIAR WITH THE PRINCIPAL AUTHOR OF THE
2 STUDY, A DR. STEPHEN T. CHASEN?

3 A. ONLY THROUGH MY RECOGNITION -- ACKNOWLEDGMENT THAT HE WROTE
4 THIS PAPER, AND I READ IT. AND THAT HE WAS INVOLVED IN THIS AS
5 A WITNESS, BECAUSE I READ HIS DECLARATION WHEN I RECEIVED IT.

6 Q. IS THAT ONE OF THE EXPERT REPORTS THAT YOU REVIEWED?

7 A. YES.

8 Q. DO YOU HAVE AN OPINION, IF ANY, AS TO WHAT ROLE DR.
9 CHASEN'S STATUS AS A WITNESS IN THIS CASE OR IN ONE OF THE
10 OTHER CASES PLAYS IN RELATION TO HIS STUDY?

11 A. I DON'T KNOW WHETHER THAT HAD ANY RELATIONSHIP AT ALL TO
12 DR. CHASEN DOING THE STUDY. I THINK I WOULDN'T FOR A MINUTE
13 SUGGEST OR IMPUGN THE FACT -- HIS MOTIVATIONS FOR DOING IT, BUT
14 I DO THINK THAT HIS ROLE IN THIS UNUSUAL CIRCUMSTANCE AND THE
15 COINCIDENCE OF THIS ARTICLE APPEARING AND HIS RELATIONSHIP TO
16 THIS CASE AS A WITNESS, THAT SHOULD HAVE BEEN DISCLOSED TO THE
17 EDITORS AS A POTENTIAL -- I DON'T SAY AN ACTUAL -- A POTENTIAL
18 CONFLICT OF INTEREST, JUST AS AN AUTHOR WHO --

19 MS. KRASNOFF: OBJECTION, YOUR HONOR, LACK OF
20 FOUNDATION. IT WAS NOT DISCLOSED TO PUBLICATION.

21 THE COURT: SUSTAINED.

22 BY MR. QUINLIVAN:

23 Q. DOCTOR, DO YOU KNOW WHETHER OR NOT DR. CHASEN DISCLOSED HIS
24 STATUS AS AN EXPERT IN THIS, IN THIS OR THE OTHER CASES, TO
25 THE -- EITHER TO THE JOURNAL OR TO THE PEER REVIEWERS?

1 A. WELL, HE MAY HAVE DISCLOSED IT, BUT IT IS NOT DISCLOSED --
2 IT'S NOT DISCLOSED IN THE FINAL PROOF. THIS WOULD BE THE
3 ARTICLE THAT THE READERS WOULD SEE. THE READERS WOULD HAVE NO
4 IDEA OF HIS INVOLVEMENT.

5 Q. DOCTOR, I BELIEVE YOU TESTIFIED -- AND I AM GOING BACK
6 AGAIN, HAVING READ THE EXPERT REPORTS OF THE PLAINTIFFS IN THIS
7 CASE AND IN THE NEBRASKA AND NEW YORK CASES -- THAT YOU'RE
8 AWARE OF THE CLAIMED SAFETY ADVANTAGES OF THE INTACT D&X
9 PROCEDURE AS COMPARED TO THE D&E PROCEDURE, AND THAT THOSE
10 ALLEGED SAFETY ADVANTAGES INCLUDE THE FEWER NUMBER OF PASSES
11 INTO THE UTERUS, THE SHORTER PROCEDURE TIME AND LESS BLOOD
12 LOSS, AND THE LESS RISK THAT A PART OF THE FETUS WILL REMAIN IN
13 THE UTERUS.

14 DO YOU HAVE AN OPINION ABOUT THE VALIDITY OF THOSE
15 CLAIMS FROM AN EVIDENCE-BASED MEDICINE PERSPECTIVE?

16 A. YES.

17 Q. WHAT IS THAT OPINION?

18 A. I DON'T THINK THOSE CLAIMS HAVE BEEN ESTABLISHED WITH GOOD
19 MEDICAL EVIDENCE AS OF NOW.

20 Q. DOCTOR, DO YOU THINK -- DO YOU HAVE AN OPINION AS TO
21 WHETHER IT WOULD BE FEASIBLE TO CONSTRUCT A STUDY COMPARING THE
22 INTACT D&X PROCEDURE WITH A D&E PROCEDURE?

23 A. I THINK IT WOULD BE FEASIBLE.

24 Q. WHAT KIND OF STUDIES COULD BE DONE?

25 A. ALTHOUGH THEY ARE NOT EASY TO DO IN THIS SETTING, YOU COULD

1 DO A RANDOMIZED CONTROLLED TRIAL, THE MOST ELEGANT OF
2 EVIDENCE-BASED MEDICINE STUDIES.

3 Q. LET ME STOP YOU THERE. HOW WOULD YOU GO ABOUT CONSTRUCTING
4 A RANDOMIZED CONTROLLED TRIAL COMPARING INTACT D&X PROCEDURE
5 WITH THE D&E PROCEDURE?

6 A. WELL, TO BEGIN WITH, YOU WOULD HAVE TO SELECT A NUMBER OF
7 INSTITUTIONS TO COOPERATE, A NUMBER OF GROUPS TO COOPERATE TO
8 ENSURE THAT THERE WERE SUFFICIENT NUMBER OF PATIENTS TO AVOID
9 THE PROBLEMS THAT I HAVE JUST MENTIONED IN THE STUDY BY DR.
10 CHASEN AND HIS COLLEAGUES.

11 THEN, YOU WOULD HAVE THE PHYSICIANS WHO WERE
12 PARTICIPATING IN THE ABORTION PROCEDURES AGREE TO RANDOMIZE
13 PATIENTS OR OFFER RANDOMIZATION TO PATIENTS SELECTED FOR
14 ABORTION. AND YOU WOULD WANT TO INCLUDE PHYSICIANS WHO WERE
15 FAMILIAR WITH BOTH OF THESE PROCEDURES AND WERE CAPABLE OF
16 PERFORMING THEM IN A SATISFACTORY MANNER.

17 THEN, YOU WOULD RANDOMIZE PATIENTS AGAIN BY
18 TECHNIQUES OF RANDOM TABLES, LIKE FLIPPING A COIN, SO THAT THE
19 INTENTION OF PERFORMING THEIR PROCEDURE WOULD EITHER BE BY D&X,
20 THAT IS INTACT D&X, OR BY THE TRADITIONAL D&E.

21 THEN, YOU WOULD COLLECT ALL OF THE DATA ON THESE
22 PATIENTS GOING FORWARD, ALL OF THE COMPLICATIONS, THE BLOOD
23 LOSS, ALL OF THE THINGS THAT WERE MENTIONED IN HERE, TIME OF
24 THE PROCEDURE, AND THEN YOU WOULD ANALYZE THAT DATA AFTER THE
25 STUDY WAS COMPLETED.

1 Q. DOCTOR, WOULD IT BE DIFFICULT TO GET WOMEN TO AGREE TO BE
2 RANDOMIZED WHEN THEY DON'T KNOW WHICH ABORTION PROCEDURE THEY
3 WOULD BE UNDERGOING?

4 A. YES, IT IS DIFFICULT, BUT IT IS DEFINITELY ACCOMPLISHABLE
5 AS WE KNOW FROM OTHER STUDIES OF EQUAL DIFFICULTY THAT HAVE
6 ACTUALLY BEEN DONE IN OBSTETRICS.

7 Q. CAN YOU GIVE ME AN EXAMPLE OF ONE OF THOSE STUDIES?

8 A. WELL, THE STUDY ON BREECH DELIVERY, WHICH WAS DONE. FOR
9 YEARS IT WAS FELT, FOR YEARS BREECH DELIVERIES -- THAT IS, A
10 BREECH IS A BABY WHO IS PRESENTING AT THE TIME IT IS GOING TO
11 BE DELIVERED WITH ITS BUTTOCKS OR ITS FEET RATHER THAN ITS
12 HEAD.

13 AND THOSE WERE OFTEN DELIVERED AND MOST FREQUENTLY
14 DELIVERED IN THE NORMAL SPONTANEOUS WAY THROUGH THE VAGINA.
15 ALTHOUGH AS YEARS WENT ON, THERE WAS SOME EVIDENCE THAT IT WAS
16 MORE SAFER TO DELIVER THOSE BABIES BY CESAREAN DELIVERY. BUT
17 THAT WAS -- THERE WAS SO MUCH DATA ON BOTH SIDES THAT FINALLY A
18 RANDOMIZED CONTROLLED TRIAL WAS CONSTRUCTED. IT WAS
19 MULTI-CENTERED, INVOLVED MANY CENTERS BOTH IN THIS COUNTRY AND
20 ELSEWHERE. PHYSICIANS AGREED TO RANDOMIZE THEIR PATIENTS
21 EITHER TO INTEND TO DELIVER THEM WITH A CESAREAN DELIVERY OR
22 INTEND TO DELIVER THEM AFTER THEY WENT INTO LABOR VAGINALLY.

23 AND WOMEN AGREED TO BECOME SUBJECTS IN THIS STUDY TO
24 THE EXTENT THAT SEVERAL THOUSAND PATIENTS WERE INCLUDED.

25 AND AT THE END THEY LOOKED AT OUTCOME, NAMELY DEATHS

1 OF THE INFANTS, MORBIDITY OR COMPLICATIONS TO THE INFANTS,
2 COMPLICATIONS TO THE MOTHERS.

3 AND WHAT THEY FOUND WAS, IN FACT, THERE WAS A
4 STATISTICALLY SIGNIFICANT IMPROVEMENT IN OUTCOME BY USING
5 INTENTIONAL CESAREAN DELIVERY TO DELIVER.

6 NOW, THIS IS, I THINK, SOMEWHAT COMPARABLE TO THIS
7 SITUATION WITH EVALUATING THESE TWO ABORTION PROCEDURES. YOU
8 HAVE TO BEGIN WITH WHAT YOU CALL CLINICAL EQUIPOISE. THAT IS A
9 TERM THAT MEANS WE DON'T KNOW WHICH IS BETTER. WE ARE TRYING
10 TO ESTABLISH THAT.

11 AND SO A PATIENT WOULD BE TOLD THAT IN GOOD
12 CONFIDENCE THAT:

13 "WE DON'T KNOW WHICH OF THESE PROCEDURES IS
14 SAFER FOR YOU OR BETTER FOR YOU, MORE EFFECTIVE FOR
15 YOU."

16 AND SO IF THEY WOULD AGREE TO BE RANDOMIZED THEY
17 WOULD HAVE ONE OR THE OTHER DONE IN THE MANNER I DESCRIBED.

18 Q. DOCTOR, ISN'T IT POSSIBLE, THOUGH, THAT IF YOU HAD WOMEN
19 RANDOMIZED INTO AN INTACT D&X GROUP AND A D&E GROUP THAT THERE
20 MIGHT BE SITUATIONS IN WHICH, SAY, A WOMAN WHO WAS IN THE
21 INTACT D&X GROUP ACTUALLY HAS A D&E PROCEDURE?

22 A. YES. OH, YES. AND THAT'S WHY YOU DO A RANDOMIZED
23 CONTROLLED TRIAL BASED ON INTENT TO PERFORM A PROCEDURE OR TO
24 DO A TREATMENT.

25 JUST AS IN THE BREECH STUDY, THE STUDY OF BREECH

1 DELIVERY, A NUMBER OF WOMEN WHO THEY INTENDED TO DO CESAREAN
2 DELIVERIES ON, FOR ONE REASON OR ANOTHER, ENDED UP HAVING A
3 VAGINAL BIRTH. THEY DIDN'T GET TO THE HOSPITAL SOON ENOUGH,
4 FOR ONE REASON OR ANOTHER. AND THE SAME WOULD BE TRUE IF YOU
5 WERE STUDYING THESE TWO PROCEDURES.

6 THE VAGARIES OF THE CLINICAL SITUATION WOULD DICTATE
7 THAT SOME PATIENTS WOULD NOT BE ABLE TO BE DELIVERED OR HAVE
8 THEIR ABORTION PROCEDURE PERFORMED BY THE INTENDED PROCEDURE.

9 AND THAT -- BUT THE RANDOMIZED CONTROLLED TRIAL
10 ACTUALLY ACCOUNTS FOR THAT. AND YOU ANALYZE THE RESULTS NOT ON
11 WHAT PROCEDURE THEY ACTUALLY HAD, BUT ON THE INTENT THAT THEY
12 ENTERED -- WHAT PROCEDURE THEY WERE INTENDED TO HAVE. THAT IS
13 EPIDEMIOLOGICALLY THE WAY THESE GOOD STUDIES ARE PERFORMED.

14 THE COURT: I'M SORRY. I DON'T GET. I DON'T
15 UNDERSTAND. I THOUGHT THE IDEA WAS THAT YOU WERE TRYING TO GET
16 AN EQUAL NUMBER IN EACH OF THE TWO CONTROL GROUPS.

17 THE WITNESS: YES.

18 THE COURT: ISN'T THAT IDEALLY WHAT YOU ARE
19 ATTEMPTING TO DO, CORRECT?

20 THE WITNESS: YES, MA'AM.

21 THE COURT: AND AT LEAST WE HEARD TESTIMONY FROM THE
22 DOCTORS WHO PERFORM THESE PROCEDURES THAT YOU CAN'T ALWAYS TELL
23 UNTIL THE COMMENCEMENT OF THE PROCEDURE WHETHER OR NOT THERE IS
24 GOING TO BE SUFFICIENT DILATATION FOR AN INTACT PROCEDURE.

25 SO I AM NOT -- I AM A LITTLE UNCLEAR ABOUT WHAT YOU

1 MEAN BY YOU ANALYZE THE INTENT. EITHER -- DO YOU KNOW WHAT I
2 MEAN?

3 THE WITNESS: YES, I UNDERSTAND WHAT YOU MEAN, YOUR
4 HONOR. THIS IS PROBABLY THE MOST DIFFICULT PART OF THE
5 RANDOMIZED CONTROLLED TRIAL IS TO UNDERSTAND, BECAUSE
6 INTUITIVELY IT SEEMS WRONG.

7 BUT IF THE STUDY IS LARGE ENOUGH -- AND THAT IS WHY
8 IT WOULD HAVE TO BE LARGE ENOUGH -- OVER TIME THOSE THINGS THAT
9 CAME UP AT THE TIME OF SELECTION YOU WOULD HAVE TO DEFINE HOW
10 THE DOCTORS WERE GOING TO SELECT PATIENTS.

11 IN OTHER WORDS, YOU WOULD SAY THE CERVIX HAS TO BE
12 SO FAR DILATED BEFORE TRYING ONE PROCEDURE OR ANOTHER.

13 DOCTORS WOULD ALL AGREE HOW TO CONFORM TO THAT.
14 ACTUALLY, AT THE TIME OF THE PROCEDURE SOME OF THOSE PATIENTS
15 WOULDN'T FULFILL THOSE CRITERIA. AND YOU WOULD END UP HAVING
16 THE OTHER PROCEDURE DONE, EITHER THE D&E OR D&X, BUT THEY WOULD
17 NOT BE IN THE GROUP THAT THEY HAD INTENDED TO BE IN.

18 NOW, WHEN YOU ANALYZE DATA AFTER THIS, YOU HAVE TO
19 ANALYZE IT BY WHAT THEY INTENDED TO DO, BECAUSE THAT IS EXACTLY
20 WHAT HAPPENS IN REAL LIFE. THE PATIENT GOES IN WITH A DOCTOR
21 TRYING TO DECIDE WHICH OF THESE PROCEDURES IS THE PATIENT GOING
22 TO HAVE.

23 AND THEN, SELECTING IT BASED ON THE CRITERIA THAT
24 YOU SET UP.

25 SO, IT IS THE INTENTION THAT REALLY IS BEING

1 STUDIED. AND I KNOW THAT --

2 THE COURT: THAT SOUNDS TO ME LIKE WHAT HAPPENS IN
3 THIS STUDY, THE RETROSPECTIVE COHORT STUDY. I ASSUME THAT THE
4 INTACT PROCEDURE WAS PERFORMED ON THOSE WOMEN WHO PRESENTED IN
5 SUCH A MANNER THAT IT COULD BE PERFORMED. AND YOU END UP WITH
6 250 IN ONE CATEGORY AND 120 IN THE OTHER CATEGORY.

7 THE WITNESS: YES, MA'AM. THAT'S CORRECT. BUT WHAT
8 THE PROBLEM IS WE DON'T KNOW THE BIASES THAT WERE INVOLVED IN
9 MAKING THAT SELECTION. AND THERE WERE CERTAINLY DIFFERENCES IN
10 GESTATIONAL AGE THAT WERE INVOLVED HERE, AND SO FORTH. SO THE
11 DATA WHEN YOU ANALYZE IT AT THE END YOU DON'T KNOW WHETHER
12 THOSE CONFOUNDING FACTORS MIGHT HAVE, IN FACT, INFLUENCED
13 OUTCOME.

14 WHEREAS, IF YOU DO THE RANDOMIZED CONTROLLED TRIAL,
15 YOU RANDOMIZE ALL THOSE CONFOUNDING FACTORS BECAUSE THE
16 PATIENTS ARE RANDOMLY ASSIGNED TO ONE GROUP OR THE OTHER.

17 SO YOU ASSUME THAT THAT SELECTION PROCESS IS GOING
18 TO BE AS OFTEN EFFECTING GROUP A AS IT'S GOING TO BE EFFECTING
19 GROUP B.

20 THE COURT: OKAY.

21 THE WITNESS: I HOPE I AM NOT BEING TOO CONFUSING.
22 BY MR. QUINLIVAN:

23 Q. NO. AND LET ME JUST ASK TO CLARIFY IT.

24 IN A RANDOMIZED CONTROLLED TRIAL, IS THE FACT THAT
25 SOMETIMES A -- SOMEBODY OR SAY A WOMAN WHO HAS BEEN RANDOMIZED

1 IN ONE GROUP ACTUALLY UNDERGOES A PROCEDURE IN THE OTHER GROUP,
2 IS THAT CONTROLLED IN THE STUDY?

3 A. YES. WELL, IT IS ACCOUNTED FOR. I MEAN, IT IS CONTROLLED,
4 YES.

5 THE COURT: OKAY. I WOULD LIKE TO TAKE A SHORT
6 BREAK.

7 WE WILL BREAK FOR 15 MINUTES.

8 (RECESS TAKEN AT 10:00 A.M.)

9 (PROCEEDINGS RESUMED AT 10:18 A.M.)

10 THE COURT: PLEASE CONTINUE.

11 MR. QUINLIVAN: THANK YOU, YOUR HONOR.

12 BY MR. QUINLIVAN:

13 Q. DOCTOR, FOLLOWING UP ON THE QUESTION THE COURT ASKED YOU,
14 WHEN YOU'RE DOING A RANDOMIZED CONTROL TRIAL, ARE YOU
15 TESTING -- AND I AM TAKING THE EXAMPLE OF INTACT D&X VERSUS
16 D&E -- ARE YOU COMPARING WOMEN WHO ACTUALLY HAVE UNDERGONE AN
17 INTACT D&X VERSUS A D&E, OR ARE YOU TESTING SOMETHING
18 DIFFERENT?

19 A. WELL, YOU ARE NOT TESTING THE DIFFERENCE BETWEEN ALL OF THE
20 PATIENTS WHO HAD INTACT D&E AGAINST ALL THE PATIENTS WHO HAD
21 D&X, RIGHT? WHAT YOU ARE ACTUALLY TESTING IS THE INTENT TO
22 PERFORM ONE OR THE OTHER PROCEDURE.

23 AND IN THE PROCESS OF THE RANDOMIZED CONTROL TRIAL,
24 SOME OF THE PATIENTS ACTUALLY DO NOT HAVE THE PROCEDURE FOR A
25 VARIETY OF CLINICAL REASONS THAT THEY WERE INTENDED TO HAVE AT

1 THE OUTSET.

2 BUT, IN FACT, THAT ACTUALLY CHARACTERIZES CLINICAL
3 MEDICINE. YOU NEVER SIMPLY BLINDLY PROCEED TO DO ONE PROCEDURE
4 OR ANOTHER. AND THE CLINICAL CIRCUMSTANCES WILL MODIFY HOW YOU
5 ACTUALLY APPLY THAT. AND SO THE RANDOMIZED CONTROL TRIAL
6 ACTUALLY TAKES THAT INTO ACCOUNT BY ANALYZING IT WITH INTENT TO
7 TREAT IT.

8 Q. AND IF THERE ARE SITUATIONS IN WHICH A PATIENT IS PUT INTO
9 ONE OR RANDOMLY ASSIGNED TO ONE GROUP AND ACTUALLY UNDERGOES
10 THE OTHER PROCEDURE, HOW IS THAT REFLECTED IN THE DATA OR THE
11 REPORT OF STUDY?

12 A. THERE ARE TWO WAYS. THE MAJOR OUTCOME IS THE INTENT. SO
13 THAT PATIENT WILL APPEAR IN THE GROUP THAT WAS INTENDED TO HAVE
14 THE PROCEDURE, EVEN THOUGH SHE MAY HAVE HAD THE OTHER ONE. AND
15 HER OUTCOMES WILL BE ANALYZED WITH THE GROUP THAT WAS INTENDED
16 TO HAVE THE D&X OR RESPECTIVELY THE D&E.

17 SOME AUTHORS ALSO PUBLISH THE DATA IN TWO WAYS.
18 THEY WILL SAY -- THEY WILL PUT ALL OF THE PATIENTS TOGETHER WHO
19 HAD THE SPECIFIC PROCEDURES THAT YOU ARE STUDYING, IRRESPECTIVE
20 OF WHAT THEY INTENDED. AND THEN, THEY WILL SHOW YOU THE
21 OUTCOMES THAT WAY.

22 BUT, ALL EPIDEMIOLOGISTS AGREE THAT INTENTION TO
23 TREAT IS THE PROPER WAY TO ANALYZE THE STUDY.

24 Q. DOCTOR, YOU'VE MENTIONED THAT THERE ARE OTHER METHODS OF
25 STUDY BESIDES A RANDOMIZED CONTROL TRIAL. IN YOUR OPINION,

1 WOULD IT BE POSSIBLE TO CONSTRUCT A RETROSPECTIVE COHORT STUDY
2 COMPARING THE RELATIVE SAFETY OF THE INTACT D&X PROCEDURE AS
3 COMPARED TO A DISARTICULATION D&E PROCEDURE?

4 A. I BELIEVE YOU COULD, YES.

5 Q. IS THE STUDY CONDUCTED BY DR. CHASEN AN EXAMPLE OF SUCH A
6 RETROSPECTIVE COHORT STUDY?

7 A. YES, IT IS.

8 Q. NOW, DOCTOR, IF YOU WERE TO CONSTRUCT SUCH A RETROSPECTIVE
9 COHORT STUDY COMPARING INTACT D&X VERSUS DISARTICULATION D&E,
10 WHAT KIND OF DATA WOULD YOU BE LOOKING AT?

11 A. WELL, YOU WOULD WANT TO HAVE DATA FROM CLINICS OR
12 INSTITUTIONS THAT WERE PERFORMING THESE TWO PROCEDURES SIMILAR
13 TO THE STUDY THAT DOCTOR -- THE GROUP THAT DR. CHASEN
14 REPRESENTED. I BELIEVE IT IS CORNELL -- COLUMBIA. I CAN'T
15 REMEMBER, BUT, ANYWAY, HIS GROUP. YOU WOULD HAVE TO HAVE
16 ENOUGH GROUPS ANALYZING DATA FROM ENOUGH GROUPS TO HAVE
17 SUFFICIENT NUMBERS OF PATIENTS OR A LONG ENOUGH EXPERIENCE AT
18 ONE OR THE OTHER INSTITUTION TO INCLUDE THAT MANY PATIENTS.

19 AND THEN DO THE STUDY VERY MUCH LIKE DR. CHASEN DID
20 HIS STUDY WITH APPLYING THE VARIOUS STATISTICAL METHODS TO
21 ACCOUNT FOR OR CORRECT FOR THESE VARIOUS CONFOUNDING FACTORS
22 THAT WE DISCUSSED.

23 Q. WHERE WOULD ACTUALLY THE DATA THAT YOU WOULD BE LOOKING AT
24 IN A RETROSPECTIVE COHORT STUDY, WHERE WOULD YOU ACTUALLY FIND
25 THAT DATA?

1 A. YOU WOULD FIND IT AT INSTITUTIONS THAT WERE PERFORMING
2 THESE PROCEDURES AND KEEPING DATA ON THEIR PATIENTS AND
3 FOLLOWING THE PATIENTS, PRESUMABLY, FOR -- PRESUMABLY FOLLOWING
4 THE PATIENTS FOR THE OUTCOMES THAT YOU WOULD BE INTERESTED IN,
5 WHICH IS COMPLICATIONS OF THE PROCEDURE. AND IN THEIR CASE,
6 SUBSEQUENT PREGNANCIES FOR PREMATURE BIRTH, OR WHATEVER YOU
7 SELECTED AS OUTCOME VARIABLES.

8 Q. WOULD THAT DATA COMMONLY BE REFLECTED IN THE MEDICAL
9 RECORD?

10 A. IT WOULD.

11 Q. WOULD YOU NEED TO LOOK AT THE MEDICAL RECORDS FOR WOMEN WHO
12 HAVE UNDERGONE BOTH THE INTACT D&X AND THE D&E PROCEDURE?

13 A. YES, YOU WOULD.

14 Q. AND IF YOU DIDN'T HAVE ACCESS TO THOSE MEDICAL RECORDS
15 WOULD YOU BE ABLE TO DO THE RETROSPECTIVE COHORT STUDY?

16 A. NO, NOT UNLESS THE SURGEONS HAD KEPT SEPARATE RECORDS ON
17 EACH PATIENT. BUT IN THIS DAY AND AGE, I AM AFRAID THAT WOULD
18 ALSO BE INCLUDED AS PART OF THEIR MEDICAL RECORDS. SO THE
19 ANSWER IS: NO.

20 Q. DOCTOR, ARE YOU FAMILIAR WITH A STUDY BY DR. DAVID GRIMES,
21 ET AL, ENTITLED:

22 "MIFEPRISTONE AND MISOPROSTOL VERSUS
23 DILATION AND EVACUATION FOR MIDTRIMESTER ABORTION:
24 A PILOT RANDOMISED CONTROLLED TRIAL," THAT WAS
25 PUBLISHED IN THE BRITISH JOURNAL OF OBSTETRICS?

1 A. YES, I AM.

2 Q. LET ME ASK YOU FIRST, DOCTOR, DO YOU KNOW DR. DAVID GRIMES?

3 A. I DO.

4 Q. HOW DO YOU KNOW HIM?

5 A. WELL, HE IS A MEMBER OF OUR FACULTY AT THE UNIVERSITY OF
6 NORTH CAROLINA, AND I HAVE KNOWN DR. GRIMES FOR A NUMBER OF
7 YEARS, ESPECIALLY MORE RECENTLY WHEN HE JOINED OUR FACULTY.

8 MR. QUINLIVAN: YOUR HONOR, MAY I APPROACH THE
9 WITNESS?

10 THE COURT: YOU MAY.

11 BY MR. QUINLIVAN:

12 Q. DOCTOR, I AM SHOWING YOU WHAT HAS BEEN MARKED AS
13 PLAINTIFFS' LEARNED TREATISE 11. IS THIS THE STUDY BY
14 DR. GRIMES, ET AL, THAT I WAS JUST REFERENCING?

15 A. YES.

16 Q. AND CAN YOU BRIEFLY SUMMARIZE WHAT THE ARTICLE IS ABOUT?

17 A. THIS IS A STUDY WHICH DR. GRIMES DESCRIBED AS A FEASIBILITY
18 STUDY. HE SET OUT TO COMPARE TWO ABORTION PROCEDURES, ONE
19 WHICH WAS A LABOR INDUCTION PROCEDURE USING THESE TWO DRUGS
20 CALLED MIFEPRISTONE AND MISOPROSTOL USED TOGETHER TO INDUCE
21 ABORTION THROUGH A LABOR INDUCTION METHOD.

22 COMPARE THAT WITH THE ABORTION PROCEDURE CALLED D&E.
23 AND HIS OBJECTIVE WAS TO RANDOMIZE PATIENTS TO ONE OF THOSE
24 PROCEDURES OR THE OTHER, AS WE HAVE DESCRIBED, IN A RANDOMIZED
25 CONTROLLED TRIAL.

1 Q. AND WHAT WERE THE RESULTS AS REPORTED BY DR. GRIMES AND HIS
2 COLLEAGUES?

3 A. HE FOUND THAT IN APPROACHING 49 SUBJECTS, POTENTIAL
4 SUBJECTS FOR THIS STUDY, THAT ONLY 18 AGREED TO BE RANDOMIZED
5 TO ONE PROCEDURE OR THE OTHER.

6 Q. DOCTOR, ARE YOU AWARE THAT AN ASSERTION HAS BEEN MADE THAT
7 THE GRIMES STUDY DEMONSTRATES THAT IT WOULD BE DIFFICULT, IF
8 NOT IMPOSSIBLE, TO DO A RANDOMIZED TRIAL COMPARING INTACT D&E
9 VERSUS D&X -- INTACT D&X VERSUS D&E?

10 A. YES, I BELIEVE I HAVE HEARD THAT.

11 Q. AND DO YOU HAVE AN OPINION ABOUT THAT ASSERTION?

12 A. YES. I DON'T THINK THAT THIS STUDY PRECLUDES OR IS
13 EVIDENCE THAT SUGGESTS YOU COULD NOT DO SUCH A STUDY.

14 Q. AND WHAT IS THE BASIS OF YOUR OPINION?

15 A. WELL, DR. GRIMES' FEASIBILITY STUDY SHOWED THAT IN THE
16 SETTING IN WHICH HE WAS TRYING TO DO THE STUDY YOU COULDN'T
17 ACCOMPLISH IT. THERE ARE A LOT OF CONSTRAINTS ON HIS STUDY.

18 AND THE FACT THAT THE WOMEN DID NOT AGREE TO ENROLL
19 IN SUFFICIENT NUMBERS TO ACCOMPLISH IT TO GET THE NUMBER OF
20 PATIENTS YOU WOULD NEED FOR STATISTICAL VALIDITY IN THIS
21 SETTING DOESN'T MEAN THAT IN SOME OTHER SETTING YOU WOULDN'T BE
22 ABLE TO DO THAT.

23 IN FACT, DR. GRIMES MENTIONS THAT VERY THING IN THIS
24 ARTICLE THAT GIVEN A DIFFERENT SETTING, DIFFERENT POPULATION
25 GROUPS, THAT YOU PROBABLY COULD, AND ACTUALLY SHOULD DO THAT

1 STUDY TO PROVE THE EFFICACY OF THESE TWO PROCEDURES COMPARED TO
2 ONE ANOTHER.

3 Q. DOCTOR, DID DR. GRIMES AND HIS COLLEAGUES EXPLAIN SOME OF
4 THE REASONS WHY IT WAS DIFFICULT TO ENROLL SUFFICIENT NUMBER OF
5 WOMEN FOR THE STUDY?

6 A. YES, I BELIEVE THEY DID.

7 Q. IF YOU COULD TURN YOUR ATTENTION TO I BELIEVE WHAT IS
8 PAGE 152 OF THE GRIMES STUDY?

9 TURNING TO THE LEFT-HAND COLUMN IN THE MIDDLE OF THE
10 PAGE, DO YOU SEE A PARAGRAPH BEGINNING, QUOTE:

11 "RECRUITMENT TO THIS TRIAL WAS SLOW FOR SEVERAL
12 REASONS"?

13 A. YES.

14 Q. CAN YOU TELL US WHAT THE FIRST REASON DR. GRIMES AND HIS
15 COLLEAGUES GAVE THAT RECRUITMENT TO THE TRIAL WAS SLOW?

16 A. WELL, HE STATED THAT IN NORTH CAROLINA THERE ARE -- AS HE
17 PUTS IT:

18 "PATIENT POPULATION IS WELL SERVED BY ABORTION
19 CLINICS," AND THEREFORE THEY ARE CONFINING THEIR
20 OWN -- THEIR STUDY TO THEIR OWN CLINIC AT UNC HOSPITAL, AND THE
21 POPULATION VOLUME IS NOT LARGE. AND THEY DIDN'T ADVERTISE
22 OUTSIDE THE POPULATION THAT WAS COMING TO THE CLINIC. THEY
23 DIDN'T ADVERTISE FOR PATIENTS FOR THE STUDY.

24 Q. DOCTOR, WHEN A RANDOMIZED CONTROLLED TRIAL IS DONE ARE
25 THERE SOMETIMES OR IS THAT TRIAL SOMETIMES ADVERTISED?

1 A. YES.

2 Q. DOCTOR, WHAT IS THE SECOND REASON GIVEN BY THE AUTHORS, BY
3 DR. GRIMES AND HIS COLLEAGUES, FOR THE SLOW ENROLLMENT?

4 A. THEY WERE LIMITED WHEN THEY SUBJECTED THE -- OR WHEN THEY
5 SUBMITTED THE STUDY TO THE IRB, THE INSTITUTION REVIEW BOARD
6 THAT I DESCRIBED BEFORE, THEY REQUIRED THAT HE LIMIT IT TO
7 PATIENTS WHO ARE OVER 18 YEARS OF AGE. THEY DIDN'T WANT THEM
8 TO INCLUDE MINORS IN THE STUDY.

9 AS HE POINTED OUT, A NUMBER OF THESE PROCEDURES ARE
10 PERFORMED ON MINORS. THEREFORE, THAT LIMITED HIS POPULATION.
11 SO THAT WAS ANOTHER RESTRICTION ON IT. AND THEN --

12 Q. WELL, CONTINUING ON, WHAT IS THE THIRD REASON THAT
13 DR. GRIMES AND HIS COLLEAGUES GAVE?

14 A. HE SAID THAT THEY ELIMINATED ALL PATIENTS WHO HAD A
15 PREVIOUS CESAREAN DELIVERY, BECAUSE THOSE PATIENTS WOULD BE AT
16 INCREASED RISK, POTENTIALLY INCREASED RISK OF A COMPLICATION OF
17 A RUPTURE OF THE UTERUS.

18 THEY ELIMINATED THOSE. I.E., THEY WERE TRYING TO
19 ELIMINATE A CONFOUNDING FACTOR.

20 AND SINCE A FOURTH OF ALL PATIENTS WHO HAVE HAD A
21 DELIVERY HAD A CESAREAN SECTION, THAT WAS A SIZEABLE NUMBER OF
22 PATIENTS.

23 Q. DOCTOR, CONTINUING ON, WHAT WAS THE FOURTH REASON GIVEN BY
24 DR. GRIMES AND HIS COLLEAGUES FOR THE SLOW ENROLLMENT IN THE
25 STUDY?

1 A. THEN THEY LIMITED THEIR POPULATION TO WOMEN WHO WERE 19
2 WEEKS OR LESS GESTATION. AND THEY DID THAT, AS DR. GRIMES SAYS
3 HERE, BECAUSE THE NURSING SERVICE DIDN'T WANT TO TAKE THE RISK
4 OF THEIR BEING A LIVE BABY BORN AFTER THE ABORTION PROCEDURE.

5 SO THAT FURTHER RESTRICTS HIS POPULATION DOWN TO THE
6 LIMITS THAT WE HAVE DESCRIBED.

7 Q. DOCTOR, CONTINUING ON, WHAT IS THE SIXTH REASON GIVEN BY
8 DR. GRIMES AND HIS COLLEAGUES FOR THE SLOW ENROLLMENT IN THE
9 STUDY?

10 A. WELL, IT IS AN INTERESTING ONE. HE SAYS:

11 "THE HIGH PROPORTION OF WOMEN WITH FETAL
12 INDICATIONS FOR ABORTION LIMITED PARTICIPATION SINCE
13 THESE WOMEN WERE LESS LIKELY TO ENROLL THAN WERE
14 WOMEN HAVING ABORTIONS FOR OTHER REASONS."

15 Q. WHY DO YOU SAY THAT'S SURPRISING?

16 A. WELL, IT'S SURPRISING TO SOME EXTENT BECAUSE THE FETAL
17 INDICATIONS IS OFTEN SUGGESTED AS ONE REASON FOR DOING AN
18 INTACT PROCEDURE. THAT IS IN DELIVERING A BABY THAT CAN BE
19 EXAMINED BY A PATHOLOGIST TO CONFIRM THE ACTUAL DIAGNOSIS THAT
20 WAS MADE OR FIND OUT OTHER EVIDENCE RELATED TO THOSE DISEASES.

21 AND HERE ALL THOSE WOMEN SAID:

22 "WELL, WE WOULD RATHER HAVE A D&E," IN WHICH
23 CASE YOU END UP WITH A DISARTICULATED SPECIMEN, WHICH IS,
24 ACCORDING TO SOME PATHOLOGISTS, NOT AS HELPFUL IN MAKING THOSE
25 DIAGNOSES. THAT IS A CURIOUS THING.

1 Q. DOCTOR, YOU MENTIONED THE SIX FACTORS THAT DR. GRIMES AND
2 HIS COLLEAGUES IDENTIFIED AS BEING AT LEAST, IN PART,
3 RESPONSIBLE FOR THE SLOW ENROLLMENT.

4 WOULD THOSE SIX FACTORS BE APPLICABLE IN ANY
5 POTENTIAL RANDOMIZED CONTROLLED TRIAL THAT, SAY, IF YOU WERE
6 TRYING TO COMPARE INTACT D&X VERSUS D&E?

7 A. NO.

8 Q. DOCTOR, IN YOUR OPINION, DOES THIS STUDY CAST DOUBT ON THE
9 ABILITY TO CONSTRUCT A RANDOMIZED CONTROLLED TRIAL COMPARING
10 INTACT D&X VERSUS D&E?

11 A. NO. IT CERTAINLY POINTS OUT SOME OF THE LIMITATIONS THAT
12 YOU WOULD HAVE TO AVOID TO DO THE STUDY, AND AT LEAST
13 DR. GRIMES DESCRIBED THEM, AS WELL.

14 Q. DOCTOR, LET ME ASK YOU, GOING BACK TO WHEN YOU WERE FIRST
15 OR FIRST LEARNED OF THE PARTIAL-BIRTH ABORTION ISSUE IN 1995,
16 DID YOU BECOME AWARE AT SOME TIME OF WHEN THE TECHNIQUE OF
17 PARTIAL-BIRTH ABORTION OR INTACT D&X WAS FIRST DESCRIBED IN ANY
18 FORM IN THE MEDICAL LITERATURE?

19 A. IN THE MEDICAL --

20 MS. KRASNOFF: OBJECTION, YOUR HONOR, TO THE FORM.
21 WE HAVE NO PROBLEM ASKING WHEN HE FIRST LEARNED OF IT, BUT
22 NOT -- UNLESS HE KNOWS WHEN IT WAS FIRST --

23 MR. QUINLIVAN: I WILL REPHRASE, YOUR HONOR.

24 THE COURT: OKAY.

25 BY MR. QUINLIVAN:

1 Q. DOCTOR, IN RESPONDING TO THE INQUIRY FROM CONGRESSMAN
2 CANADY'S OFFICE, DID YOU HAVE OCCASION TO REVIEW ANY MATERIALS
3 DESCRIBING AN INTACT D&X PROCEDURE?

4 A. YES, I DID.

5 Q. CAN YOU TELL US WHAT THOSE MATERIALS WERE?

6 A. I REVIEWED MANUSCRIPTS OF PRESENTATIONS THAT WERE MADE BY
7 DR. HASKELL AND DR. MCMAHON AT MEETINGS IN WHICH THEY DESCRIBE
8 THESE PROCEDURES.

9 MR. QUINLIVAN: MAY I APPROACH THE WITNESS?

10 THE COURT: YES.

11 BY MR. QUINLIVAN:

12 Q. DOCTOR, I AM HANDING YOU WHAT HAS BEEN MARKED AS
13 DEFENDANT'S LEARNED TREATISE A-28.

14 ARE YOU FAMILIAR WITH THIS DOCUMENT?

15 A. YES, I AM.

16 Q. IS THIS THE WRITTEN RECORDING OF THE PRESENTATION GIVEN BY
17 DR. HASKELL THAT YOU REVIEWED?

18 A. YES, IT IS.

19 Q. AND, DOCTOR, IS THERE A DATE ON WHICH THAT DOCUMENT RECORDS
20 THAT DR. HASKELL GAVE HIS PRESENTATION?

21 A. THIS STATES THAT THE DATE OF THE PRESENTATION WAS SOMEPLACE
22 BETWEEN SEPTEMBER 13TH OR 14TH, 1992, AT A MEETING IN DALLAS,
23 TEXAS.

24 Q. DOCTOR, DO YOU HAVE AN OPINION WHETHER A RANDOMIZED
25 CONTROLLED TRIAL OR RETROSPECTIVE COHORT STUDY COULD HAVE BEEN

1 CONDUCTED COMPARING THE INTACT D&X PROCEDURE WITH THE D&E
2 PROCEDURE SOMETIME SINCE DR. HASKELL MADE HIS PRESENTATION IN
3 1992?

4 A. THE ANSWER IS: I THINK IT COULD HAVE BEEN DONE.

5 Q. GOING BACK TO THE GRIMES STUDY, I BELIEVE YOU TESTIFIED
6 THAT THE DESIGN OF THAT STUDY WAS TO COMPARE A METHOD OF LABOR
7 INDUCTION WITH THE D&E PROCEDURE?

8 A. YES.

9 Q. DO YOU HAVE AN OPINION WHETHER IT WOULD BE EASIER TO
10 CONSTRUCT A TRIAL COMPARING INTACT D&X VERSUS D&E, AS COMPARED
11 TO WHAT THEY WERE STUDYING IN THE GRIMES STUDY?

12 A. WELL, CERTAINLY THE GRIMES STUDY WAS COMPARING ONE METHOD,
13 WHICH WAS LABOR INDUCTION, WITH ONE METHOD, WHICH WAS SURGICAL
14 INDUCTION -- SURGICAL PROCEDURE. AND THERE'S SOME EVIDENCE
15 THAT WOMEN WOULD PREFER HAVING A SHORTER PROCEDURE, NAMELY THE
16 D&E, TO A MUCH -- A LONGER LABOR, A LONGER PROCEDURE INVOLVING
17 GOING THROUGH LABOR.

18 WHEREAS, IF YOU ARE COMPARING D&E TO D&X, YOU ARE
19 COMPARING TWO SURGICAL PROCEDURES IN WHICH NEITHER -- IN
20 NEITHER CASE THE WOMEN HAVE TO GO THROUGH LABOR.

21 SO, AGAIN, INTUITIVELY HERE I WOULD THINK THAT THEY
22 WOULD PROBABLY BE EASIER TO RANDOMIZE THEM TO ONE OR TWO
23 SURGICAL PROCEDURES THAN BETWEEN A SURGICAL PROCEDURE OF
24 RELATIVELY SHORT DURATION AND A LABOR WHICH MIGHT GO ON FOR
25 SEVERAL HOURS.

1 Q. DOCTOR, JUST A COUPLE OF FINAL QUESTIONS: DO YOU RECALL
2 TESTIFYING EARLIER TODAY THAT -- I BELIEVE YOU SAID ON FIVE OR
3 10 OCCASIONS DURING YOUR TENURE AT THE UNIVERSITY OF NORTH
4 CAROLINA YOU PERFORMED INDUCED ABORTIONS WHERE THE LIFE OF THE
5 MOTHER WAS AT RISK?

6 A. I BELIEVE I SAID THAT, YES.

7 Q. DID YOU HAVE OCCASION TO REVIEW YOUR DEPOSITION DURING THE
8 BREAK?

9 A. YES.

10 Q. AND DID THAT REFRESH YOUR RECOLLECTION AS TO HOW MANY
11 PROCEDURES YOU MAY HAVE PERFORMED OR SUPERVISED WHILE AT THE
12 UNIVERSITY OF NORTH CAROLINA?

13 A. IT WASN'T QUITE THAT MANY.

14 Q. DO YOU RECALL HOW MANY?

15 A. OH, MY. YOU ARE CALLING FOR MY SHORT-TERM MEMORY. FIVE TO
16 SIX. I MEAN, IT WAS LESS THAN -- THE UPPER LIMIT WAS LESS THAN
17 10.

18 MR. QUINLIVAN: THANK YOU, DOCTOR.

19 THE WITNESS: IN BOTH CASES. VERY FEW.

20 MR. QUINLIVAN: THANK YOU, DOCTOR.

21 THANK YOU, YOUR HONOR. I HAVE NOTHING FURTHER.

22 THE COURT: CROSS-EXAMINATION?

23 MS. KRASNOFF: YOUR HONOR, ONE POINT OF
24 CLARIFICATION. WE HAVE NO OBJECTION ABOUT HOW THIS DOCUMENT
25 FROM DR. HASKELL WAS USED, BUT IT IS ON DEFENDANT'S LEARNED

1 TREATISE LIST, AND WE OBJECT THAT IT IS NOT A LEARNED TREATISE.
2 IT HAS NOT BEEN PUBLISHED, AND IT DOESN'T MEET THE LEARNED
3 TREATISE EXCEPTION, SO WE OBJECT. THEY TRIED TO USE IT AS A
4 LEARNED TREATISE.

5 MR. QUINLIVAN: YOUR HONOR, I USED IT JUST TO
6 REFRESH HIS RECOLLECTION AS TO WHEN THE PRESENTATION WAS MADE.
7 I AM CERTAINLY NOT OFFERING IT INTO EVIDENCE, NOR DID I INTEND
8 OR DID I USE IT FOR ANY OTHER PURPOSE.

9 THE COURT: WELL, NONE OF THE LEARNED TREATISES WILL
10 BE ADMITTED INTO EVIDENCE UNLESS WE HAVE SOME FURTHER
11 DISCUSSION ABOUT THAT.

12 AND WITH REGARD TO THE EXHIBIT REFERRED TO,
13 MR. QUINLIVAN, I ACTUALLY ONLY HAVE THE COVER SHEET AND THE
14 FIRST PARAGRAPH. THE ENTIRE EXHIBIT IS NOT IN AT LEAST THE
15 COURT'S EXHIBIT BINDER. SO, IF THERE IS AN EXTRA COPY
16 SOMEWHERE?

17 MR. QUINLIVAN: I DO HAVE AN EXTRA COPY, YOUR HONOR.
18 I DO APOLOGIZE FOR THAT.

19 THE COURT: ALL RIGHT.

20 CROSS-EXAMINATION

21 BY MS. KRASNOFF:

22 Q. GOOD MORNING, DR. BOWES.

23 A. GOOD MORNING.

24 Q. I AM HELENE KRASNOFF. WE MET AT CHAPEL HILL --

25 A. YES, WE DID.

1 Q. -- AT YOUR DEPOSITION. AND I APPRECIATE THAT YOU HAVE NOT
2 YET SAID ANYTHING TO ME TODAY ABOUT SATURDAY NIGHT'S DEMISE OF
3 MY BLUE DEVIL.

4 I WOULD LIKE TO START, DR. BOWES, BY TALKING TO YOU
5 ABOUT HOW A PHYSICIAN CHOOSES THE APPROPRIATE ABORTION METHOD
6 FOR HIS OR HER OWN PATIENT.

7 YOU WOULD AGREE, DOCTOR, THAT IN STARTING AN
8 ABORTION PROCEDURE, WHETHER IT BE AN INDUCTION, A D&E, AN
9 INTACT D&E OR SOME OTHER PROCEDURE, THAT THE PHYSICIAN'S INTENT
10 IS TO END THE PREGNANCY IN THE WAY SAFEST FOR THE WOMAN,
11 WOULDN'T YOU?

12 A. YES.

13 Q. AND YOU WOULD AGREE THAT THE SAFEST AND MOST APPROPRIATE
14 ABORTION PROCEDURE FOR A PARTICULAR WOMAN DEPENDS, IN PART, ON
15 THE GESTATIONAL AGE OF THE PREGNANCY?

16 A. YES.

17 Q. AND YOU WOULD AGREE, ALSO, THAT THE SAFEST AND MOST
18 APPROPRIATE ABORTION PROCEDURE FOR A PARTICULAR WOMAN DEPENDS,
19 IN PART, ON HER UNDERLYING HEALTH?

20 A. YES.

21 Q. AND YOU WOULD ALSO AGREE THAT THE SAFEST AND MOST
22 APPROPRIATE ABORTION PROCEDURE FOR ANY INDIVIDUAL WOMAN WOULD
23 DEPEND, IN PART, ON ANY MEDICAL CONTRAINDICATION THAT SHE MIGHT
24 HAVE?

25 A. YES.

1 Q. AND YOU WOULD AGREE THAT THE SAFEST AND MOST APPROPRIATE
2 ABORTION PROCEDURE FOR A PARTICULAR WOMAN DEPENDS, IN PART, ON
3 HER PRIOR SURGICAL HISTORY, IF ANY?

4 A. YES.

5 Q. AND YOU WOULD AGREE THE SAFEST AND MOST APPROPRIATE
6 ABORTION PROCEDURE FOR A PARTICULAR WOMAN DEPENDS, IN PART, ON
7 THE TRAINING, SKILL AND EXPERIENCE OF HER PHYSICIAN?

8 A. YES.

9 Q. AND YOU WOULD AGREE, ALSO, THAT THE SAFEST AND MOST
10 APPROPRIATE ABORTION PROCEDURE FOR ANY PARTICULAR WOMAN
11 DEPENDS, IN PART, ON WHETHER SHE OR HER DOCTOR DESIRES TO
12 REMOVE THE FETUS INTACT TO PERMIT COMPLETE PATHOLOGICAL
13 TESTING.

14 A. YES.

15 Q. AND YOU WOULD AGREE THAT WITH RESPECT TO A MEDICAL
16 EMERGENCY EXCEPTION TO AN ABORTION RESTRICTION A PHYSICIAN
17 SHOULD BE PERMITTED TO RELY ON HIS OR HER OWN BEST MEDICAL
18 JUDGMENT TO DETERMINE IF THERE IS SUCH AN EMERGENCY?

19 A. YES.

20 Q. NOW, I WOULD LIKE TO SWITCH A LITTLE BIT AND TALK TO YOU
21 SPECIFICALLY ABOUT D&E ABORTIONS. WOULD YOU AGREE WITH ME IN A
22 D&E IF REMOVAL OF THE FETUS CAN BE ACCOMPLISHED WITH FEWER
23 RATHER THAN MORE INSTRUMENTAL PASSES THAT THAT WOULD BE
24 HELPFUL?

25 A. INTUITIVELY, YES, I WOULD AGREE WITH THAT.

1 Q. AND WOULD YOU ALSO AGREE THAT WHEN A PHYSICIAN PERFORMS A
2 D&E HE OR SHE IS TRYING TO DO SO WITH AS LITTLE TRAUMA AND
3 BLOOD LOSS AS POSSIBLE FOR THE WOMAN; IS THAT RIGHT?

4 A. YES, I WOULD AGREE.

5 Q. AND HE OR SHE TRIES WITHIN THE BOUNDS OF SAFETY TO COMPLETE
6 THE PROCEDURE AS QUICKLY AS POSSIBLE; IS THAT CORRECT?

7 A. YES.

8 Q. AND WOULD YOU AGREE THE PHYSICIAN TRIES TO INSERT THE
9 FORCEPS INTO THE UTERUS AS FEW TIMES AS POSSIBLE IN ORDER TO
10 COMPLETE THE PROCEDURE?

11 A. YES.

12 Q. AND I THINK THAT YOU ALLUDED TO THIS IN YOUR PRIOR ANSWER,
13 BUT YOU WOULD AGREE INTUITIVELY, EVEN IF NOT CONCLUSIVELY, THAT
14 A TECHNIQUE THAT REDUCES THE NUMBER OF INSERTIONS OF
15 INSTRUMENTS INTO THE UTERUS MIGHT OFFER SOME SAFETY ADVANTAGES?

16 A. YES.

17 Q. AND YOU AGREE DURING THE COURSE OF A D&E IT MIGHT HAPPEN ON
18 SOME OCCASION THAT THE PHYSICIAN IS ABLE TO EXTRACT THE FETUS
19 UP TO THE CALVARIUM WITH ONE PASS OF INSTRUMENTS INTO THE
20 UTERUS?

21 A. YES.

22 Q. DO YOU AGREE THAT THE RISKS OF D&E INCREASE AS GESTATIONAL
23 AGE ADVANCES?

24 A. YES. I THINK THERE IS EVIDENCE THAT IT DOES.

25 Q. AND IF YOU HAD TWO LARGE GROUPS OF WOMEN HAVING D&E'S, ONE

1 GROUP HAVING THEM AT 20 WEEKS AND ONE WHO WAS HAVING THEM AT 22
2 WEEKS, WOULD YOU EXPECT TO SEE MORE COMPLICATIONS IN THE 22
3 WEEK GROUP?

4 A. INTUITIVELY, YES, YOU WOULD.

5 Q. YOU AGREE, DON'T YOU, THAT OVERALL D&E IS A SAFER PROCEDURE
6 THAN INDUCTION?

7 A. I BELIEVE IT'S BEEN ESTABLISHED THAT IT IS.

8 Q. AND THAT'S PART BASED ON THE DATA OF THE CENTERS FOR
9 DISEASE CONTROL?

10 A. YES.

11 Q. AND IT'S ALSO, IN PART, BASED ON THE RESEARCH OF
12 DR. GRIMES?

13 A. YES.

14 Q. AND I THINK YOU HAVE TESTIFIED TO THIS, BUT YOU KNOW DR.
15 GRIMES WELL, DON'T YOU?

16 A. I DO.

17 Q. AND HE IS ON THE FACULTY AT YOUR INSTITUTION; IS THAT
18 CORRECT?

19 A. YES, THAT'S CORRECT.

20 Q. AND HE'S A WELL-RESPECTED PRACTITIONER, ISN'T HE?

21 A. YES, HE IS.

22 Q. AND HE'S ALSO A VERY COMPETENT RESEARCHER?

23 A. YES, HE IS.

24 Q. AND I THINK YOU ALSO ALLUDED TO THIS ON YOUR DIRECT, BUT
25 YOU AGREE THAT THERE IS EVIDENCE THAT WOMEN PREFER D&E TO

1 INDUCTION ABORTIONS BECAUSE D&E ABORTIONS DO NOT REQUIRE THE
2 WOMEN TO BE IN LABOR; IS THAT CORRECT?

3 A. GENERALLY, THAT IS CORRECT, YES.

4 Q. AND YOU WOULD AGREE THAT THERE ARE CERTAIN
5 CONTRAINDICATIONS FOR INDUCTION IN THE SECOND-TRIMESTER OF
6 PREGNANCY?

7 A. YES.

8 Q. AND ONE OF THOSE WOULD BE A CLASSICAL UTERINE SCAR OR A
9 MYOMECTOMY? A SCAR FROM A MYOMECTOMY.

10 A. A SCAR FROM A MYOMECTOMY, YES, MA'AM.

11 Q. AND A MYOMECTOMY IS SURGERY TO REMOVE UTERINE FIBROIDS; IS
12 THAT CORRECT?

13 A. YES, THAT'S CORRECT.

14 Q. AND DO YOU BELIEVE THAT RETAINED PLACENTA IS A COMPLICATION
15 OF INDUCED ABORTION?

16 A. YES.

17 Q. I AM SORRY. AND THAT IS SPECIFICALLY OF INDUCTION
18 ABORTIONS. I MISSPOKE. IT'S A COMPLICATION OF INDUCTION
19 ABORTIONS?

20 A. YES.

21 Q. AND WOULD YOU AGREE THAT ON RARE OCCASIONS INDUCTION
22 ABORTIONS MAY NOT ALWAYS BE SUCCESSFUL?

23 A. ON RARE OCCASION, YES.

24 Q. AND ON THOSE RARE OCCASIONS, IF IT IS NOT SUCCESSFUL, THE
25 PHYSICIAN MAY HAVE TO PERFORM A SEPARATE SURGICAL PROCEDURE IN

1 ORDER TO COMPLETE THE ABORTION; IS THAT CORRECT?

2 A. THAT'S CORRECT.

3 Q. AND WOULD YOU AGREE THAT AT 20 OR 21 WEEKS THE UTERUS
4 USUALLY DOESN'T CONTRACT SPONTANEOUSLY, OR IF IT DOES, THE
5 CONTRACTIONS ARE SPORADIC AND DON'T CAUSE CERVICAL DILATION?

6 A. IN GENERAL, THAT IS CORRECT, YES.

7 Q. AND WOULD YOU AGREE THAT THE DRUGS USED IN INDUCTION
8 ABORTION TO CAUSE UTERINE CONTRACTIONS OVERRIDE THAT PROCESS?

9 A. YES, THEY DO.

10 MR. QUINLIVAN: YOUR HONOR? YOUR HONOR, I WILL
11 OBJECT TO THIS LINE OF QUESTIONING. I DIDN'T ASK ANY QUESTIONS
12 COMPARING LABOR INDUCTION TO D&E, SO IT SEEMS TO ME THAT THIS
13 LINE OF QUESTIONING IS BEYOND THE SCOPE.

14 MY ONLY QUESTION IN THIS REGARD WAS WHETHER YOU
15 WOULD BE ABLE TO CONSTRUCT A STUDY -- THE COMPARATIVE EASE OF
16 CONSTRUCTING A STUDY OF INTACT D&X VERSUS D&E, LABOR INDUCTION
17 VERSUS D&E, BUT I DID NOT ASK ANY QUESTIONS BEARING ON THE
18 SAFETY OF INDUCTION VERSUS D&E.

19 SO I OBJECT TO THIS LINE OF QUESTIONING.

20 THE COURT: RESPONSE?

21 MS. KRASNOFF: WELL, YOUR HONOR, WE HAVE -- THIS
22 CAME UP WITH ONE OF OUR WITNESSES. WE HAD LISTED ON OUR
23 WITNESS LIST ALL OF THE DEFENDANT'S WITNESSES, AND I HAVE NOT
24 ASKED ANY QUESTIONS THAT ARE BEYOND WHAT WAS ASKED OF DR. BOWES
25 IN HIS DEPOSITION IN FEBRUARY, SO DEFENDANT WAS ON NOTICE THAT

1 THESE QUESTIONS COULD ARISE.

2 MR. QUINLIVAN: WELL, I HAVE TWO ANSWERS TO THAT.
3 FIRST OFF, I DON'T BELIEVE THAT ANY OF -- FOR EXAMPLE, THE
4 PLAINTIFFS HAVE DESIGNATED DEPOSITION TRANSCRIPTS FROM DR.
5 LOCKWOOD. THEY DID NOT DO SO WITH DR. BOWES.

6 SECONDLY, WHAT MATTERS CAME UP IN THE DEPOSITION ARE
7 ENTIRELY DISTINCT FROM BEING BEYOND THE SCOPE OF DIRECT
8 EXAMINATION.

9 YOUR HONOR RECALLS DURING THE TESTIMONY OF DR. PAUL
10 I ASKED SOME QUESTIONS REGARDING FETAL PAIN, AND I WAS DIRECTED
11 NOT TO GO INTO THAT SUBJECT MATTER BECAUSE --

12 THE COURT: I UNDERSTAND THE POINT, MR. QUINLIVAN,
13 BUT IF, INDEED, BOTH SIDES HAVE CROSS-DESIGNATED WITNESSES, MY
14 PREFERENCE IS THAT THE WITNESS BE EXAMINED ONCE AND NOT HAVE TO
15 BE RECALLED.

16 I DON'T HAVE YOUR WITNESS LIST IN FRONT OF ME. IF
17 YOU TELL ME YOU CROSS-DESIGNATED HIM AS A WITNESS THAT YOU
18 MIGHT CALL, YOU WOULD BE ENTITLED TO CALL HIM AT SOME POINT, SO
19 I WILL ALLOW LATITUDE IN THE CROSS-EXAMINATION.

20 MS. KRASNOFF: AND WE RESERVED THE RIGHT TO CALL ANY
21 OF THE DEFENDANT'S WITNESSES IF NEED BE.

22 THE COURT: ALL RIGHT, THEN. EVEN THOUGH IT IS
23 BEYOND THE SCOPE -- LET ME JUST CHECK MY LIST.

24 MS. KRASNOFF: IT'S WE RESERVED THE RIGHT TO CALL
25 ANY WITNESSES ON PAGE 2 OF OUR WITNESS LIST AT LINES SIX AND

1 SEVEN.

2 THE COURT: OKAY. ALL RIGHT. I AM GOING TO PERMIT
3 IT, THEN.

4 BY MS. KRASNOFF:

5 Q. ACTUALLY, I ONLY HAD ONE MORE QUESTION RELATED TO THIS,
6 ANYWAY, BUT -- THE PREVIOUS QUESTION I HAD ASKED BEFORE
7 MR. QUINLIVAN AND I ENGAGED IN THIS CONVERSATION WAS THAT I
8 BELIEVE YOU SAID THAT YOU AGREE THAT THE DRUGS USED IN AN
9 INDUCTION ABORTION TO CAUSE UTERINE CONTRACTIONS OVERRIDE THIS
10 GENERAL PROPOSITION THAT AT 20 OR 21 WEEKS THE UTERUS DOES NOT
11 CONTRACT; IS THAT CORRECT?

12 A. THAT'S CORRECT, YES.

13 Q. AND IN THAT WAY, WOULD YOU AGREE THAT INDUCTION ABORTION IS
14 NOT A NATURAL OR PHYSIOLOGIC PROCESS?

15 A. I WOULD AGREE WITH THAT, YES.

16 Q. WE REFERRED TO DR. MAUREEN PAUL'S TEXTBOOK. YOU WOULD
17 AGREE THAT THAT TEXTBOOK IS AUTHORITATIVE, WOULD YOU NOT?

18 A. YES.

19 Q. AND YOU ALSO KNOW CAROLYN WESTHOFF BY REPUTATION; DO YOU
20 NOT?

21 A. I DO.

22 Q. AND WOULD YOU AGREE WITH ME THAT HER REPUTATION IN THE
23 MEDICAL AND OBSTETRIC AND GYNECOLOGIC COMMUNITY IS HIGH?

24 A. YES.

25 Q. DR. BOWES, I WOULD LIKE TO TALK TO YOU ABOUT THE TESTIMONY

1 ABOUT VARIOUS STUDY METHODS THAT ARE USED IN MEDICINE THAT YOU
2 GAVE THIS MORNING.

3 YOU ARE NOT AN EPIDEMIOLOGIST, ARE YOU?

4 A. NO, I AM NOT.

5 Q. AND DO YOU HAVE ANY SPECIAL, FORMAL TRAINING IN
6 EPIDEMIOLOGY?

7 A. NO, I DON'T.

8 Q. YOU GAVE SOME TESTIMONY ABOUT LEARNED TREATISE 11, WHICH IS
9 THE GRIMES ARTICLE. AND THAT WAS PUBLISHED IN THE BRITISH
10 JOURNAL OF OBSTETRICS AND GYNECOLOGY IN FEBRUARY OF 2004; IS
11 THAT CORRECT?

12 A. YES, THAT'S CORRECT.

13 Q. AND THAT'S A REPUTABLE JOURNAL; IS IT NOT?

14 A. IT CERTAINLY IS.

15 Q. AND I THINK YOU TESTIFIED TO THIS, BUT THAT ARTICLE
16 DOCUMENTED DR. GRIMES' ATTEMPTS TO CONDUCT A RANDOMIZED
17 PROSPECTIVE STUDY REGARDING THE SAFETY OF D&E VERSUS INDUCTION
18 ABORTION?

19 A. THAT'S CORRECT.

20 Q. AND DR. GRIMES DISCONTINUED HIS STUDY BECAUSE HE COULD NOT
21 GET SUFFICIENT PATIENTS WHO WOULD AGREE TO THE RANDOMIZATION;
22 IS THAT CORRECT?

23 A. THAT'S CORRECT.

24 Q. AND YOU AGREE THAT, IN GENERAL, IT WOULD BE VERY DIFFICULT
25 IN ANY SETTING IN THE UNITED STATES TO DO A RANDOMIZED

1 PROSPECTIVE STUDY TO COMPARE INDUCTIONS AND D&E'S; IS THAT NOT
2 TRUE?

3 A. IT WOULD BE DIFFICULT AS ALL RANDOMIZED CONTROLLED TRIALS
4 ARE DIFFICULT. BUT I DON'T THINK I WENT BEYOND THAT. IT WOULD
5 BE DIFFICULT.

6 Q. WELL, IN FACT, DR. GRIMES POINTED OUT IN HIS STUDY THAT THE
7 STUDY THAT HE WAS TRYING TO COMPLETE WOULD HAVE TO BE PERFORMED
8 IN ANOTHER COUNTRY, DIDN'T HE?

9 A. YES. BUT, OF COURSE, HE WAS NOT COMPARING TWO SURGICAL
10 PROCEDURES. HE WAS COMPARING THE LABOR INDUCTION PROCEDURE TO
11 A D&E PROCEDURE.

12 Q. AS WAS I. I WAS ACTUALLY JUST LIMITING IT AT THIS POINT TO
13 THE STUDY HE WAS TRYING TO PERFORM, COMPARING D&E AND
14 INDUCTION.

15 A. YES.

16 Q. DO YOU AGREE THAT THAT WOULD HAVE TO BE DONE IN ANOTHER
17 COUNTRY?

18 A. WELL, I AGREE THAT DR. GRIMES SUGGESTED THAT THAT WAS THE
19 PLACE IT WOULD BE BEST BE DONE.

20 Q. YOU DON'T AGREE THAT IS TRUE?

21 A. I WOULD AGREE WITH THAT.

22 Q. SO IT WASN'T SOMETHING ABOUT NORTH CAROLINA SPECIFICALLY
23 THAT LIMITED THE ABILITY TO DO THE STUDY THAT DR. GRIMES WAS
24 TRYING TO DO; IS THAT CORRECT?

25 A. WELL, I BELIEVE HE SAID THAT ONE OF THE THINGS IS -- ONE OF

1 THE LIMITATIONS TO THE STUDY WAS THAT THERE ARE SO MANY
2 ABORTION PROVIDERS IN NORTH CAROLINA THAT THERE WAS NOT A LARGE
3 ENOUGH POPULATION AT HIS CLINIC TO PERFORM THAT STUDY IN THAT
4 SETTING THAT HE STARTED OUT.

5 Q. SO I GUESS I AM CONFUSED, BECAUSE YOU AGREED THAT -- YOU
6 AGREED THAT IT WOULD HAVE TO BE DONE IN ANOTHER COUNTRY, SO I
7 AM CONFUSED ABOUT WHAT IS IT THAT IS SPECIFIC ABOUT NORTH
8 CAROLINA THAT WOULD MAKE IT POSSIBLE TO DO IT SOMEWHERE ELSE IN
9 THE UNITED STATES.

10 MR. QUINLIVAN: OBJECTION. MISCHARACTERIZES THE
11 WITNESS' TESTIMONY.

12 THE COURT: I THINK IT KIND OF DOES, AS WELL.

13 COULD YOU JUST REPEAT THE EARLIER QUESTION?

14 BY MS. KRASNOFF:

15 Q. SURE. YOU AGREED WITH ME THAT THE STUDY COMPARING D&E AND
16 INDUCTIONS COULD NOT BE PERFORMED IN THIS COUNTRY; IS THAT
17 CORRECT?

18 A. YES.

19 Q. SO, THEREFORE, THERE IS NOTHING SPECIFIC ABOUT NORTH
20 CAROLINA AS COMPARED TO THE OTHER STATES IN THE UNITED STATES
21 LIMITING HIS ABILITY TO DO THIS STUDY?

22 A. NO. I UNDERSTAND. I MISUNDERSTOOD THE QUESTION. I AGREE
23 WITH THAT.

24 Q. OKAY. AND THAT'S BECAUSE I THINK YOU TESTIFIED ABOUT HOW
25 YOU DO TRIALS, YOU NEED EQUIPOISE BETWEEN TWO METHODS. SO WHEN

1 YOU CONSENT THE PATIENT INTO THE STUDY YOU CAN TELL THEM THAT
2 YOU ARE NOT SURE WHICH IS THE BEST METHOD OF TREATMENT FOR
3 THEM; IS THAT CORRECT?

4 A. THAT'S CORRECT.

5 Q. AND THERE IS JUST NOT THAT SORT OF EQUIPOISE IN THIS
6 COUNTRY BETWEEN D&E AND INDUCTION ABORTIONS; IS THAT CORRECT?

7 A. YES, WITH ONE CAVEAT, IF I MAY.

8 Q. SURE.

9 A. DR. GRIMES POINTED OUT THAT THE INDUCTION METHOD WHICH HE
10 WAS OFFERING THE PATIENTS IS A NEW INDUCTION METHOD WHICH IN
11 HIS -- IT'S HIS IMPRESSION THAT IT WOULD BE LESS RISKY, MORE
12 EFFICIENT AND LESS RISKY THAN THE OTHER INDUCTION PROCEDURES TO
13 WHICH D&E HAS PREVIOUSLY BEEN COMPARED.

14 Q. AND THE METHOD THAT DR. GRIMES WAS USING, THAT IS NOT
15 AVAILABLE IN THE UNITED STATES EXCEPT FOR HIS STUDY, IS IT?

16 A. THE DRUGS ARE AVAILABLE, AND IT WOULD HAVE TO BE DONE UNDER
17 STUDY CONDITIONS UNDER THE AUSPICES OF A TRIAL THAT HAD BEEN
18 APPROVED, IF I UNDERSTAND YOUR QUESTION CORRECTLY.

19 Q. YES, BUT ONE OF THE DRUGS, MIFEPRISTONE, IS NOT
20 FDA-APPROVED FOR THE PURPOSE FOR WHICH DR. GRIMES WAS USING IT;
21 IS THAT NOT CORRECT?

22 A. THAT'S CORRECT.

23 Q. AND IN THE METHODS THAT ARE APPROVED FOR USE IN INDUCTION,
24 YOU WOULD AGREE THAT WE DON'T HAVE EQUIPOISE TODAY IN THIS
25 COUNTRY BETWEEN D&E AND INDUCTION?

1 A. I AGREE WITH THAT, YES.

2 Q. BECAUSE D&E IS A SUPERIOR METHOD OF SECOND-TRIMESTER
3 ABORTION; IS THAT CORRECT?

4 A. I AGREE WITH THAT.

5 Q. ANOTHER REASON IT WOULD BE VIRTUALLY IMPOSSIBLE TO CONDUCT
6 THE STUDY WE ARE TALKING ABOUT IN THE UNITED STATES IS BECAUSE
7 96 PERCENT OF ALL SECOND-TRIMESTER ABORTIONS ARE PERFORMED BY
8 D&E; IS THAT CORRECT?

9 A. CORRECT.

10 Q. LEAVING LABOR INDUCTION BEHIND, YOU TESTIFIED ON DIRECT
11 THAT ONE OF THE THINGS THAT SUPPORTS YOUR BELIEF ABOUT THE
12 FEASIBILITY OF PERFORMING A PROSPECTIVE RANDOMIZED TRIAL
13 COMPARING THE TWO SURGICAL TECHNIQUES, D&E WHERE THE FETUS IS
14 DISARTICULATED VERSUS D&E WHERE THE FETUS IS REMOVED INTACT, IS
15 A RECENT STUDY ON BREECH DELIVERY THAT WAS PUBLISHED; IS THAT
16 CORRECT?

17 WAS THAT STUDY PUBLISHED IN THE LANCET IN THE YEAR
18 2000?

19 A. YES.

20 Q. IN FACT, I THINK YOU SAID IT WAS A SIMILAR OR COMPARABLE
21 SITUATION TO D&E WHERE THE FETUS WAS REMOVED INTACT VERSUS
22 WHERE THE FETUS IS DISARTICULATED; IS THAT CORRECT?

23 A. WELL, BY "COMPARABLE" I DIDN'T MEAN THAT THERE WERE
24 SURGICAL PROCEDURES THE SAME. I MEANT THAT IT INVOLVED
25 OFFERING THE PATIENTS TWO OPTIONS ABOUT AN OBSTETRICAL

1 SITUATION OR OUTCOME.

2 Q. YOU THOUGHT THAT THE DIFFICULTY IN PERFORMING THOSE STUDIES
3 WOULD BE COMPARABLE?

4 A. YES.

5 Q. IS IT NOT TRUE THAT THE LANCET STUDY INVOLVED 121 DIFFERENT
6 CENTERS IN 26 DIFFERENT COUNTRIES?

7 A. THAT'S CORRECT.

8 Q. WOULD YOU AGREE THAT IT WOULD BE DIFFICULT TO FIND 121
9 DIFFERENT CENTERS IN 26 DIFFERENT COUNTRIES WHERE A
10 SECOND-TRIMESTER SURGICAL ABORTION IS WIDELY AVAILABLE AND
11 WHERE PHYSICIANS ARE CAPABLE IN BOTH -- IN PERFORMING BOTH THE
12 D&E WITH DISARTICULATION AND THE D&E WHERE THE FETUS IS REMOVED
13 INTACT?

14 A. I SUSPECT IT WOULD BE DIFFICULT, ALTHOUGH NOT NECESSARILY A
15 PREREQUISITE OF PERFORMING THE STUDY. YOU WOULD NEED MULTIPLE
16 INSTITUTIONS, NOT NECESSARILY THE SAME NUMBER OR SAME COUNTRIES
17 THAT THE BREECH STUDY WAS CONDUCTED.

18 Q. ARE YOU AWARE IF THERE ARE 26 DIFFERENT COUNTRIES IN THE
19 WORLD WHERE SURGICAL ABORTIONS ARE PERFORMED BY D&E AND INTACT
20 D&E?

21 A. NO, I AM NOT AWARE OF THAT.

22 Q. SPEAKING GENERALLY NOW ABOUT PROSPECTIVE RANDOMIZED TRIALS,
23 WOULD YOU AGREE THAT SOME PROSPECTIVE TRIALS ARE ATTEMPTING TO
24 LOOK AT THE GENERAL SAFETY OF A PARTICULAR TREATMENT OR
25 INTERVENTION IN THE GENERAL POPULATION?

1 A. COULD YOU REPEAT THAT QUESTION, PLEASE?

2 Q. SURE. WOULD YOU AGREE THAT SOME PROSPECTIVE TRIALS THE
3 PURPOSE IS TO LOOK AT A SPECIFIC INTERVENTION OR TREATMENT IN
4 THE GENERAL POPULATION?

5 A. YES.

6 Q. AND THOSE ARE OFTEN A FIRST STEP IN ESTABLISHING THE SAFETY
7 OF AN INTERVENTION OR TREATMENT; IS THAT CORRECT?

8 A. NOW, IF YOU MEAN BY "PROSPECTIVE TRIAL" THE RANDOMIZED
9 TRIAL THAT I HAVE DESCRIBED?

10 Q. YES.

11 A. AND IF YOU MEAN BY "GENERAL POPULATION" THE POPULATION OF
12 INDIVIDUALS THAT HAVE THE CONDITION OR TREATMENT, YES.

13 Q. YES.

14 AND ISN'T IT THE CASE IF A POTENTIAL PARTICIPANT IN
15 ONE OF THOSE TRIALS HAS A VERY SERIOUS HEALTH CONDITION WHICH
16 IS UNRELATED TO WHAT IS BEING STUDIED, THAT HE OR SHE MIGHT BE
17 EXCLUDED FROM THE STUDY?

18 A. YES.

19 Q. IN FACT, I DON'T KNOW IF YOU REMEMBER, BUT IN THE LANCET
20 STUDY WE WERE JUST TALKING ABOUT, MULTIPLE GESTATIONS WERE
21 EXCLUDED; IS THAT CORRECT? AND WOMEN WITH A GESTATIONAL AGE
22 LESS THAN 37 WEEKS WERE EXCLUDED; IS THAT CORRECT?

23 A. YES.

24 Q. AND IF THE FETUS WAS JUDGED TO BE CLINICALLY LARGE OR TO
25 HAVE AN ESTIMATED FETAL WEIGHT OF 400 GRAMS OR MORE THEY WERE

1 EXCLUDED?

2 A. YES.

3 Q. AND IF THERE WAS A FETAL ANOMALY OR CONDITION THAT MIGHT
4 CAUSE A MECHANICAL PROBLEM AT DELIVERY, SUCH AS HYDROCEPHALUS
5 THEY WERE EXCLUDED?

6 A. I AM AWARE OF THAT, YES.

7 Q. AND THAT IS TYPICAL IN GENERAL SAFETY STUDIES TO EXCLUDE
8 PEOPLE WITH CONDITIONS?

9 A. YES.

10 Q. THAT ARE UNRELATED TO THE TREATMENT, CORRECT? YES?

11 A. YES.

12 Q. SO IS IT FAIR, THEN, TO SAY THAT A PROSPECTIVE RANDOMIZED
13 TRIAL SUCH AS THAT WOULD NOT TELL YOU HOW THE TREATMENT OR
14 INTERVENTION BEING STUDIED WOULD BE TOLERATED BY A PERSON WITH
15 ONE OF THOSE MEDICAL CONDITIONS THAT IS NOT THE SUBJECT OF THE
16 STUDY?

17 A. IF IN CONSTRUCTING THE STUDY YOU ELIMINATED CERTAIN
18 PATIENTS, INDEED, THE STUDY WOULD NOT APPLY -- THE RESULTS OF
19 THE STUDY WOULD NOT APPLY TO THOSE PATIENTS WHO HAD BEEN
20 EXCLUDED.

21 Q. AND EVEN THEN IF THE STUDY SHOWED THAT THAT TREATMENT OR
22 INTERVENTION HAD CERTAIN ADVANTAGES IN THE GENERAL POPULATION,
23 IT MIGHT BE THE CASE THAT PEOPLE WITH A CERTAIN SERIOUS
24 UNDERLYING MEDICAL CONDITION SHOULD NOT HAVE THAT TREATMENT;
25 ISN'T THAT TRUE?

1 A. THAT'S TRUE.

2 Q. AND BY THE SAME TOKEN, IF THAT SORT OF TRIAL DEMONSTRATED A
3 TREATMENT OR INTERVENTION DID NOT BENEFIT THE GENERAL
4 POPULATION, IT STILL MIGHT BE BETTER FOR ANY SPECIFIC PATIENT
5 WITH A SPECIFIC HEALTH CONDITION; ISN'T THAT RIGHT?

6 A. I THINK THAT IS RIGHT.

7 THERE ARE A LOT OF CONDITIONS THERE.

8 Q. DO YOU WANT ME TO REPEAT IT?

9 A. NO, MA'AM. I THINK I UNDERSTAND IT.

10 Q. YOU OFFERED SOME TESTIMONY ABOUT THE SAFETY ADVANTAGES OR
11 WHETHER OR NOT THERE WERE SAFETY ADVANTAGES ABOUT D&E ABORTIONS
12 WHERE THE FETUS IS REMOVED INTACT UP TO THE CALVARIUM. HAVE
13 YOU EVER PERSONALLY OBSERVED OR SUPERVISED A D&E WHERE THE
14 FETUS WAS REMOVED INTACT UP TO THE CALVARIUM?

15 A. NO, MA'AM.

16 Q. AND YOU'VE NEVER SPOKEN TO ANY PHYSICIAN WHO PERFORMS
17 INTACT D&E'S ABOUT THOSE ABORTIONS, HAVE YOU?

18 A. NO.

19 Q. AND THE ONLY MATERIALS YOU REVIEWED TO PREPARE YOUR
20 ORIGINAL EXPERT REPORT WERE DR. PAUL'S TEXTBOOK, THE HASKELL
21 PAPER THAT YOU WERE JUST LOOKING AT, AND ANOTHER NONPUBLISHED
22 PAPER; IS THAT CORRECT?

23 A. AS RELATES TO THE ABORTION ASPECTS. I REVIEWED OTHER
24 THINGS BECAUSE THE QUESTIONS WENT BEYOND THAT TO SUCH THINGS AS
25 THE INCIDENCE OF NEONATAL DEATH AFTER LATE GESTATIONAL AGE.

1 Q. THOSE WERE THE ONLY MATERIALS THAT RELATED TO REMOVAL OF A
2 FETUS INTACT DURING A D&E; IS THAT CORRECT?

3 A. YES.

4 Q. AND DESPITE THAT YOU HAVE DR. GRIMES, WHO IS AN EXPERT ON
5 INDUCED ABORTION AT UNC, YOU HAVEN'T SPOKEN TO HIM ABOUT HIS
6 OPINIONS ABOUT INTACT D&E, HAVE YOU?

7 A. NO, I HAVE NOT.

8 Q. YOU ACTUALLY HAVEN'T SPOKEN TO HIM ABOUT HIS OPINIONS ABOUT
9 D&E ABORTIONS, EITHER, HAVE YOU?

10 A. I DON'T THINK I HAVE SPOKEN TO HIM DIRECTLY. I HAPPEN TO
11 KNOW HIS LITERATURE WELL, AND HE'S WRITTEN EXTENSIVELY ABOUT
12 IT, SO I THINK I HAVE SOME IDEA ABOUT WHAT HIS IMPRESSIONS ARE.

13 Q. WOULD YOU AGREE WITH ME, DOCTOR, THOUGH, THAT THERE IS A
14 BODY OF MEDICAL OPINION WHICH CONSISTS OF RESPONSIBLE GROUP OF
15 PHYSICIANS THAT HOLD THE OPINION THAT INTACT REMOVAL OF A FETUS
16 DURING A SURGICAL ABORTION MAY BE THE SAFEST PROCEDURE FOR SOME
17 WOMEN IN SOME CIRCUMSTANCES?

18 A. YES.

19 Q. AND YOU WOULD AGREE THAT THERE IS NO CONSENSUS IN THE
20 MEDICAL COMMUNITY THAT REMOVAL OF THE FETUS DURING A D&E IS
21 NEVER MEDICALLY NECESSARY; IS THAT RIGHT?

22 A. THAT'S CORRECT.

23 Q. AND YOU'VE OFFERED SOME CRITICISMS OF DR. CHASEN'S STUDY
24 TODAY, BUT IT IS NOT YOUR VIEW THAT HIS STUDY IS OF NO USE, IS
25 IT?

1 A. NO, THAT IS NOT MY VIEW.

2 Q. INDEED, YOU BELIEVE THAT HIS STUDY WOULD HELP A PHYSICIAN
3 DESIGN A PROSPECTIVE RANDOMIZED TRIAL; ISN'T THAT RIGHT?

4 A. YES.

5 Q. AND WOULD YOU AGREE WITH ME THAT A STUDY LIKE DR. CHASEN'S
6 IS OFTEN THE FIRST STEP IN THE PROCESS TOWARDS A RANDOMIZED
7 CONTROLLED TRIAL?

8 A. I WOULD AGREE WITH THAT.

9 Q. AND LEAVING DR. CHASEN'S STUDY ASIDE, YOU BELIEVE THAT A
10 VERY CREDIBLE, RESPECTIVE COHORT STUDY COULD BE CONDUCTED
11 COMPARING D&E WHERE THE FETUS IS REMOVED INTACT TO WHERE THE
12 FETUS IS REMOVED IN A D&E BY DISARTICULATION?

13 A. YES, I BELIEVE THAT.

14 Q. AND THAT STUDY WOULD BE VERY SIMILAR TO DR. CHASEN'S,
15 RIGHT?

16 A. YES.

17 Q. AND YOU BELIEVE THAT A PROPERLY CONDUCTED STUDY SUCH AS
18 THAT IS A VALID AND ACCEPTABLE BASIS ON WHICH A PARTICULAR
19 PROCEDURE COULD BECOME WIDELY USED IN THE POPULATION, DON'T
20 YOU?

21 A. I DO.

22 Q. AND I AM CORRECT, AREN'T I, THAT YOU CAN'T PROPERLY CONDUCT
23 A RETROSPECTIVE CASE CONTROLLED STUDY UNTIL THE TECHNIQUE HAS
24 BEEN USED IN AN APPROPRIATELY LARGE NUMBER OF CASES?

25 A. CORRECT.

1 Q. AND IN ORDER TO CONDUCT THAT STUDY, SOME PASSAGE OF TIME
2 MUST OCCUR AFTER THE TECHNIQUE IS INTRODUCED SO THAT A
3 SUFFICIENT NUMBER OF THOSE CASES HAVE OCCURRED; ISN'T THAT
4 CORRECT?

5 A. THAT'S CORRECT.

6 Q. AND THAT PERIOD OF TIME COULD BE YEARS; IS THAT RIGHT?

7 A. YES.

8 Q. AND THE PERIOD OF TIME WOULD DEPEND ON THE FACTS AND
9 CIRCUMSTANCES OF THE ACTUAL TECHNIQUE THAT IS INVOLVED IN THE
10 STUDY; ISN'T THAT CORRECT?

11 A. THAT'S CORRECT.

12 Q. AND YOU AGREE THAT IF A PROCEDURE IS OUTLAWED BEFORE IT HAS
13 BEEN PERFORMED THAT NUMBER OF TIMES, THEN SUCH A STUDY COULD
14 NEVER BE CONDUCTED; ISN'T THAT RIGHT?

15 A. WELL, IT COULDN'T BE CONDUCTED IN AREAS WHERE THE PROCEDURE
16 WAS OUTLAWED.

17 Q. AND DO YOU WANT TO EXPLAIN WHAT YOU MEAN BY THAT?

18 A. WELL, IN ANOTHER SETTING YOU MIGHT BE ABLE TO PERFORM A
19 STUDY OF THESE TWO PROCEDURES WHERE THE PROCEDURE HAD NOT BEEN
20 OUTLAWED.

21 Q. IF IT WAS OUTLAWED IN THE UNITED STATES, WOULD THE ONLY WAY
22 TO STUDY IT WOULD BE TO DO IT IN ANOTHER COUNTRY?

23 A. YES, OR ALTER THE PROCEDURE IN SOME WAY SO YOU MODIFY THE
24 PROCEDURE SO IT WOULD NOT -- IT WOULD NOT VIOLATE THE LAW, IF
25 THERE WAS A LAW, ALTHOUGH YOU WOULD NOT BE STUDYING THE

1 ORIGINAL PROCEDURE ON A LIVE BABY. YOU WOULD NOT BE ACTUALLY
2 DOING A STUDY ON ABORTIONS OF LIVE INFANTS. LIVE FETUSES.
3 EXCUSE ME. LIVE FETUSES.

4 Q. AND IS THE REFERENCE TO A "LIVE FETUS" SOMETHING ABOUT THE
5 LAW AT ISSUE IN THIS CASE?

6 A. YES, I BELIEVE IT IS.

7 Q. AND CAN YOU EXPLAIN WHAT YOU MEAN BY MODIFYING SO YOU DON'T
8 HAVE A LIVE BABY?

9 A. WELL, THERE ARE CERTAIN ABORTION PROCEDURES IN WHICH
10 PHYSICIANS INJECT MEDICATIONS THAT INTENTIONALLY KILL THE FETUS
11 PRIOR TO AN ABORTION PROCEDURE. SO THAT YOU NOW HAVE A FETAL
12 DEATH.

13 AND IT IS MY UNDERSTANDING THAT THESE RESTRICTIONS
14 DO NOT APPLY TO SITUATIONS IN WHICH THE FETUS IS NOT ALIVE, IS
15 DEAD.

16 Q. OKAY. I WOULD LIKE TO ASK YOU SOME QUESTIONS ABOUT THE
17 INJECTION OF THE CHEMICAL AGENT THAT YOU HAVE REFERENCED.

18 IN THE FEW D&E ABORTIONS THAT YOU HAVE PERFORMED
19 SINCE YOUR RESIDENCY WHERE THE FETUS WAS ALIVE AT THE OUTSET,
20 YOU DIDN'T INJECT EITHER POTASSIUM CHLORIDE INTO THE FETAL
21 HEART OR DIGOXIN INTO THE AMNIOTIC SAC TO CAUSE FETAL DEMISE
22 BEFORE YOU BEGAN THE PROCEDURE, DID YOU?

23 A. NO, I DID NOT.

24 Q. AND YOU AGREE THAT THERE IS NO MEDICAL BENEFIT TO THE WOMAN
25 FROM INJECTING THOSE SUBSTANCES IN ORDER TO CAUSE FETAL DEMISE;

1 ISN'T THAT CORRECT?

2 A. I AGREE WITH THAT.

3 Q. IN FACT, YOU WOULD AGREE THAT THERE ARE RISKS TO THE WOMAN
4 EVEN IF THOSE RISKS ARE VERY SMALL ASSOCIATED WITH THOSE
5 INJECTIONS?

6 A. YES, I AGREE.

7 Q. AND THAT THERE IS NO MEDICAL REASON TO SUBJECT THE WOMAN TO
8 THOSE RISKS?

9 A. THAT'S CORRECT.

10 Q. AND YOU WOULD AGREE THAT THOSE INDICATIONS CAN'T BE GIVEN
11 TO ALL WOMEN IN ALL SITUATIONS; IS THAT CORRECT?

12 A. THOSE MEDICATIONS?

13 Q. YES.

14 A. I AGREE WITH IT, YES.

15 Q. FOR EXAMPLE, IT WOULD BE MORE DIFFICULT TO USE THOSE
16 INJECTIONS ON A MORBIDLY OBESE WOMAN; ISN'T THAT RIGHT?

17 A. THAT'S CORRECT.

18 Q. SPEAKING SPECIFICALLY ABOUT INJECTIONS OF POTASSIUM
19 CHLORIDE INTO THE FETAL HEART, YOU WOULD AGREE, WOULD YOU NOT,
20 THAT ALL PHYSICIANS DO NOT HAVE THE SKILL TO PERFORM THAT
21 INJECTION?

22 A. I AGREE WITH THAT.

23 Q. IN FACT, WHEN YOU PREPARED YOUR EXPERT REPORT, COUNSEL FOR
24 THE DEFENDANT ASKED YOU TO STATE IN THAT REPORT THAT IT IS EASY
25 TO ADMINISTER POTASSIUM CHLORIDE INTO A FETAL HEART AND YOU

1 FELT THAT WAS NOT AN APPROPRIATE STATEMENT; IS THAT CORRECT?

2 A. CORRECT.

3 MR. QUINLIVAN: OBJECTION, YOUR HONOR.

4 THE COURT: WHAT IS THE OBJECTION?

5 MR. QUINLIVAN: LACK OF FOUNDATION, THAT I --

6 THE COURT: PARDON ME?

7 MR. QUINLIVAN: LACK OF FOUNDATION.

8 THE COURT: WELL, IT IS BASED ON HIS EXPERT REPORT;

9 IS IT NOT?

10 MR. QUINLIVAN: NO. SHE WAS ASKING ABOUT THE DRAFT

11 EXPERT REPORT.

12 THE COURT: OKAY. WHAT IS YOUR RESPONSE?

13 MS. KRASNOFF: I CAN REPHRASE. I CAN GO TO HIS

14 DEPOSITION WHERE HE TESTIFIED ABOUT IT.

15 THE COURT: WHY DON'T YOU GO TO HIS DEPOSITION?

16 MR. QUINLIVAN: YOUR HONOR, JUST FOR THE RECORD, I

17 WANT TO NOTE THAT WE HAVE NOW GONE FAR BEYOND ANYTHING -- I

18 DIDN'T MENTION ANYTHING ABOUT INJECTIONS OF DIGOXIN OR

19 POTASSIUM CHLORIDE DURING MY OPENING. AND CONSISTENT WITH YOUR

20 RULING WITH RESPECT TO DR. PAUL, IT SEEMS TO ME THAT THE

21 PLAINTIFFS ARE BEING ALLOWED TO QUESTION THE WITNESS FAR BEYOND

22 THE SCOPE OF DIRECT EXAMINATION.

23 THE COURT: OKAY.

24 MS. KRASNOFF: YOUR HONOR, I ACTUALLY DIDN'T ASK

25 ABOUT FETAL DEMISE UNTIL HE SUGGESTED THAT WAS THE WAY TO

1 MODIFY IT.

2 THE COURT: SHE ONLY WENT THROUGH THE DOOR AFTER HE
3 OPENED IT. AND YOU DIDN'T OBJECT AT THAT TIME.

4 I AM GOING TO PERMIT IT. AS I INDICATED, SINCE YOU
5 DID CROSS-DESIGNATE -- I KNOW THAT YOU -- I BELIEVE YOU DID, AS
6 WELL, YOU CROSS-DESIGNATED THEIR WITNESSES. AND I DON'T RECALL
7 THERE BEING AN OBJECTION BY THE PLAINTIFFS AS TO BEYOND THE
8 SCOPE OF YOUR EXAMINATION.

9 MR. QUINLIVAN: ACTUALLY, DURING DR. PAUL'S
10 EXAMINATION I HAD ASKED HER SOME QUESTIONS ABOUT FETAL PAIN,
11 AND THE PLAINTIFFS' COUNSEL OBJECTED AS THAT BEING BEYOND THE
12 SCOPE OF DIRECT EXAMINATION. AND THAT OBJECTION WAS SUSTAINED.

13 THE COURT: WAS THAT SOMETHING ABOUT WHICH SHE WAS
14 QUESTIONED IN HER DEPOSITION?

15 MR. QUINLIVAN: OFF THE TOP OF MY HEAD, I CAN'T
16 REMEMBER.

17 MS. GARTNER: YOUR HONOR --

18 THE COURT: I THOUGHT THAT THAT WAS THE BASIS OF THE
19 OBJECTION. WHAT I AM TRYING TO GET AT HERE, COUNSEL, IS SIMPLY
20 THIS IS A TRIAL BEFORE THE COURT. THERE IS NO JURY. THE RULES
21 ARE RELAXED. I WOULD LIKE TO HEAR AS MUCH INFORMATION WITHOUT
22 HAVING TO CALL WITNESSES BACK A SECOND TIME. THE ONLY
23 CONSTRAINTS THAT I HAVE ENFORCED -- I HOPE CONSISTENTLY -- IS
24 THAT THE -- THAT BOTH SIDES HAVE GIVEN NOTICE WHAT SUBJECT
25 MATTERS ARE THE SUBJECT OF THE TESTIMONY.

1 AND THAT MEANS IF YOU WERE GIVEN AN OPPORTUNITY TO
2 DEPOSE THE WITNESS ON THE SUBJECT OR IF -- OR IF THEIR EXPERT
3 REPORT REFLECTS AN OPINION ON THE SUBJECT, THEN I THINK THE
4 QUESTIONS ARE FINE FROM BOTH SIDES.

5 THAT'S THE ONLY RESTRAINT.

6 MR. QUINLIVAN: VERY WELL, YOUR HONOR.

7 THE COURT: IF YOU HAVE BOTH CROSS-DESIGNATED, I
8 HAVE, I THINK, AFFORDED SOME LATITUDE IN ASKING QUESTIONS.

9 OKAY. AND, INDEED, THE FORM OF THE QUESTION, THERE
10 HAVE BEEN VERY FEW OBJECTIONS AS TO THE FORM OF THE QUESTIONS.
11 I HAVE GIVEN LEEWAY ON CROSS AND DIRECT AS TO THE FORM.

12 OKAY. GO AHEAD.

13 MS. KRASNOFF: IF I MAY GIVE THE WITNESS A COPY OF
14 HIS DEPOSITION.

15 HERE IS ONE FOR THE COURT.

16 PAGES 197 AND 198, BEGINNING AT 197, AT LINE 20.

17 BY MS. KRASNOFF:

18 Q. GIVE YOU TIME TO FIND THAT.

19 A. PAGE WHAT?

20 Q. PAGES 197, LINE 20.

21 DR. BOWES, WAS A DRAFT OF YOUR EXPERT REPORT
22 PREPARED BY COUNSEL FOR THE DEFENDANT IN THIS CASE?

23 A. YES, IT WAS.

24 Q. AND DID THAT DRAFT INCLUDE THE PHRASE THAT IT IS EASY TO
25 ADMINISTER POTASSIUM CHLORIDE INTO A FETAL HEART?

1 A. YES.

2 Q. AND DID YOU REMOVE THAT FROM YOUR EXPERT REPORT?

3 A. YES.

4 Q. AND WAS THE REASON THAT YOU REMOVED THAT IS BECAUSE YOU
5 DIDN'T THINK IT IS APPROPRIATE?

6 A. YES. I DON'T THINK IT IS EASY.

7 Q. IN FACT, YOU BELIEVE THAT ONE NEEDS EXPERTISE TO ADMINISTER
8 POTASSIUM CHLORIDE INTO A FETAL HEART; IS THAT CORRECT?

9 A. YES, I DO.

10 Q. YOU YOURSELF HAVE NEVER DONE THAT?

11 A. THAT'S CORRECT.

12 Q. NOR HAVE YOU TAUGHT YOUR STUDENTS TO DO IT?

13 A. THAT'S CORRECT.

14 Q. AND AT THE UNIVERSITY OF NORTH CAROLINA, POTASSIUM CHLORIDE
15 INJECTIONS ARE GIVEN ONLY BY MATERNAL FETAL MEDICINE
16 SPECIALISTS AND NOT BY THE ATTENDING OB/GYN; ISN'T THAT RIGHT?

17 A. THAT'S CORRECT.

18 Q. TURNING TO DIGOXIN, YOU HAVE NEVER PERSONALLY USED OR
19 OBSERVED DIGOXIN BEING USED TO CAUSE FETAL DEMISE, HAVE YOU?

20 A. I HAVE NOT.

21 Q. AND YOU WOULD IMAGINE, WOULDN'T YOU, THAT IN A WOMAN WITH
22 SEVERE HEART DISEASE YOU MIGHT WANT TO AVOID THE USE OF
23 DIGOXIN; ISN'T THAT TRUE?

24 A. YES.

25 Q. AND YOU AGREE THAT NAUSEA AND VOMITING ARE KNOWN SIDE

1 EFFECTS OF DIGOXIN?

2 A. I AM AWARE OF THAT.

3 Q. AND YOU AGREE THAT ALL THINGS BEING EQUAL, THE PHYSICIAN
4 WOULD LIKE TO AVOID THOSE SORT OF UNPLEASANT SIDE EFFECTS WHEN
5 POSSIBLE?

6 A. YES.

7 Q. AND BEFORE WE MOVED TO THIS TOPIC WE WERE STILL TALKING
8 ABOUT HOW YOU COULD PERFORM A RETROSPECTIVE CASE CONTROLLED
9 STUDY.

10 AND WOULD YOU AGREE WITH ME THAT AS LONG AS THERE
11 ISN'T A HIGH RATE OF COMPLICATIONS FROM A NEW TECHNIQUE OR
12 VARIATION OF A TECHNIQUE THAT UNTIL THERE IS A SUFFICIENT
13 NUMBER OF TIMES IN WHICH IT HAS BEEN PERFORMED, SUCH THAT IT
14 CAN BE PROPERLY STUDIED, IT IS APPROPRIATE TO LEAVE ITS USAGE
15 TO THE INFORMED JUDGMENT OF RESPONSIBLE PHYSICIANS?

16 A. YES.

17 Q. YOUR PRIMARY CRITICISM OF DR. CHASEN'S STUDY IS THAT IT
18 DOESN'T HAVE SUFFICIENT PATIENTS, AND THEREFORE IT DOESN'T HAVE
19 THE POWER TO PROVE THAT THERE IS NO DIFFERENCE BETWEEN THE D&E
20 WITH DISARTICULATION VERSUS THE INTACT D&E GROUP; IS THAT
21 CORRECT?

22 A. THAT'S CORRECT.

23 Q. BUT YOU YOURSELF HAVEN'T FIGURED OUT HOW MANY D&E'S WHERE
24 THE FETUS WAS REMOVED INTACT VERSUS WHERE THE FETUS WAS
25 DISMEMBERED YOU WOULD NEED IN ORDER FOR TO YOU CONSIDER IT

1 RELIABLE, HAVE YOU?

2 A. I HAVE NOT DONE WHAT IS CALLED A FORMAL POWER ANALYSIS TO
3 KNOW PRECISELY HOW MANY PATIENTS WOULD BE REQUIRED.

4 Q. WOULD YOU AGREE THAT AN EPIDEMIOLOGIST MIGHT BE MORE OF AN
5 EXPERT IN ASSESSING THE NUMBER OF PATIENTS NEEDED IN ORDER TO
6 MAKE THAT KIND OF STUDY RELIABLE?

7 A. A BIostatistician WOULD CONDUCT THAT KIND OF -- CONDUCT
8 THAT KIND OF ANALYSIS.

9 Q. AND HE OR SHE WOULD HAVE MORE EXPERTISE THAN YOU IN DOING
10 THAT?

11 A. THAT'S CORRECT.

12 Q. WOULD YOU AGREE THAT IF A RETROSPECTIVE STUDY IS PUBLISHED
13 IN A WELL-RESPECTED PEER REVIEWED JOURNAL THAT THE PROCESS OF
14 PEER REVIEW AT LEAST PROVIDES SOME ASSURANCE THAT THE STUDY HAD
15 BEEN RESPONSIBLY AND RELIABLY CONDUCTED?

16 A. SOME ASSURANCE.

17 Q. THAT IS ONE OF THE PURPOSES OF PEER REVIEW, IS IT NOT?

18 A. YES, IT IS.

19 Q. ANOTHER CRITICISM THAT YOU HAVE WITH DR. CHASEN'S STUDY IS
20 THAT YOU BELIEVE THERE WAS INSUFFICIENT FOLLOW-UP WITH THE
21 PATIENTS; IS THAT RIGHT?

22 A. I BELIEVE THAT THE FOLLOW-UP WAS NOT ADEQUATELY DESCRIBED.

23 I DON'T KNOW HOW -- YOU JUST DON'T KNOW ABOUT IT.

24 Q. MIGHT FOLLOW-UP WITH PATIENTS BE A PROBLEM IN MANY
25 RETROSPECTIVE STUDIES?

1 A. YES.

2 Q. AND THAT WOULDN'T NECESSARILY BE UNIQUE TO DR. CHASEN'S
3 STUDY?

4 A. NO.

5 Q. I BELIEVE THE OPINION THAT YOU'RE EXPRESSING TODAY IS THAT
6 DR. CHASEN'S STUDY DOESN'T DEMONSTRATE THE SUPERIORITY OF D&E
7 WHERE THE FETUS IS REMOVED INTACT VERSUS WHERE IT IS
8 DISMEMBERED; IS THAT CORRECT?

9 A. YES, THAT'S CORRECT.

10 Q. BUT YOU WOULD AGREE, WOULD YOU NOT, THAT DR. CHASEN'S STUDY
11 CERTAINLY DOES NOT SUGGEST THAT D&E WHERE THE FETUS IS REMOVED
12 INTACT IS ANY LESS SAFE THAN WHERE THE D&E IS DISARTICULATED?

13 A. I AM AWARE OF THAT.

14 Q. AND YOU ARE NOT AWARE OF ANY EVIDENCE-BASED MEDICINE THAT
15 ESTABLISHES THAT THE REMOVAL OF THE FETUS INTACT DURING THE D&E
16 IS LESS SAFE THAN A D&E WITH DISARTICULATION, ARE YOU?

17 A. I AM NOT AWARE OF ANY.

18 Q. AND YOU ARE NOT AWARE OF ANY STUDY THAT ESTABLISHES THAT
19 REMOVAL OF THE FETUS INTACT DURING A D&E IS ANY LESS SAFE THAN
20 AN INDUCTION ABORTION, ARE YOU?

21 A. I DON'T KNOW OF ANY STUDY THAT HAS COMPARED THOSE.

22 Q. YOU WOULD NOT SAY THAT A HYSTEROTOMY IN ALL BUT VERY RARE
23 CIRCUMSTANCES WOULD BE PREFERABLE TO A D&E WHERE THE FETUS IS
24 REMOVED INTACT, WOULD YOU?

25 A. COULD YOU REPEAT THAT, PLEASE?

1 Q. SURE. YOU WOULDN'T SAY THAT A HYSTEROTOMY IN ALL BUT A
2 VERY RARE CIRCUMSTANCE WOULD BE PREFERABLE TO DOING A D&E WHERE
3 THE FETUS IS REMOVED INTACT, WOULD YOU?

4 A. I WOULD NOT SAY THAT. I MEAN, I THINK I AGREE WITH WHAT
5 YOU JUST SAID.

6 Q. YOU WOULD AGREE THAT IN MOST CASES AN INTACT D&E WOULD BE
7 PREFERABLE TO A HYSTEROTOMY?

8 A. YES.

9 Q. AND YOU AGREE THERE IS NO RELIABLE MEDICAL BASIS UPON WHICH
10 TO SAY THAT INTACT REMOVAL OF A FETUS DURING A D&E IS ANY MORE
11 DANGEROUS TO A WOMAN THAN ANY OTHER ABORTION METHOD; ISN'T THAT
12 RIGHT?

13 A. I BELIEVE THAT DATA IS NOT AVAILABLE.

14 Q. SO IN SOME WAYS -- IF I AM MISCHARACTERIZING YOUR
15 TESTIMONY, PLEASE CORRECT ME -- THE BOTTOM LINE OF YOUR OPINION
16 IS REALLY THAT THERE'S NO EVIDENCE-BASED MEDICINE THAT YOU DEEM
17 RELIABLE EITHER WAY ABOUT THE SAFETY OF D&E WHERE THE FETUS IS
18 REMOVED INTACT; IS THAT RIGHT?

19 A. THAT'S CORRECT.

20 Q. YOU TESTIFIED ON YOUR DIRECT EXAM THAT EPISIOTOMY IS A
21 PROCEDURE THAT WAS THOUGHT TO BE SAFE AND EFFECTIVE, BUT THAT
22 WAS LATER PROVEN TO BE UNTRUE FOR THE GENERAL POPULATION; IS
23 THAT CORRECT?

24 A. IT IS EFFECTIVE. IT IS ITS SAFETY THAT I AM QUESTIONING OR
25 THE STUDY IS QUESTIONING.

1 Q. AND IS THAT BASED ON ANY PARTICULAR STUDY?

2 A. THERE ARE SEVERAL WHAT WE CALL "RANDOMIZED CONTROLLED
3 TRIALS." ONE VERY LARGE STUDY DONE IN ARGENTINA, ANOTHER ONE
4 DONE IN CANADA, AND THE UNITED STATES AT VARIOUS INSTITUTIONS
5 WHICH EFFECTIVELY SHOWED THE SAME THING.

6 Q. AND DESPITE THOSE STUDIES YOU WOULD AGREE THAT EPISIOTOMIES
7 ARE STILL FREQUENTLY PERFORMED IN THE UNITED STATES, JUST NOT
8 IN ALL CASES; IS THAT CORRECT?

9 A. WELL, THEY ARE PERFORMED IN SELECTIVE CASES RATHER THAN
10 BEING PERFORMED MORE OR LESS ROUTINELY IN ALL PATIENTS.
11 WHEREAS, THEY WERE FORMERLY DONE IN ALMOST ALL WOMEN,
12 PARTICULARLY THOSE HAVING THEIR FIRST BABIES. THAT IS NO
13 LONGER TRUE.

14 Q. BUT IT IS UP TO THE PHYSICIAN'S JUDGMENT IN ANY CASE
15 WHETHER OR NOT TO USE EPISIOTOMY; IS THAT CORRECT?

16 A. YES.

17 Q. AND YOU WOULD AGREE THERE IS STILL A ROLE FOR EPISIOTOMY IN
18 MEDICINE DESPITE THE STUDIES THAT YOU ARE TALKING ABOUT?

19 A. YES.

20 Q. AND YOU WOULDN'T SUPPORT A CRIMINAL BAN ON EPISIOTOMIES,
21 WOULD YOU?

22 A. NO, I WOULDN'T.

23 Q. AGAIN, IT IS A PERFECTLY APPROPRIATE TECHNIQUE TO USE WHEN
24 THE PHYSICIAN FEELS IT IS BEST FOR HIS OR HER PATIENT?

25 A. THAT'S CORRECT.

1 Q. SIMILARLY, YOU DISCUSSED ELECTRONIC FETAL MONITORING. AND
2 WOULD YOU ALSO AGREE THAT THAT TECHNIQUE IS STILL USED IN THIS
3 COUNTRY, BUT JUST NOT IN ALL CASES?

4 A. YES, IT IS.

5 Q. AND YOU AGREE THERE IS STILL A ROLE FOR ELECTRONIC FETAL
6 MONITORING IN MEDICAL, EVEN THOUGH THE STUDIES YOU RELY ON HAVE
7 JUST PROVED THAT IT SHOULDN'T BE USED IN EVERY CASE?

8 A. YES.

9 Q. YOU WOULDN'T SUPPORT A CRIMINAL BAN ON ELECTRONIC FETAL
10 MONITORING, WOULD YOU?

11 A. NO, I WOULDN'T.

12 Q. AND YOU ALSO THINK THAT ELECTRONIC FETAL MONITORING IS
13 PERFECTLY APPROPRIATE WHEN THE PHYSICIAN FEELS IT IS BEST FOR
14 HIS OR HER PATIENTS?

15 A. YES.

16 Q. I WOULD LIKE TO TALK TO YOU ABOUT THE FINDINGS OF THE
17 PARTIAL-BIRTH ABORTION BAN ACT.

18 MS. KRASNOFF: MAY I APPROACH THE WITNESS?

19 THE COURT: YES.

20 BY MS. KRASNOFF:

21 Q. THIS IS PLAINTIFF'S EXHIBIT 1. DR. BOWES, IF YOU WOULD
22 TAKE A LOOK AT FINDING NUMBER 2, WHICH IS ON THE FIRST PAGE OF
23 THIS DOCUMENT. DO YOU SEE THAT?

24 A. YES, MA'AM.

25 Q. THAT FINDING STATES, QUOTE:

1 "PARTIAL-BIRTH ABORTION REMAINS A DISFAVORED
2 PROCEDURE THAT IS NOT ONLY UNNECESSARY TO
3 PRESERVE THE HEALTH OF THE MOTHER, BUT, IN
4 FACT, POSES SERIOUS RISKS TO THE LONG-TERM
5 HEALTH OF WOMEN, AND IN SOME CIRCUMSTANCES
6 THEIR LIVES," UNQUOTE.

7 YOU DON'T AGREE WITH THAT FINDING, DO YOU?

8 A. I DON'T. I DO NOT.

9 Q. AND, IN FACT, NO VALID SCIENTIFIC EVIDENCE SUPPORTS THAT
10 FINDING, DOES IT, DR. BOWES?

11 A. NOT IN MY VIEW.

12 Q. IF YOU COULD TURN TO PAGE 4, WHICH IS MARKED S3-4. AND YOU
13 CAN READ FINDING 14 (A) TO YOURSELF. THAT IS QUITE LONG. IF
14 YOU COULD LET ME KNOW WHEN YOU HAVE FINISHED.

15 A. I HAVE READ IT.

16 Q. AND, DR. BOWES, THERE IS NO RELIABLE SCIENTIFIC EVIDENCE
17 THAT DEMONSTRATES THAT PARTIAL-BIRTH ABORTION POSES ANY OF THE
18 RISKS ENUMERATED IN THAT FINDING ON A MORE SERIOUS OR FREQUENT
19 BASIS THAN ANY OTHER ABORTION PROCEDURE, IS THERE?

20 A. NOT THAT I KNOW OF.

21 Q. AND IF YOU COULD FLIP THE PAGE TO PAGE 5 AND TAKE A LOOK AT
22 FINDING 14 (F). DO YOU SEE THAT? IT ACTUALLY JUST SAYS "F"
23 BECAUSE THE "14" IS ON THE PREVIOUS PAGE.

24 A. OKAY.

25 Q. THAT STATES, QUOTE:

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1 "A BAN ON THE PARTIAL-BIRTH ABORTION PROCEDURE
2 WILL THEREFORE ADVANCE THE HEALTH INTERESTS OF
3 PREGNANT WOMEN SEEKING TO TERMINATE A PREGNANCY."

4 IN YOUR OPINION, DR. BOWES, THERE IS NO VALID,
5 RELIABLE SCIENTIFIC EVIDENCE THAT SUPPORTS THAT STATEMENT, IS
6 THERE?

7 A. I BELIEVE THERE IS NOT.

8 Q. AND, IN FACT, YOUR SUPPORT FOR THE PARTIAL-BIRTH ABORTION
9 BAN ACT OF 2003 IS NOT BASED ON ANY CONCERNS FOR PROTECTING
10 MATERNAL HEALTH, IS IT?

11 A. NO, I DON'T THINK THOSE HAVE BEEN ESTABLISHED.

12 Q. RATHER, YOUR SUPPORT FOR THE ACT IS BASED ON YOUR ETHICAL
13 OPPOSITION TO ABORTION IN GENERAL AND PARTICULARLY TO INTACT
14 D&E BEING PERFORMED POST-VIABILITY OTHER THAN TO SAVE THE LIFE
15 OF THE WOMAN; IS THAT CORRECT?

16 A. THAT'S CORRECT.

17 Q. AND YOUR ETHICAL OPPOSITION TO ABORTION PROCEDURES
18 PRE-VIABILITY IS NOT IN ANY WAY PARTICULAR TO THE INTACT D&E
19 PROCEDURE AS OPPOSED TO OTHER METHODS OF ABORTION; IS THAT
20 CORRECT?

21 A. THAT'S CORRECT.

22 Q. AND YOU WOULD SUPPORT A BAN ON ALL ABORTIONS AS LONG AS IT
23 CONTAINED AN EXCEPTION FOR WHEN THE WOMAN'S LIFE WAS AT RISK,
24 WOULD YOU NOT?

25 A. YES, I WOULD.

1 Q. AND ISN'T IT TRUE YOU WOULD NOT PERFORM AN ABORTION TO SAVE
2 THE LIFE OF ONE OF YOUR PATIENTS UNLESS YOU WERE SATISFIED THAT
3 THE LIKELIHOOD THAT SHE WOULD DIE IS MORE THAN 50 PERCENT?

4 A. YES.

5 Q. WOULD YOU AGREE WITH ME THAT IN ANY GIVEN CASE OTHER
6 PHYSICIANS MIGHT DISAGREE ABOUT THAT PERCENTAGE OR WHAT THE
7 LIKELIHOOD IS THAT A WOMAN MIGHT DIE?

8 A. YES.

9 Q. AND YOU WOULD FAVOR A BAN ON ABORTIONS EVEN IN CASES WHERE
10 THE PREGNANCY WAS THE RESULT OF RAPE AND INCEST; ISN'T THAT
11 RIGHT?

12 A. I HAVE STATED THAT, YES.

13 Q. I WANT TO CLARIFY ONE THING FROM YOUR DIRECT TESTIMONY,
14 WHICH IS THAT YOU STATED THAT THE TERM "PARTIAL-BIRTH ABORTION"
15 APPEARED IN THE NEW ENGLAND JOURNAL OF MEDICINE. AM I CORRECT
16 THAT THAT WAS IN A LETTER THAT WAS WRITTEN?

17 A. NO.

18 Q. IT WAS -- WAS IT IN AN ARTICLE THAT WAS PEER REVIEWED?

19 A. WELL, IT WAS AN -- THE ARTICLE I AM RECALLING WAS NOT A
20 STUDY, THAT IS A SCIENTIFIC STUDY. IT WAS A DESCRIPTION OF THE
21 PROCEDURE AND THE IMPLICATIONS OF THE LEGISLATION, AND SO
22 FORTH, THAT WAS BEING DESCRIBED.

23 BUT IT WAS -- IN FACT, I THINK THE TITLE OF IT WAS
24 "PARTIAL-BIRTH ABORTION."

25 Q. AND --

1 A. SO THOSE TYPES OF ARTICLES ARE NOT SUBJECT TO PEER REVIEW.
2 THESE ARE DECISIONS MADE BY THE EDITORS TO -- BUT NOT
3 NECESSARILY SUBJECTED -- THE MANUSCRIPT HAS NOT BEEN SUBJECTED
4 TO PEER REVIEW. THESE ARE COMMENTARIES.

5 Q. SO JUST TO BE CLEAR, THIS WAS NOT SUBJECTED TO PEER REVIEW;
6 IS THAT CORRECT?

7 A. THAT'S CORRECT.

8 Q. GOING OVER SOME OF YOUR EXPERIENCE WITH ABORTION
9 PROCEDURES, YOUR ONLY FORMAL TRAINING IN ABORTION TECHNIQUES
10 WAS DURING YOUR RESIDENCY WHICH WAS BETWEEN 1962 AND 1965; IS
11 THAT RIGHT?

12 A. THAT'S CORRECT.

13 Q. AND THE ONLY SECOND TRIMESTER ABORTION METHOD THAT YOU
14 RECEIVED TRAINING IN DURING YOUR RESIDENCY WAS THE INDUCTION
15 METHOD; IS THAT CORRECT?

16 A. YES.

17 Q. AND YOU WERE NOT TRAINED IN THE DILATION AND EVACUATION OR
18 D&E PROCEDURE DURING YOUR RESIDENCY; IS THAT RIGHT?

19 A. THAT'S CORRECT.

20 Q. AND THAT'S BECAUSE D&E WASN'T BEING PERFORMED IN COLORADO
21 IN THE 60'S, RIGHT?

22 A. THAT'S CORRECT.

23 Q. AND YOU HAVEN'T RECEIVED ANY FORMAL TRAINING ON ABORTION
24 TECHNIQUES OR PROCEDURES SINCE BEING 1965; IS THAT RIGHT?

25 A. THAT'S CORRECT.

1 Q. AND IN THE CLOSE TO 40 YEARS SINCE YOU COMPLETED YOUR
2 RESIDENCY YOU HAVE ONLY PARTICIPATED IN BETWEEN FOUR TO SIX TO
3 10 -- FEWER THAN 10 -- SECOND-TRIMESTER ABORTIONS WHERE THE
4 FETUS WAS ALIVE AT THE BEGINNING OF THE PROCEDURE; IS THAT
5 CORRECT?

6 A. THAT'S CORRECT.

7 Q. AND YOU YOURSELF DID NOT PERFORM THESE ABORTIONS; IS THAT
8 CORRECT?

9 A. NO, I WAS SUPERVISING THE ABORTION WITH THE RESIDENT.

10 Q. SO YOU SUPERVISED THEM, RIGHT?

11 A. YES.

12 Q. AND IN NONE OF THESE FIVE TO 10 INDUCED ABORTIONS THAT YOU
13 SUPERVISED DID THE FETUS HAVE A GESTATIONAL AGE THAT WAS
14 GREATER THAN 20 WEEKS LMP; IS THAT RIGHT?

15 A. I BELIEVE THAT'S CORRECT, YES.

16 Q. AND YOU TESTIFIED ON DIRECT THAT OF THE 10, ONLY TWO OR
17 THREE WERE ACTUALLY BY THE D&E METHOD; IS THAT RIGHT?

18 A. THAT'S CORRECT.

19 Q. THE REMAINING SEVEN OR EIGHT WERE BY INDUCTION?

20 A. YES.

21 Q. GIVEN THE FACT THAT YOU HAVEN'T PERFORMED ANY YOURSELF AND
22 HAVE SUPERVISED TWO OR THREE D&E'S ON FETUSES THAT HAVE NOT
23 NATURALLY DEMISED, WOULD YOU EXPERIENCE YOUR EXPERIENCE IN
24 D&E'S ON A LIVE FETUS IS QUITE LIMITED?

25 A. I WOULD AGREE.

1 Q. I FORGOT TO ASK YOU THIS, BUT YOU HAVE NEVER PERSONALLY
2 OBSERVED OR SUPERVISED A D&E WHERE THE FETAL WAS REMOVED FROM
3 THE UTERUS INTACT UP TO THE CALVARIUM?

4 A. I HAVE NOT.

5 Q. AND YOU TESTIFIED YOU'VE BEEN INVOLVED IN THE PERFORMANCE
6 OF 150 SECOND-TRIMESTER ABORTIONS ON FETUSES THAT HAVE ALREADY
7 DEMISED BEFORE THE PROCEDURE HAD BEGUN; IS THAT CORRECT?

8 A. YES. THOSE AREN'T TECHNICALLY CALLED "ABORTIONS," BUT THEY
9 ARE PREGNANCY TERMINATIONS IN THE SITUATION OF FETAL DEATH.

10 Q. THOSE 150 WERE OVER A 40-YEAR PERIOD; IS THAT RIGHT?

11 A. YES.

12 Q. AND MOST OF THOSE WERE DONE BY INDUCTION; IS THAT RIGHT?

13 A. YES.

14 Q. IN FACT, APPROXIMATELY ONE OR TWO PER YEAR THAT WERE DONE
15 USING THE D&E METHOD; IS THAT RIGHT?

16 A. THAT'S CORRECT.

17 Q. AND IN THE ONE-- IN THE TWO D&E'S PER YEAR THAT YOU WERE
18 INVOLVED IN, THE RESIDENT WAS ACTUALLY PERFORMING THE PROCEDURE
19 AND YOU WERE SUPERVISING; IS THAT RIGHT?

20 A. THAT'S CORRECT.

21 Q. AND SOMETIMES IN THESE SITUATIONS OF FETAL DEATH, THE FETUS
22 WOULD HAVE BEEN DEAD FOR HOURS BEFORE THE PROCEDURE BEGAN; IS
23 THAT RIGHT?

24 A. YES.

25 Q. AND SOMETIMES THE FETUS HAD BEEN DEAD FOR A DAY OR MORE

1 BEFORE THE PROCEDURE BEGAN; IS THAT CORRECT?

2 A. YES.

3 Q. AND THE PASSAGE OF TIME AFTER DEMISE CHANGES THE NATURE OF
4 THE FETAL TISSUE; DOES IT NOT?

5 A. YES, IT DOES.

6 Q. AND AM I CORRECT THAT THAT PROCESS IS CALLED "MACERATION"?

7 A. YES.

8 Q. AND YOU WOULD AGREE THAT FOLLOWING A FETAL DEATH THE
9 COMPOSITION AND CONSISTENCY OF THE FETAL BODY IS DIFFERENT THAN
10 IT WAS THAN WHEN AN ABORTION WAS BEGUN ON A LIVING FETUS; WOULD
11 YOU NOT?

12 A. YES.

13 Q. AND YOU AGREE THAT THERE ARE SOME DIFFERENCES IN THE CASE
14 OF FETAL DEMISE IN AN INDUCTION ABORTION COMPARED TO WHEN THE
15 FETUS IS LIVING AT THE OUTSET; IS THAT RIGHT?

16 A. COULD YOU RESTATE THAT? I AM NOT -- I WANT TO BE SURE I
17 UNDERSTAND THAT QUESTION.

18 Q. SURE. ARE THERE ANY DIFFERENCES IN AN INDUCTION ABORTION
19 BETWEEN WHEN IT IS -- IN YOUR EXPERIENCE -- BETWEEN WHEN IT IS
20 DONE ON A LIVING FETUS AND WHEN IT IS DONE ON A FETUS THAT HAS
21 BEEN DEMISED FOR A DAY OR TWO?

22 A. I AM NOT SURE THAT THAT IS TRUE OR NOT. I DON'T KNOW THAT
23 THAT REALLY HAS BEEN STUDIED. IN MY EXPERIENCE, THE LABOR IS
24 PRETTY MUCH THE SAME IN BOTH OF THOSE SITUATIONS.

25 Q. IN YOUR EXPERIENCE THE UTERUS IS EQUALLY RESPONSIVE

1 WHETHER -- TO THE INDUCING AGENTS, WHETHER THE FETUS IS ALIVE
2 OR NOT?

3 A. IN MY EXPERIENCE, YES.

4 Q. AND YOU'VE NEVER TAUGHT ABORTION DIDACTICALLY, HAVE YOU?

5 A. NOT BY "DIDACTICALLY" IF YOU MEAN IN LECTURE AND SOME SUCH
6 THINGS, NO. I HAVE TAUGHT ABORTION PROCEDURES TO AND THE
7 TECHNIQUES FOR PERFORMING THE VARIOUS THINGS THAT WE HAVE
8 DESCRIBED TO RESIDENTS IN CLINICAL SETTINGS, IF THAT IS WHAT
9 YOU MEAN. IF I CAN QUALIFY THAT BY YOU WHAT MEAN BY
10 "DIDACTIC."

11 Q. THAT'S FINE.

12 YOU TESTIFIED THAT YOU SIT ON AN INSTITUTIONAL
13 REVIEW BOARD AT THE UNIVERSITY OF NORTH CAROLINA. NONE OF THE
14 RESEARCH PROJECTS THAT HAVE COME BEFORE YOU AS A MEMBER OF THE
15 INSTITUTIONAL REVIEW BOARD HAVE INVOLVED ABORTION, HAVE THEY?

16 A. NOT THAT I RECALL, NO.

17 Q. YOU HAVE NOT AUTHORED ANY ARTICLES ABOUT ABORTION
18 PROCEDURE, HAVE YOU?

19 A. NO, I HAVE NOT.

20 Q. AND YOU HAVEN'T CONDUCTED ANY PEER REVIEW FOR ANY JOURNAL
21 WITH RESPECT TO ANY ARTICLES ABOUT ABORTION PROCEDURE, HAVE
22 YOU?

23 A. NO.

24 Q. AND YOU HAVE NOT PARTICIPATED IN, CONDUCTED OR BEEN
25 OTHERWISE INVOLVED IN ANY STUDIES OF ANY METHOD OF ABORTION

1 PROCEDURE, HAVE YOU?

2 A. I HAVE NOT.

3 Q. AND YOU RETIRED FROM THE PRACTICE OF MEDICINE IN 1999, I
4 BELIEVE YOU SAID?

5 A. I DID.

6 Q. AND I ASSUME I'M CORRECT THAT WOULD MEAN YOU HAVEN'T
7 PERFORMED ANY ABORTIONS ON DEMISED FETUSES, OR OTHERWISE, SINCE
8 THAT TIME; IS THAT CORRECT?

9 A. THAT'S CORRECT.

10 Q. DR. BOWES, IS IT NOT TRUE THAT WHEN YOU WERE FIRST ASKED IN
11 YOUR DEPOSITION IF YOU CONSIDERED YOURSELF TO BE AN EXPERT ON
12 ABORTION OR ABORTION TECHNIQUE THAT YOU RESPONDED "NO"?

13 A. THAT I RESPONDED "NO" WHEN I WAS FIRST ASKED?

14 Q. YES.

15 A. I DON'T RECALL EXACTLY WHAT I SAID, BUT I AM NOT AN EXPERT
16 ON ABORTION TECHNIQUES.

17 Q. AND YOU ALSO AGREE YOU ARE NOT AN EXPERT ON INDUCED
18 ABORTION AT ALL; IS THAT RIGHT?

19 A. I AM NOT CONSIDERED AN EXPERT ON INDUCED ABORTION.

20 Q. AND YOU WOULD AGREE THAT SOMEONE WHO HAS MORE EXPERIENCE
21 PERFORMING INDUCED ABORTIONS THAN YOU DO WOULD HAVE GREATER
22 EXPERTISE IN THAT AREA, DO YOU NOT?

23 A. YES.

24 Q. AND, DR. BOWES, THIS IS NOT THE FIRST CASE REGARDING AN
25 ABORTION RESTRICTION IN WHICH YOU HAVE OFFERED AN OPINION IN

- 1 FAVOR OF THAT RESTRICTION, IS IT?
- 2 A. NO, THIS IS NOT THE FIRST CASE.
- 3 Q. IN FACT, YOU HAVE MANY TIMES BEEN AN ADVOCATE FOR OTHER
4 RESTRICTIONS AND PROHIBITIONS ON ABORTIONS; IS THAT CORRECT?
- 5 A. SEVERAL TIMES.
- 6 Q. YOU'VE OFFERED SOME SORT OF SWORN TESTIMONY IN SIX
7 DIFFERENT CASES WHERE A STATE HAD BANNED WHAT THEY CALLED
8 "PARTIAL-BIRTH ABORTION"; IS THAT NOT CORRECT?
- 9 A. YES, THAT'S CORRECT.
- 10 Q. AND YOU'VE TESTIFIED UNIFORMLY IN SUPPORT OF THOSE BANS,
11 HAVE YOU NOT?
- 12 A. YES.
- 13 Q. AND, IN PARTICULAR, YOU OFFERED TESTIMONY IN SUPPORT OF
14 RHODE ISLAND'S BAN ON PARTIAL-BIRTH ABORTION?
- 15 A. YES.
- 16 Q. AND WISCONSIN'S?
- 17 A. YES.
- 18 Q. AND WEST VIRGINIA'S?
- 19 A. YES, MA'AM.
- 20 Q. AND NEW JERSEY'S?
- 21 A. YES.
- 22 Q. AND VIRGINIA?
- 23 A. YES.
- 24 Q. AND LAST ONE, MONTANA; IS THAT CORRECT?
- 25 A. YES.

1 Q. AND IN EACH OF THOSE SIX CASES YOU OPINED THAT THE BAN DID
2 NOT POSE A THREAT TO THE WOMEN'S HEALTH; DID YOU NOT?

3 A. YES.

4 Q. AND YOU ALSO FIND THAT THE BAN DID NOT REACH EITHER D&E OR
5 INDUCTION ABORTIONS IN EITHER OF THOSE CASES, DID YOU NOT?

6 A. YES.

7 Q. NOW, YOU TESTIFIED ON DIRECT THAT YOU SENT A LETTER TO
8 REPRESENTATIVE CANADY IN 1995 EXPRESSING YOUR SUPPORT FOR A
9 PREVIOUS VERSION OF THE FEDERAL PARTIAL-BIRTH ABORTION BAN ACT;
10 IS THAT CORRECT?

11 MR. QUINLIVAN: OBJECTION. MISCHARACTERIZES HIS
12 TESTIMONY.

13 THE COURT: I WILL ALLOW THE QUESTION. HE CAN
14 CLARIFY.

15 THE WITNESS: MY LETTER TO DOCTOR -- EXCUSE ME -- TO
16 REPRESENTATIVE CANADY WAS IN RESPONSE TO SPECIFIC QUESTIONS
17 ABOUT ASSERTIONS THAT HAD BEEN MADE REGARDING THE ACT. SO I --
18 IF I RECALL MY LETTER CORRECTLY, IT WAS ONLY RESPONDING TO
19 THOSE SEVERAL QUESTIONS. SUCH THINGS AS NEONATAL SURVIVAL AT
20 VARIOUS GESTATIONAL AGES, ASSERTIONS THAT ALL THE FETUSES WERE
21 DEAD THAT HAD RECEIVED ANALGESIA THE MOTHER -- ANALGESIA GIVEN
22 TO THE MOTHER ALWAYS KILLS THE FETUS, AND SUCH THINGS AS THAT.

23 I SIMPLY RESPONDED TO THAT.

24 BY MS. KRASNOFF:

25 Q. AND WAS YOUR LETTER IN 2003 IN SUPPORT OF THE CURRENT

1 VERSION OF THE BAN TO SENATOR SANTORUM, WAS THAT ALSO SIMPLY
2 RESPONDING TO QUESTIONS THAT WERE ASKED OF YOU?

3 A. YES. I THINK SENATOR SANTORUM, THE QUESTION RELATED TO
4 ASSERTIONS MADE BY DR. PHILIP DARNEY ABOUT THIS PROCEDURE.

5 Q. BUT NO ONE IN CONGRESS SOUGHT YOUR OPINION ON WHETHER YOU
6 THOUGHT THE FINDINGS IN THE BILL WERE ACCURATE OR NOT, DID
7 THEY?

8 A. NO, THEY DID NOT.

9 Q. IF THEY HAD WOULD, YOU HAVE TOLD THEM WHAT YOU TOLD US HERE
10 TODAY, THAT THEY WERE NOT ACCURATE?

11 A. YES.

12 MS. KRASNOFF: WE HAVE NOTHING FURTHER.

13 THE COURT: ALL RIGHT. REDIRECT?

14 REDIRECT EXAMINATION

15 BY MR. QUINLIVAN:

16 Q. DOCTOR, I BELIEVE IN RESPONSE TO A QUESTION FROM
17 MS. KRASNOFF YOU AGREED WITH THE -- AT LEAST THE INTUITIVE
18 ASSESSMENT THAT THE FEWER NUMBER OF PASSES OF FORCEPS INTO THE
19 UTERUS MIGHT OFFER A SAFETY ADVANTAGE IN DOING AN ABORTION
20 PROCEDURE.

21 DO I RECALL YOUR TESTIMONY CORRECTLY?

22 A. I BELIEVE SO, YES.

23 Q. AND JUST TO CLARIFY, WHAT IS YOUR OPINION ABOUT THE
24 VALIDITY OF INTUITIVE ASSESSMENTS ABOUT ANY PROCEDURE SAFETY?

25 A. WELL, THEY ARE JUST THAT. THEY ARE CONJECTURE FROM A

1 PERSON'S EXPERIENCE AND NEED TO BE VALIDATED BY THE VARIOUS --
2 ONE OR MORE OF THE VARIOUS METHODS OF EVIDENCE-BASED MEDICINE
3 THAT I DESCRIBED.

4 Q. AND I BELIEVE MS. KRASNOFF ASKED YOU A FEW QUESTIONS ABOUT
5 YOUR DISAGREEMENT WITH THE CONGRESSIONAL FINDINGS ABOUT THE
6 RELATIVE OR WHAT CONGRESS FOUND TO BE SOME OF THE POSSIBLE
7 HARMS TO THE INTACT D&X PROCEDURE.

8 IS YOUR DISAGREEMENT WITH THOSE CONGRESSIONAL
9 FINDINGS CONSISTENT WITH YOUR TESTIMONY THAT THERE HAS BEEN NO
10 VALID SCIENTIFIC EVIDENCE DEMONSTRATING THE SUPERIORITY OR
11 COMPARATIVE SAFETY OF THE INTACT D&X PROCEDURE?

12 A. YES, IT IS. OR YES, THEY ARE.

13 Q. DOCTOR, LET ME ASK YOU A COUPLE OF QUESTIONS GOING BACK TO
14 THE GRIMES STUDY. AND I THINK WE TALKED A LITTLE BIT ABOUT THE
15 SITUATION OF EQUIPOISE. IS THERE A REASON WHY THERE MAY NOT
16 HAVE BEEN A SITUATION OF EQUIPOISE IN THE GRIMES STUDY, TO YOUR
17 KNOWLEDGE?

18 A. WELL, KNOWING THE SITUATION IN THE UNITED STATES AND IN
19 NORTH CAROLINA AND KNOWING DR. GRIMES' INFLUENCE ON HAVING
20 ESTABLISHED THAT D&E'S ARE, IN GENERAL, A SAFER ABORTION METHOD
21 THAN INDUCTION METHODS, IT WAS NOT TOO SURPRISING TO ME THAT
22 THE PATIENTS -- THAT THERE IS SORT OF A CULTURE OUT THERE THAT
23 ACCEPTS THAT IMPRESSION, AND I THINK A WELL-DOCUMENTED ONE BY
24 DR. GRIMES.

25 AND SO THE FACT THAT WOMEN DID NOT WANT TO CONSENT

1 TO AN ABORTION PROCEDURE DONE BY A LABOR INDUCTION METHOD WAS
2 NOT TOO SURPRISING.

3 NOT THAT THESE PATIENTS HAVE ALL READ DR. GRIMES'
4 ARTICLES, BUT IT'S JUST THAT IN TALKING TO FRIENDS AND
5 ASSOCIATES AND THEIR OWN DOCTORS, THAT IS WHAT THEY WOULD HAVE
6 LEARNED. AND I DON'T THINK DR. GRIMES' IMPRESSION THAT THE
7 INDUCTION PROCEDURE THAT HE WAS OFFERING IN HIS STUDY WAS
8 SUBSTANTIALLY DIFFERENT, I DON'T THINK THAT WAS APPRECIATED.

9 NOW, THAT IS ALL ENTIRE CONJECTURE ON MY PART, BUT
10 IF I MAY.

11 Q. DOCTOR, YOU TALKED ABOUT THE -- THAT EVERY PHYSICIAN
12 WOULDN'T HAVE TRAINING IN PERFORMING AN INJECTION OF POTASSIUM
13 CHLORIDE.

14 LET ME ASK YOU: WOULD EVERY OB/GYN HAVE TRAINING IN
15 PERFORMING AN AMNIOCENTESIS?

16 A. YES.

17 Q. HAVE YOU PERFORMED AMNIOCENTESES DURING YOUR CAREER?

18 A. MANY OF THEM, YES.

19 Q. CAN YOU GIVE ME AN APPROXIMATION OF HOW MANY?

20 A. OVER A THOUSAND.

21 Q. AND WOULD THE PROCESS OF GIVING AN AMNIOCENTESIS BE SIMILAR
22 TO THAT OF GIVING AN INJECTION OF POTASSIUM CHLORIDE OR AN
23 INTRACARDIAC INJECTION OF DIGOXIN?

24 A. WELL, ACTUALLY INJECTING A SUBSTANCE DIRECTLY INTO AN ORGAN
25 OF THE FETUS IS SOMEWHAT MORE DIFFICULT AND REQUIRES MORE

1 EXPERTISE THAN JUST INJECTING IT INTO THE AMNIOTIC FLUID.

2 NOW, THIS WOULD NOT APPLY TO DIGOXIN, WHICH IS
3 SIMPLY INJECTED INTO THE AMNIOTIC FLUID. THAT WOULD REQUIRE
4 ONLY AN AMNIOCENTESIS, THAT IS PUTTING THE NEEDLE INTO THE
5 AMNIOTIC CAVITY.

6 DIRECTING IT INTO A FETAL ORGAN IS SOMEWHAT MORE
7 TECHNICALLY DIFFICULT.

8 Q. DOCTOR, I THINK MS. KRASNOFF ASKED YOU A QUESTION ABOUT
9 WHAT SITUATIONS YOU WOULD PERFORM AN ABORTION IN WHICH THE
10 LIFE -- THERE WAS A QUESTION AS TO THE WHETHER THE LIFE OF THE
11 WOMAN WAS AT RISK.

12 IF YOU DECIDED FOR YOUR OWN PURPOSES THAT YOU WOULD
13 NOT PERFORM THE ABORTION, WHAT WOULD YOU DO?

14 A. WELL, I THINK I STATED BEFORE THAT I WOULD CERTAINLY
15 RECOMMEND THAT THE PATIENT HAVE CONSULTATION WITH OTHER
16 PHYSICIANS WHO FELT DIFFERENTLY ABOUT IT THAN I DID.

17 Q. DOCTOR, I THINK I ASKED YOU THIS THIS MORNING, BUT JUST TO
18 CLARIFY: DOES THE JOURNAL FOR WHICH YOU SERVE AS
19 CO-EDITOR-IN-CHIEF, OBSTETRICAL AND GYNECOLOGICAL SURVEY, DOES
20 THAT JOURNAL PUBLISH ARTICLES ABOUT ABORTION FROM TIME TO TIME?

21 A. YES, IT DOES.

22 Q. AND SOMETIMES DO THOSE ARTICLES SUPPORT WHAT IS POPULARLY
23 KNOWN AS THE "PRO-CHOICE POSITION"?

24 A. YES.

25 Q. AND HAVE YOU EVER TAKEN ANY STEPS TO PROHIBIT THOSE

1 ARTICLES FROM APPEARING IN YOUR JOURNAL?

2 A. NO.

3 Q. AND, DOCTOR, IS THERE SOME STUDY IN THE MEDICAL LITERATURE
4 WHICH YOU ARE NOT TELLING US ABOUT ESTABLISHING THE SAFETY OF
5 INTACT D&E COMPARED TO -- INTACT D&X COMPARED TO D&E BECAUSE OF
6 YOUR PRO-LIFE VIEWS?

7 A. NO.

8 MR. QUINLIVAN: NOTHING FURTHER.

9 THANK YOU, DOCTOR.

10 THE COURT: ANYTHING FURTHER?

11 MS. KRASNOFF: NO, YOUR HONOR.

12 THE COURT: THANK YOU, DR. BOWES. YOU ARE EXCUSED.

13 ALL RIGHT. NOW, YOU ADVISED ME LAST WEEK THAT YOU
14 WOULD BE IN A POSITION OF CALLING ONE OF YOUR WITNESSES EACH
15 DAY BECAUSE OF THEIR TRAVEL SCHEDULES.

16 MR. QUINLIVAN: THAT'S RIGHT. OUR NEXT WITNESS,
17 DR. SPRANG, IS IN TRAVEL TODAY. AND HE WILL BE APPEARING
18 TOMORROW MORNING. WE HAVE SCHEDULED A WITNESS FOR EACH ONE OF
19 THE DAYS. WE DON'T ANTICIPATE ANY OF THOSE WITNESSES WILL BE
20 GOING LONGER THAN ONE DAY. AND THAT WILL ALLOW SUFFICIENT TIME
21 FOR THE PLAINTIFFS TO EXAMINE DR. CHASEN. THEY WILL HAVE THE
22 ENTIRETY OF FRIDAY THE 16TH.

23 THE COURT: ALL RIGHT. WE ARE ADJOURNED UNTIL
24 TOMORROW MORNING AT 8:30.

25 (WHEREUPON, THE PROCEEDINGS WERE ADJOURNED AT

1 11:40 A.M.)

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

DEFENDANT'S WITNESSES:	PAGE	VOL.
BOWES, WATSON		
DIRECT EXAMINATION BY MR. QUINLIVAN	872	6
CROSS-EXAMINATION BY MS. KRASNOFF	942	6
REDIRECT EXAMINATION BY MR. QUINLIVAN	986	6
DEFENDANT'S EXHIBIT:	EVD.	
A-2	875	

