

UNITED STATES DISTRICT COURT
NORTHERN DISTRICT OF CALIFORNIA

BEFORE THE HONORABLE PHYLLIS J. HAMILTON, JUDGE

PLANNED PARENTHOOD)	
FEDERATION OF AMERICA, INC.)	
AND PLANNED PARENTHOOD)	
GOLDEN GATE,)	
)	
PLAINTIFFS,)	
)	
VS.)	NO. C 03-4872 PJH
)	
JOHN ASHCROFT, ATTORNEY)	TUESDAY, APRIL 13, 2004
GENERAL OF THE UNITED)	
STATES, IN HIS OFFICIAL)	SAN FRANCISCO, CALIFORNIA
CAPACITY,)	
)	
DEFENDANT.)	
_____)	

REPORTER'S TRANSCRIPT OF PROCEEDINGS

APPEARANCES:

FOR PLAINTIFFS:	BINGHAM MCCUTCHEON LLP
	THREE EMBARCADERO CENTER
	SAN FRANCISCO, CALIFORNIA 94111-4003
BY:	BETH H. PARKER, ATTORNEY AT LAW
	DEBORAH ADLER, ESQUIRE
	PLANNED PARENTHOOD FEDERATION OF
	AMERICA
	434 W. 33RD STREET.
	NEW YORK, NEW YORK 10001
BY:	EVE C. GARTNER, ESQUIRE

(APPEARANCES CONTINUED ON NEXT PAGE)

REPORTED BY: DIANE E. SKILLMAN, CSR 4909
OFFICIAL COURT REPORTER

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

PLANNED PARENTHOOD FEDERATION OF
AMERICA
1780 MASSACHUSETTS AVENUE, N.W.
WASHINGTON, D.C. 200036
BY: HELENE T. KRASNOFF, ESQUIRE

FOR INTERVENOR OFFICE OF THE CITY ATTORNEY
PLAINTIFFS CITY 1390 MARKET STREET, SUITE 1008
AND COUNTY OF SAN FRANCISCO, CALIFORNIA 94102
SAN FRANCISCO: BY: KATHLEEN SUZANNE MORRIS,
ALEETA MARIE VAN RUNKLE,
DEPUTY CITY ATTORNEYS

FOR DEFENDANT: U.S. DEPARTMENT OF JUSTICE
20 MASSACHUSETTS AVENUE, N.W. ROOM 7128
WASHINGTON, D.C. 20530
BY: MARK THOMAS QUINLIVAN
W. SCOTT SIMPSON,
KAIJA MARIE CLARK,
ASSISTANT U.S. ATTORNEYS

1 TUESDAY, APRIL 13, 2004

8:30 A.M.

2

3

P R O C E E D I N G S

4

THE COURT: ALL RIGHT. GOOD MORNING. ARE YOU READY

5

WITH YOUR NEXT WITNESS?

6

MR. SIMPSON: YES, YOUR HONOR.

7

MS. CLARK: DEFENSE CALLS DR. CURTIS COOK.

8

THE CLERK: PLEASE RAISE YOUR RIGHT HAND.

9

DR. CURTIS COOK,

10 CALLED AS A WITNESS FOR THE DEFENDANT, HAVING BEEN DULY SWORN,

11 TESTIFIED AS FOLLOWS:

12

THE WITNESS: I DO.

13

THE CLERK: PLEASE TAKE THE STAND.

14

PLEASE STATE YOUR NAME FOR THE COURT.

15

THE WITNESS: MY NAME IS DR. CURTIS COOK.

16

THE CLERK: SPELL YOUR LAST NAME.

17

THE WITNESS: C-O-O-K.

18

DIRECT EXAMINATION

19

BY MS. CLARK:

20

Q. GOOD MORNING, DR. COOK.

21

A. GOOD MORNING.

22

Q. WOULD YOU PLEASE TELL THE COURT WHAT YOU DO FOR A LIVING?

23

A. I AM A MATERNAL FETAL MEDICINE SPECIALIST, MEANING THAT I

24

TAKE CARE OF COMPLICATED PREGNANCIES, GENERALLY COMPLICATED BY

25

EITHER MATERNAL MEDICAL CONDITIONS, FETAL ABNORMALITIES OR

1 OBSTETRICAL COMPLICATIONS THAT DEVELOP DURING THE COURSE OF THE
2 PREGNANCY.

3 Q. AND WHERE DO YOU WORK?

4 A. I WORK IN GRAND RAPIDS, MICHIGAN.

5 Q. AT WHAT HOSPITAL?

6 A. I'M BASED AT SPECTRUM HEALTH IN GRAND RAPIDS, BUT WE COVER
7 A NUMBER OF HOSPITALS IN THE WEST MICHIGAN AREA.

8 MS. CLARK: MAY I APPROACH, YOUR HONOR?

9 THE COURT: YES.

10 BY MS. CLARK:

11 Q. I AM SHOWING YOU WHAT HAS BEEN IDENTIFIED AS DEFENDANT'S

12 A-4. IS THIS A COPY OF YOUR CURRICULUM VITAE?

13 A. IT IS.

14 Q. DID YOU CREATE IT?

15 A. I DID.

16 Q. DOES THAT ACCURATELY REFLECT YOUR EDUCATION, WORK
17 EXPERIENCE AND PUBLICATIONS?

18 A. I BELIEVE THAT IT DOES.

19 MS. CLARK: THE GOVERNMENT MOVES TO ADMIT INTO
20 EVIDENCE DEFENDANT'S EXHIBIT A-4.

21 THE COURT: ANY OBJECTION?

22 MS. GARTNER: NO OBJECTION, YOUR HONOR.

23 THE COURT: ADMITTED.

24 THE CLERK: A-4 INTO EVIDENCE.

25 MS. CLARK: THANK YOU.

1 (DEFENDANT'S EXHIBIT A-4
2 WAS RECEIVED IN EVIDENCE.)

3 BY MS. CLARK:

4 Q. DR. COOK, ARE YOU BOARD CERTIFIED IN OB/GYN?

5 A. I AM.

6 Q. ARE YOU BOARD CERTIFIED IN MATERNAL FETAL MEDICINE?

7 A. I AM.

8 Q. AND CAN YOU EXPLAIN A LITTLE BIT MORE, WHAT IS MATERNAL
9 FETAL MEDICINE?

10 A. WELL, MATERNAL FETAL MEDICINE IS A SUBSPECIALTY AREA OF
11 INTEREST. AFTER COMPLETION OF OBSTETRICS AND GYNECOLOGY
12 TRAINING, YOU CAN ELECT TO DO FURTHER TRAINING IN A
13 SUBSPECIALTY AREA OF OBSTETRICS AND GYNECOLOGY.

14 YOU CAN TRAIN IN THE AREA OF FEMALE CANCERS, IN THE
15 AREA OF INFERTILITY OR IN THE AREA OF COMPLICATED PREGNANCIES.
16 AND GENERALLY, THAT ENTAILS ANOTHER THREE YEARS OF TRAINING
17 BEYOND YOUR RESIDENCY PROGRAM TO COMPLETE THAT REQUIREMENT.

18 Q. AND WHAT COURSES OF INSTRUCTION DO YOU GO THROUGH TO BECOME
19 A MATERNAL FETAL MEDICINE SPECIALIST?

20 A. DURING THE COURSE OF THAT TRAINING YOU ARE DEALING WITH THE
21 MOST COMPLICATED OBSTETRICAL CASES. AGAIN, THOSE ARE
22 COMPLICATED BY EITHER PREEXISTING MATERNAL CONDITIONS WHICH
23 ENTAIL A VARIETY OF DISEASES AND PATHOLOGIES, FETAL CONDITIONS
24 WHICH CAN ENTAIL A NUMBER OF STRUCTURAL ABNORMALITIES OR
25 GENETIC OR CHROMOSOMAL ABNORMALITIES, AND, THEN, OBSTETRICAL

1 COMPLICATIONS THAT DEVELOP DURING THE COURSE OF THE PREGNANCY.

2 AS A RESULT OF THOSE COMPLICATIONS, YOU HAVE TO
3 LEARN HOW TO CARE FOR WOMEN THROUGHOUT THE ENTIRE PREGNANCY,
4 WHICH SOMETIMES ENTAILS HAVING TO DELIVER THE BABY PREMATURELY
5 OR END OR TERMINATE THE PREGNANCY FOR THE BENEFIT OF THE
6 MOTHER. AND, ADDITIONALLY, IT GENERALLY INCLUDES TRAINING IN
7 FETAL SURGERY AND FETAL THERAPIES, AS WELL.

8 Q. HAVE YOU HAD TRAINING IN ABORTION TECHNIQUES?

9 A. I HAVE.

10 Q. WHAT KINDS OF TECHNIQUES?

11 A. WE HAVE BEEN TRAINED TO FIND WAYS TO EVACUATE THE UTERUS IN
12 ALL THREE TRIMESTERS USING COMBINATIONS OF MEDICAL AND SURGICAL
13 APPROACHES.

14 Q. AND WHAT ARE SOME OF THOSE KINDS OF SURGICAL APPROACHES
15 THAT YOU HAVE BEEN TRAINED IN?

16 A. WELL, THEY INCLUDE SUCTION EVACUATION, SHARP CURETTAGE,
17 DILATATION AND EVACUATION, INDUCTION OF LABOR WITH MEDICATIONS,
18 HYSTEROTOMY OR CESAREAN DELIVERY.

19 Q. AFTER YOU FINISHED YOUR FELLOWSHIP IN MATERNAL FETAL
20 MEDICINE, WHERE DID YOU GO ON TO BECOME EMPLOYED?

21 A. AFTER I COMPLETED MY FELLOWSHIP TRAINING I RETURNED TO
22 GRAND RAPIDS IN WEST MICHIGAN WHERE I HAD DONE MY OBSTETRICS
23 AND GYNECOLOGY TRAINING AND STARTED WORKING THERE AS A MATERNAL
24 FETAL MEDICINE SPECIALIST.

25 Q. WHEN DID YOU BECOME EMPLOYED AT SPECTRUM HEALTH HOSPITAL?

1 A. IN 1995.

2 Q. WHAT DO YOU DO AT SPECTRUM HEALTH HOSPITAL?

3 A. WELL, I HAVE VARIOUS ROLES AND FUNCTIONS, BUT I SERVE AS
4 THE ASSOCIATE DIRECTOR FOR MATERNAL FETAL MEDICINE. I ALSO
5 HAVE A TEACHING APPOINTMENT WITH MICHIGAN STATE UNIVERSITY.
6 AND PREVIOUSLY I HELPED ADMINISTER OUR OBSTETRICS AND
7 GYNECOLOGY RESIDENCY TRAINING PROGRAM.

8 WE ALSO HAVE TEACHING POSITIONS IN TWO OTHER
9 RESIDENCY PROGRAMS IN WEST MICHIGAN, AND WE SERVE ON THE STAFF
10 OF MULTIPLE OTHER HOSPITALS IN WEST MICHIGAN.

11 Q. AND AS ASSOCIATE DIRECTOR OF MATERNAL FETAL MEDICINE AT
12 SPECTRUM HEALTH, WHAT DOES THAT JOB RESPONSIBILITY ENTAIL?

13 A. WELL, THERE ARE SEVERAL AREAS OF RESPONSIBILITY.
14 OBVIOUSLY, ONE IS JUST THE CLINICAL MANAGEMENT OF COMPLICATED
15 PREGNANCIES. THAT INCLUDES SERVING A VERY WIDE RURAL AREA,
16 BASICALLY ALL OF WESTERN AND NORTHERN MICHIGAN, WHERE WE NOT
17 ONLY TAKE CARE OF PATIENTS REFERRED FROM THAT AREA, BUT WE ARE
18 ALSO RESPONSIBLE TO GOING OUT AND LECTURING AND EDUCATING
19 PHYSICIANS IN THAT REGION ABOUT THE MANAGEMENT OF COMPLICATED
20 PREGNANCIES.

21 WE ALSO TRAIN AND SUPERVISE THE TRAINING OF OUR
22 RESIDENTS IN COMPLICATED PREGNANCIES, AS WELL AS MICHIGAN STATE
23 MEDICAL STUDENTS.

24 THEN, THERE ARE SOME RESEARCH AND ADMINISTRATIVE
25 RESPONSIBILITIES, AS WELL.

1 Q. DO YOU HOLD A SPECIFIC POSITION AT MICHIGAN STATE
2 UNIVERSITY?

3 A. YES, I AM AN ASSOCIATE CLINICAL PROFESSOR IN THE DEPARTMENT
4 OF OBSTETRICS AND GYNECOLOGY.

5 Q. AND WHAT ARE YOUR DUTIES, SPECIFICALLY, IN THAT POSITION?

6 A. PREDOMINANTLY, THE CLINICAL TRAINING OF MEDICAL STUDENTS
7 FROM MICHIGAN STATE, MOSTLY DURING THEIR THIRD-YEAR OBSTETRICS
8 AND GYNECOLOGY CLERKSHIPS; OCCASIONALLY DURING THEIR
9 FOURTH-YEAR ELECTIVES.

10 WE ALSO HAVE SOME FORMAL LECTURES THAT WE GIVE THAT
11 THE MEDICAL STUDENTS ATTEND, AS WELL.

12 Q. DO YOU TEACH EITHER RESIDENTS OR MEDICAL STUDENTS ABOUT
13 PREGNANCY TERMINATIONS IN ALL THREE TRIMESTERS OF PREGNANCY?

14 A. WE DO TRAIN THEM HOW TO EVACUATE THE UTERUS IN ALL THREE
15 TRIMESTERS. SOMETIMES THAT INVOLVES MEDICAL STUDENTS.

16 GENERALLY, IT INVOLVES PREDOMINANTLY OUR MEDICAL RESIDENTS.

17 Q. DOCTOR, WHAT KINDS OF MEDICAL CONDITIONS IN PREGNANCY DO
18 YOU PROVIDE CARE FOR, INCLUDING CONDITIONS EXISTING BEFORE
19 PREGNANCY AND CONDITIONS EXISTING AFTER PREGNANCY?

20 A. WELL, IN THE AREA OF JUST MATERNAL MEDICAL CONDITIONS, THE
21 PREEXISTING CONDITIONS CAN BE A HOST OF MEDICAL CONDITIONS
22 INVOLVING ONE OR MULTIPLE ORGAN SYSTEMS.

23 SO IT CAN INCLUDE CENTRAL NERVOUS SYSTEM
24 ABNORMALITIES FOR THE MOTHER, CARDIOVASCULAR PROBLEMS,
25 PULMONARY PROBLEMS, RENAL PROBLEMS, HEPATIC PROBLEMS OR LIVER

1 PROBLEMS. SO A NUMBER OF PREEXISTING MEDICAL CONDITIONS THERE.
2 IT CAN INCLUDE MALIGNANCIES. IT CAN INCLUDE BLUNT OR
3 PENETRATING TRAUMA TO A PREGNANT WOMAN. IT CAN ALSO INCLUDE
4 DEVELOPED -- PROBLEMS THAT DEVELOP DURING THE COURSE OF THE
5 PREGNANCY, LIKE HEAVY BLEEDING, SEVERE INFECTION, OTHER
6 SURGICAL COMPLICATIONS THAT ARISE FROM ATTEMPTED PROCEDURES
7 DURING PREGNANCY.

8 AND IT CAN ALSO INCLUDE THE DEVELOPMENT OF
9 OBSTETRICAL COMPLICATIONS THAT ARE UNIQUE TO PREGNANCY, LIKE
10 PREECLAMPSIA OR HIGH BLOOD PRESSURE PROBLEMS DURING PREGNANCY
11 AND GESTATIONAL DIABETES, MULTIPLE GESTATION. IT CAN INCLUDE A
12 WHOLE HOST OF COMPLICATIONS.

13 Q. AND WHAT KINDS OF FETAL CONDITIONS IN PREGNANCY DO YOU CARE
14 FOR?

15 A. AGAIN, WE CARE FOR A WHOLE VARIETY OF FETAL COMPLICATIONS
16 THAT GENERALLY CAN BE CATEGORIZED AS GENETIC OR CHROMOSOMAL
17 ABNORMALITIES WHERE A BABY HAS INHERITED A GENETIC ABNORMALITY
18 OR HAS AN ABNORMAL NUMBER OF CHROMOSOMES PRESENT. OR GENERALLY
19 IN THE CATEGORY OF STRUCTURAL ABNORMALITIES WHERE A BABY MAY
20 HAVE ONE OR MULTIPLE ORGAN SYSTEMS INVOLVED.

21 AND THEN, THERE ARE SEVERAL UNIQUE TYPE FETAL
22 CONDITIONS THAT WE CARE FOR, LIKE MULTIPLE GESTATION, CONJOINED
23 TWINS, TWIN-TO-TWIN TRANSFUSION, AND OTHER SORT OF UNIQUE FETAL
24 CONDITIONS IN PREGNANCY.

25 Q. IN YOUR PRACTICE, DO YOU PERFORM MEDICAL PROCEDURES ON

1 LIVING FETUSES?

2 A. WE DO.

3 Q. CAN YOU DESCRIBE SOME OF THE CIRCUMSTANCES IN WHICH YOU
4 PERFORM PROCEDURES ON LIVING FETUSES?

5 A. WE PERFORM A NUMBER OF MEDICAL AND SURGICAL PROCEDURES ON
6 THE FETUS WHILE IT IS STILL INSIDE THE WOMB. THIS CAN INCLUDE
7 TRYING TO CORRECT ABNORMAL FETAL HEART RATE RHYTHMS OR HEART
8 RHYTHMS.

9 IT CAN INCLUDE TRYING TO CORRECT SEVERE FETAL
10 ANEMIA. IT CAN INCLUDE TRYING TO BYPASS CERTAIN STRUCTURAL
11 OBSTRUCTIONS IN THE BABY'S SYSTEM, SUCH AS THE URINARY SYSTEM.

12 IT CAN INCLUDE DECOMPRESSING ABNORMAL FLUID
13 COLLECTIONS IN PORTIONS OF THE BABY.

14 IT CAN INCLUDE BIOPSIES OR OTHER SAMPLINGS. SO
15 THERE IS A NUMBER OF DIFFERENT THERAPIES THAT ARE DEVELOPED IN
16 THE AREA OF FETAL SURGERY OR FETAL MEDICATIONS.

17 Q. ARE ANY OF THESE PROCEDURES LIFE-SAVING PROCEDURES FOR THE
18 FETUS?

19 A. MOST OF THE ONES THAT WE DO ARE, BECAUSE THERE ARE RISKS
20 ASSOCIATED WITH DOING INTRAUTERINE PROCEDURES, SO GENERALLY
21 THERE HAS TO BE A SIGNIFICANT BENEFIT IN ORDER TO ACCEPT THAT
22 LEVEL OF RISK.

23 Q. DO YOU ALSO DELIVER BABIES?

24 A. WE DO.

25 Q. HOW MANY BABIES DO YOU DELIVER IN AN AVERAGE YEAR?

1 A. ON AN AVERAGE YEAR I PROBABLY DELIVER SOMEWHERE IN THE
2 ORDER OF 100 TO 200 BABIES.

3 Q. IS IT FAIR TO SAY THAT YOU ARE DELIVERING BABIES IN THE
4 CONTEXT OF HIGH-RISK PREGNANCIES?

5 A. YES, THAT WOULD BE A FAIR STATEMENT.

6 Q. DO YOU RECEIVE REFERRALS FROM OB/GYN'S OF PATIENTS WITH
7 COMPLICATED PREGNANCIES?

8 A. YES. VIRTUALLY EVERY PATIENT WE SEE IS REFERRED FROM
9 ANOTHER PHYSICIAN. SOMETIMES THOSE ARE NONOB/GYN PHYSICIANS.
10 BUT THE MAJORITY DO COME FROM OBSTETRICIANS AND GYNECOLOGISTS.

11 Q. WHY IS THAT THE CASE?

12 A. IT IS BECAUSE THEY HAVE DEVELOPED A PROBLEM EITHER MATERNAL
13 OR FETAL THAT IS BEYOND THEIR LEVEL OF TRAINING OR THEIR
14 EXPERIENCE OR THEIR COMFORT LEVEL.

15 Q. WHAT IS THE GESTATIONAL AGE FOR PREGNANCIES THAT YOU
16 TYPICALLY ARE INVOLVED WITH?

17 A. MOST OFTEN WE SEE PATIENTS IN THE MID-TRIMESTER OR LATER,
18 IF IT IS A FETAL CONDITION. FOR MATERNAL CONDITIONS, WE
19 SOMETIMES SEE THEM VERY EARLY ON, SUCH AS DIABETICS. BUT
20 CERTAIN MATERNAL CONDITIONS LIKE PREECLAMPSIA ARE
21 LATE-PREGNANCY PHENOMENA.

22 SO WE GET REFERRED PATIENTS IN ALL THREE TRIMESTERS
23 THAT YOU CAN GENERALLY GROUP, DEPENDING ON THE KIND OF PROBLEM
24 THAT THEY DEVELOP.

25 Q. DOCTOR, YOU DO NOT PERFORM ABORTIONS FOR ELECTIVE REASONS;

1 IS THAT RIGHT?

2 A. THAT IS CORRECT. I DO NOT.

3 Q. EVEN THOUGH YOU DO NOT PERFORM ELECTIVE ABORTIONS, DOES
4 YOUR PRACTICE REQUIRE THAT YOU HAVE EXPERTISE IN THE SAFE AND
5 EFFECTIVE METHODS FOR TERMINATING PREGNANCY?

6 A. YES, BECAUSE THERE ARE TIMES WHEN EMPTYING THE UTERUS OR
7 TERMINATING THE PREGNANCY IS NECESSARY FOR THE BENEFIT OF THE
8 MOTHER, SO YOU DO NEED TO BE FAMILIAR WITH THOSE TECHNIQUES,
9 AND I AM.

10 Q. DOCTOR, DOES YOUR PRACTICE ALSO REQUIRE THAT YOU MAY HAVE
11 TO END A PREGNANCY PREMATURELY SUCH THAT THE FETUS IS LIKELY TO
12 DIE FROM TERMINATING THE PREGNANCY?

13 A. I AM SORRY. COULD I HAVE YOU RESTATE THE QUESTION?

14 Q. DOES YOUR PRACTICE REQUIRE THAT YOU MAY HAVE TO END THE
15 PREGNANCY EARLY SUCH THAT THE FETUS IS LIKELY TO DIE FROM THAT
16 PREMATURE TERMINATION OF THE PREGNANCY?

17 A. YES, THAT IS THE CASE AT TIMES.

18 Q. CAN YOU BE A MATERNAL FETAL MEDICINE SPECIALIST WITHOUT
19 KNOWING HOW TO END A PREGNANCY EARLY?

20 A. I DON'T BELIEVE THAT YOU CAN JUST BECAUSE YOU ARE DEALING
21 EXCLUSIVELY WITH COMPLICATED PREGNANCIES.

22 Q. DO YOU, AS A MATERNAL FETAL MEDICINE SPECIALIST, HAVE TO
23 HAVE A FULL UNDERSTANDING OF THE METHODS TO EVACUATE THE UTERUS
24 IN ALL THREE TRIMESTERS?

25 A. I BELIEVE THAT YOU DO.

1 Q. WHY IS THAT?

2 A. WELL, AGAIN, BECAUSE THE PROBLEMS THAT ARISE IN A MATERNAL
3 FETAL MEDICINE PRACTICE, ESPECIALLY ONE OF OUR SCOPE AND
4 VOLUME, ENTAILS FINDING WOMEN THAT HAVE SIGNIFICANT PROBLEMS IN
5 ALL THREE TRIMESTERS. AND SOMETIMES THE ONLY CORRECT
6 MANAGEMENT IN THOSE SITUATIONS IS ENDING THE PREGNANCY SUCH
7 THAT THE WOMAN CAN START RECOVERING FROM THE COMPLICATIONS THAT
8 PREGNANCY POSES TO THEIR HEALTH.

9 Q. DR. COOK, DOES YOUR SPECIFIC PRACTICE INVOLVE PREGNANCY
10 TERMINATION?

11 A. IT DOES.

12 Q. HAVE YOU EVER HAD TO TERMINATE A PREGNANCY WHEN THE FETUS
13 IS STILL LIVING?

14 A. I HAVE.

15 Q. COULD YOU DESCRIBE TO THE COURT THE CIRCUMSTANCES IN WHICH
16 YOU HAVE HAD TO TERMINATE A PREGNANCY BOTH PREVIABILITY AND
17 POSTVIABILITY?

18 A. WELL, THE PREVIABILITY LINE CONTINUES TO SHIFT TO EARLIER
19 GESTATIONAL AGES, AND CURRENTLY IS APPROXIMATELY 23 WEEKS
20 GESTATION. SO, THE TIMES THAT WE HAVE TO TERMINATE A PREGNANCY
21 PRIOR TO 23 WEEKS HAS BECOME LESS AND LESS THAN IT WAS WHEN
22 VIABILITY WAS 25 WEEKS OR 28 WEEKS.

23 BUT STILL, PROBABLY ABOUT 10 PERCENT OF THE TIME ARE
24 WOMEN THAT WE CARE FOR DEVELOP A PROBLEM THAT IS EITHER
25 SIGNIFICANTLY WORSENING OR NONRESPONSIVE TO OUR THERAPIES THAT

1 REQUIRE US TO DELIVER HER PREMATURELY, SOMETIMES EVEN PRIOR TO
2 23 WEEKS.

3 IN OUR PRACTICE, THAT MOST COMMONLY RESULTS FROM
4 WOMEN THAT DEVELOP VERY SEVERE HYPERTENSIVE COMPLICATIONS OR
5 SEVERE INFECTIOUS OR BLEEDING COMPLICATIONS.

6 Q. ARE THERE ANY DIFFERENCES, FOR EXAMPLE, THE DOSES OF
7 MEDICINE IN WHICH -- IN THE WAY IN WHICH YOU PERFORM A
8 TERMINATION WHEN THE FETUS IS PREVIABLE VERSUS POSTVIABLE?

9 A. YES. WHEN WE ARE TALKING ABOUT AN INFANT THAT IS 23 WEEKS
10 OR GREATER, THEN THERE IS OBVIOUSLY A FETAL INTEREST AT PLAY,
11 AS WELL. AND WE ARE MATERNAL FETAL MEDICINE SPECIALISTS, SO WE
12 HAVE AT LEAST TWO PATIENTS IN ANY GIVEN PREGNANCY, AND
13 SOMETIMES MORE WITH MULTIPLE GESTATION.

14 SO WE TRY TO EFFECT THE DELIVERY IN THE MANNER THAT
15 IS SAFEST FOR THE MOTHER, YET STILL RETAINS THE BEST
16 POSSIBILITY FOR A GOOD OUTCOME OF SURVIVAL FOR THE FETUS IF WE
17 ARE DOING IT AT 23 WEEKS OR LATER.

18 IF WE ARE DOING IT LESS THAN 23 WEEKS, OBVIOUSLY THE
19 FETAL INTERESTS HAVE LESS OF A CONCERN, SO WE MIGHT USE
20 DIFFERENT TYPES OF MEDICATIONS OR HIGHER DOSES OF MEDICATIONS
21 OR LESS MONITORING OF THE FETAL CONDITION.

22 THERE ARE A NUMBER OF ADJUSTMENTS WE MIGHT MAKE IN
23 THAT SCENARIO.

24 Q. WHEN YOU ARE TERMINATING A PREGNANCY PREVIABILITY, WHAT IS
25 YOUR PRIMARY CONCERN IN PERFORMING THAT TERMINATION?

1 A. OUR PRIMARY CONCERN IS TRYING TO FIND THE SAFEST AND MOST
2 EXPEDITIOUS MANNER TO SEPARATE THE MOTHER FROM THE FETUS AND
3 THE PLACENTA FROM THE MOTHER IN ORDER TO CONTINUE THE HEALING
4 PROCESS OR RECOVERED PROCESS FROM THE PREGNANCY.

5 Q. DOCTOR, WHAT IS THE MOST COMMON METHOD OF PREGNANCY
6 TERMINATION YOU CURRENTLY UTILIZE?

7 A. WELL, IN OUR PRACTICE BECAUSE MOST OF THESE CONDITIONS
8 DEVELOP LATER IN GESTATIONAL AGES, OUR PREDOMINANT METHOD IS
9 MEDICAL INDUCTION OF LABOR, WHICH OFFERS US MULTIPLE OTHER
10 BENEFITS THAT ARE SOMEWHAT UNIQUE TO OUR SETTING IN THAT IT
11 ALLOWS US AN INTACT FETUS FOR PATHOLOGIC EVALUATION. ALLOWS US
12 TO DELIVER THE MOTHER IN MOST SAFEST, MOST NATURAL OR
13 PHYSIOLOGIC WAY IN WOMEN THAT TYPICALLY HAVE SIGNIFICANT OTHER
14 MEDICAL CONDITIONS. AND IT ALSO ALLOWS US TO MONITOR THE
15 MOTHERS IN THE SAFEST MANNER.

16 Q. DOCTOR, HOW DO YOU PERFORM THE INDUCTION METHOD OF
17 TERMINATION?

18 A. MOST OFTEN WE USE PROSTAGLANDIN, SUCH AS MISOPROSTOL OR
19 PROSTAGLANDIN E1 SUPPOSITORIES.

20 Q. HOW DO YOU ADMINISTER THOSE PROSTAGLANDINS?

21 A. TYPICALLY, WE ADMINISTER THEM AS VAGINAL SUPPOSITORIES.

22 Q. DO YOU EVER USE THE INSTILLATION METHOD?

23 A. WE DO NOT.

24 Q. HAVE YOU EVER HAD TO PERFORM AN INDUCTION ON A LIVING
25 FETUS?

1 A. I HAVE.

2 Q. DOES THE FETUS EVER DIE IN THE PROCESSES OF THE INDUCTION
3 PROCEDURE?

4 A. IT DOES.

5 Q. HOW OFTEN DO YOU PERFORM INDUCTION, BOTH PREVIABILITY AND
6 POSTVIABILITY?

7 A. WELL, POSTVIABILITY IT IS A VERY REGULAR PART OF OUR
8 PRACTICE AND PROBABLY A WEEKLY PHENOMENA WHEN A WOMAN DEVELOPS
9 A CONDITION WHEREBY WE THINK IT IS IN HER BEST INTEREST TO
10 DELIVER PREMATURELY, MEANING LESS THAN 37 WEEKS GESTATION, BUT
11 AFTER 23 WEEKS GESTATION.

12 AS FAR AS THE NUMBER THAT WE DO PRIOR TO 23 WEEKS
13 GESTATION, THAT IS A MUCH LESSER PHENOMENA, EVEN IN OUR
14 PRACTICE. AND THAT MAY OCCUR ONE OR TWO TIMES A MONTH.

15 Q. WHY IS INDUCTION THE PREDOMINANT METHOD OF PREGNANCY
16 TERMINATION THAT YOU UTILIZE IN YOUR PRACTICE?

17 A. AGAIN, IT'S SORT OF RELATED TO THREE BASIC PHENOMENA. ONE
18 IS THE GESTATIONAL AGE WITH WHICH WE ARE USUALLY WORKING WITH,
19 WHICH IS USUALLY MORE THAN 20 WEEKS GESTATION. ALSO, IT HAS TO
20 DO WITH THE PREEXISTING MATERNAL MEDICAL CONDITIONS WHERE WE
21 WANT TO TRY TO AVOID SOME OF THE COMPLICATIONS THAT SURGERY
22 WOULD POSE. SO WE WOULD PREFER A MEDICAL OR A VARIATION OF A
23 NORMAL LABOR PROCESS.

24 THE THIRD WOULD BE BEING ABLE TO MAXIMIZE OUR
25 ABILITY TO EVALUATE THE INTACT FETUS, INCLUDING AN INTACT

1 CENTRAL NERVOUS SYSTEM AFTER DELIVERY.

2 THERE ARE ALSO SOME BENEFITS IN BEING ABLE TO
3 MONITOR HER LABOR MORE ACCURATELY WHEN SHE CAN CONTINUE TO GIVE
4 US FEEDBACK ABOUT SYMPTOMS. WE CAN UTILIZE CERTAIN OTHER TYPES
5 OF HIGHER TECHNOLOGY MONITORING DURING THE COURSE OF THOSE
6 LABOR PROCESSES.

7 Q. BETWEEN WHAT GESTATIONAL AGES DO YOU PERFORM THE INDUCTION
8 METHOD OF PREGNANCY TERMINATION?

9 A. BASICALLY, ANYWHERE FROM 16 WEEKS UNTIL TERM.

10 Q. IS IT FAIR TO SAY THAT YOU DO NOT OPPOSE HAVING TO ACT TO
11 PROTECT WOMEN FROM A SERIOUS MEDICAL CONDITION EVEN IF THAT
12 MEANS A LIVING FETUS MIGHT DIE?

13 A. I AM SORRY. COULD I HAVE YOU REPEAT THAT ONE MORE TIME?

14 Q. IS IT FAIR TO SAY THAT YOU DO NOT OPPOSE HAVING TO ACT TO
15 PROTECT WOMEN FROM A SERIOUS MEDICAL CONDITION, MATERNAL
16 MEDICAL CONDITION, EVEN IF THAT MEANS A LIVING FETUS MIGHT DIE?

17 A. YES, THAT IS A TRUE STATEMENT. WE WOULD NEVER SACRIFICE A
18 MOTHER IN ORDER TO TRY TO SAVE A FETUS, ALTHOUGH FREQUENTLY WE
19 DON'T HAVE TO MAKE THAT CHOICE. OFTENTIMES, WE CAN SUPPORT A
20 MOTHER TO THE POINT AT WHICH HER BABY HAS A CHANCE FOR
21 SURVIVAL, AS WELL, WITHOUT POSING ANY INCREASED OR SIGNIFICANT
22 RISK TO HER HEALTH OR LIFE.

23 Q. HAVE YOU HAD AN OCCASION TO PERFORM AN ABORTION BY A METHOD
24 OTHER THAN INDUCTION?

25 A. I HAVE.

1 Q. WHAT METHODS?

2 A. WELL, WE HAVE HAD SITUATIONS WHERE WE HAVE HAD TO EVACUATE
3 THE UTERUS SURGICALLY ON INFANTS THAT NO LONGER ARE LIVING AT
4 THAT TIME OR HAVE FAILED OTHER METHODS OF ATTEMPTED ABORTION.

5 WE HAVE ALSO DONE IT ON SITUATIONS WHERE WE HAVE TO
6 USE A SURGICAL INCISION INTO THE UTERUS, SUCH AS A C-SECTION OR
7 HYSTEROTOMY.

8 WE HAVE ALSO HAD SOME SITUATIONS WHERE WE WOULD HAVE
9 TO DO SUCTION EVACUATIONS IN UNIQUE SITUATIONS BECAUSE OF
10 PREGNANCY COMPLICATIONS.

11 Q. DOCTOR, SEPARATE FROM THE DISTINCT METHODS OF ABORTION THAT
12 YOU HAVE PERFORMED, HAVE YOU IN YOUR PRACTICE EVER HAD TO
13 PERFORM AN INTERNAL PODALIC VERSION ON THE FETUS?

14 A. YES. IN THE SITUATION OF MULTIPLE GESTATIONS, MOST OFTEN A
15 TWIN PREGNANCY WHERE THE FIRST CHILD HAS ALREADY DELIVERED AND
16 THE SECOND CHILD IS LYING IN A TRANSVERSE POSITION, WE WILL
17 OFTENTIMES HAVE TO REACH IN AND TURN THE BABY TO A FEET FIRST
18 POSITION IN ORDER TO FACILITATE THE DELIVERY.

19 Q. DOCTOR, IN YOUR PRACTICE HAVE YOU USED OSMOTIC DILATORS?

20 A. I HAVE.

21 Q. IN WHAT CONTEXT?

22 A. WELL, IN THE DAYS PRIOR TO SOME OF THE NEWER PROSTAGLANDIN
23 FORMULATIONS, MEDICAL INDUCTIONS WERE MORE OFTEN DONE WITH
24 OTHER TYPES OF MEDICATIONS, SUCH AS OXYTOCIN OR PITOCIN. IN
25 THOSE SITUATIONS WE WOULD FREQUENTLY TRY TO PREPARE THE CERVIX

1 PREINDUCTION BY PLACING LAMINARIA INTO THE CERVIX THE DAY PRIOR
2 TO THE INDUCTION.

3 Q. TURNING TO YOUR PROFESSIONAL ORGANIZATIONS THAT YOU BELONG
4 TO, DO YOU BELONG TO ANY PROFESSIONAL ORGANIZATIONS?

5 A. I DO.

6 Q. WHAT ARE SOME OF THOSE ORGANIZATIONS?

7 A. THE AMERICAN MEDICAL ASSOCIATION, THE AMERICAN COLLEGE OF
8 OBSTETRICIANS AND GYNECOLOGISTS, THE SOCIETY OF MATERNAL FETAL
9 MEDICINE, MICHIGAN STATE MEDICAL SOCIETY, CHRISTIAN MEDICAL AND
10 DENTAL ASSOCIATION. THERE IS A NUMBER.

11 Q. YOU ARE A CO-FOUNDER OF PHYSICIANS' AD HOC COMMITTEE FOR
12 TRUTH?

13 A. THAT IS CORRECT.

14 Q. WHAT IS OR WAS THAT GROUP?

15 A. THAT WAS AN AD HOC GROUP OR AN AD HOC COMMITTEE THAT
16 EVENTUALLY GREW TO OVER 400 PHYSICIAN EDUCATORS IN THE AREA OF
17 COMPLICATED PREGNANCIES AND PEDIATRIC MANAGEMENT THEREAFTER WHO
18 GOT TOGETHER SPECIFICALLY FOR THE PURPOSE OF TRYING TO CORRECT
19 A LOT OF THE MEDICAL MISINFORMATION THAT INITIALLY WAS BEING
20 CIRCULATED REGARDING THIS PARTICULAR PROCEDURE.

21 SO THE GROUP'S FOCUS WAS SOLELY ON TRYING TO PROVIDE
22 MEDICAL -- ACCURATE MEDICAL BACKGROUND, POSITION PAPERS ON
23 SPECIFIC DETAILS OF THIS PROCEDURE. WE NEVER ADDRESSED OTHER
24 ABORTION PROCEDURES. WE NEVER ADDRESSED OTHER ETHICAL ISSUES
25 RELATED TO LIFE ISSUES, SUCH AS EMBRYONIC STEM CELL RESEARCH OR

1 ANYTHING LIKE THAT.

2 Q. AND IS "PHACT" THE ACRONYM, CORRECT?

3 A. THAT IS CORRECT.

4 Q. IS THAT STILL IN EXISTENCE?

5 A. WELL, I HAVEN'T BEEN AWARE OF ANY RECENT POSITION PAPERS OR
6 INFORMATION THAT'S BEEN CIRCULATED FROM THAT ORGANIZATION FOR
7 SOME TIME. INITIALLY, THERE WAS A LOT OF ACTIVITY AS WE TRIED
8 TO ADDRESS EACH OF THE AREAS OF MEDICAL MISINFORMATION ONE AT A
9 TIME: DID THE PROCEDURE EVEN EXIST? HOW OFTEN DID IT HAPPEN?
10 IN WHAT SCENARIOS DID IT HAPPEN? THINGS OF THAT NATURE. WHAT
11 THE POTENTIAL RISKS FOR THE PROCEDURE WERE.

12 AS I FEEL WE WERE SUCCESSFUL IN SORT OF CLARIFYING
13 SOME OF THOSE ISSUES, THE GROUP REALLY DOESN'T HAVE A PURPOSE
14 OR A ROLE AT THIS POINT.

15 Q. HAVE YOU WRITTEN ANY PEER-REVIEWED ARTICLES PUBLISHED IN
16 THE FIELD OF OBSTETRICS AND GYNECOLOGY?

17 A. I HAVE.

18 Q. AND ARE THOSE REFLECTED IN YOUR C.V.?

19 A. I BELIEVE THAT THEY ARE. YES. IT SEEMS TO BE FAIRLY
20 COMPLETE.

21 Q. HAVE YOU BEEN AN INVESTIGATOR IN ANY RANDOMIZED STUDIES?

22 A. I HAVE.

23 Q. CAN YOU BRIEFLY DESCRIBE YOUR EXPERIENCE WITH BEING AN
24 INVESTIGATOR?

25 A. WELL, RESEARCH IS OFTEN DONE IN A COLLABORATIVE FASHION, SO

1 THERE ARE SOME RANDOMIZED TRIALS WHERE I WROTE THE PROTOCOLS,
2 AND I PRESENTED IT TO THE INSTITUTIONAL REVIEW BOARD, AND I
3 INITIATED THE STUDY FROM THE BEGINNING.

4 THERE IS OTHERS WHERE I JOINED THE PROCESS IN
5 PROGRESS. AND THERE ARE SOME WHERE WE JUST BECAME THE LOCAL
6 SITE FOR A MULTI-SITE STUDY. SO I HAVE BEEN INVOLVED IN
7 VARIOUS DIFFERENT LEVELS AND VARIOUS DIFFERENT FORMS OF
8 RANDOMIZED CONTROLLED TRIALS.

9 Q. CAN YOU PROVIDE THE COURT WITH ONE EXAMPLE OF A RANDOMIZED
10 CONTROLLED STUDY IN WHICH YOU WERE AN INVESTIGATOR?

11 A. YES. I AM THE PRINCIPAL INVESTIGATOR ON AN ONGOING STUDY
12 THAT WE HAVE NOW THAT IS AN INSTITUTION-SPECIFIC STUDY, MEANING
13 JUST OUR INSTITUTION, LOOKING AT THE ISSUE OF PREMATURE LABOR
14 FOLLOWING EARLY RUPTURE OF THE MEMBRANES AND COMPARING TWO
15 DIFFERENT TREATMENTS FOR THAT PHENOMENA TO EVALUATE THE
16 EVENTUAL MATERNAL AND FETAL OUTCOMES.

17 MS. CLARK: YOUR HONOR, DEFENDANT MOVES TO QUALIFY
18 DR. COOK AS AN EXPERT IN OBSTETRICS, GYNECOLOGY AND PREGNANCY
19 TERMINATION.

20 THE COURT: ANY OBJECTION OR REQUEST TO VOIR DIRE?

21 MS. GARTNER: YES, YOUR HONOR. WE WOULD LIKE TO
22 VOIR DIRE THIS WITNESS.

23 THE COURT: ALL RIGHT.

24 MS. CLARK: WE WOULD ALSO LIKE TO QUALIFY HIM AS AN
25 EXPERT IN MATERNAL FETAL MEDICINE.

1 THE COURT: ALL RIGHT. THANK YOU.

2 VOIR DIRE EXAMINATION

3 BY MS. GARTNER:

4 Q. GOOD MORNING, DR. COOK.

5 A. GOOD MORNING.

6 Q. SORRY. MY NAME IS EVE GARTNER. WE MET IN GRAND RAPIDS.

7 AND HOW LONG HAVE YOU BEEN PRACTICING MEDICINE, DR. COOK?

8 A. WELL, I GRADUATED FROM MEDICAL SCHOOL IN 1989, AND GOT AN
9 INSTITUTIONAL LICENSE FOR PRACTICING MEDICINE AT THAT TIME. I
10 HAD MY FIRST STATE MEDICAL LICENSE IN MICHIGAN IN 1990.

11 Q. SO WOULD YOU SAY ABOUT 14 OR 15 YEARS?

12 A. I WOULD SAY MORE THAN 10 YEARS, BECAUSE YOU ARE IN A
13 TRAINING PROGRAM DURING SOME OF THAT TIME.

14 Q. SO IN THAT 10-PLUS-YEAR PERIOD, YOU'VE PERSONALLY PERFORMED
15 BETWEEN THREE AND FIVE D&E'S; IS THAT CORRECT?

16 A. THAT I MYSELF HAVE PERFORMED, THAT WOULD BE CORRECT.

17 Q. AND NONE OF THEM INVOLVED -- THAT YOU'VE PERFORMED, NONE OF
18 THEM INVOLVED AN EXTRACTION OF A FETUS THAT WAS LIVING AT THE
19 TIME THE PROCEDURE WAS DONE; IS THAT CORRECT?

20 A. RIGHT. MOST OF THEM INVOLVED BABIES THAT HAD BEEN LIVING
21 WITHIN 24 HOURS, BUT NOT, THAT I AM AWARE OF, ONES WHO WERE
22 ACTUALLY LIVING AT THE TIME THE PROCEDURE WAS PERFORMED.

23 Q. AND YOU'VE NEVER PERFORMED A D&E AT 20 WEEKS OR GREATER; IS
24 THAT RIGHT?

25 A. NOT THAT I RECALL, NO.

1 Q. AND IN TERMS OF THE D&E'S THAT YOU'VE SUPERVISED, THOSE
2 HAVE BEEN -- THERE HAVE BEEN LESS THAN 20 OF THOSE, TOTAL; IS
3 THAT CORRECT?

4 A. THAT IS CORRECT. AND MY ROLE IN SOME OF THOSE WAS NOT
5 NECESSARILY SUPERVISION. SOMETIMES IT'S PROVIDING ULTRASOUND
6 GUIDANCE AND ASSISTING A TEAM OF PEOPLE WORKING ON THE CASE.

7 Q. OKAY. AND OF THOSE 20 OR SO D&E'S IN WHICH YOU EITHER
8 SUPERVISED OR ASSISTED IN SOME WAY, FEWER THAN 10 OF THOSE
9 INVOLVED A FETUS THAT WAS LIVING AT THE TIME OF THE PROCEDURE;
10 IS THAT FAIR?

11 A. THAT WOULD BE CORRECT.

12 Q. AND AS FAR AS YOU KNOW, YOU'VE NEVER BEEN PRESENT FOR A D&E
13 THAT TOOK PLACE AT 20 WEEKS OR GREATER?

14 A. NOT THAT I RECALL, NO.

15 Q. AND YOU DON'T CONSIDER YOURSELF AN EXPERT IN D&E TECHNIQUES
16 BETWEEN 20 AND 24 WEEKS GESTATION?

17 A. NO, I CONSIDER MYSELF AWARE AND EXPERIENCED WITH THE
18 VARIOUS TECHNIQUES THAT ARE INVOLVED. I JUST HAVEN'T
19 PERSONALLY PERFORMED THEM AT THAT GESTATIONAL AGE.

20 Q. RIGHT. SO YOU ARE NOT AN EXPERT IN THEM, BECAUSE YOU HAVE
21 NEVER DONE THEM?

22 A. I AM NOT AN EXPERT IN PERFORMING D&E AT 20 TO 24 WEEKS.

23 Q. YOU DON'T EVEN KNOW ANY PHYSICIANS THAT DO D&E BETWEEN 22
24 AND 24 WEEKS; IS THAT RIGHT?

25 A. I AM NOT SURE THAT IS CORRECT, NO.

1 Q. YOU TESTIFIED EARLIER ABOUT INDUCTION ABORTIONS THAT YOU'VE
2 DONE IN YOUR PRACTICE. IS IT FAIR TO SAY THAT IN TERMS OF
3 INDUCTIONS WHERE THE FETUS IS LIVING AT THE BEGINNING OF THE
4 PROCEDURE AND THE INDUCTION IS DONE PRIOR TO VIABILITY, THAT
5 COMES UP IN YOUR PRACTICE ONCE EVERY FEW MONTHS?

6 A. NO. I THINK I TESTIFIED IT COMES UP MORE THAN TWO TIMES A
7 MONTH.

8 Q. I THINK THAT IS WHAT YOU SAID TODAY, AND I WASN'T SURE IF I
9 UNDERSTOOD YOU, BECAUSE I THINK IN YOUR DEPOSITION YOU SAID
10 "ONCE EVERY FEW MONTHS"; DO YOU RECALL THAT?

11 A. I DON'T RECALL. I KNOW IT IS NOT AS FREQUENT AS THE
12 POSTVIABLE ONES, BUT I CAN RECALL TWO THAT I HAVE DONE IN THE
13 LAST COUPLE OF WEEKS.

14 Q. OKAY.

15 A. SO TYPICALLY IT IS MORE LIKE ONE TO TWO A MONTH.

16 Q. OKAY. CAN I JUST SHOW YOU YOUR DEPOSITION AND SEE IF WE
17 CAN CLARIFY WHAT I WAS MISUNDERSTANDING?

18 A. SURE.

19 MS. GARTNER: YOUR HONOR, DO YOU HAVE A COPY OF
20 THIS?

21 THE COURT: NO.

22 MS. GARTNER: THERE YOU GO.

23 BY MS. GARTNER:

24 Q. WHY DON'T YOU LOOK AT PAGE 258?

25 MS. CLARK: WHICH PAGE?

1 MS. GARTNER: 258.

2 BY MS. GARTNER:

3 Q. IF YOU WANT TO START LOOKING AT PAGE 258, AT LINE 21
4 THROUGH 259, LINE 3.

5 A. I AM SORRY. WHAT LINE NUMBERS?

6 Q. I AM SORRY. 258, LINE 21 THROUGH 259, LINE 3.

7 A. YES. I AM SORRY. DID YOU WANT ME TO READ THAT?

8 Q. JUST TO YOURSELF.

9 A. OKAY.

10 Q. JUST TO REFRESH YOUR RECOLLECTION.

11 A. YES, I DID READ IT.

12 Q. DID YOU TESTIFY IN YOUR DEPOSITION THAT THE CIRCUMSTANCE OF
13 AN INDUCTION ABORTION WHERE THE FETUS IS ALIVE AT THE BEGINNING
14 PROBABLY COUPLES UP ONCE EVERY FEW MONTHS?

15 A. WELL, THE QUESTION WAS:

16 "DO YOU DO THIS THREE OR FEWER TIMES PER YEAR?"

17 AND MY RESPONSE WAS:

18 "IT'S NOT THAT SMALL, BUT IT IS NOT A LARGE
19 NUMBER."

20 AND THEN, I MENTIONED I HAD DONE ONE, AS I HAD, JUST
21 THE WEEKEND PRIOR TO THE DEPOSITION. AND I SAID:

22 "BUT IT PROBABLY COMES UP ONCE EVERY FEW
23 MONTHS."

24 Q. IS THAT YOUR TESTIMONY?

25 A. WELL, THE NUMBER IS NOT CONTINUOUS IN THAT THERE MAY BE

1 SOME MONTHS WE DO SEVERAL. THERE MAY BE MONTHS WE DON'T DO
2 ANY. BUT I THINK THE AVERAGE WOULD BE SOMEWHERE BETWEEN 10 AND
3 20 A YEAR, WHICH WOULD BE ONE TO TWO TIMES A MONTH. IT VARIES
4 FROM YEAR TO YEAR.

5 IT IS JUST NOT AS FREQUENT AS THE POSTVIABLE ONES.

6 Q. OKAY.

7 A. AND ALSO HAS BECOME LESS FREQUENT, I THINK AS I TESTIFIED,
8 JUST BECAUSE THE GESTATIONAL AGES ARE SO MUCH EARLIER. WHEN I
9 STARTED INTO PRACTICE EVEN IN GRAND RAPIDS WE DIDN'T GENERALLY
10 HAVE ANY BABIES THAT SURVIVED LESS THAN 24 WEEKS. AND EVEN
11 THEN IT WAS A FEW NUMBER. SO OFTENTIMES ANY INDUCTION LESS
12 THAN 25 WEEKS WAS OFTENTIMES CONSIDERED A PREVIABLE INDUCTION.

13 IN THE LAST SEVERAL YEARS WE NOW HAVE OBTAINED A
14 SURVIVAL RATE OF 30 TO 40 PERCENT AT 23 WEEKS. SO SORT OF BY
15 DEFINITION WE ARE DOING IT LESS FREQUENTLY THAN WE HAD IN YEARS
16 PAST.

17 Q. OKAY. AND I THINK YOU TESTIFIED THAT YOU WILL ONLY PERFORM
18 AN ABORTION IN THE CASE OF EITHER FETAL DEMISE OR WHERE THE
19 WOMAN'S LIFE IS AT RISK; IS THAT CORRECT?

20 A. WHEN WE THINK THAT THE MOM'S AT RISK FOR SIGNIFICANT
21 MEDICAL CONCERNS -- IT DOESN'T NECESSARILY HAVE TO BE
22 IMMEDIATELY LIFE-THREATENING -- THEN WE WOULD END HER PREGNANCY
23 EVEN IF IT WAS PREVIABLE FOR THE BABY.

24 Q. OKAY. NOW, YOU HAVE NOT WRITTEN ANY ARTICLES ABOUT THE
25 RELATIVE SAFETY OF DIFFERENT ABORTION PROCEDURES; IS THAT

1 CORRECT?

2 A. NO, THAT'S NOT BEEN MY PRIMARY RESEARCH FOCUS.

3 Q. OKAY. AND YOU HAVE NOT WRITTEN ANY ARTICLES ABOUT ABORTION
4 OTHER THAN ON THE TOPIC OF SO-CALLED "PARTIAL-BIRTH ABORTION";
5 IS THAT CORRECT?

6 A. AGAIN, IT IS A VERY BROAD AREA OF OBSTETRICS AND
7 GYNECOLOGY, AND MY RESEARCH FOCUS HAS BEEN IN OTHER AREAS.

8 Q. AND AS FAR AS YOU KNOW NEITHER OF YOUR TWO OR -- AM I
9 CORRECT YOU HAVE HAD THREE PUBLICATIONS IN THE AREA OF
10 SO-CALLED "PARTIAL-BIRTH ABORTION," TWO OR THREE?

11 A. YES, IT IS JUST A SMALL NUMBER. IT'S AN ISSUE THAT I WAS
12 NOT EVEN ASKED ABOUT UNTIL THE LAST FEW YEARS.

13 Q. SURE.

14 A. SO MY RESEARCH HISTORY PREDATED MY EVEN BEING AWARE OF
15 PARTIAL-BIRTH ABORTION.

16 Q. SURE. AND NONE OF YOUR PUBLICATIONS IN THE AREA OF
17 SO-CALLED PARTIAL-BIRTH ABORTION HAVE BEEN IN THE PEER-REVIEWED
18 LITERATURE; IS THAT CORRECT?

19 A. WELL, THE TWO THAT I AM AWARE OF OFF THE TOP OF MY HEAD ARE
20 BOTH IN PEER-REVIEWED PUBLICATIONS.

21 Q. AND YOU ARE REFERRING TO THE LETTER TO THE NEW ENGLAND
22 JOURNAL OF MEDICINE?

23 A. WELL, THE ONE JOURNAL ISSUES OF LAW AND MEDICINE IS A
24 PEER-REVIEWED AND ABSTRACTED AND AVAILABLE ON MEDLINE. AND THE
25 NEW ENGLAND JOURNAL IS ALSO A PEER-REVIEWED JOURNAL.

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1 Q. OBVIOUSLY, THE NEW ENGLAND JOURNAL IS A PEER-REVIEWED
2 JOURNAL, BUT YOUR PUBLICATION, THAT WAS A LETTER TO THE EDITOR;
3 IS THAT RIGHT?

4 A. WELL, YOU CANNOT WRITE A LETTER TO THE NEW ENGLAND JOURNAL
5 AND JUST HAVE IT APPEAR LIKE AN EMAIL OR A COMMENT TO TIME
6 MAGAZINE. IT HAS TO BE REVIEWED BY THE EDITOR. IT HAS TO BE
7 ACCEPTED FOR ITS BASIS IN REASONABLE FACT.

8 IT HAS TO BE SUBMITTED FROM SOMEBODY THAT HAS
9 REASONABLE EXPERTISE FOR AN OPINION THAT THEY THINK WOULD
10 BENEFIT THE READERSHIP.

11 THEY HAVE A VERY STRICT EDITORIAL PRACTICE IN THE
12 NEW ENGLAND JOURNAL SINCE IT IS A PREEMINENT MEDICAL JOURNAL.
13 SO YOU JUST CAN'T HAVE ANYONE SUBMIT A LETTER AND HAVE IT
14 PUBLISHED IN THE NEW ENGLAND JOURNAL OF MEDICINE.

15 Q. SURE. I UNDERSTAND THAT. BUT A LETTER TO THE EDITOR IS NOT
16 PEER-REVIEWED IN THE WAY THAT WE USUALLY THINK OF A STUDY OR
17 SOME OTHER PUBLICATION AS BEING PEER-REVIEWED BY A TEAM OF
18 PHYSICIANS WHO REVIEW IT AND GIVE COMMENTS BACK; ISN'T THAT
19 FAIR?

20 A. I THINK PROBABLY IT'S FAIR TO SAY THAT IT'S, YOU KNOW,
21 REVIEWED BY THE EDITORS, BUT I AM NOT CERTAIN THAT IT IS SENT
22 OUT FOR ANY REVIEW OTHER THAN THE EDITORS THAT ARE PRESENT AND
23 THE STAFF AT THE NEW ENGLAND JOURNAL OF MEDICINE.

24 Q. OKAY. AND THE OTHER PUBLICATION THAT YOU ARE REFERRING TO
25 WAS AN ARTICLE THAT APPEARED IN SOMETHING CALLED THE ISSUES IN

1 LAW AND MEDICINE; IS THAT CORRECT?

2 A. THAT IS CORRECT.

3 Q. AND YOU ARE TELLING ME THAT IS A PEER-REVIEWED JOURNAL?

4 A. MY UNDERSTANDING IS THAT ALL PUBLICATIONS IN THAT JOURNAL
5 ARE REVIEWED BY OUTSIDE REVIEWERS.

6 Q. AND ARE THOSE OUTSIDE REVIEWERS PHYSICIANS?

7 A. I AM NOT CERTAIN IF THEY ARE ATTORNEYS AND PHYSICIANS. I
8 DON'T KNOW. I JUST KNOW IT IS A PEER-REVIEWED JOURNAL, AND I
9 WROTE IT, AND THEY MADE SUGGESTIONS, AS ALL PEER-REVIEWED
10 JOURNALS DO FOR EDITORIAL CONTENT.

11 AND THEN, YOU RESUBMIT IT, AND THEN THEY DECIDE THEY
12 ARE GOING TO PRINT IT.

13 Q. THAT WAS A PUBLICATION THAT WAS CO-AUTHORED BY SOMEBODY
14 NAMED JAMES BOPP; IS THAT CORRECT?

15 A. THAT IS CORRECT.

16 Q. AND MR. BOPP IS WELL-KNOWN AS AN ATTORNEY WHO LITIGATES ON
17 BEHALF OF ANTIABORTION GROUPS, SUCH AS THE NATIONAL RIGHT TO
18 LIFE COMMITTEE; IS THAT RIGHT?

19 A. WELL, THAT WASN'T KNOWN TO ME AT THE TIME. I WAS JUST
20 ASKED TO HELP PROVIDE MEDICAL BACKGROUND FOR AN ARTICLE, AND I
21 AGREED TO DO THAT.

22 Q. AND DID YOU KNOW THAT THE PUBLICATION ISSUES IN LAW AND
23 MEDICINE IS CO-SPONSORED BY A GROUP THAT'S CALLED THE "NATIONAL
24 LEGAL CENTER FOR THE MEDICALLY-DEPENDENT AND DISABLED"?

25 A. I DID NOT HAVE A LOT OF FAMILIARITY WITH THIS JOURNAL, NOR

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1 DO I TO THIS DAY, SINCE IT IS NOT A JOURNAL I GENERALLY REVIEW
2 ON A REGULAR BASIS. BUT I HAVE WRITTEN ARTICLES FOR OTHER
3 JOURNALS LIKE PHYTOCHEMISTRY THAT I DON'T SUBSCRIBE TO, EITHER.
4 Q. SURE. I GUESS I AM JUST TRYING TO UNDERSTAND WHETHER OR
5 NOT IT WAS PEER-REVIEWED.

6 AND DID YOU KNOW THAT THE OTHER CO-SPONSOR OF THIS
7 PUBLICATION IS A FOUNDATION CALLED "THE HORATIO STORER
8 FOUNDATION," WHICH IS AN AFFILIATE OF THE NATIONAL RIGHT TO
9 LIFE COMMITTEE?

10 A. I HAVE TO ADMIT YOU HAVE AN ADVANTAGE OVER ME ABOUT THE
11 BACKGROUND OF THIS JOURNAL. I JUST DON'T KNOW THE SPECIFICS.

12 Q. OKAY. SO YOU DON'T REALLY KNOW WHETHER IT IS A
13 PEER-REVIEWED JOURNAL?

14 A. NO, I KNOW IT PEER-REVIEWED BECAUSE I HAD IT PEER-REVIEWED.

15 Q. OKAY.

16 A. I SUBMITTED IT. IT CAME BACK WITH EDITORIAL COMMENTS FROM
17 THE EDITORS. THEY ASKED ME TO MAKE MORE COMMENTS. I CORRECTED
18 IT. I SENT IT BACK. IT IS THE SAME PROCESS I HAVE DONE FOR
19 THE AMERICAN JOURNAL OF OBSTETRICS AND GYNECOLOGY, ANY OTHER
20 JOURNAL THAT I SUBMITTED TO. JOURNAL MATERNAL FETAL MEDICINE,
21 I GO THROUGH THE EXACT SAME PROCESS. YOU ARE NOT ALLOWED TO
22 KNOW, FROM MY EXPERIENCE WITH JOURNALS, WHO YOUR OUTSIDE
23 REVIEWERS ARE.

24 THEY PURPOSELY SEND YOU BACK A FORM OF YOUR ARTICLE
25 WITHOUT ANYBODY'S IDENTIFICATION ON IT. AND THE REVIEWERS ARE

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1 NOT SUPPOSED TO KNOW WHO IS SUBMITTING IT TO ELIMINATE OTHER
2 BIASES.

3 SO THE PROCESS FOR THAT JOURNAL IS THE SAME AS THE
4 PROCESS FOR EVERY OTHER JOURNAL I HAVE WRITTEN AN ARTICLE
5 FOR --

6 Q. OKAY.

7 A. -- FROM MY EXPERIENCE.

8 Q. NOW, DR. COOK, YOU HAVE NOT PERFORMED ANY CLINICAL STUDIES
9 OR RESEARCH RELATING SPECIFICALLY TO A METHOD OF ABORTION; IS
10 THAT RIGHT?

11 A. THAT'S RIGHT. I THINK I STATED THAT MULTIPLE TIMES: IT IS
12 NOT MY PRIMARY RESEARCH FOCUS.

13 Q. I UNDERSTAND THAT, AND I JUST NEED TO GO THROUGH THESE
14 QUESTIONS TO MAKE THE RECORD CLEAR.

15 AND YOU HAVE SERVED GENERALLY AS A PEER-REVIEWER FOR
16 MEDICAL PUBLICATIONS, CORRECT?

17 A. I HAVE IN THE PAST.

18 Q. OKAY. BUT YOU HAVE NEVER PEER-REVIEWED AN ARTICLE
19 REGARDING A METHOD OF ABORTION; IS THAT RIGHT?

20 A. THAT IS CORRECT. GENERALLY, EDITORS WILL SEND YOU ARTICLES
21 RELATED TO AREAS THAT YOU HAVE PREVIOUSLY PUBLISHED IN, SO MOST
22 OF THE ARTICLES THAT I GET SENT HAVE TO DO WITH ISSUES WHERE I
23 HAVE PUBLISHED OR DONE RESEARCH THAT IS KNOWN TO THE EDITORS,
24 WHICH GENERALLY IS IN DIFFERENT AREAS.

25 Q. SURE. AND DO YOU PLAN TO OFFER TESTIMONY TODAY ABOUT THE

1 SAFETY OF A METHOD OF ABORTION THAT IS SOMETIMES CALLED "INTACT
2 D&X" OR "INTACT D&E"?

3 A. I DO.

4 Q. OKAY. AND AT YOUR DEPOSITION I THINK YOU STATED THAT THE
5 SOURCES FOR YOUR UNDERSTANDING OF HOW A SO-CALLED PARTIAL-BIRTH
6 ABORTION OR INTACT D&E IS PERFORMED IS LIMITED TO DR. HASKELL'S
7 PAPER, DR. MCMAHON'S PAPER -- I'M SORRY. I'M LOSING MY
8 VOICE -- DR. MCMAHON'S PAPER AND TO THE ACOG STATEMENT OF
9 POLICY ON INTACT D&X; IS THAT CORRECT?

10 A. WELL, MY DIRECT EXPERIENCE WITH THIS PROCEDURE STARTED WITH
11 REVIEWING THE SUBMITTED TESTIMONY OF TWO HIGH-PROFILE
12 PRACTITIONERS OF THE PROCEDURE.

13 Q. THAT IS DR. HASKELL AND DR. MCMAHON?

14 A. THAT IS CORRECT.

15 Q. OKAY.

16 A. ALTHOUGH, I HAVE SUBSEQUENTLY HAD OTHER EXPERIENCES WHERE I
17 HAVE BEEN ASKED TO REVIEW OTHER PEOPLE'S CASES, OR IN THE CASE
18 OF DR. HASKELL, I WAS ASKED TO REVIEW A VIDEOTAPE OF HIM
19 PERFORMING THE PROCEDURE.

20 SO I HAVE HAD OTHER EXPERIENCES IN THAT REGARD. AND
21 THE ELEMENTS OF THE PROCEDURE, AS DEFINED BY THE AMERICAN
22 COLLEGE, ARE ELEMENTS THAT I DO PERFORM IN MY OWN PRACTICE.

23 Q. RIGHT. BUT MY QUESTION IS YOUR UNDERSTANDING OF THE
24 PROCEDURE IS BASED ON DR. HASKELL'S AND DR. MCMAHON'S
25 DESCRIPTIONS, THE ACOG DEFINITION, FOR LACK OF A BETTER WORD,

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

- 1 AND THE VIDEOTAPE YOU REVIEWED; IS THAT FAIR TO SAY?
- 2 A. THAT, AND ADDITIONALLY MY EXPERIENCE WITH ELEMENTS OF THE
3 PROCEDURE.
- 4 Q. OKAY.
- 5 A. MY PERSONAL EXPERIENCE WITH ELEMENTS OF THE PROCEDURE.
- 6 Q. LET'S TALK ABOUT THOSE. IN TERMS OF YOUR EXPERIENCE WITH
7 ELEMENTS OF THE PROCEDURE, I THINK YOU TESTIFIED EARLIER THAT
8 YOU HAVE DONE INTERNAL PODALIC VERSION TECHNIQUES. OR I AM NOT
9 SURE IF THAT THE RIGHT WORD, BUT --
- 10 A. IT'S IS A FAIR TERM.
- 11 Q. OKAY. IS THAT RIGHT?
- 12 A. THAT IS CORRECT.
- 13 Q. BUT HAVE YOU EVER DONE THAT IN THE CONTEXT OF AN ABORTION?
- 14 A. I HAVE NOT IN THE CONTEXT OF AN ABORTION.
- 15 Q. OKAY. NOW, LET'S TALK ABOUT THE VIDEO THAT YOU REVIEWED.
16 AM I CORRECT THAT YOU REVIEWED THAT A FEW YEARS AGO
17 IN THE CONTEXT OF WHEN YOU WERE SERVING AS AN EXPERT WITNESS IN
18 LITIGATION IN THE STATE OF MISSOURI?
- 19 A. THAT IS CORRECT.
- 20 Q. AND THAT VIDEO -- I AM REALLY SORRY ABOUT MY VOICE -- DID
21 NOT INCLUDE THE PART OF THE PROCEDURE WHERE THE LAMINARIA WAS
22 EITHER INSERTED OR REMOVED; IS THAT CORRECT?
- 23 A. THAT IS CORRECT.
- 24 Q. IT WAS JUST THE EVACUATION PART OF THE PROCEDURE?
- 25 A. WELL, AND YOU COULD SEE THE MANIPULATION DONE TO BRING THE

1 BABY DOWN THROUGH THE CERVIX. AND THEN, YOU COULD SEE THE
2 PORTION THAT INCLUDED THE PUNCTURING OF THE SKULL AND
3 EVACUATION --

4 Q. SO THE EVACUATION PART; IS THAT FAIR?

5 A. WELL, THE EVACUATION PART IS THE END PART.

6 Q. OH, OKAY.

7 A. THERE'S STILL THE INTERNAL PODALIC VERSION, BRING THE BABY
8 DOWN THROUGH THE CERVIX. THE ONLY PART, AS I RECALL -- AND IT
9 HAS BEEN A NUMBER OF YEARS, AS YOU STATED -- THAT WAS NOT
10 INCLUDED WAS THE CERVICAL PREPARATION PHASE.

11 Q. OKAY. AND THE VISUALIZATION ON THAT TAPE WAS NOT OPTIMAL;
12 IS THAT RIGHT?

13 A. WELL, IT WASN'T AS OPTIMAL AS STANDING NEXT TO THE
14 PRACTITIONER, BUT YOU COULD SEE WHAT WAS GOING ON.

15 Q. WELL, FOR EXAMPLE, AT YOUR DEPOSITION WHEN WE TALKED ABOUT
16 THIS, YOU COULD NOT TELL ME WHAT PORTION OF THE FETAL HEAD OR
17 NECK WAS PUNCTURED WITH AN INSTRUMENT; ISN'T THAT RIGHT?

18 A. WELL, THE NATURE OF THE WAY THAT THE PROCEDURE IS
19 PERFORMED, IS THE PRACTITIONER IS PLACING THEIR HAND BETWEEN
20 THE WOMAN'S CERVIX AND THE BABY'S HEAD, AND SO THE INSTRUMENT
21 IS PASSING GENERALLY UNDERNEATH THEIR HAND IN THE BASE OF THE
22 BABY'S SKULL.

23 SO I COULD SEE THAT IT WAS GENERALLY IN THE AREA OF
24 THE BASE OF THE BABY'S SKULL. YOU JUST COULDN'T SEE THE EXACT
25 PUNCTURE SITE --

1 Q. OKAY.

2 A. -- FROM THE VANTAGE POINT I HAD OF THE VIDEO.

3 Q. WELL, WHEN I ASKED YOU IF IT APPEARED -- WHEN I ASKED YOU
4 AT YOUR DEPOSITION IF IT APPEARED THAT IT WAS BEING INSERTED IN
5 THE BACK OF THE HEAD OR THE BACK OF THE NECK, YOU TOLD ME THAT
6 YOU DIDN'T THINK YOU COULD SAY FROM MEMORY OF VISUALIZING THE
7 VIDEOTAPE; AND THAT YOU HAD A LIMITED VISUALIZATION; AND IT WAS
8 A NUMBER OF YEARS AGO; AND THAT YOU WOULD HAVE TO SEE THE
9 VIDEOTAPE AGAIN, AND IN SOME TIME FRAME THAT IS CLOSER THAN
10 SEVERAL YEARS AGO.

11 IS THAT -- ARE THOSE CORRECT STATEMENTS?

12 A. WELL, AS I RECALL THE QUESTION -- AND I CAN REVIEW THE
13 SPECIFIC QUESTION IN THE DEPOSITION -- IT WAS COULD I SEE IF IT
14 WAS IN THE NECK OR THE BASE OF THE SKULL.

15 WELL, THAT IS A VERY NARROW ANATOMIC RANGE. THAT I
16 COULD SEE IT WAS GENERALLY IN THAT AREA, BUT I COULDN'T TELL
17 YOU IF IT WAS CLOSER TO THE BASE OF THE SKULL OR CLOSER TO THE
18 BASE OF THE NECK. YOU COULD TELL IT WAS IN THIS GENERAL
19 ANATOMIC AREA FROM MID-HEAD TO ABOVE THE SHOULDERS.

20 Q. OKAY. BUT IS IT CORRECT, DR. COOK, THAT YOU DID HAVE A
21 LIMITED VISUALIZATION OF THIS VIDEOTAPE?

22 A. WELL, I DID NOT, AGAIN, HAVE THE VISUALIZATION YOU WOULD
23 HAVE IF YOU WERE STANDING NEXT TO THE INDIVIDUAL, BUT YOU COULD
24 CERTAINLY SEE WHAT WAS GOING ON, PARTICULARLY IF YOU DELIVERED
25 THOUSANDS OF BABIES, AS I HAVE. IT IS EASY TO RECOGNIZE AND

1 PUT INTO CONTEXT WHAT IS GOING ON.

2 Q. WHY DON'T WE GO AHEAD AND JUST LOOK AT YOUR DEPOSITION ON
3 PAGE 235?

4 WHY DON'T YOU LOOK AT THE ENTIRETY OF YOUR TESTIMONY
5 ON 235 AND TOP OF 236. JUST THROUGH LINE 1 ON PAGE 236.

6 A. I THINK I READ WHAT YOU WANTED AT THIS POINT.

7 Q. OKAY. AND WHEN I ASKED YOU:

8 "WHAT PORTION OF THE FETAL HEAD OR NECK WAS
9 PUNCTURED WITH AN INSTRUMENT" AT PAGE 235, LINE 1,
10 DID YOU SAY:

11 "WELL, THE VISUALIZATION OF THE TAPE, AS I
12 RECALL, WAS NOT OPTIMAL. IT WAS NOT -- IT WASN'T
13 LIKE THERE WAS A ZOOMED IN ON JUST THE BABY AND THE
14 PERINEUM, SO IT'S NOT AN OPTIMAL SITUATION TO MAKE
15 AN ASSESSMENT."

16 WAS THAT YOUR TESTIMONY?

17 A. WELL, THE COMPLETION OF THE STATEMENT, THAT IS TRUE. BUT
18 THE COMPLETION OF MY ANSWER TO THAT VERY QUESTION WAS THAT:

19 "IT APPEARED TO BE SOMEWHERE IN THE BACK OF THE
20 BABY'S HEAD."

21 Q. OKAY. AND THEN, DID I ASK YOU:

22 "DID IT APPEAR TO BE IN THE BACK OF THE HEAD OR
23 BACK OF THE NECK?"

24 A. AND, AGAIN, THE RESPONSE JUST PRIOR TO THAT WAS:

25 "THE BABY'S SPINE IS UP. IT IS UNDERNEATH THE

1 OPERATOR'S HAND. I CAN'T NARROW THE FOCUS MORE THAN
2 BASE OF SKULL, BACK OF NECK."

3 Q. OKAY.

4 A. WE ARE TALKING NOW A MATTER OF SONOMETERS SEPARATION HERE.

5 Q. SURE.

6 A. SO I GUESS I DON'T HAVE THAT AMOUNT OF PRECISION FROM MY
7 VISUALIZATION, BUT IT CERTAINLY WAS A BABY WHO WAS SPINE UP.
8 IT CERTAINLY WAS A BABY DELIVERED TO THE LEVEL OF THE
9 AFTER-COMING HEAD. AND IT CERTAINLY WAS A PUNCTURE
10 INTENTIONALLY AND VOLITIONALLY DONE BY THE PRACTITIONER
11 GENERALLY IN THE AREA OF THE BACK OF THE BABY'S NECK.

12 Q. BUT MY QUESTIONS REALLY, DR. COOK, ARE NOT WHAT HAPPENED,
13 BUT JUST HOW -- HOW EASY IT WAS FOR YOU TO ACTUALLY SEE WHAT
14 HAPPENED GIVEN THAT THE VIDEOTAPE, ACCORDING TO YOUR TESTIMONY,
15 WAS NOT OPTIMAL AND THAT IT WAS SEVERAL YEARS AGO.

16 SO LET ME JUST ASK YOU: WHEN I ASKED YOU IN YOUR
17 DEPOSITION IF IT APPEARED TO BE IN THE BACK OF THE HEAD OR THE
18 BACK OF THE NECK, DID YOU ANSWER ON LINE 12:

19 "I DON'T THINK I COULD SAY THAT FROM MY MEMORY
20 OF VISUALIZING THE VIDEOTAPE. I WOULD HAVE TO SEE
21 THE VIDEOTAPE AGAIN AND IN SOME TIME FRAME THAT IS
22 A LITTLE CLOSER THAN SEVERAL YEARS AGO."

23 WAS THAT YOUR TESTIMONY?

24 A. YES. I JUST ASKED --

25 Q. OKAY.

1 A. -- THAT MY MEMORY BE REFRESHED BY REVIEWING IT AGAIN.

2 BUT, AGAIN, IT WAS QUITE CLEAR WHAT WAS GOING ON.

3 Q. NO, MY -- I AM ASKING YOU, DR. COOK, WAS THAT YOUR
4 TESTIMONY AT LINES 12 TO 15 IN YOUR DEPOSITION?

5 A. YES. I STAND BY MY TESTIMONY.

6 Q. OKAY. THANK YOU.

7 NOW, DR. COOK, YOU HAVE NOT BEEN TAUGHT HOW TO
8 PERFORM AN INTACT D&E, HAVE YOU?

9 A. I HAVE NOT BEEN INSTRUCTED IN THAT PROCEDURE.

10 Q. AND YOU HAVE NEVER TAUGHT ANY OF YOUR MEDICAL STUDENTS OR
11 RESIDENTS HOW TO PERFORM AN INTACT D&E, HAVE YOU?

12 A. I HARDLY THINK IT IS SOMETHING I WOULD EVER WANT TO TEACH
13 SOMEONE.

14 Q. OKAY. AND SO THE ANSWER IS: YOU HAVE NOT DONE SO?

15 A. THAT IS CORRECT. I HAVE NOT DONE SO.

16 Q. AND YOU HAVE NEVER SUPERVISED SOMEONE ELSE PERFORMING AN
17 INTACT D&E; IS THAT CORRECT?

18 A. THAT IS CORRECT.

19 Q. AND YOU HAVE NEVER PERSONALLY TREATED A WOMAN THAT YOU WERE
20 AWARE HAD HAD AN INTACT D&E PROCEDURE; IS THAT RIGHT?

21 A. I HAVE BEEN CONTACTED BY A PERSON WHO HAD THE PROCEDURE
22 DONE AND HAD A COMPLICATION RESULT FROM THAT --

23 Q. BUT YOU NEVER REVIEWED HER MEDICAL RECORD, DID YOU?

24 A. SHE WAS INTENDING ON SENDING ME HER MEDICAL RECORDS AND
25 THEN OPTED NOT TO PURSUE LITIGATION, SO SHE DID NOT SEND ME THE

1 MEDICAL RECORDS, SO I DID NOT REVIEW HER RECORDS. I JUST
2 REVIEWED HER ORAL RELAYING OF HER MEDICAL HISTORY TO ME ON A
3 PHONE -- ON A PHONE CONVERSATION.

4 Q. OKAY. AND YOU HAVE NEVER PERSONALLY TREATED AS A
5 PHYSICIAN A WOMAN THAT YOU WERE AWARE HAD HAD AN INTACT D&E?

6 A. NOT THAT I AM PERSONALLY AWARE OF AS BEING CONFIRMED AS AN
7 INTACT D&E PROCEDURE.

8 Q. RIGHT. AND, IN GENERAL, YOU'VE NEVER REVIEWED ANY MEDICAL
9 RECORDS RELATING TO AN INTACT D&E PROCEDURE; IS THAT RIGHT?

10 A. NO, I WOULD NOT SAY THAT IS CORRECT.

11 Q. YOU HAVE REVIEWED MEDICAL RECORDS OF PEOPLE WHO HAD
12 UNDERGONE AN INTACT D&E PROCEDURE?

13 A. AS I WAS TESTIFYING IN FRONT OF CONGRESS, ON OTHER
14 OCCASIONS PART OF THE REQUEST FROM THE COMMITTEES THAT I WAS TO
15 APPEAR BEFORE WAS TO REVIEW AND SPECIFICALLY COMMENT ON THE
16 MEDICAL NECESSITY OF THIS PROCEDURE IN CERTAIN UNIQUE -- I
17 BELIEVE AT THAT TIME -- BOTH FETAL AND MATERNAL CONDITIONS.

18 AND SO THIS INCLUDED THE SUBMITTED MEDICAL
19 TESTIMONY -- THE SUBMITTED TESTIMONY OF THE WOMEN'S MEDICAL
20 HISTORY THAT THEY RELAYED IN THEIR PROCEDURES AS A
21 JUSTIFICATION FOR THE PROCEDURE. I WAS THEN ASKED TO REVIEW
22 THOSE SPECIFIC CASES AND THEN COMMENT ON THE NECESSITY OF THE
23 PROCEDURE IN THOSE SPECIFIC CASES.

24 Q. SO YOU COMMENTED ON WOMEN'S STATEMENTS TO CONGRESS ABOUT
25 WHY THEY HAD PARTICULAR ABORTION PROCEDURES; IS THAT RIGHT?

1 A. YES. THEY WERE QUITE DETAILED DESCRIPTIONS OF THEIR OWN
2 MEDICAL HISTORY.

3 Q. THOSE WERE THE WOMEN'S OWN ACCOUNTS. YOU HAVE NOT REVIEWED
4 MEDICAL CHARTS OF THOSE PROCEDURES; IS THAT FAIR?

5 A. NO, I VOLUNTEERED TO REVIEW MEDICAL CHARTS IF THEY FELT
6 THAT WAS NECESSARY. AND IT WAS FELT NOT TO BE NECESSARY AT THE
7 TIME.

8 Q. SO JUST TO BE CLEAR, YOU HAVE NOT REVIEWED MEDICAL CHARTS
9 OF WOMEN WHO HAVE HAD AN INTACT D&E PROCEDURE; IS THAT RIGHT?

10 A. CORRECT. JUST PERSONALLY MEDICAL HISTORY, NOT MEDICAL
11 CHARTS.

12 Q. OKAY. THANK YOU.

13 MS. GARTNER: YOUR HONOR, WE DON'T OBJECT TO
14 DR. COOK BEING QUALIFIED AS AN EXPERT IN OB/GYN OR MFM,
15 MATERNAL FETAL MEDICINE, GENERALLY. HOWEVER, AS WITH DR.
16 SPRANG AND DR. SHADIGIAN, WE DON'T BELIEVE THAT DR. COOK HAS
17 THE SPECIALIZED EXPERTISE IN INTACT D&E TO DESCRIBE HOW SUCH A
18 PROCEDURE IS ACTUALLY PERFORMED.

19 HE HASN'T TAUGHT THE PROCEDURE. HE HASN'T
20 SUPERVISED OR BEEN TAUGHT THE PROCEDURE. TO THE EXTENT HE HAS
21 VIEWED THIS VIDEOTAPE, WE DON'T BELIEVE THAT HIS VISUALIZATION
22 AT THE TIME WAS GOOD ENOUGH TO REALLY COMMENT ON HOW THE
23 PROCEDURE WAS DONE. PLUS, HE HAS TESTIFIED IT WAS SEVERAL
24 YEARS AGO. AND HE REPEATEDLY SAID IN RESPONSE TO MY QUESTIONS
25 ABOUT IT AT HIS DEPOSITION THAT HE REALLY DIDN'T RECALL AND

1 WOULD NEED TO SEE IT AGAIN TO PROVIDE AN ACCURATE RESPONSE.

2 AS THE COURT HAS PERMITTED WITH DRS. SPRANG AND
3 SHADIGIAN, WE DON'T OBJECT TO HIS PROVIDING HIS OPINION ABOUT
4 THE SAFETY OF THIS PROCEDURE, BASED ON HIS GENERAL EXPERTISE IN
5 OB/GYN AND MATERNAL FETAL MEDICINE, BUT WE WOULD OBJECT TO HIS
6 SETTING FORTH ANY DETAILED DESCRIPTIONS OF THE PROCEDURE OTHER
7 THAN AS NECESSARY FOR HIM TO PROVIDE HIS VIEWS ON THE SAFETY.

8 AND, OF COURSE, THAT WOULDN'T COME IN FOR THE TRUTH.

9 THE COURT: SO I WANT TO MAKE SURE I UNDERSTAND. HE
10 WAS OFFERED AS AN EXPERT IN OB/GYN AND MATERNAL FETAL MEDICINE,
11 AS WELL AS PREGNANCY TERMINATION. YOUR OBJECTION ONLY GOES TO
12 THE PREGNANCY TERMINATION ASPECT, AND THEN ONLY TO THE EXTENT
13 OF HIS QUALIFICATIONS ON THE TECHNIQUE OF INTACT --

14 MS. GARTNER: OF INTACT D&E. AND, ACTUALLY, YOUR
15 HONOR, AS WELL, WE WOULD OBJECT TO HIS TESTIFYING ABOUT THE
16 TECHNIQUE OF D&E IN THE 20 WEEK POST-TIME FRAME SINCE HE
17 TESTIFIED HE HAS NEVER DONE AN ABORTION USING THE D&E TECHNIQUE
18 AFTER 19 WEEKS. AND HE HIMSELF CONSIDERS HIMSELF NOT TO BE AN
19 EXPERT IN D&E FROM THE 20 TO 24 WEEK TIME PERIOD.

20 THE COURT: ALL RIGHT. I UNDERSTAND.

21 RESPONSE?

22 MS. CLARK: CONSISTENT WITH DR. SPRANG AND
23 DR. SHADIGIAN, WE WOULD GO ALONG WITH HIM NOT BEING QUALIFIED
24 AS AN EXPERT WITH RESPECT TO THE SPECIFIC TECHNIQUES, BUT TO
25 THE EXTENT SOME OF THE TECHNIQUES ARE CONSISTENT WITH OTHER

1 PRACTICES THAT HE DOES IN HIS OWN PRACTICE, SUCH AS INTERNAL
2 PODALIC VERSION, INSERTING LAMINARIA, AND THOSE TECHNIQUES, THE
3 SEPARATE PARTS, WE BELIEVE HE CAN TESTIFY TO THOSE SEPARATE
4 PARTS.

5 AND WITH RESPECT TO THE D&E --

6 THE COURT: I DON'T KNOW WHAT PARTS YOU ARE TALKING
7 ABOUT OTHER THAN THE PODALIC VERSION. HE DIDN'T TESTIFY AS TO
8 ANY OTHER ASPECT.

9 MS. CLARK: WELL, WITH THE INTERNAL PODALIC VERSION
10 AND THE INSERTING LAMINARIA, I BELIEVE THAT WAS HIS TESTIMONY
11 EARLIER. AND THAT HE HAS PERFORMED BREECH PRESENTATION
12 EXTRACTION, AND THE CASE OF WHEN THERE ARE TWINS AND OTHER
13 THINGS OF THAT NATURE.

14 THE COURT: WELL, OBVIOUSLY, AS AN OBSTETRICIAN HE
15 IS GOING TO HAVE TO TESTIFY ON VARIOUS DIFFERENT ASPECTS OF
16 DELIVERY AND PREGNANCY TERMINATION WITHIN HIS LIMITED
17 EXPERTISE.

18 BUT I THINK HIS TESTIMONY IS VERY CLEAR. HE HAS
19 TESTIFIED HIMSELF THAT HE IS NOT AN EXPERT ON D&E IN THE LATER
20 WEEKS, THAT IS 20 TO 24 WEEKS, IS THE QUESTION HE WAS
21 SPECIFICALLY ASKED ABOUT. SO HE WILL NOT BE QUALIFIED AS AN
22 EXPERT IN THE QUESTION OF D&E FOR 20 WEEKS PLUS.

23 IN ADDITION, HE WILL NOT BE QUALIFIED AS AN EXPERT
24 IN THE TECHNIQUE OF INTACT D&E. AND HE MAY TESTIFY, HOWEVER,
25 ON EITHER OF THOSE PROCEDURES TO THE EXTENT THAT HE HAS

1 REVIEWED OTHER PEOPLE'S DESCRIPTIONS OR REVIEWED THE VIDEOTAPE,
2 TO THE EXTENT HE HAS ANY RECOLLECTION OF THAT, IN ORDER TO
3 OPINE ON THE QUESTION OF THE SAFETY OF EITHER OF THOSE
4 PROCEDURES.

5 OKAY. THAT IS IT. PLEASE RESUME YOUR DIRECT.

6 DIRECT EXAMINATION, CONTINUED

7 BY MS. CLARK:

8 Q. BRIEFLY, DOCTOR, WHEN DID YOU FIRST HEAR ABOUT THE
9 PARTIAL-BIRTH ABORTION PROCEDURE? YOU ALLUDED TO THIS IN YOUR
10 VOIR DIRE, BUT IF YOU WOULD GO OVER IT WITH THE COURT.

11 A. WELL, AS I CAN BEST RECOLLECT, THE FIRST TIME IT CAME TO MY
12 ATTENTION WAS WHEN A PHYSICIAN WHO AT THAT TIME WAS HEADING UP
13 THE SOCIETY OF OBSTETRICAL ANESTHESIA WAS CALLED UPON TO
14 TESTIFY SPECIFICALLY ABOUT THE ISSUE OF ANESTHESIA POTENTIALLY
15 CAUSING THE DEATH OF THE FETUS IN THESE PROCEDURES.

16 HE WAS THEN ASKED AS PART OF HIS LINE OF QUESTIONING
17 ON THIS AREA ABOUT OTHER SPECIFICS RELATED TO THE PROCEDURE
18 THAT HE WAS NOT COMFORTABLE ADDRESSING. AND SO HE MENTIONED MY
19 NAME AS A PERSON WHO MIGHT BE ABLE TO PROVIDE ACCURATE MEDICAL
20 BACKGROUND IN SOME OF THESE OTHER AREAS.

21 AND SOMEHOW -- I DON'T REMEMBER THE DETAILS -- THIS
22 LED TO ME HELPING VARIOUS DIFFERENT STATES AND VARIOUS
23 DIFFERENT LEVELS OF FEDERAL GOVERNMENT, AS WELL, IN TRYING TO
24 CLARIFY THE SPECIFIC MEDICAL INFORMATION THAT THEN LED TO THE
25 DEVELOPMENT PHACT ORGANIZATION FOR WHICH I WAS ASKED TO HELP

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1 FORMULATE AND HAVE SORT OF A THOUGHTFUL, ACCURATE AND RESPECTED
2 SORT OF POSITION IN ORDER TO KIND OF CLARIFY, AGAIN, THESE
3 MEDICAL ISSUES.

4 SO IT IS HARD FOR ME TO REMEMBER SOMETIMES WHAT
5 HAPPENED WHEN. I JUST SOMEHOW FOUND MYSELF WHERE I FIND MYSELF
6 TODAY.

7 Q. BRIEFLY, WHAT DO YOU UNDERSTAND THE PARTIAL-BIRTH ABORTION
8 PROCEDURE TO REFER TO?

9 A. I UNDERSTAND IT TO REFER TO A PROCEDURE THAT WAS DESCRIBED
10 BY DR. HASKELL AT A NATIONAL ABORTION FEDERATION SEMINAR, WHICH
11 WAS ESSENTIALLY IDENTICAL TO THE PROCEDURE BEING PERFORMED BY
12 DR. MCMAHON AND SUBSEQUENTLY ACKNOWLEDGED TO BE PERFORMED BY
13 OTHER PHYSICIANS MULTIPLE THOUSANDS OF TIMES A YEAR IN THIS
14 COUNTRY, WITH SEVERAL SIMILAR ELEMENTS.

15 MOST OF THOSE ELEMENTS INCLUDE FIRST DELIVERING --
16 OR FIRST, I AM SORRY -- DILATING A CERVIX MORE SO THAN YOU
17 WOULD WITH ANY OTHER ABORTION PROCEDURE, USING UP TO 25 OR
18 MAYBE EVEN 30 DILATORS AT A TIME OVER AN ONE-TO-TWO DAY
19 CERVICAL PREPARATION PERIOD. THEN, BRINGING THE WOMAN IN,
20 GENERALLY ON THE THIRD DAY, AND CONVERTING THE BABY TO A BREECH
21 POSITION, IF THE BABY IS NOT ALREADY PRESENTING IN A BREECH
22 POSITION. DELIVERING THE BABY UP TO THE LEVEL OF THE
23 AFTER-COMING-COMING HEAD.

24 THEN, ONCE THE AFTER-COMING HEAD IS DRAWN DOWN TO
25 THE CERVIX, THE BASE OF THE SKULL IS THEN PUNCTURED WITH A

1 SHARP INSTRUMENT, GENERALLY A SCISSOR, AND THEN A SUCTION
2 CURETTE IS INTRODUCED INTO THE CREATED DEFECT IN THE BASE OF
3 THE BABY'S SKULL, AND INTRACRANIAL CONTENTS, THE BRAIN CONTENTS
4 ARE THEN SUCTIONED OUT OR EVACUATED TO THEN CAUSE COLLAPSING OF
5 THE SKULL BONES TO REDUCE THE DIAMETER OF THE BABY'S HEAD SIZE
6 TO BE ABLE TO COMPLETE THE REMAINDER OF THE DELIVERY.

7 ALL OF THIS BEING DONE ON A PREVIOUSLY LIVING FETUS.

8 Q. DO YOU UNDERSTAND, DOCTOR, PARTIAL-BIRTH ABORTION D&X AND
9 INTACT D&E GENERALLY TO BE INTERCHANGEABLE TERMS?

10 A. YES. WHEN THE PROCEDURE WAS FIRST DESCRIBED BY
11 DR. HASKELL, IT WAS CALLED A "D&X PROCEDURE" TO DISTINGUISH IT
12 FROM A "D&E PROCEDURE." AND IT WAS DESCRIBED FOR USE BY
13 DR. HASKELL INITIALLY BETWEEN 20 AND 26 WEEKS.

14 DR. MCMAHON, DOING ESSENTIALLY THE SAME PROCEDURE,
15 REFERRED TO IT AS AN "INTACT D&E," BECAUSE IT DID NOT REQUIRE
16 DISMEMBERMENT. AND HE WOULD PERFORM THESE AT SIMILAR
17 GESTATIONAL AGES, AND EVEN BEYOND 26 WEEKS.

18 SUBSEQUENTLY, THE AMERICAN COLLEGE OF OBSTETRICS AND
19 GYNECOLOGY CREATED A SORT OF HYBRID TERM: "INTACT D&X," WHICH
20 HAS BEEN OFTENTIMES USED INTERCHANGEABLY WITH ALL THE OTHER
21 TERMS. SO THE TERM I PREFER IS "PARTIAL-BIRTH ABORTION," WHICH
22 NOW DOES APPEAR IN THE MEDICAL LEXICON AS A DESCRIPTION OF THIS
23 PROCEDURE.

24 Q. DR. COOK, DID YOU REVIEW BOTH THE HASKELL DESCRIPTION AND
25 DR. MCMAHON'S DESCRIPTION BEFORE YOU TESTIFIED BEFORE CONGRESS?

1 A. I DID.

2 Q. AND DID YOU REVIEW THOSE BEFORE YOU PREPARED YOUR EXPERT
3 REPORT IN THIS CASE?

4 A. I BELIEVE I DID REVIEW ELEMENTS OF IT, SPECIFICALLY DR.
5 MCMAHON'S VERY DETAILED DESCRIPTION OF MEDICAL AND FETAL
6 INDICATIONS, AS HE DESCRIBED THEM. BUT I HAVE REVIEWED THESE
7 TECHNIQUES SO MANY TIMES I AM NOT CERTAIN IF I REREAD, FOR
8 INSTANCE, DR. HASKELL'S DESCRIPTION BEFORE PROVIDING THIS
9 EXPERT REPORT SINCE I HAVE DONE SIMILAR REPORTS FOR A NUMBER OF
10 YEARS NOW.

11 Q. HAVE YOU DISCUSSED THE PARTIAL-BIRTH ABORTION PROCEDURE
12 WITH YOUR COLLEAGUES?

13 A. I HAVE WITH SOME COLLEAGUES, YES.

14 Q. WHAT ABOUT THE PARTIAL-BIRTH ABORTION PROCEDURE HAVE YOU
15 DISCUSSED WITH COLLEAGUES AT YOUR HOSPITAL AND OTHER OB/GYN
16 PHYSICIANS?

17 A. WELL, WHEN I FIRST WAS ASKED TO TESTIFY SPECIFICALLY IN
18 FRONT OF CONGRESS, I BELIEVE IN 1997, OTHER PEOPLE IN MATERNAL
19 FETAL MEDICINE WOULD START ASKING ME ABOUT THIS PROCEDURE. AND
20 MOST OF THEIR QUESTIONS WERE ALONG THE LINE:

21 "IS ANYBODY REALLY DOING THIS? WHY ARE THEY
22 DOING THIS? HOW OFTEN ARE THEY DOING THIS?"

23 BECAUSE THEY WERE IN SIMILAR SITUATIONS THAT I HAD BEEN IN
24 WHERE THEY TAKE CARE OF EXCLUSIVELY COMPLICATED PREGNANCIES,
25 PREDOMINANTLY IN ACADEMIC SETTINGS. AND THEY HAD NEVER SEEN

1 THE NEED TO DO THIS PROCEDURE, SO THEY WERE A LITTLE
2 INCREDULOUS IT WAS REALLY BEING DONE. AND THEN --

3 MS. GARTNER: YOUR HONOR, THIS IS HEARSAY FOR HIM TO
4 BE TALKING ABOUT --

5 THE COURT: SUSTAINED.

6 BY MS. CLARK:

7 Q. DOCTOR, HAVE ANY OF THE CONVERSATIONS WITH OTHER
8 PHYSICIANS -- IN THE CONTEXT OF THOSE CONVERSATIONS, HAVE YOU
9 DISCUSSED THE MEDICAL NECESSITY OF THE PARTIAL-BIRTH ABORTION
10 PROCEDURE?

11 A. YES. THAT'S WHERE MOST OF THE DISCUSSIONS STARTED.

12 Q. AND HAVE THESE CONVERSATIONS HELPED YOU TO INFORM YOUR
13 OPINION ABOUT THE MEDICAL NECESSITY OF THE PARTIAL-BIRTH
14 ABORTION PROCEDURE?

15 A. YES. I WANTED CONFIRMATION THAT I WAS NOT THE ONLY ONE
16 THAT FELT THIS WAS NOT NECESSARY.

17 Q. DOCTOR, YOU'VE TESTIFIED THAT YOU PREVIOUSLY TESTIFIED
18 BEFORE CONGRESS REGARDING THE PARTIAL-BIRTH ABORTION PROCEDURE.
19 HOW MANY TIMES HAVE YOU TESTIFIED BEFORE CONGRESS REGARDING
20 THIS PROCEDURE?

21 A. I BELIEVE TWICE. ONCE IN FRONT OF A SPECIAL JOINT SESSION
22 OF THE HOUSE AND SENATE JUDICIARY COMMITTEES, AND ONCE IN FRONT
23 OF A HOUSE SUBCOMMITTEE ON THE CONSTITUTION OF THE JUDICIARY,
24 AS I RECALL.

25 Q. ARE THE OPINIONS THAT YOU WILL BE OFFERING TODAY CONSISTENT

1 WITH YOUR CONGRESSIONAL TESTIMONY?

2 A. THEY ARE.

3 Q. DOCTOR, WHAT IS YOUR OPINION AS TO WHETHER THE
4 PARTIAL-BIRTH ABORTION PROCEDURE IS EVER MEDICALLY NECESSARY TO
5 PROTECT THE HEALTH INTEREST OF WOMEN FACING HIGH-RISK
6 PREGNANCIES?

7 A. I DON'T BELIEVE THAT IT IS EVER MEDICALLY NECESSARY. IT
8 HAS EVEN BEEN STATED BY THE COLLEGE: THERE IS NO SITUATION --
9 THE AMERICAN COLLEGE OF OB/GYN -- THERE IS NO SITUATION WHERE
10 THEY CAN THINK OF THAT THIS IS THE ONLY OPTION AVAILABLE.

11 THIS IS THE PREVAILING THOUGHT, THAT THERE ARE OTHER
12 METHODS THAT ARE AVAILABLE, SAFER, WELL-ESTABLISHED METHODS.
13 SO I DON'T BELIEVE IT HAS ANYTHING TO OFFER US IN THE AREA OF
14 TREATMENT OF COMPLICATED PREGNANCIES.

15 Q. DR. COOK, WHAT IS YOUR OPINION AS TO WHETHER THE
16 PARTIAL-BIRTH ABORTION PROCEDURE PRESENTS RISKS TO WOMEN
17 UNDERGOING THAT PROCEDURE AS COMPARED TO OTHER METHODS OF
18 PREGNANCY TERMINATIONS?

19 A. WELL, I HAVE GRAVE CONCERNS THAT ELEMENTS OF THE PROCEDURE
20 HAVE RISKS FOR BOTH IMMEDIATE COMPLICATIONS AND LONG-TERM
21 COMPLICATIONS THAT I DON'T FEEL JUSTIFY UTILIZING THE PROCEDURE
22 WHEN YOU HAVE OTHER SAFE PROCEDURES AVAILABLE.

23 Q. DOCTOR, YOU TESTIFIED THAT YOU PERFORMED QUITE A FEW LESS
24 D&E'S IN YOUR CAREER THAN INDUCTION; IS THAT CORRECT?

25 A. THAT IS CORRECT.

1 Q. IS THERE SOMETHING ABOUT THE MATERNAL HEALTH CONDITIONS
2 THAT YOU SEE IN YOUR PRACTICE THAT MAKES THE INDUCTION METHOD
3 THE MOST APPROPRIATE PROCEDURE FOR PREGNANCY TERMINATIONS?

4 A. WELL, YES. AGAIN, MANY OF THE WOMEN THAT WE CARE FOR HAVE
5 A SIGNIFICANT MEDICAL CONDITION, ESPECIALLY IF WE ARE GETTING
6 TO THE POINT WHERE WE ARE NEEDING TO DELIVER THEM PREVIABILITY.
7 BUT EVEN MANY THAT WE ARE DELIVERING POSTVIABILITY HAVE
8 SIGNIFICANT MEDICAL CONCERNS, AND THAT'S WHY THEY ARE BEING
9 DELIVERED PREMATURELY.

10 IN THE SETTING, FOR INSTANCE, OF PREECLAMPSIA,
11 SEVERE PREECLAMPSIA FREQUENTLY HAS VERY LOW PLATELET COUNTS AND
12 PLATELET DYSFUNCTION, WHICH MEANS THEY ARE AT INCREASED RISK
13 FOR BLEEDING COMPLICATIONS.

14 SO I WOULD LIKE TO AVOID ANYTHING THAT WOULD HAVE AN
15 INCREASED RISK FOR UTERINE TRAUMA OR CERVICAL LACERATION, OR
16 POTENTIALLY UNCONTROLLED BLEEDING.

17 THERE ARE OTHER CONDITIONS WHERE THE WOMEN HAVE TO
18 HAVE VERY CONTROLLED FLUID STATUS, SO WE ALSO WOULD NOT WANT
19 THEM TO HAVE CERTAIN TYPES OF INFECTIOUS OR BLEEDING
20 COMPLICATIONS, IF WE CAN PREVENT THEM.

21 Q. IS THERE SOMETHING ABOUT THE NATURE OF YOUR PRACTICE WITH
22 RESPECT TO MATERNAL HEALTH CONDITIONS THAT MAKES THE INDUCTION
23 METHOD A MORE APPROPRIATE METHOD?

24 A. YES. AGAIN, THERE ARE SEVERAL ELEMENTS THAT LEND ITSELF TO
25 PREFERRING INDUCTION, PART OF WHICH IS THE GESTATIONAL AGE AT

1 WHICH MOST OF THESE DECISIONS ARE BEING MADE, AND WHEN THEY ARE
2 REFERRED TO OUR OFFICE.

3 PART OF WHICH IS THE DESIRE TO BE ABLE TO OBTAIN
4 OPTIMAL INFORMATION FROM THE FETUS IF IT IS DONE IN THE SETTING
5 OF A FETAL ABNORMALITY, WHICH INCLUDED INTACT FETUS AND AN
6 INTACT CENTRAL NERVOUS SYSTEM. PART OF WHICH WOULD BE WANTING
7 TO UTILIZE THE MOST NATURAL PROCESS POSSIBLE IN ORDER TO
8 EVACUATE THE UTERUS.

9 AND THEN, PART OF WHICH WOULD BE TRYING TO DO IT IN
10 AS CONTROLLED A SETTING AS POSSIBLE.

11 Q. AND WHY IS IT IMPORTANT THAT YOU BE ABLE TO PERFORM THE
12 TERMINATION IN A CONTROLLED SETTING OR AS CONTROLLED A SETTING
13 AS POSSIBLE?

14 A. WELL, FREQUENTLY THESE WOMEN HAVE LIMITED RESERVE FOR
15 COMPLICATIONS, SPECIFICALLY COMPLICATIONS OF BLEEDING,
16 INFECTION, THINGS OF THAT NATURE.

17 IT IS ALSO PREFERABLE TO USE A VARIATION ON THEIR
18 NORMAL PHYSIOLOGY, WHICH SURGERY IS NOT, IN ORDER TO EFFECT A
19 DELIVERY WITH THE LEAST AMOUNT OF STRAIN ON THEIR SYSTEM.

20 Q. DOCTOR, IN PERFORMING INDUCTION PROCEDURES HAS THE BABY'S
21 HEAD EVER GOTTEN STUCK AT THE INTERNAL CERVICAL OS DURING THE
22 DELIVERY PART OF THE PROCEDURE?

23 A. YES, THAT HAPPENS ON OCCASION.

24 Q. WHAT HAVE YOU DONE IN ORDER TO ADDRESS THE ISSUE OF THE
25 HEAD GETTING STUCK WHEN PERFORMING THE INDUCTION?

1 A. WELL, IF IT IS A SITUATION WHERE WE ARE AT LATER
2 GESTATIONAL AGES, 23 OR 24 WEEKS AND BEYOND, WE GENERALLY WANT
3 TO TRY TO DELIVER IN AS EXPEDITIOUS A MANNER AS POSSIBLE IN
4 ORDER TO NOT JUST COMPLETE THE DELIVERY, BUT PROVIDE CARE TO
5 THE FETUS.

6 SO IN THAT SITUATION WE HAVE A NUMBER OF MEDICAL AND
7 SURGICAL OPTIONS AVAILABLE TO US. THAT MAY BE GIVING CERTAIN
8 UTERINE RELAXING AND CERVICAL RELAXING MEDICAL AGENTS, SUCH AS
9 NITROGLYCERIN. IT MAY INVOLVE USING FORCEPS TO FACILITATE
10 DELIVERING OF THE AFTER-COMING HEAD.

11 IT MAY INVOLVE MAKING A SMALL CESAREAN INCISION ON A
12 PORTION OF THE CERVIX TO RELAX THE CERVIX.

13 IF IT IS A SITUATION WHERE IT IS A PREVIABLE
14 INDUCTION, THEN IT IS NOT AS NECESSARY TO DELIVER THE BABY IN A
15 VERY RAPID FASHION. OUR EXPERIENCE IS THAT WE LEAVE THE BABY
16 THERE, DELIVER IT UP TO THE LEVEL OF THE AFTER-COMING HEAD,
17 THAT AFTER SEVERAL MINUTES, THE BABY, PREVIOUSLY LIVING, WILL
18 NO LONGER BE LIVING. AND YOU CAN START GETTING COLLAPSING OF
19 THE SKULL BONES SPONTANEOUSLY.

20 OR OFTENTIMES, WITH CONTINUED UTERINE ACTIVITY, THE
21 FETUS WILL BE EXPELLED EVEN AFTER-COMING HEAD. SO OFTENTIMES I
22 ENCOURAGE PEOPLE TO JUST WAIT, IF THE FETAL INTERESTS ARE NOT
23 OF GREAT CONCERN, AND WE OFTENTIMES SEE THE BABY DELIVER
24 SPONTANEOUSLY.

25 IF WE DON'T OR THE MOTHER STARTS DEVELOPING OTHER

1 PROBLEMS LIKE BLEEDING, THEN WE CAN UTILIZE SOME OF THE
2 TECHNIQUES THAT I MENTIONED BEFORE.

3 Q. DOCTOR, USING THESE METHODS, HAVE YOU EVER BEEN ABLE TO
4 COMPLETE THE INDUCTION METHOD -- WELL, I ASSUME, DOCTOR, USING
5 THESE METHODS, HAVE YOU EVER NEEDED TO PUNCTURE THE BABY'S HEAD
6 AND SUCTION OUT ITS INTRACRANIAL CONTENTS IN ORDER TO COMPLETE
7 THE DELIVERY?

8 A. I HAVE NEVER HAD TO DO THAT, NO.

9 Q. HAVE YOU EVER PLACED THE MOTHER'S HEALTH AT RISK BY NOT
10 PUNCTURING THE BABY'S HEAD WITH SCISSORS AND SUCTIONING OUT THE
11 INTRACRANIAL CONTENTS?

12 A. NO. AGAIN, WE HAVE OTHER OPTIONS AVAILABLE TO US.

13 Q. DOCTOR, DO YOU CONSIDER RETAINED PLACENTA TO BE A
14 COMPLICATION IN AN INDUCTION OF LABOR PROCEDURE?

15 A. WELL, RETAINED PLACENTA OCCURS GENERALLY ABOUT 10 PERCENT
16 OF THE TIME IN INDUCED DELIVERY, AND IT THEN REQUIRES PLACENTAL
17 REMOVAL. SO IN THAT SENSE, I GUESS YOU CONSIDER IT A
18 COMPLICATION OR A KNOWN SIDE EFFECT OF INDUCTION.

19 BUT, GENERALLY, WHEN I THINK ABOUT COMPLICATIONS OF
20 INDUCTION, I THINK ABOUT THINGS LIKE BLEEDING ENOUGH TO NEED A
21 TRANSFUSION, OR INJURY TO OTHER ORGANS OR CONCERNING INFECTION,
22 THINGS OF THAT NATURE.

23 AND YOU CAN GET RETAINED PLACENTAS WITH SURGICAL
24 EVACUATION, AS WELL.

25 Q. TO THE EXTENT YOU CONSIDER RETAINED PLACENTA A COMPLICATION

1 AT ALL IN AN INDUCTION PROCEDURE, HOW WOULD YOU CHARACTERIZE IT
2 AS IN TERMS OF WHAT KIND OF COMPLICATION IT IS?

3 A. WELL, I GUESS I WOULD CONSIDER IT A MORE OF A MINOR
4 COMPLICATION IN THAT IT DOES INVOLVE THE NEED FOR ADDITIONAL
5 MANIPULATION, USUALLY A SUCTION OR SHARP CURETTAGE OF THE
6 REMAINING PLACENTA.

7 BUT IT'S NOT SOMETHING, GENERALLY, THAT I CONSIDER A
8 COMPLICATION AS FAR AS THREATENING THE WOMAN'S HEALTH, UNLESS
9 IT GOT TO THE POINT OF BLEEDING THAT WAS SEVERE ENOUGH TO
10 REQUIRE A TRANSFUSION OR INFECTION.

11 Q. DOCTOR, HOW LONG DO YOU WAIT IN A MEDICAL INDUCTION
12 PROCEDURE IF THE PLACENTA HAS NOT PASSED BEFORE TAKING
13 ADDITIONAL STEPS TO DELIVER A PLACENTA?

14 A. WELL, IN AN OTHERWISE STABLE MOTHER, GENERALLY I LIKE TO
15 SEE THE PLACENTA DELIVERED WITHIN TWO HOURS OR SO OF THE
16 DELIVERY.

17 HOWEVER, IF SHE STARTS HAVING PROBLEMS LIKE BLEEDING
18 OR INFECTION, THEN WE WOULD WANT TO MOVE FASTER TO DELIVERY OF
19 THE PLACENTA.

20 Q. DOCTOR, NOW TURNING TO THE D&E ABORTION PROCEDURE, COULD
21 YOU PLEASE DESCRIBE WHAT YOU UNDERSTAND THAT PROCEDURE TO BE?

22 A. WELL, THE HALLMARK OF A D&E PROCEDURE WOULD BE A
23 DISMEMBERMENT OF THE FETUS AND WOULD ALSO INCLUDE AN ACCURATE
24 COUNT OF THE FETAL PARTS AFTERWARDS TO MAKE SURE THAT ALL OF
25 THE FETUS IS ACCOUNTED FOR; THAT YOU DON'T HAVE A RETAINED

1 PORTION OF THE FETUS, THE MOST COMMON ONE BEING A RETAINED
2 FETAL SKULL. IT ALSO REQUIRES MORE CERVICAL DILATATION THAN
3 WOULD BE REQUIRED FOR A FIRST-TRIMESTER SUCTION D&C, BUT LESS
4 THAN IS DESCRIBED TO BE USED FOR THIS PARTIAL-BIRTH ABORTION
5 PROCEDURE.

6 Q. ARE YOU FAMILIAR WITH THE NATURE OF FETAL TISSUE PRIOR TO
7 20 WEEKS?

8 A. I AM.

9 Q. AND WHAT IS THE NATURE OF FETAL TISSUE PRIOR TO 20 WEEKS?

10 A. GENERALLY, TISSUE PRIOR TO 20 WEEKS GESTATION IS MORE
11 FRAGILE, MUCH MORE EASY TO CAUSE FETAL BRUISING OR FETAL
12 LACERATION AT THESE EARLY GESTATIONAL AGES.

13 Q. HOW HAVE YOU BECOME FAMILIAR WITH THE TISSUE AT THAT
14 GESTATIONAL AGE?

15 A. WELL, WE DO SEE FETUSES DELIVERED AT THAT GESTATIONAL AGE,
16 FOR ONE. AND, IN FACT, WE FREQUENTLY USE THAT APPEARANCE TO
17 HELP DATE A PREGNANCY.

18 FOR INSTANCE, BABIES IN THE 20 TO 24 WEEK RANGE
19 OFTENTIMES HAVE A MUCH MORE TRANSLUCENCE -- I AM SORRY -- MUCH
20 MORE TRANSPARENT SKIN APPEARANCE THAN DO KIDS BEYOND 24 WEEKS.

21 SO WE CAN HELP DATE A PREGNANCY JUST BY LOOKING AT
22 THE FETUS. BUT, ALSO, FROM OUR EXPERIENCES DOING PROCEDURES ON
23 FETUSES. SO BOTH DELIVERY EXPERIENCE AND INTRAUTERINE
24 PROCEDURAL EXPERIENCE.

25 Q. AND WHAT ARE SOME OF THOSE INTRAUTERINE EXPERIENCES THAT

1 GIVE YOU A SENSE OF THE FETAL TISSUE, THE NATURE OF THE FETAL
2 TISSUE?

3 A. WELL, FOR INSTANCE, WHEN WE DO INTRAUTERINE TRANSFUSIONS OR
4 WE PUT IN CERTAIN TYPES OF SHUNTS INTO A FETUS IT IS MUCH
5 EASIER TO PUT NEEDLES OR BIOPSY FORCEPS OR SHUNTS INTO THESE
6 BABIES AT EARLIER GESTATIONAL AGES THAN IT IS AT THE LATER
7 GESTATIONAL AGES BECAUSE OF THE INTEGRITY OF THEIR SKIN OR
8 THEIR INTEGUMENTS, WE CALL IT.

9 Q. AND YOU WOULD AGREE THAT THESE PROCEDURES GIVE YOU A SENSE
10 OF THE FETAL TISSUE BOTH BEFORE 20 WEEKS AND IN THE 20 TO 24
11 WEEK TIME FRAME?

12 A. YES, I WOULD SAY IN THE WORLD OF MATERNAL FETAL MEDICINE
13 YOU ARE GENERALLY PRETTY FAMILIAR WITH HOW FETUS RESPONDS
14 THROUGHOUT THE GESTATIONAL AGES.

15 Q. DOCTOR, IN YOUR PRACTICE WHEN IT'S MEDICALLY NECESSARY FOR
16 THE MOTHER TO TERMINATE A PREGNANCY, ARE THERE SOME
17 CIRCUMSTANCES IN WHICH THE D&E PROCEDURE WOULD BE THE PREFERRED
18 METHOD FOR TERMINATION?

19 A. THERE MAY BE.

20 Q. AND WHAT MIGHT BE THAT KIND OF SITUATION?

21 A. WELL, GENERALLY, A SUCTION CURETTAGE TECHNIQUE IS NOT A
22 TECHNIQUE THAT YOU COULD UTILIZE BEYOND 12 TO 14 WEEKS
23 GESTATION. A D&E PROCEDURE BECOMES A MUCH MORE COMPLICATED
24 PROCEDURE BEYOND 20 WEEKS GESTATION. BUT BETWEEN SPECIFICALLY
25 14 AND 18 WEEKS GESTATION, A D&E CAN BE SAFELY PERFORMED AND IS

1 FREQUENTLY PERFORMED AND MAY BE THE OPTION INVOLVED IN A
2 SITUATION WHERE, FOR INSTANCE, THE FETAL PATHOLOGY IS NOT A
3 CRITICAL ELEMENT, OR WE HAVE CONCERNS ABOUT THE MOTHER GOING
4 THROUGH A LABORING PROCESS.

5 Q. IF YOU DETERMINED IN A CASE OF A FETAL ANOMALY WHERE THE
6 MOTHER ELECTED TO TERMINATE HER PREGNANCY, AND YOU DETERMINED
7 THAT A D&E PROCEDURE WAS THE SAFEST PROCEDURE FOR PREGNANCY
8 TERMINATION, WOULD YOU REFER THAT WOMAN TO ANOTHER PHYSICIAN?

9 A. I AM SORRY. CAN I HAVE YOU GIVE ME THE WHOLE QUESTION ONE
10 MORE TIME?

11 Q. SURE. IF YOU DETERMINED IN THE CASE OF A FETAL ANOMALY
12 WHERE THE MOTHER HAD ELECTED TO TERMINATE THE PREGNANCY, AND
13 YOU DETERMINED THAT A D&E PROCEDURE WAS THE SAFEST PROCEDURE
14 FOR PREGNANCY TERMINATION, WOULD YOU REFER THAT WOMAN TO
15 ANOTHER PHYSICIAN?

16 A. I WOULD.

17 Q. AND HAVE YOU, IN FACT, REFERRED WOMEN TO OTHER PHYSICIANS
18 IN ORDER TO HAVE THE D&E PROCEDURE?

19 A. I HAVE, IF THE MATERNAL CONDITION IS STABLE.

20 Q. IF YOU HAD A SITUATION WHERE THE FETUS WAS PREVIABLE AND
21 THE MOTHER'S HEALTH WAS DETERIORATING TO A POINT IN WHICH YOU
22 FELT HER LIFE WERE AT RISK, AND YOU DETERMINED THAT THE D&E
23 PROCEDURE WAS THE MOST APPROPRIATE OPTION TO TERMINATE THE
24 PREGNANCY, WOULD YOU HAVE AN ETHICAL OBJECTION TO PERFORMING A
25 D&E IN THAT CIRCUMSTANCE?

1 A. NO, I WOULD NOT.

2 JUST MAYBE TO CLARIFY, OUR GOAL AS MATERNAL FETAL
3 MEDICINE SPECIALISTS IS TO TRY TO GET AN OPTIMAL OUTCOME FOR
4 BOTH THE MOTHER AND FETUS. BUT IF THERE IS A CONFLICT THAT
5 ARISES, WE ALWAYS TRY TO CONSIDER THE MATERNAL ISSUES AS
6 PREDOMINANT OVER THE FETAL ISSUES.

7 SO IF IT POSED A RISK TO HER LIFE OR SIGNIFICANT
8 HEALTH PROBLEMS, THEN WE WOULD DO WHATEVER IS NECESSARY TO
9 SAFELY DELIVER HER. IF THAT WAS FELT TO BE D&E, WE WOULD DO
10 D&E.

11 Q. NOW, IS IT FAIR TO SAY, GENERALLY SPEAKING, POST 16 WEEKS
12 THE PREGNANCY HAS TO BE TERMINATED, THAT YOU WOULD RECOMMEND
13 THE INDUCTION PROCEDURE?

14 A. GENERALLY, IN THE KIND OF CASES THAT WE SEE, WHERE THEY
15 OFTENTIMES HAVE MATERNAL CONDITIONS INVOLVED, OR JUST DIAGNOSED
16 IT, WE WOULD PREDOMINANTLY USE INDUCTION. AND, AGAIN,
17 OFTENTIMES IT IS NECESSARY FOR US TO OPTIMIZE INFORMATION WE
18 HAVE AVAILABLE TO US AFTER THE DELIVERY IN ORDER TO COUNSEL
19 THAT PATIENT ABOUT POTENTIAL FUTURE PREGNANCIES AND RECURRENCE
20 RISKS OF SIMILAR PROBLEMS.

21 SO WE WOULD USE INDUCTION PREDOMINANTLY, YES.

22 Q. AND OTHER THAN COUNSELING-WOMEN-REASONS, WHY WOULD YOU
23 FAVOR A MEDICAL INDUCTION AS OPPOSED TO DISMEMBERMENT D&E WHEN
24 IT IS CLEAR THAT THE FETUS IS NOT GOING TO LIVE, ANYWAYS? SO,
25 FOR EXAMPLE, IF YOU ARE IN THE 16 TO 24 WEEK GESTATIONAL RANGE?

1 A. WELL, AGAIN, PATHOLOGY IS ONE ASPECT OF IT, BECAUSE IT HAS
2 IMPLICATIONS NOT JUST FOR LETTING THE PATIENTS KNOW WHAT WAS
3 HAPPENING THIS PREGNANCY, BUT POTENTIALLY FUTURE PREGNANCIES OR
4 FUTURE FAMILY MEMBERS' PREGNANCIES.

5 AGAIN, THE ISSUE OF TRYING TO TAKE A WOMAN,
6 ESPECIALLY IF SHE HAS A SIGNIFICANT MEDICAL CONDITION, THROUGH
7 AS LEAST TRAUMATIC EXPERIENCE AS POSSIBLE FOR HER OTHERWISE
8 COMPROMISED SYSTEM, AND LIMITED RESERVE FOR EXPERIENCING
9 COMPLICATIONS, SUCH AS BLEEDING OR INFECTION. AND, AGAIN, THE
10 MONITORING SITUATION IS OPTIMAL, I THINK, IN THAT INDUCED LABOR
11 IN THE HOSPITAL.

12 Q. IS THERE ANYTHING ABOUT THE RISK OF INFECTION OR
13 HEMORRHAGING THAT HAS ANYTHING TO DO WITH YOUR ANALYSIS?

14 A. WELL, THERE ARE CERTAIN MATERNAL CONDITIONS FOR WHICH IT
15 WOULD BE, AGAIN, A VERY LIMITED CAPACITY THAT THAT PATIENT
16 WOULD HAVE FOR EXPERIENCING THOSE TYPES OF COMPLICATIONS.

17 AND THAT MAY INCLUDE SOMEBODY WITH LOW PLATELET
18 COUNTS OR SOMEBODY WITH CERTAIN CARDIAC CONDITIONS WHERE THEY
19 CANNOT HANDLE LARGE FLUID VOLUME SHIFTS, OR ANY NUMBER OF
20 REASONS.

21 IN THAT SITUATION WE WANT TO TRY TO DELIVER HER,
22 AGAIN, IN AS NATURAL AND CONTROLLED AND AS MONITORED A
23 SITUATION AS POSSIBLE.

24 Q. WOULD THAT MEAN THE INDUCTION PROCEDURE?

25 A. WHICH WOULD MEAN AN INDUCTION PROCEDURE IN A HOSPITAL,

1 SUPERVISED BY PEOPLE WHO TAKE CARE OF COMPLICATED PREGNANCIES.

2 MS. CLARK: MAY I APPROACH, YOUR HONOR?

3 THE COURT: YES.

4 BY MS. CLARK:

5 Q. DOCTOR, I HAVE JUST SHOWN YOU WAS THAT HAS BEEN MARKED AS

6 LT A-28. DR. COOK, HAVE YOU SEEN THIS DOCUMENT BEFORE?

7 A. I HAVE SEEN IT SEVERAL TIMES, YES.

8 Q. WHEN DID THIS FIRST COME TO YOUR ATTENTION, THIS DOCUMENT?

9 A. AS I CAN BEST RECOLLECT, SOMEWHERE VERY EARLY ON IN THE
10 PROCESS OF MY BEING ASKED TO COMMENT ON SPECIFICS OF THIS
11 PROCEDURE, AND DEFINITELY PRIOR TO MY TESTIMONY IN FRONT OF
12 CONGRESS IN 1997.

13 Q. ARE YOU RELYING UPON THIS DOCUMENT IN PROVIDING YOUR EXPERT
14 TESTIMONY IN THIS CASE?

15 A. IT IS A PART OF THE MATERIAL THAT I USED FOR THIS EXPERT
16 TESTIMONY, YES.

17 Q. AND DID YOU RELY UPON IT WHEN YOU TESTIFIED BEFORE
18 CONGRESS?

19 A. I DID.

20 Q. DOCTOR, I DIRECT YOU TO PAGE 127. IF YOU WOULD READ THE
21 FIRST PARAGRAPH OUT LOUD.

22 MS. GARTNER: YOUR HONOR, WE OBJECT TO HIS READING
23 THIS INTO THE RECORD. THIS ENTIRE DOCUMENT IS HEARSAY, AND WE
24 UNDERSTAND THAT HE MAY HAVE RELIED ON IT, I DON'T THINK IT
25 NEEDS TO BE READ INTO THE RECORD.

1 THE COURT: IT IS CERTAINLY NOT A LEARNED TREATISE.
2 WHAT WOULD BE YOUR AUTHORITY?

3 MS. CLARK: TO READ IT INTO THE RECORD, IT GIVES HIS
4 PERSPECTIVE AND UNDERSTANDING OF THE PARTIAL-BIRTH ABORTION
5 PROCEDURE. AND IT ESTABLISHES WHAT HIS BASIS OF KNOWLEDGE WAS
6 WHEN HE TESTIFIED BOTH BEFORE CONGRESS AND HERE.

7 THE COURT: HE HAS ALREADY GIVEN US A VERY DETAILED
8 DESCRIPTION OF WHAT HE BELIEVES TO BE THE PROCEDURE, BASED UPON
9 THIS AND THE VIDEOTAPE AND MCMAHON'S ARTICLE, AS WELL.

10 I DON'T THINK IT ADVANCES IT. YOU CAN ASK HIM
11 QUESTIONS ABOUT IT, BUT THERE IS NO REASON TO READ IT.

12 BY MS. CLARK:

13 Q. DOCTOR, BASED ON THIS DOCUMENT, DO YOU HAVE AN
14 UNDERSTANDING AS TO WHEN THE D&X PROCEDURE IS DESIGNED TO BE
15 USED?

16 A. I DO.

17 MS. GARTNER: OBJECTION, YOUR HONOR. I AM SORRY.
18 THAT WOULD ALL BE HEARSAY.

19 SINCE HE WASN'T PARTICIPATING IN THE DESIGN OF THIS
20 PROCEDURE, ANYTHING HE SAYS ABOUT WHAT IT WAS DESIGNED TO DO
21 WOULD BE HEARSAY BASED ON EXTERNAL SOURCES.

22 MS. CLARK: I WOULD BE HAPPY TO DIRECT HIM TO WHERE
23 IN THE PAPER THAT SHOWS THAT IT IS LATER IN THE PROCEDURE. BUT
24 TO THE EXTENT --

25 THE COURT: LATER IN THE --

1 MS. CLARK: I AM SORRY. THAT'S LATER IN THE
2 PREGNANCY, THE 20 WEEKS, 22 WEEKS, 24 WEEKS. AND TO THE EXTENT
3 THAT HE IS GOING TO BE COMPARING THE RELATIVE SAFETY OF THE D&X
4 PROCEDURE TO OTHER PREGNANCY TERMINATIONS, IT IS IMPORTANT FOR
5 HIM TO ESTABLISH WHAT HE UNDERSTANDS THE PROCEDURE TO BE AND
6 THAT HE IS NOT JUST MAKING IT UP, AND THAT HE OBTAINED THAT
7 INFORMATION FROM A SOURCE OF INFORMATION.

8 THE COURT: OKAY.

9 MS. GARTNER: YOUR HONOR, I THINK IF THE GOVERNMENT
10 WANTED TESTIMONY IN THIS CASE ABOUT THE DESIGN OF THE
11 PROCEDURE, AT WHAT GESTATIONAL AGES IT WAS INTENDED TO BE USED,
12 THEY COULD HAVE CALLED DR. HASKELL TO TESTIFY ABOUT HIS DESIGN
13 IF, IN FACT, IT WAS HIS DESIGN.

14 BUT I DON'T THINK -- I MEAN, WE HAD TESTIMONY
15 THROUGHOUT THE LAST FEW WEEKS ABOUT WHEN, IN FACT, IT IS USED.
16 AND I THINK FOR HIM TO TALK ABOUT WHAT ONE PRACTITIONER IN OHIO
17 INTENDS IT TO BE USED IS JUST HEARSAY, AND THERE IS NO BASIS
18 FOR IT.

19 MS. CLARK: WELL, I AM NOT SURE IF WE COULD HAVE HAD
20 DR. HASKELL COME HERE AND TESTIFY. HE IS CERTAINLY OUTSIDE THE
21 SUBPOENA POWER OF THE COURT. AND THIS IS WHAT HE RELIED UPON
22 WHEN HE TESTIFIED BEFORE CONGRESS. AND IT'S WHAT KICKED OFF --
23 WE HEARD LOT OF TESTIMONY ABOUT THIS PAPER THAT KICKED OFF THE
24 DEBATE. IT KICKED OFF HIM TESTIFYING BEFORE CONGRESS, HIM
25 BEING INVOLVED IN THE DEBATE.

1 AND IT IS IMPORTANT TO ESTABLISH THAT HE HAS SOME
2 BASIS FOR WHY HE BELIEVES THAT THE PROCEDURE INVOLVES LOTS OF
3 DILATION, 25 LAMINARIA OR MORE, AND THAT IT IS DONE IN THE
4 LATER GESTATIONAL AGES OF SECOND-TRIMESTER PREGNANCY.

5 MS. GARTNER: I AM SORRY, YOUR HONOR. FIRST OF ALL,
6 I AM NOT SURE THERE IS FOUNDATION FOR THIS. I AM NOT SURE THERE
7 IS RELEVANCE. BUT I ALSO WANT TO POINT OUT THAT THE GOVERNMENT
8 HAD, IN FACT, NOTICED DR. HASKELL'S DEPOSITION. IT WAS
9 SCHEDULED TO TAKE PLACE. DR. HASKELL HAD CONSENTED TO BEING
10 DEPOSED, EVEN THOUGH HE WAS BEYOND THE SUBPOENA POWER.

11 AND AT THE LAST MINUTE HIS DEPOSITION WAS CANCELLED.
12 THAT WAS A LITIGATION JUDGMENT THAT WAS MADE. THEY CHOSE NOT
13 TO BRING HIM HERE. I DON'T THINK THEY CAN GET IN HIS
14 STATEMENTS THROUGH DR. COOK.

15 MS. CLARK: YOUR HONOR, OUR --

16 THE COURT: I DON'T THINK I NEED TO HEAR ANY MORE.
17 I ALREADY RULED THIS PARTICULAR WITNESS IS NOT AN EXPERT. TO
18 THE EXTENT THAT HE RELIED UPON THE INFORMATION CONTAINED IN THE
19 REPORT IN FORMING HIS OPINIONS, I CERTAINLY PERMITTED HIM TO
20 TESTIFY TO HIS OPINIONS.

21 HOWEVER, THE DETAILS ARE NOT GOING TO BE ADMISSIBLE
22 THROUGH HIS TESTIMONY, THE ACTUAL DETAILS. HE HAS GIVEN US A
23 FAIRLY DETAILED DESCRIPTION OF WHAT HE BELIEVES ARE THE FOUR
24 COMPONENTS OF D&X. OTHER THAN THAT, I AM NOT GOING TO ALLOW
25 MORE IN OF THIS ARTICLE.

1 MS. CLARK: OKAY.

2 THE COURT: IF YOU WOULD LIKE TO TAKE A FEW MINUTES
3 TO GO OVER YOUR EXAMINATION OF HIM.

4 MS. CLARK: I CAN MOVE ON.

5 THE COURT: THIS IS PROBABLY AN APPROPRIATE TIME FOR
6 OUR FIRST RECESS OF THE MORNING.

7 MS. CLARK: OKAY.

8 THE COURT: WE WILL BREAK FOR 15.

9 (RECESS TAKEN AT 10:00 A.M.)

10 (PROCEEDINGS RESUMED AT 10:18 A.M.)

11 THE COURT: PLEASE CONTINUE.

12 BY MS. CLARK:

13 Q. DR. COOK, IN WHAT WAY DO YOU UNDERSTAND THE PARTIAL-BIRTH
14 ABORTION PROCEDURE TO DIFFER FROM THE DILATION AND EVACUATION
15 PROCEDURE?

16 A. THERE ARE MANY AREAS OF DIFFERENCE. ONE IS THE GESTATIONAL
17 AGE AT WHICH THE PROCEDURE IS GENERALLY UTILIZED. THE WAY IT
18 WAS DESCRIBED BY THE INITIAL PRACTITIONERS WOULD BE IT WOULD
19 OCCUR AT 20 WEEKS AND BEYOND, WHICH WOULD OVERLAP WITH SOME D&E
20 CASES. BUT A LOT OF D&E CASES ARE DONE AT LESS THAN 20 WEEKS
21 GESTATION.

22 MS. GARTNER: YOUR HONOR, THERE IS NO FOUNDATION FOR
23 THIS IN THE RECORD.

24 MS. CLARK: HE IS GOING TO BE TESTIFYING ABOUT THE
25 RELATIVE SAFETY OF INDUCTION D&X, D&E.

1 THE COURT: THEN, WE NEED A FOUNDATION. ELICIT THE
2 TESTIMONY AS TO WHAT -- UPON WHAT HIS OPINION IS BASED.

3 BY MS. CLARK:

4 Q. DOCTOR, I AM GOING TO ASK YOU TO COMPARE D&E TO D&X. AND
5 IN DOING THAT, COULD YOU FIRST TELL THE COURT WHAT YOU
6 UNDERSTAND THE D&E PROCEDURE TO BE, AND WHAT THAT -- WHAT YOUR
7 UNDERSTANDING IS BASED UPON?

8 A. WELL, CLASSIC D&E PROCEDURE IS DISMEMBERMENT PROCEDURE
9 USUALLY PERFORMED BETWEEN APPROXIMATELY 14 WEEKS GESTATION AND
10 UP TO 22 WEEKS GESTATION, WITH MOST OCCURRING IN THE 14 TO 18
11 WEEK GESTATIONAL AGE PERIOD.

12 THE HALLMARK OF WHICH IS DISMEMBERMENT AND INVOLVES
13 NECESSARILY MORE CERVICAL DILATION THAN YOU WOULD HAVE FOR A
14 FIRST-TRIMESTER SUCTION PROCEDURE, BUT LESS THAN HAS BEEN
15 DESCRIBED FOR A D&X PROCEDURE.

16 Q. WHEN YOU JUST TESTIFIED ABOUT THE D&E PROCEDURE, WHAT IS
17 YOUR UNDERSTANDING OF THAT D&E PROCEDURE BASED UPON?

18 A. BASED UPON MULTIPLE PUBLICATIONS DESCRIBING WHAT A D&E
19 PROCEDURE IS, BASED UPON HAVING OBSERVED PEOPLE DO A D&E
20 PROCEDURE, AND BASED UPON HAVING DONE A D&E PROCEDURE OR
21 PROCEDURES IN THE PAST.

22 Q. AND EVERY TIME IN WHICH YOU HAVE EITHER PERFORMED OR
23 OBSERVED A D&E PROCEDURE HAS THERE BEEN A CERTAIN AMOUNT OF
24 DISARTICULATION?

25 A. THERE HAS BEEN.

1 Q. AND WHAT ARE THE GESTATIONAL AGES IN WHICH YOU'VE WATCHED
2 THE D&E PROCEDURE BEING PERFORMED?

3 A. GENERALLY, BETWEEN ABOUT 16 AND 18 WEEKS GESTATION, MAYBE
4 UP TO, BUT I DON'T BELIEVE BEYOND, 20 WEEKS GESTATION.

5 Q. THANK YOU. AND, DOCTOR, JUST TO CLARIFY THE RECORD, WHEN
6 YOU ARE TESTIFYING ABOUT THE PARTIAL-BIRTH ABORTION PROCEDURE,
7 WHAT IS THE BASIS OF YOUR UNDERSTANDING OF THE PARTIAL-BIRTH
8 ABORTION PROCEDURE?

9 A. MY BASIS IS BASED UPON THE SUBMITTED TESTIMONY AND
10 DESCRIPTIONS IN DETAIL OF TWO HIGH-PROFILE PROVIDERS OF THE
11 PROCEDURE, OBSERVATION OF A VIDEOTAPE, AND, AGAIN, PERFORMING
12 ELEMENTS OF THE PROCEDURE IN OTHER SETTINGS.

13 Q. IN COMPARING THE D&E PROCEDURE TO THE PARTIAL-BIRTH
14 ABORTION PROCEDURE, IN WHAT WAYS DO YOU UNDERSTAND THOSE TWO
15 PROCEDURES TO BE DISTINCT PROCEDURES?

16 A. I THINK THE GREATEST DISTINCTION IS THE AMOUNT OF CERVICAL
17 DILATION THAT THEY ATTEMPT TO ACHIEVE WITH THE D&X PROCEDURE,
18 BEING A MUCH GREATER AMOUNT OF CERVICAL DILATION. AGAIN, USING
19 UP TO 25 DILATORS OVER A TWO-DAY PREPARATORY PERIOD.

20 THE D&E PROCEDURES I AM FAMILIAR WITH AND THAT I
21 HAVE SEEN DESCRIBED AND THAT I HAVE PERFORMED OR OBSERVED
22 GENERALLY USE A LESSER NUMBER OF DILATORS, USUALLY SIX OR LESS,
23 AND USUALLY FOR A SINGLE PREPARATORY DAY PRIOR TO DOING THE
24 PROCEDURE.

25 THEY ALSO, AGAIN, DIFFER IN THE GESTATIONAL AGES

1 THAT THEY ARE TARGETED AT, AS I WAS SAYING BEFORE.

2 MS. GARTNER: OBJECTION, YOUR HONOR. THERE IS STILL
3 NO FOUNDATION IN THE RECORD FOR ANY DIFFERENCE IN GESTATIONAL
4 AGE RANGE.

5 THE COURT: SUSTAINED.

6 YOU NEED TO ESTABLISH A FOUNDATION.

7 MS. CLARK: RIGHT.

8 BY MS. CLARK:

9 Q. DOCTOR, WHAT IS YOUR UNDERSTANDING OF THE GESTATIONAL RANGE
10 IN WHICH THE D&E PROCEDURE IS PERFORMED?

11 A. MY UNDERSTANDING, AS INITIALLY DESCRIBED BY DR. HASKELL IN
12 HIS PAPER, THAT IT WAS DESIGNED FOR 20 TO 26 WEEKS GESTATION.

13 Q. AND JUST TO CLARIFY, THIS IS THE D&X PROCEDURE THAT YOU ARE
14 TALKING ABOUT?

15 A. THIS IS THE D&X PROCEDURE.

16 Q. OKAY.

17 A. AND DR. HASKELL'S DESCRIPTION HE INCLUDED SOME CASES AT
18 EARLIER GESTATIONAL AGES AND SOME THAT WENT BEYOND, BUT
19 GENERALLY DESCRIBED IT AS A LATE SECOND-TRIMESTER ABORTION
20 TECHNIQUE.

21 Q. WHAT IS YOUR -- WHAT IS THE BASIS OF YOUR UNDERSTANDING
22 ABOUT THE GESTATIONAL AGES IN WHICH THE D&E PROCEDURE IS
23 PERFORMED?

24 A. AGAIN, BASED UPON THE COMMONLY-KNOWN WAYS THAT THE
25 PROCEDURE IS PERFORMED, HOW IT IS DESCRIBED IN TEXTBOOKS, HOW

1 IT IS DESCRIBED IN THE LITERATURE, AND, AGAIN, MY PERSONAL
2 EXPERIENCES EITHER PERFORMING OR OBSERVING THE PROCEDURE.

3 Q. AND WHAT, SPECIFICALLY, PROVIDES YOU A BASIS FOR YOUR
4 UNDERSTANDING THAT D&E IS PERFORMED UP TO A CERTAIN GESTATIONAL
5 AGE?

6 A. WELL, THERE ARE A NUMBER OF SOURCES THAT ATTEMPT TO TRACK
7 NUMBER OF D&E PROCEDURES PERFORMED AND AT WHAT GESTATIONAL AGES
8 THEY ARE PERFORMED. AND THEY ARE PERFORMED MORE OFTEN IN THAT
9 16 TO 18 WEEK GESTATIONAL AGE RANGE THAN THEY ARE IN THE 20 TO
10 22 WEEK GESTATIONAL AGE RANGE.

11 AND PLUS, IT IS HARDER TO FIND PRACTITIONERS WILLING
12 TO DO A D&E PROCEDURE BEYOND 20 WEEKS, BECAUSE IT IS A MORE
13 COMPLICATED PROCEDURE.

14 Q. AND HOW DO YOU KNOW IT IS MORE DIFFICULT TO FIND
15 PRACTITIONERS WHO ARE WILLING TO DO D&E'S PAST 20 WEEKS?

16 A. WELL, THERE ARE A NUMBER OF WAYS. THEY MAY INCLUDE EVEN
17 LOOKING AT PEOPLE'S ADVERTISED PRACTICES WHERE THEY WILL OFFER
18 D&E UP TO A GESTATIONAL AGE RANGE, BUT NOT BEYOND.

19 BUT MY PERSONAL EXPERIENCE WOULD BE WHEN I WAS
20 WORKING AT OTHER UNIVERSITIES AND WE HAD PATIENTS THAT NEEDED
21 D&E PROCEDURES AT CERTAIN LATE GESTATIONAL AGE RANGES WE OFTEN
22 INVOLVED PRACTITIONERS THAT HAD DONE SOME OF THESE LATE
23 GESTATIONAL AGE PROCEDURES BECAUSE THEY WERE FELT TO BE MORE
24 COMPLICATED AND MORE RISKY PROCEDURES FOR THE MOTHERS.

25 Q. AND SO BASED ON THOSE EXPERIENCES, WHAT DO YOU

1 UNDERSTAND -- WHAT DO YOU UNDERSTAND THE GESTATIONAL AGES OF
2 THE D&E PROCEDURE TO BE PERFORMED AT?

3 A. I UNDERSTAND THAT IT IS PREDOMINANTLY DONE BETWEEN 14 AND
4 18 WEEKS, BUT THERE ARE PEOPLE THAT PERFORM THEM UP TO 22 WEEKS
5 GESTATION, AND THAT THEY ARE MORE COMPLICATED WHEN THEY ARE
6 PERFORMED AT THOSE LATER GESTATIONAL AGES.

7 Q. AND BASED ON YOUR UNDERSTANDING OF THE D&E PROCEDURE VERSUS
8 YOUR UNDERSTANDING OF THE D&X PROCEDURE, IS THERE SOMETHING
9 ABOUT THE GESTATIONAL AGE THAT DISTINGUISHES THOSE TWO
10 PROCEDURES?

11 A. YES. THERE IS SOME OVERLAP BETWEEN THE PROCEDURES, BUT
12 D&E -- OR, I AM SORRY -- D&X WAS DESIGNED, AS STATED BY THE
13 PRACTITIONERS WHO PROPOSE THESE TECHNIQUES AS AN ALTERNATIVE
14 TO --

15 MS. GARTNER: OBJECTION, YOUR HONOR.

16 THE WITNESS: -- INDUCTION OF LABOR.

17 MS. GARTNER: THERE IS NO FOUNDATION THAT
18 DR. HASKELL OR DR. MCMAHON PROPOSED THESE TECHNIQUES.

19 THE COURT: SUSTAINED.

20 BY MS. CLARK:

21 Q. IF YOU WOULD LIMIT IT TO YOUR UNDERSTANDING OF, RATHER THAN
22 ASSERTING THAT THESE PHYSICIANS PROPOSED IT.

23 SO BASED ON YOUR UNDERSTANDING OF PARTIAL-BIRTH
24 ABORTION PROCEDURE VERSUS YOUR UNDERSTANDING OF THE D&E
25 PROCEDURE, DO YOU BELIEVE THAT ONE DIFFERENCE BETWEEN THE TWO

1 PROCEDURES HAS SOMETHING TO DO WITH GESTATIONAL AGE?

2 A. I DO.

3 Q. AND WHAT IS THAT DIFFERENCE?

4 A. THAT, IN GENERAL, A D&E PROCEDURE IS PERFORMED AT EARLIER
5 GESTATIONAL AGES AND THAT THE D&X IS PERFORMED AT LATER
6 GESTATIONAL AGES. AND I UNDERSTOOD THAT BECAUSE WHEN I WOULD
7 READ THE DESCRIPTIONS OF THE PROCEDURE, DR. HASKELL, FOR
8 INSTANCE, STATED IT WAS DONE AS AN ALTERNATIVE TO DOING
9 INDUCTIONS OF LABOR LATE IN THE SECOND-TRIMESTER.

10 THAT IS HOW I BASED MY UNDERSTANDING.

11 Q. DOCTOR, TURNING BACK TO SOMETHING YOU TESTIFIED ABOUT
12 EARLIER TO MAKE THE RECORD CLEAR, IN YOUR PRACTICE,
13 SPECIFICALLY IN THE 20 TO 23 WEEK GESTATIONAL RANGE, HAVE YOU
14 EVER PERFORMED AN INDUCTION IN WHICH THE HEAD OF THE BABY GOT
15 STUCK AT THE INTERNAL CERVICAL OS DURING THE DELIVERY PART OF
16 THE PROCEDURE?

17 A. I HAVE.

18 Q. AND HAVE YOU EVER NEEDED TO PUNCTURE THE HEAD AND SUCTION
19 OUT THE CONTENTS OF THE BRAIN IN ORDER TO COMPLETE THE
20 PREGNANCY TERMINATION?

21 A. I HAVE NOT.

22 Q. DOCTOR, NOW THAT YOU HAVE ESTABLISHED -- NOW THAT WE HAVE
23 ESTABLISHED YOUR UNDERSTANDING OF INDUCTION D&E AND D&X, WOULD
24 YOU PLEASE EXPLAIN TO THE COURT WHY IT IS YOUR OPINION THAT D&X
25 IS NEVER MEDICALLY NECESSARY TO CARE FOR WOMEN WITH COMPLICATED

1 PREGNANCIES?

2 A. WELL, IT IS MY OPINION BASED UPON A NUMBER OF MATERIALS I
3 HAVE REVIEWED OVER THE YEARS, AND MY EXPERIENCE IN DEALING WITH
4 COMPLICATED PREGNANCIES ON A DAILY BASIS, THAT THERE ARE OTHER
5 SAFER AND READILY AVAILABLE ALTERNATIVES TO EMPTYING A UTERUS
6 IN THE LATE SECOND-TRIMESTER OR EVEN EARLY THIRD-TRIMESTER THAT
7 ALLOW US THE OPTIMAL ABILITY TO CARE NOT ONLY FOR THE MOTHER
8 AND ANY COMPLICATIONS SHE MAY HAVE, BUT ALSO THE OPTIMAL
9 ABILITY TO GET HELPFUL INFORMATION FROM THE FETUS.

10 IN ADDITION, DO I NOT ONLY FEEL THIS PROCEDURE
11 DOESN'T OFFER ANYTHING NEW TO WHAT WE HAVE AVAILABLE, THERE ARE
12 ELEMENTS OF THE PROCEDURE THAT CONCERN ME FOR BOTH SHORT-TERM
13 AND LONG-TERM POTENTIAL FOR COMPLICATIONS.

14 Q. AND WHAT ARE SOME OF THOSE ELEMENTS OF THE PROCEDURE THAT
15 CONCERN YOU IN TERMS OF THE SHORT-TERM AND LONG-TERM RISKS?

16 A. WELL, IN THE SHORT-TERM, WHENEVER YOU ARE DOING AN
17 EXCESSIVE AMOUNT OF CERVICAL MANIPULATION OR DILATION, IT DOES
18 INCREASE YOUR RISK FOR BLEEDING, LACERATION AND INFECTION.

19 WHENEVER YOU ARE DOING INTRAUTERINE MANIPULATION OF
20 THE FETUS TO THE EXTENT THAT YOU ARE DOING AN INTERNAL PODALIC
21 VERSION, YOU INCREASE THE RISK FOR COMPLICATIONS, SUCH AS
22 TRAUMA TO THE UTERUS OR BLEEDING OR INFECTION. AND WHENEVER
23 YOU ARE POTENTIALLY EXPOSING A VERY VASCULARIZED CERVIX TO A
24 COLLAPSING SKULL, BONEY SKULL, THERE IS THE POTENTIAL FOR
25 INCREASING RISK FOR BLEEDING AND LACERATIONS AS PART OF SOME OF

1 THE SHORT-TERM CONCERNS.

2 Q. AND WITH RESPECT TO THE LONG-TERM CONCERNS THAT YOU
3 TESTIFIED ABOUT?

4 A. IN REGARDS TO THE LONG-TERM CONCERNS, THEY ARE
5 PREDOMINANTLY FOCUSED ON THE AREA OF THIS OVERDILATION, MASSIVE
6 OVERDILATION OF THE CERVIX THAT CONCERNS ME FOR THE ABILITY TO
7 MAINTAIN THE INTEGRITY OF THE CERVIX FOR SUBSEQUENT
8 PREGNANCIES.

9 Q. AND WHY DOES THE OVERDILATION CONCERN YOU ABOUT FUTURE
10 PREGNANCIES --

11 MS. GARTNER: OBJECTION, YOUR HONOR. WE OBJECT TO
12 THE USE OF THE TERM "OVERDILATION." I DON'T THINK THERE IS ANY
13 FOUNDATION FOR THE TERM "OVERDILATION" IN THIS CONTEXT.

14 THE COURT: SUSTAINED.

15 BY MS. CLARK:

16 Q. DOCTOR, WHEN YOU USE THE TERM "OVERDILATION," WHAT DID YOU
17 MEAN BY THAT?

18 A. I GUESS WHAT I MEAN IS MANYFOLD GREATER THAN THE AMOUNT OF
19 DILATION USED FOR ANY OTHER ABORTION TECHNIQUE.

20 Q. AND BASED ON YOUR UNDERSTANDING --

21 MS. GARTNER: I AM SORRY, YOUR HONOR. AGAIN, I
22 THINK THERE IS LACK OF FOUNDATION THAT THERE IS MORE DILATION
23 IN AN INTACT D&E THAN THERE IS WITH AN INDUCTION.

24 THE COURT: I AM GOING TO ALLOW HIM TO TESTIFY GIVEN
25 HIS -- I CERTAINLY HEARD FROM OTHER DOCTORS TO THE CONTRARY

1 OVER THE LAST THREE WEEKS. I WILL GIVE THE TESTIMONY ON THIS
2 ISSUE THE WEIGHT I BELIEVE IT IS ENTITLED TO.

3 MS. GARTNER: OKAY. THANK YOU.

4 BY MS. CLARK:

5 Q. DOCTOR, WHEN YOU SAY "OVERDILATION," WHAT IS YOUR BASIS FOR
6 BELIEVING THERE IS A CERTAIN AMOUNT -- THERE IS OVERDILATION IN
7 THE D&X PROCEDURE COMPARED TO OTHER ABORTION PROCEDURES?

8 A. WELL, AS I UNDERSTAND THE D&E PROCEDURE TO BE DESCRIBED AND
9 AS I HAVE SEEN IT PERFORMED AND AS I HAVE PERFORMED IT, WE
10 GENERALLY WOULD PLACE MAYBE UP TO SIX DILATORS AT A TIME, OR
11 SIX LAMINARIA.

12 AND BY THE DESCRIPTION OF THE PRACTITIONERS WHO DO
13 THE D&X PROCEDURE THEY ARE PLACING UP TO 25 DILATORS AT A TIME.
14 SO, TO ME, THAT IS MANYFOLD MORE THAN WE WOULD USE FOR ANY
15 OTHER ABORTION TECHNIQUE. IN THE FIRST-TRIMESTER WE DON'T USE
16 LAMINARIA, GENERALLY. WE USE SMALLER MECHANICAL DILATORS WHERE
17 WE ARE DILATING GENERALLY TO SOMEWHERE, EIGHT, NINE, TEN
18 MILLIMETERS.

19 Q. AND HOW DOES THE AMOUNT OF DILATION RELATE TO THE LONG-TERM
20 COMPLICATIONS YOU WERE TOUCHING ON A FEW MOMENTS AGO?

21 A. WELL, THERE'S AN INCREASING BODY OF EVIDENCE THAT PEOPLE
22 WHO EVEN HAVE FIRST-TRIMESTER ABORTIONS DONE SUFFER AN
23 INCREASED RISK OF PREMATURE DELIVERIES, MOSTLY SECONDARY TO
24 CERVICAL-INCOMPETENCE-RELATED ISSUES WITH SUBSEQUENT
25 PREGNANCIES.

1 AND IF THEY EXPERIENCE THAT WITH THE RELATIVELY
2 SMALLER AMOUNT OF CERVICAL DILATION REQUIRED FOR A
3 FIRST-TRIMESTER PROCEDURE, THEN I AM NECESSARILY CONCERNED
4 ABOUT WHAT EXPERIENCES THEY MIGHT HAVE WHEN YOU ARE DILATING AT
5 MANYFOLD GREATER THAN THAT.

6 Q. DOCTOR, IN YOUR OPINION AND BASED ON YOUR UNDERSTANDING OF
7 THE D&X PROCEDURE, ARE THERE ANY MATERNAL HEALTH RISKS THAT ARE
8 UNIQUE TO THE D&X PROCEDURE ITSELF THAT CAUSES YOU CONCERN WITH
9 THE D&X PROCEDURE?

10 A. WELL, THE ELEMENTS ARE UNIQUE IN THEIR COMBINATION,
11 ALTHOUGH DIFFERENT ELEMENTS ARE PERFORMED IN OTHER SITUATIONS.
12 BUT THE COMBINATION OF THE OVERDILATION OR THE MANYFOLD MORE
13 DILATION OF THE CERVIX, TOGETHER WITH THE INTENTIONAL INTERNAL
14 TURNING OF A SINGLETON PREGNANCY IN A LIMITED UTERINE
15 ENVIRONMENT, AND THE POTENTIAL RISK FOR THE COLLAPSING SKULL,
16 ALL POSE CONCERNS THAT I THINK GO OVER AND BEYOND OTHER
17 ABORTION TECHNIQUES.

18 Q. DOCTOR, HOW LONG HAVE YOU BEEN PROVIDING CARE TO WOMEN WITH
19 COMPLICATED PREGNANCIES?

20 A. WELL, WE STARTED CARING FOR COMPLICATED PREGNANCIES AT THE
21 VERY BEGINNING OF MY RESIDENCY TRAINING IN 1989, BUT I AM MORE
22 EXCLUSIVELY CARED FOR ONLY COMPLICATED PREGNANCIES FROM 1993
23 FORWARD.

24 Q. HAVE YOU EVER EXPERIENCED A CLINICAL SITUATION IN WHICH THE
25 PARTIAL-BIRTH ABORTION PROCEDURE HAS EVER BEEN REQUIRED OR

1 NECESSARY?

2 A. I HAVE NOT.

3 Q. DOCTOR, YOU TESTIFIED EARLIER THAT IT IS SOMETIMES
4 NECESSARY FOR MATERNAL HEALTH REASONS TO TERMINATE A PREGNANCY;
5 IS THAT CORRECT?

6 A. THAT IS CORRECT.

7 Q. WHAT ARE SOME OF THE SPECIFIC KINDS OF COMPLICATIONS THAT
8 YOU SEE IN YOUR PRACTICE REQUIRING PREGNANCY TERMINATION?

9 A. WELL, THEY CAN INCLUDE PREEEXISTING CONDITIONS IN THE
10 MOTHER, SUCH AS CERTAIN MALIGNANCIES OR CERTAIN CARDIOVASCULAR
11 DISEASES OR OTHER PREEEXISTING DISEASES.

12 THEY MAY ALSO INCLUDE THE DEVELOPMENT OF
13 COMPLICATIONS DURING THE COURSE OF THE PREGNANCY, SUCH AS
14 SEVERE HYPERTENSIVE COMPLICATIONS, THINGS OF THAT NATURE.

15 Q. IS IT FREQUENT THAT YOU HAVE TO TERMINATE A PREGNANCY
16 PREVIABILITY IN ORDER TO PROTECT THE HEALTH INTERESTS OF THE
17 MOTHER?

18 A. EVEN IN OUR PRACTICE OF JUST REFERRED COMPLICATED
19 PREGNANCIES, IT IS NOT THAT COMMON.

20 Q. WHY IS IT THAT TERMINATING A PREGNANCY PREVIABLE BECAUSE OF
21 MATERNAL HEALTH INTERESTS IS SOMETHING THAT -- I THINK IN YOUR
22 WORDS -- "IS NOT THAT COMMON"?

23 A. WELL, A NUMBER OF REASONS. ONE IS: WE HAVE IMPROVED IN
24 OUR MEDICAL ABILITIES AND MEDICAL TECHNOLOGY TO BE ABLE TO
25 SAFELY MONITOR AND MAINTAIN A PREGNANCY BETTER THAN IN DAYS

1 PRIOR, PARTICULARLY IN THE AREAS OF PREEXISTING MEDICAL
2 CONDITIONS SUCH AS CARDIOVASCULAR DISEASE.

3 IN ADDITION, AS I HAD STATED BEFORE, THE VIABILITY
4 LINE CONTINUES TO MOVE TO EARLIER GESTATIONAL AGES, SO WE DON'T
5 HAVE TO ATTAIN AS LATE A GESTATIONAL AGE AS WE HAD IN YEARS
6 PAST.

7 Q. BRIEFLY, WHY WOULD IT BE NECESSARY TO TERMINATE A PREGNANCY
8 BECAUSE OF SOME OF THE HEALTH CONDITIONS THAT YOU'VE TESTIFIED
9 ABOUT?

10 A. WELL, CONTINUATION OF THE PREGNANCY IN SOME SITUATIONS
11 ALLOWS THE WOMAN TO BE AT AN INCREASING RISK. FOR INSTANCE, IF
12 SHE HAS AN INFECTION THROUGHOUT HER UTERINE CAVITY, IF SHE
13 STAYS UNDELIVERED DURING THAT PERIOD OF TIME, THERE IS A RISK
14 THE INFECTION CAN SPREAD AND BECOME A SYSTEMIC INFECTION, SUCH
15 AS SEPSIS, WHICH CAN THREATEN HER LIFE.

16 THERE ARE OTHER EXAMPLES, AS WELL.

17 Q. OKAY. AND WHEN YOU NEED TO TERMINATE A PREGNANCY FOR A
18 COMPLICATION, DO YOU PROCEED DIFFERENTLY DEPENDING ON WHETHER
19 THE FETUS IS PREVIALE OR POSTVIALE?

20 A. SOMEWHAT DIFFERENTLY. BUT, GENERALLY, IN A SIMILAR MANNER.
21 WE MAY USE DIFFERENT MEDICATIONS OR DOSES OR DOSING INTERVALS
22 OR ANALGESICS OR PAIN MEDICATIONS, IF FETAL INTERESTS ARE NOT
23 AT PLAY.

24 Q. AND IF FOR A FETUS THAT YOU HAVE DETERMINED HAS A CHANCE OF
25 SURVIVAL OUTSIDE THE MOTHER, HOW DO YOU APPROACH PREGNANCY

1 TERMINATION IN THAT SITUATION?

2 A. WELL, WE WOULD OBVIOUSLY CONTINUE TO MONITOR THE FETAL
3 STATUS CLOSELY, AS WELL. IT MAY ENTAIL THE NEED FOR A LESS
4 TRAUMATIC DELIVERY FOR THE FETUS, SUCH AS CESAREAN DELIVERY.

5 IT MAY ENTAIL A DIFFERENT TYPE OF DOSING REGIME AND
6 ANALGESIC REGIME FOR THE MOTHER, IF FETAL IS ALSO OF CONCERN.

7 Q. DR. COOK, CAN YOU EXPLAIN HOW YOU WOULD EVALUATE MATERNAL
8 HEALTH CONDITION DURING THE PREGNANCY IN MAKING THE DECISION
9 WHETHER AND WHEN A PREGNANCY SHOULD END?

10 A. WELL, IT'S A COMPLEX EVALUATION. IT INVOLVES PHYSICAL
11 EXAM, LABORATORY STUDIES, RADIOGRAPHIC STUDIES, INCLUDING
12 ULTRASOUND.

13 IT INVOLVES OBSERVING TRENDS IN HER CARE AND
14 RESPONSE TO CARE: IS SHE IMPROVING? IS SHE STABILIZING? IS
15 SHE WORSENING IN HER CONDITION?

16 IT ALSO INVOLVES HOW MUCH FURTHER WE NEED TO TRY TO
17 GET IN THE PREGNANCY TO HAVE A CHANCE FOR FETAL VIABILITY.

18 Q. WHEN DOES A MATERNAL HEALTH CONDITION MOVE FROM BEING A
19 HEALTH PROBLEM TO SOMETHING MORE SERIOUS THAT CAN CAUSE SERIOUS
20 DAMAGE TO THE WOMAN'S HEALTH AND POTENTIALLY JEOPARDIZE HER
21 LIFE?

22 A. WELL, WHENEVER WE FEEL WE ARE IN A SITUATION THAT IS NOT
23 RESPONDING TO OUR THERAPIES, AND IS NOT NEAR THE POINT OF FETAL
24 VIABILITY AND IS CAUSING INSTABILITY IN THE MOTHER AND
25 POTENTIALLY THREATENING HER LIFE, THEN WE HAVE TO CONSIDER THE

1 POSSIBILITY OF THE NEED TO TERMINATE THE PREGNANCY.

2 Q. DOCTOR, WHEN A PREGNANCY DOES HAVE TO BE ENDED PREMATURELY
3 BECAUSE OF A HEALTH CONDITION OF THE MOTHER, IS IT EVER
4 NECESSARY TO TAKE A DESTRUCTIVE ACT AGAINST THE FETUS IN ORDER
5 TO PROTECT THE HEALTH INTEREST OF THE MOTHER?

6 A. IT IS NEVER NECESSARY TO DESTROY A FETUS TO PROTECT THE
7 MOTHER. ALL THAT IS NECESSARY IS REVERSING THE PREGNANCY
8 PROCESS, WHICH MEANS DELIVERING THE BABY OR SEPARATING THE BABY
9 FROM THE MOTHER AND SEPARATING THE PLACENTA FROM THE MOTHER.
10 THAT THEN STARTS THE RECOVERY PROCESS.

11 BUT THERE IS NOTHING INHERENT IN DESTROYING THE
12 FETUS THAT AIDS OR FACILITATES THAT PROCESS.

13 Q. DR. COOK, YOU WANT TO UTILIZE, DON'T YOU, THE SAFEST METHOD
14 OF TERMINATION POSSIBLE WHEN YOU HAVE TO TERMINATE
15 PREVIABILITY, DON'T YOU?

16 A. THAT IS CORRECT.

17 Q. IN YOUR OPINION, WOULD THERE EVER BE A MEDICAL NEED TO USE
18 THE PARTIAL-BIRTH ABORTION PROCEDURE TO TERMINATE A PREGNANCY
19 SPECIFICALLY BECAUSE OF A PARTICULAR TYPE OF HEALTH CONDITION
20 THAT THE WOMAN HAD DURING PREGNANCY?

21 A. I KNOW OF NO SUCH CONDITION.

22 Q. DOCTOR, NOW I WOULD LIKE TO ASK YOU SOME QUESTIONS ABOUT
23 SPECIFIC HEALTH CONDITIONS. YOU PREVIOUSLY TESTIFIED ABOUT
24 PREECLAMPSIA. AND HAVE YOU EVER TREATED A WOMAN -- AND I
25 UNDERSTAND YOU HAVE TREATED A WOMAN WITH PREECLAMPSIA BASED ON

1 YOUR TESTIMONY?

2 A. YES, MANY TIMES WE TREAT PEOPLE WITH PREECLAMPSIA.

3 Q. AND PREECLAMPSIA CAN DEVELOP TO A POINT IN WHICH IT IS AN
4 INDICATION FOR PREGNANCY TERMINATION?

5 A. IT CAN, YES.

6 Q. IN THAT SITUATION, WOULD PREECLAMPSIA THEN BECOME HELLP
7 SYNDROME?

8 A. WELL, IT CAN. HELLP SYNDROME IS A VARIANT OF SEVERE
9 PREECLAMPSIA.

10 Q. AND WHEN YOU HAVE THE HELLP SYNDROME, COULD PREGNANCY
11 TERMINATION BE NECESSARY IN ORDER TO PROTECT THE HEALTH
12 INTERESTS OF THE MOTHER?

13 A. YES, THAT CAN, INDEED, BE THE CASE.

14 Q. WOULD THE PARTIAL-BIRTH ABORTION PROCEDURE EVER BE
15 NECESSARY TO TERMINATE A PREGNANCY BECAUSE A WOMAN IS SUFFERING
16 FROM A FORM OF PREECLAMPSIA?

17 A. IT WOULD NOT BE NECESSARY.

18 Q. AND WHY NOT?

19 A. BECAUSE THERE ARE OTHER WELL-ESTABLISHED AND SAFER
20 TECHNIQUES AVAILABLE. AND, AGAIN, THIS WOULD BE A WOMAN WHO
21 WOULD NOT TOLERATE WELL ANY BLEEDING COMPLICATIONS.

22 Q. AND BECAUSE SHE WOULDN'T TOLERATE BLEEDING COMPLICATIONS,
23 WHAT DOES THAT HAVE TO DO WITH THE MEDICAL NECESSITY OF THE
24 PARTIAL-BIRTH ABORTION PROCEDURE?

25 A. IT WOULD MEAN YOU WOULD WANT TO PROCEED WITH THE SAFEST,

1 MOST NATURAL PROCESS AVAILABLE, AVOIDING OPERATIVE PROCEDURES,
2 IF AT ALL POSSIBLE.

3 Q. WHEN YOU HAVE DEEMED IT NECESSARY TO TERMINATE A PREGNANCY
4 IN A CASE OF PREECLAMPSIA, AND THE FETUS IS PREVIABLE, HOW HAVE
5 YOU TERMINATED THAT PREGNANCY?

6 A. GENERALLY, BY MEDICAL INDUCTION OF LABOR.

7 Q. WOULD A SURGICAL TERMINATION EVER BE INDICATED?

8 A. IT COULD BE.

9 Q. AND IN WHAT SITUATION WOULD IT BE INDICATED?

10 A. WELL, IF YOU HAD A SITUATION WHERE YOU HAVE A MOLAR
11 GESTATION, FOR INSTANCE, OR AN OVERPRODUCTION OF PLACENTAL
12 TISSUE, WHICH CAN LEAD TO HYPERTENSIVE COMPLICATIONS AT EARLIER
13 GESTATIONAL AGES, THEN A SURGICAL EVACUATION MAY BE THE BEST
14 WAY TO PROCEED, BECAUSE OF THE RISK FOR BLEEDING THAT MAY BE
15 INVOLVED IN INDUCING THAT PREGNANCY.

16 Q. AND WHAT KIND OF SURGICAL PROCEDURE ARE YOU REFERENCING?

17 A. IT COULD BE A SUCTION D&C, OR IN ADVANCE GESTATIONAL AGES,
18 COULD EVEN REQUIRE A HYSTEROTOMY.

19 Q. DOCTOR, THROUGH YOUR PRACTICE HAVE YOU BECOME FAMILIAR WITH
20 RENAL DISEASE?

21 A. I HAVE.

22 Q. WHAT IS RENAL DISEASE IN THE CONTEXT OF A COMPLICATED
23 PREGNANCY?

24 A. GENERALLY, RENAL DISEASE MEANS PREEEXISTING RENAL CONDITIONS
25 THAT CAN BE WORSENERD BY THE COURSE OF THE PREGNANCY BEING

1 SOMETIMES THEY ARE FROM INHERENT RENAL DISEASE, SUCH AS A
2 GLOMERULONEPHRITIS, OR THERE MAY BE A SECONDARY RENAL DISEASE
3 FROM A DISEASE SUCH AS DIABETES.

4 Q. HAVE YOU EVER TREATED A WOMAN WITH RENAL DISEASE DURING HER
5 PREGNANCY?

6 A. I HAVE.

7 Q. COULD THE HEALTH OF A WOMAN WITH RENAL DISEASE DURING
8 PREGNANCY, COULD HER PREGNANCY DETERIORATE -- COULD HER HEALTH
9 CONDITION DETERIORATE TO SUCH A POINT THAT THERE MIGHT BE AN
10 INDICATION FOR PREGNANCY TERMINATION?

11 A. YES, THAT IS POSSIBLE.

12 Q. WOULD THE PARTIAL-BIRTH ABORTION PROCEDURE EVER BE
13 NECESSARY TO TERMINATE A WOMAN WITH THIS CONDITION?

14 A. IT WOULD NOT BE.

15 Q. WHY NOT?

16 A. AGAIN, IT'S A MEDICAL CONDITION WITH LITTLE MEDICAL RESERVE
17 FOR COMPLICATIONS. SPECIFICALLY, IN RENAL DISEASE, THERE IS
18 CONCERN ABOUT FLUID VOLUMES AND FLUID LOADS, SO YOU WOULD WANT
19 TO REDUCE ANYTHING THAT WOULD SIGNIFICANTLY INCREASE THE RISK
20 FOR BLEEDING OR INFECTION.

21 Q. AND JUST TO MAKE CLEAR, HOW DOES THE INCREASED RISK OF
22 BLOOD LOSS AND INFECTION AFFECT YOUR OPINION ABOUT WHETHER OR
23 NOT THE PARTIAL-BIRTH ABORTION PROCEDURE WOULD EVER BE
24 NECESSARY IN A WOMAN WITH -- IN A WOMAN WITH RENAL DISEASE WHO
25 IS PREGNANT AND REQUIRES PREGNANCY TERMINATION?

1 A. WELL, THERE ARE MULTIPLE LEVELS OF CONCERN. PEOPLE WITH
2 SIGNIFICANT RENAL DISEASE GENERALLY HAVE VERY SIGNIFICANT
3 ANEMIA OR A LOWER BLOOD COUNT RESERVE TO TOLERATE BLEEDING.

4 IN ADDITION, THEIR KIDNEYS DO NOT FUNCTION WELL IN
5 DEALING WITH VOLUME LOADS. AND INCREASED VOLUME LOADS WOULD BE
6 NECESSARY FOR TREATMENT OF INFECTION OR BLEEDING.

7 Q. DR. COOK, WHAT I AM GETTING AT -- AND I THINK I AM MISSING
8 SOMETHING -- IS WHY IS THIS ABOUT THE LOW PLATELETS AND THE
9 KIDNEYS THAT SPECIFICALLY WOULD NOT MAKE THE PARTIAL-BIRTH
10 ABORTION PROCEDURE THE APPROPRIATE PROCEDURE TO USE WHEN A
11 WOMAN HAS RENAL DISEASE AND IS PREGNANT AND THE PREGNANCY NEEDS
12 TO BE TERMINATED?

13 A. I BELIEVE THAT THE PARTIAL-BIRTH ABORTION PROCEDURE POSES
14 AN INCREASED RISK FOR BLEEDING OR INFECTION BECAUSE OF THE
15 AMOUNT OF DILATION IN THE INTRAUTERINE MANIPULATION THAT WOULD
16 NOT WARRANT ITS USE IN A PATIENT WHO WOULD NOT WELL TOLERATE
17 BLEEDING AND INFECTION.

18 Q. HOW WOULD YOU DEAL WITH A WOMAN WHO IS PREGNANT AND HAD
19 RENAL DISEASE AND BECAUSE OF MATERNAL COMPLICATIONS RELATED TO
20 THE RENAL DISEASE AND HER PREGNANCY NEEDED TO TERMINATE HER
21 PREGNANCY?

22 A. WE WOULD RECOMMEND MEDICAL INDUCTION OF LABOR WITH CLOSE
23 MONITORING OF HER FLUID STATUS AND POTENTIALLY EVEN USE OF
24 EVASIVE MONITORING.

25 Q. DOCTOR, ARE THERE ANY OTHER TYPES OF CARDIAC DISEASES THAT

1 WOULD SO COMPLICATE A PREGNANCY THAT THERE WOULD BE A NEED TO
2 TERMINATE PRIOR TO TERM, SPECIFICALLY PREVIABILITY?

3 A. YES. THERE ARE SOME CONDITIONS WHICH ARE NOT VERY COMMON
4 WHICH, IF THE WOMAN CONTINUED HER PREGNANCY, IT WOULD POSE A
5 SIGNIFICANT RISK TO HER LIFE.

6 Q. AND WHAT ARE SOME OF THOSE CONDITIONS IN A SITUATION
7 RELATING TO CARDIAC DISEASE?

8 A. THEY CAN INCLUDE PEOPLE WITH MARFAN SYNDROME, WITH A
9 DILATED AORTIC ROUTE. THEY CAN INCLUDE PEOPLE WITH SEVERE
10 PULMONARY HYPERTENSION OR LEFT-TO-RIGHT BLOOD FLOW SHIFTS.

11 THEY CAN INCLUDE PEOPLE WITH SEVERE AORTIC STENOSIS.

12 Q. HAVE YOU EVER TREATED WOMEN WITH THESE CONDITIONS?

13 A. I HAVE.

14 Q. WHAT IS MARFAN SYNDROME WITH AORTIC DILATION IN THE CONTEXT
15 OF CARDIAC DISEASE?

16 A. MARFAN SYNDROME IS A GENETICALLY INHERITED DISEASE, THE
17 HALLMARK OF WHICH IS CERTAIN SKELETAL ABNORMALITIES, CERTAIN
18 AORTA ABNORMALITIES, AND CERTAIN OCULAR OR EYE ABNORMALITIES.
19 SPECIFICALLY WITH THE AORTA, THERE CAN BE A DILATION OF THE
20 AORTIC ROUTE AND ALMOST ANEURISMIC, LIKE AN ANEURISM-TYPE
21 DILATION WHICH CAN PREDISPOSE TO SOMETHING REFERRED TO AS
22 AORTIC ROUTE DISSECTION WHICH CAN BE A LIFE-THREATENING
23 CONDITION WHEN IT OCCURS.

24 Q. DOCTOR WHAT IS SHUNTING OF THE BLOOD IN THE CONTEXT OF
25 CARDIAC DISEASE?

1 A. IF YOUR PULMONARY HYPERTENSION GETS TO A POINT WHERE IT
2 EXCEEDS YOUR SYSTEMIC BLOOD PRESSURE, THEN IT CAN SHIFT THE
3 FLUID FLOW OR THE HEMODYNAMICS TO THE POINT WHERE YOU ARE
4 CIRCULATING DEOXYGENATED BLOOD TO YOUR BODY, AND THUS
5 COMPROMISING A PERSON'S HEALTH.

6 Q. IN SHORT, IS IT THE FLOW OF THE BLOOD IS GOING IN THE WRONG
7 DIRECTION?

8 A. SORT OF A REVERSAL OF THE NORMAL BLOOD FLOW DIRECTION.

9 Q. AND WHAT IS PULMONARY HYPERTENSION IN THE CONTEXT OF A
10 CARDIAC DISEASE?

11 A. PULMONARY HYPERTENSION IS WHEN THE PRESSURE BEING DELIVERED
12 FROM THE PULMONARY ARTERIES INTO THE LUNGS IS INCREASED TO THE
13 POINT WHERE IT MAY EVEN EXCEED THE OTHERWISE PERIPHERAL
14 SYSTEMIC PRESSURE AND CAN CAUSE FLUID VOLUME SHIFTS OF BLOOD
15 HEMODYNAMICS, SORT OF LIKE THIS LEFT TO RIGHT SHUNTING
16 SITUATION.

17 Q. AND WHAT IS A STENOSIS IN THE CONTEXT OF A CARDIAC DISEASE?

18 A. AORTIC STENOSIS IS A SEVERE NARROWING OF THE VALVE TO THE
19 MAJOR OUTFLOW ARTERY, THE AORTA, CAUSING A FIXED CARDIAC OUTPUT
20 SITUATION SO THAT THE PREGNANCY COULD THREATEN YOUR ABILITY TO
21 CONTINUE TO MOVE BLOOD FORWARD THROUGHOUT YOUR SYSTEM AND COULD
22 CAUSE SUDDEN CARDIAC DEATH.

23 Q. WOULD EACH ONE OF THESE CONDITIONS -- COULD EACH ONE OF
24 THESE CONDITIONS NECESSITATE TERMINATION OF PREGNANCY PRIOR TO
25 VIABILITY?

1 A. THEY COULD.

2 Q. WOULD THE PARTIAL-BIRTH ABORTION PROCEDURE EVER BE
3 MEDICALLY NECESSARY TO TERMINATE A PREGNANCY FOR THESE CARDIAC
4 CONDITIONS OR ANY OTHER CARDIAC CONDITION?

5 A. IT WOULD NOT BE.

6 Q. HOW WOULD YOU TERMINATE A PREGNANCY IF A WOMAN PRESENTED
7 WITH ONE OF THESE CONDITIONS?

8 A. WELL, IT WOULD DEPEND UPON THE GESTATIONAL AGE, BUT IF IT
9 PRESENTED AT A LATER GESTATIONAL AGE, LATE MID-TRIMESTER
10 FORWARD, WE WOULD RECOMMEND DOING A LABOR INDUCTION WITH ALMOST
11 ASSUREDLY INVASIVE CARDIAC MONITORING, INCLUDING A CENTRAL
12 VENOUS CATHETER TO EVALUATE FLUID PRESSURES, AND FLUID
13 HEMODYNAMICS.

14 Q. DOCTOR, WOULDN'T AN INDUCTION PROCEDURE IMPOSE SOME
15 PHYSIOLOGICAL STRESS ON THE MOTHER?

16 A. YES, IT WOULD, BUT IT IS A VARIATION OF A NORMAL
17 PHYSIOLOGIC PROCESS AND WE GENERALLY ALWAYS WOULD UTILIZE OTHER
18 AMELIORATING CIRCUMSTANCES, LIKE EPIDURAL ANESTHESIA AND
19 POTENTIALLY EVEN SHORTENING OF THE SECOND STAGE OF LABOR IF
20 NEED BE WITH DELIVERY FORCEPS IN ORDER TO PUT MINIMAL STRESS ON
21 HER SYSTEM.

22 Q. WHY WOULD A SURGICAL TERMINATION OF THE PREGNANCY BE
23 PREFERRED FOR THE WOMAN FACING ONE OF THESE KINDS OF CARDIAC
24 HEALTH CONDITIONS?

25 A. AGAIN, WITH WOMEN WITH LIMITED CARDIAC RESERVE, WE

1 GENERALLY WANT TO DO THE LEASE INVASIVE PROCEDURE POSSIBLE
2 UTILIZING THE MOST NATURAL OR NORMAL PHYSIOLOGIC PROCESS AS
3 POSSIBLE BECAUSE, AGAIN, THEY HAVE A LIMITED RESERVE FOR
4 TOLERATING ANY TYPE OF COMPLICATION.

5 Q. IN THE LIGHT OF THOSE CONCERNS, IN YOUR OPINION, MEDICAL
6 INDUCTION IS A PREFERRED METHOD?

7 A. THAT IS CORRECT.

8 Q. DOCTOR, NEXT TURNING TO PERIPARTUM CARDIOMYOPATHY? WHAT IS
9 THAT?

10 A. WELL, GENERALLY THAT REFERS TO DYSFUNCTION OF THE CARDIAC
11 MUSCLE CONTRACTILITY OR ABILITY TO PUMP BLOOD. AND YOU CAN
12 HAVE A CARDIOMYOPATHY FROM VARIOUS DIFFERENT CAUSES, INCLUDING
13 HYPERTENSION OR DRUG INDUCED, BUT PERIPARTUM CARDIOMYOPATHY
14 GENERALLY REFERS TO THAT WHICH OCCURS AROUND THE TIME OF
15 DELIVERY. IT SEEMS TO SOMEHOW BE RELATED TO HAVING HAD A
16 RECENT PREGNANCY.

17 Q. HAVE YOU EVER TREATED A WOMAN WITH PERIPARTUM
18 CARDIOMYOPATHY?

19 A. I HAVE.

20 Q. WOULD A PARTIAL-BIRTH ABORTION PROCEDURE EVER BE NECESSARY
21 TO TERMINATE A PREGNANCY WITH THIS CONDITION?

22 A. IT WOULD NOT BE.

23 Q. WHY IS THAT?

24 A. AGAIN, FOR VERY SIMILAR REASONS. LIMITED CARDIAC RESERVE,
25 NEED FOR AS NONEVASIVE A PROCEDURE AS POSSIBLE, AS PHYSIOLOGIC

1 PROCEDURE AS POSSIBLE, AND INTENSE MONITORING AND CRITICAL CARE
2 SUPPORT IN A SUPERVISED HOSPITAL SETTING.

3 Q. DOCTOR, WHAT IS PLACENTA PREVIA?

4 A. PLACENTA PREVIA IS THE CLINICAL SCENARIO WHERE THE PLACENTA
5 IS IMPLANTED LOW OVER THE CERVICAL OPENING, OBSTRUCTING THE
6 CERVICAL OPENING TO SOME EXTENT THEREBY PRECLUDING THE ABILITY
7 FOR A BABY TO DELIVER VAGINALLY, AT LEAST WITHOUT SIGNIFICANT
8 BLEEDING RISK.

9 Q. IS PLACENTA PREVIA AN INDICATION FOR PREGNANCY TERMINATION?

10 A. PLACENTA PREVIA IN AND OF ITSELF NOT AN INDICATION FOR
11 TERMINATION.

12 Q. HAVE YOU EVER NEEDED TO TERMINATE A PREGNANCY WHERE A WOMAN
13 WAS EXPERIENCING PLACENTA PREVIA?

14 A. I HAVE.

15 Q. AND WHAT METHOD OF PREGNANCY TERMINATION DID YOU UTILIZE?

16 A. WELL, WE HAVE UTILIZED DIFFERENT TECHNIQUES. OFTENTIMES
17 IT'S A SURGICAL TECHNIQUE SUCH AS A HYSTEROTOMY OR CESAREAN
18 DELIVERY. WE HAVE HAD SOME RARE INSTANCES WHERE WE WILL GIVE A
19 MEDICATION TO TRY TO GET THEM TO RESORB THE EARLY PREGNANCY
20 THAT MAY BE CAUSING THE PLACENTA PREVIA OR EVEN A CERVICAL
21 PREGNANCY.

22 Q. WHY HAVE YOU OPTED TO USE THE HYSTEROTOMY METHOD OF
23 TERMINATION?

24 A. WELL, IN THE LATER GESTATIONAL AGES, HYSTEROTOMY OR
25 CESAREAN DELIVERY IS THE WAY TO DELIVER A BABY WITH PLACENTA

1 PREVIA.

2 IF YOU TRY TO DELIVER VAGINALLY, THEN YOU
3 SIGNIFICANTLY PLACE AT RISK THE MOTHER AND THE FETUS SECONDARY
4 TO SEVERE BLEEDING COMPLICATIONS.

5 Q. SO IS THERE SOMETHING ABOUT THE PLACENTA -- THE NATURE OF
6 PLACENTA PREVIA THAT'S A SORT OF WELL CONTRAINDICATION TO
7 DELIVERING VAGINALLY?

8 A. YES. PLACENTA PREVIA IS CONSIDERED A CONTRAINDICATION OF
9 VAGINAL DELIVERY, AND IN SOME CIRCUMSTANCES IT'S CONSIDERED
10 CONTRAINDICATION TO EVEN DOING A VAGINAL EXAM.

11 Q. A VAGINAL DELIVERY, DOES THAT ALSO MEAN VAGINAL DELIVERY BY
12 SURGERY?

13 A. BY SURGERY OR BY INDUCTION.

14 Q. DOCTOR, WHAT IS SEPSIS?

15 A. SEPSIS IS AN INFECTION THAT HAS SPREAD FROM A LOCALIZED
16 INFECTION TO A GENERALIZED INFECTION. IT CAN THEN LEAD TO
17 COMPROMISE OF THE PATIENT INCLUDING LOW BLOOD PRESSURE AND
18 POTENTIALLY EVEN DEATH.

19 Q. AND COULD THIS BE A -- COULD SEPSIS BE AN INDICATION FOR
20 TERMINATION?

21 A. IT COULD BE, YES.

22 Q. WOULD THE PARTIAL-BIRTH ABORTION PROCEDURE EVER BE
23 NECESSARY TO TERMINATE A PREGNANCY WITH THIS CONDITION?

24 A. NO, IT WOULD NOT.

25 Q. HOW WOULD YOU PROCEED TO DEAL WITH A PREGNANCY WHERE THE

1 WOMAN HAD THIS COMPLICATION?

2 A. IF A WOMAN HAD THIS COMPLICATION, WE WOULD, AGAIN, PLACE
3 HER IN A CAREFULLY MONITORED SITUATION. WE WOULD INITIATE
4 ANTIBIOTICS AND FLUID ADMINISTRATION AND THEN WE WOULD ATTEMPT
5 TO INDUCE HER LABOR.

6 Q. IS THERE ANYTHING ABOUT THE INFECTION CONDITION OF THE
7 MOTHER THAT WOULD MAKE A SURGICAL TERMINATION CONTRAINDICATED?

8 A. WELL, IF YOU HAVE A LOCALIZED INFECTION, WHICH MOST TIMES
9 WHEN WE ARE TERMINATING IT'S PRIOR TO A GENERALIZED SYSTEMIC
10 INFECTION OCCURRING. IF YOU HAVE A LOCAL INFECTION, YOU DO NOT
11 WANT TO DO ANYTHING THAT WOULD INCREASE THE RISK FOR SEEDING OR
12 EXPOSING OTHER AREAS TO THE INFECTION. SO, ANYTHING THAT WOULD
13 INCREASE THE RISK FOR UTERINE TRAUMA, UTERINE PERFORATION,
14 HEMATOGENOUS, OR BLOOD SPREAD OF INFECTION WOULD BE
15 CONTRAINDICATED, AND THOSE ARE THE RISKS ASSOCIATED WITH
16 SURGICAL PROCEDURES AT THOSE GESTATIONAL AGES.

17 Q. DOCTOR, WHEN YOU HAVE BEEN TESTIFYING THAT THE
18 PARTIAL-BIRTH ABORTION PROCEDURE WOULD NEVER BE NECESSARY, IN
19 EACH OF THESE EXAMPLES I HAVE GONE OVER WITH YOU, WOULD IT EVER
20 BE NECESSARY TO TERMINATE A PREGNANCY IN WHICH THE CERVIX WAS
21 DILATED AND THE FETUS WAS REMOVED UP TO THE HEAD AND THEN A
22 SEPARATE PROCEDURE WAS TAKEN TO DECOMPRESS THE HEAD IN ORDER TO
23 COMPLETE THE DELIVERY?

24 A. I AM SORRY, I AM GOING TO HAVE TO ASK YOU TO RESTATE THE
25 QUESTION.

1 Q. IN EACH OF THESE DIFFERENT EXAMPLES THAT YOU HAVE GIVEN AND
2 YOU TESTIFIED THAT THE PARTIAL-BIRTH ABORTION PROCEDURE WOULD
3 NEVER BE NECESSARY, IN YOUR OPINION, WOULD IT EVER BE NECESSARY
4 TO TERMINATE THE PREGNANCY BY A METHOD IN WHICH THERE IS
5 DILATION SUCH THAT THE FETUS IS EXTRACTED VAGINALLY UP TO THE
6 HEAD, AND THEN THE HEAD IS DECOMPRESSED IN ORDER TO COMPLETE
7 THE DELIVERY WITH AN INTACT FETUS?

8 A. NO, THAT WOULD NOT BE NECESSARY.

9 Q. DR. COOK, HAVE YOU EVER NEEDED TO TERMINATE A PREGNANCY ON
10 A WOMAN WHO HAS HAD A PREVIOUS UTERINE SCAR?

11 A. I HAVE.

12 Q. WHAT KINDS OF UTERINE SCARS HAVE YOU SEEN IN YOUR PRACTICE?

13 A. A VARIETY OF UTERINE SCARS. MOST COMMONLY THOSE THAT HAVE
14 A LOW UTERINE SEGMENT OR LOW TRANSVERSE UTERINE SEGMENT SCAR,
15 SOME OF WHICH HAVE VERTICAL SCARS THAT MAY EITHER BE IN THE
16 LOWER SEGMENT OR THROUGHOUT THE CONTRACTILE PORTION, AS WELL AS
17 PEOPLE WHO MAY HAVE HAD SCARS FROM OTHER UTERINE SURGERIES
18 PERFORMED AT EARLIER STAGES IN THEIR LIVES.

19 Q. HAVE YOU EVER TREATED A WOMAN WHO HAS A SCAR FROM A
20 MYOMECTOMY?

21 A. I HAVE.

22 Q. THE LOWER TRANSVERSE SCARS THAT YOU TESTIFIED ABOUT, ARE
23 THOSE FROM CESAREAN SECTIONS?

24 A. ALMOST ALWAYS FROM CESAREAN DELIVERIES.

25 Q. IF A WOMAN'S PREGNANCY NEEDED TO BE TERMINATED PREVIABILITY

1 AND IN THE SECOND-TRIMESTER, AND SHE HAD A PREVIOUS UTERINE
2 SCAR, HOW WOULD YOU PROCEED WITH THAT TERMINATION?

3 A. WELL, IF THERE WAS AN ASSESSMENT THAT THE PATIENT WAS A
4 GOOD CANDIDATE TO TRY THE LABOR, MEANING THAT HER SCARS HAVE
5 BEEN PREDOMINANTLY IN THE LOWER UTERINE SEGMENT, AND THAT
6 POTENTIALLY SHE EVEN HAS HAD VAGINAL DELIVERIES SUBSEQUENTLY,
7 THEN WE WOULD PROCEED WITH LABOR INDUCTION USING DIFFERENT
8 TYPES OF PROSTAGLANDINS THAT WE MIGHT USE IN AN UNSCARRED
9 UTERUS.

10 Q. WHAT KINDS OF PROSTAGLANDINS WOULD YOU USE?

11 A. GENERALLY WE WOULD AVOID THE E1 SERIES, THE CYTOTEC OR
12 MISOPROSTOL, AND WE GENERALLY USE AN E2 TYPE OF PROSTAGLANDIN.
13 OFTENTIMES ONE THAT IS CONTAINED WITHIN A DELIVERY SYSTEM THAT
14 CAN BE REMOVED AS NECESSARY FAIRLY EASILY.

15 Q. JUST TO BE CLEAR, THE PGE2 PROSTAGLANDIN, THAT'S SOMETHING
16 DIFFERENT THAN MISOPROSTOL?

17 A. CORRECT. THEY FALL IN THE GENERAL FAMILY OF
18 PROSTAGLANDINS, BUT THEY ARE DIFFERENT AGENTS IN THE MANNER IN
19 WHICH THEY CAUSE UTERINE ACTIVITY AND IN THEIR SITE-EFFECT
20 PROFILES.

21 Q. DR. COOK, WITH THE OTHER EXAMPLES, WITH THE VERTICAL SCAR
22 OR A MORE DEEPER SCAR IN THE WOMAN'S UTERUS, IF A WOMAN
23 PRESENTED TO YOU WITH THAT SITUATION AND HER PREGNANCY NEEDED
24 TO BE TERMINATED, HOW WOULD YOU GO ABOUT TERMINATING HER
25 PREGNANCY?

1 A. GENERALLY WE WOULD GO ABOUT IT THE SAME WAY WITH SELECTED
2 TYPES OF PROSTAGLANDINS AND CERTAIN TYPES OF DELIVERY SYSTEMS,
3 WHILE DISCUSSING WITH HER THE POTENTIAL CONCERN FOR
4 COMPLICATIONS SUCH AS AN UTERINE SCAR SEPARATION OR UTERINE
5 RUPTURE, AND THEN WE MAY ALSO OFFER HER THE OPTION OF SURGICAL
6 PROCEDURE AS WELL.

7 Q. WHAT KIND OF SURGICAL PROCEDURE WOULD YOU OFFER HER?

8 A. DEPENDING UPON THE SITUATION, IT MAY BE A HYSTEROTOMY
9 PARTICULARLY IF SHE HAS HAD A HISTORY OF A PRIOR UTERINE
10 RUPTURE.

11 Q. OKAY.

12 MS. CLARK: YOUR HONOR, MAY I APPROACH?

13 BY MS. CLARK:

14 Q. DOCTOR, I HAVE SHOWN YOU WHAT HAS BEEN IDENTIFIED AS
15 DEFENDANT'S EXHIBIT LTA-10.

16 HAVE YOU SEEN THIS DOCUMENT BEFORE?

17 A. I HAVE.

18 Q. ARE YOU RELYING ON IT IN OFFERING YOUR OPINION TODAY?

19 A. IT IS ONE OF THE ARTICLES THAT WE USE TO DEMONSTRATE THE
20 SAFETY OF PROSTAGLANDIN USE IN A PRIOR SCARRED UTERUS.

21 Q. WHAT IS THE NAME OF THIS ARTICLE?

22 A. IT'S ENTITLED "CERVICAL RIPENING AND LABOR INDUCTION AFTER
23 PREVIOUS CESAREAN DELIVERY."

24 Q. WHERE AND WHEN WAS IT PUBLISHED?

25 A. IT'S PUBLISHED IN 1995 IN A SERIES CALLED CLINICAL

1 OBSTETRICS AND GYNECOLOGY.

2 Q. IF YOU WOULD TURN TO PAGE 289. THE FIRST COLUMN, VERY LAST
3 FULL PARAGRAPH WHERE IT BEGINS "I CONCLUDE"?

4 ARE YOU THERE?

5 A. YES, ON THE FIRST COLUMN. YES, I SEE IT.

6 Q. 289, FIRST COLUMN.

7 WOULD YOU READ OUT LOUD THAT PARAGRAPH?

8 A. (READING)

9 "I CONCLUDE THAT EXOGENOUS APPLICATION OF PGE2
10 TO THE CERVIX OR VAGINA TO RIPEN THE CERVIX IS
11 SAFE FOR TRIAL OF LABOR OR VAGINAL BIRTH AFTER
12 CESAREAN PATIENTS. NO DATA IDENTIFIED
13 CONSISTENTLY INCREASED RISK FOR MATERNAL OR
14 PERINATAL MORBIDITY OR DEATH IN THIS
15 SITUATION."

16 Q. DOES THIS CONCLUSION SUPPORT YOUR USE PROSTAGLANDIN PGE2 IN
17 PERFORMING AN INDUCTION ON A WOMAN WITH A PRIOR UTERINE SCAR?

18 A. IT DOES.

19 Q. AND HOW SO?

20 A. WELL, THIS PARTICULAR SERIES REVIEWED ALL OF THE EXISTING
21 LITERATURE AT THAT TIME IN TRIAL OF LABOR OR VAGINAL BIRTH
22 AFTER CESAREAN AND PATIENTS SUBSEQUENTLY REQUIRING CERVICAL
23 RIPENING WITH PROSTAGLANDINS.

24 THEY DID ACTUALLY ADD AS AN ADDENDUM SOME ADDITIONAL
25 DATA THAT ALL CONCLUDE THE SAME THING; THAT THESE APPROACHES

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1 ARE SAFE AND EFFECTIVE, AND NOT ASSOCIATED WITH SIGNIFICANT
2 INCREASE CONCERNS FOR UTERINE RUPTURE OR URINE DEFICIENCIES IF
3 YOU'RE SELECTING YOUR PROSTAGLANDINS CAREFULLY.

4 Q. I THINK THIS WAS IMPLICIT IN YOUR TESTIMONY, DOES A PRIOR
5 UTERINE SCAR OF THE UTERUS -- DOES THE PRIOR UTERINE SCAR
6 INCREASE THE RISK FOR UTERINE RUPTURE DURING AN INDUCTION?

7 A. YES. JUST HAVING A UTERINE SCAR DOES PLACE YOU AT
8 INCREASED RISK, BUT THE RISK IS FELT TO BE NEGLIGIBLE IF YOU
9 SELECT THE CORRECT TIME OF PROSTAGLANDIN AND YOU DO IT IN A
10 CLOSELY-MONITORED SITUATION.

11 Q. SO YOU MAY HAVE ANTICIPATED THIS QUESTION.

12 HOW DO YOU MANAGE THE RISK OF UTERINE RUPTURE THEN
13 IF YOU HAVE A WOMAN WITH A PRIOR UTERINE SCAR WHO REQUIRES
14 PREGNANCY TERMINATION?

15 A. IF IT'S A SITUATION WHERE WE THINK THEY'RE A GOOD
16 CANDIDATE, AGAIN LOWER TRANSVERSE SEGMENT, POTENTIALLY
17 SUCCESSFUL VAGINAL DELIVERY IN THE PAST, THEN WE WOULD DO IT
18 WITH PROSTAGLANDIN E2, AGAIN, IN A CAREFULLY-MONITORED
19 SITUATION.

20 IF WE THINK THAT THEY ARE A CANDIDATE BUT MAYBE AN
21 INCREASED RISK FOR A UTERINE COMPLICATION, THEN WE WILL DISCUSS
22 THE RISKS AND BENEFITS WITH THE PATIENT AND THEN SELECT A FINAL
23 METHOD.

24 AND IF WE THINK THEY ARE AT HIGH RISK, AS IN THE
25 CASE OF A PERSON WITH A PRIOR UTERINE RUPTURE, WE MAY RECOMMEND

1 HYSTEROTOMY.

2 Q. WOULD IT EVER BE MEDICALLY NECESSARY IN YOUR OPINION TO
3 PERFORM A PARTIAL-BIRTH ABORTION PROCEDURE ON A WOMAN WITH A
4 PREVIOUS SCAR WHO WAS REQUIRING PREGNANCY TERMINATION?

5 A. NO.

6 Q. AND WOULD IT EVER BE MEDICALLY NECESSARY IN YOUR OPINION TO
7 PERFORM A PROCEDURE, A SURGICAL PROCEDURE TO TERMINATE THE
8 PREGNANCY IN WHICH THE FETUS IS EXTRACTED VAGINALLY INTACT UP
9 TO THE HEAD AND THE HEAD NEEDS TO BE COLLAPSED IN ORDER TO
10 COMPLETE THE PREGNANCY TERMINATION?

11 A. NO.

12 Q. WOULD THE PARTIAL-BIRTH ABORTION PROCEDURE BE AN
13 APPROPRIATE PROCEDURE IF A WOMAN'S HEALTH WAS DETERIORATING
14 QUICKLY DURING A COMPLICATED PREGNANCY?

15 A. NO.

16 Q. AND WHY NOT?

17 A. BECAUSE WE HAVE OTHER SAFER AND READILY AVAILABLE
18 ALTERNATIVES AT OUR DISPOSAL.

19 Q. WOULD A PARTIAL-BIRTH ABORTION PROCEDURE BE NECESSARY IF
20 YOU WERE IN AN EMERGENT TIME SENSITIVE SITUATION WITH RESPECT
21 TO THE HEALTH OF THE MOTHER?

22 A. I CANNOT ENVISION A CASE WHERE THAT WOULD BE.

23 Q. AND WHY IS THAT THE CASE?

24 A. BECAUSE WE CAN PROCEED TO A RAPID DELIVERY AT ANY POINT IF
25 WE NEEDED TO WITH OTHER SURGICAL TECHNIQUES OR OFTENTIMES EVEN

1 OTHER MEDICAL TECHNIQUES.

2 Q. IS THERE SOMETHING SPECIFIC IN THE NATURE OF THE
3 PARTIAL-BIRTH ABORTION PROCEDURE THAT YOU WOULD THINK WOULD
4 MAKE IT AN UNNECESSARY PROCEDURE TO PERFORM IN AN EMERGENT
5 SITUATION?

6 A. MOST EMERGENT SITUATIONS ARE EMERGENT BECAUSE OF SOME
7 DETERIORATION OF MATERNAL HEALTH STATUS, MOST COMMONLY,
8 SIGNIFICANT BLEEDING ISSUES OR SIGNIFICANT INFECTIOUS ISSUES.
9 AND I BELIEVE THE PARTIAL-BIRTH ABORTION PROCEDURE HAS THE
10 POTENTIAL TO WORSEN OR EXACERBATE THAT SITUATION AND THAT I
11 WOULD RATHER USE OTHER ALTERNATIVE METHODS TO EMPTY THE UTERUS
12 IN THAT SETTING PARTICULARLY.

13 MS. CLARK: YOUR HONOR, I WOULD LIKE TO APPROACH THE
14 WITNESS AND HAND HIM A PART OF THE CONGRESSIONAL RECORD THAT'S
15 SPECIFICALLY A LETTER WRITTEN FROM PHILLIP DARNEY, A PHYSICIAN
16 TO SENATOR DIANNE FEINSTEIN. DR. COOK COMMENTED, RESPONDED TO
17 CONGRESS AFTER READING THIS LETTER, AND IT SETS FORTH TWO
18 EXAMPLES OF WHEN IT MAY BE NECESSARY TO DO THE PARTIAL-BIRTH
19 ABORTION PROCEDURE, AND I WOULD JUST LIKE HIM TO COMMENT ON
20 THAT.

21 IT IS NOT ON OUR EXHIBIT LIST, SO --

22 THE COURT: YOU NEED TO SHOW IT TO OPPOSING COUNSEL.

23 MS. CLARK: YES, I HAVE COPIES.

24 MS. GARTNER: YOUR HONOR, WE WOULD OBJECT TO THIS
25 TESTIMONY. THIS WASN'T DISCLOSED ON DR. COOK'S EXPERT REPORT,

1 IT WASN'T DISCUSSED IN HIS DEPOSITION.

2 HAD WE KNOWN THAT HE WAS GOING TO COMMENT ON THIS,
3 WE MIGHT HAVE SOUGHT REBUTTAL TESTIMONY FROM DR. DARNEY
4 HIMSELF, WHO IS BASED HERE IN SAN FRANCISCO, TO RESPOND TO
5 DR. COOK'S CRITIQUE OF HIS PROCEDURES.

6 THE COURT: IF IT IS NOT IN THE DEPOSITION OR EXPERT
7 REPORT, I THINK MY RULING HAS BEEN CONSISTENT.

8 MS. CLARK: OKAY.

9 BY MS. CLARK:

10 Q. DR. COOK, CONSISTENT WITH YOUR TESTIMONY ABOUT THE MEDICAL
11 NECESSITY FOR VARIOUS PROCEDURES, I WOULD LIKE YOU TO CONSIDER
12 AN EXAMPLE OF A WOMAN WHO IS 25 YEARS OLD, SHE'S HAD TWO
13 PREVIOUS VAGINAL DELIVERIES AND BLEEDING PLACENTA PREVIA AND A
14 CLOTTING DISORDER AT 20 WEEKS.

15 AFTER CHECKING HER COAGULATION PARAMETERS, AND
16 MAKING BLOOD AVAILABLE FOR TRANSFUSION, SHE -- HER CERVIX WAS
17 DILATED OVERNIGHT WITH LAMINARIA, WITH PLAN UTERINE EVACUATION
18 WHEN ADEQUATE DILATION WAS ACHIEVED, HER BLEEDING BECAME TOO
19 HEAVY TO REPLACE.

20 WITHIN 12 HOURS, OF CERVICAL DILATION, SHE WAS
21 DILATED TO 3 CENTIMETERS AND HEAVY BLEEDING HAD BEGUN. THE
22 PLACENTA WAS REMOVED QUICKLY AND AN INTACT D&E APPROACH USED.

23 IN THAT SITUATION, IN YOUR OPINION, WOULD THE D&X
24 PROCEDURE BE MEDICALLY NECESSARY?

25 A. NO. NOT ONLY DO I THINK IT IS NOT MEDICALLY NECESSARY, I

1 THINK IT IS POTENTIALLY COMPRISING TO USE THAT APPROACH IN THAT
2 SITUATION.

3 Q. WHY IS THAT YOUR OPINION?

4 A. WELL, WE NEVER WOULD RECOMMEND TRYING TO DO A SURGICAL
5 PROCEDURE LET ALONE A VAGINAL SURGICAL PROCEDURE. LET ME TAKE
6 THAT BACK.

7 WE WOULD NEVER RECOMMEND A VAGINAL PROCEDURE, LET
8 ALONE A SURGICAL VAGINAL PROCEDURE IN A PATIENT WITH PLACENTA
9 PREVIA, PARTICULARLY IF THERE IS ALREADY BLEEDING PRESENT AND
10 ALREADY A KNOWN COAGULOPATHY, MEANING AN INTOLERANCE FOR
11 BLEEDING.

12 Q. AND WOULD SOMETHING ABOUT THE WOMAN'S CLOTTING DISORDER
13 WEIGH IN YOUR ANALYSIS OF WHETHER OR NOT THE PARTIAL-BIRTH
14 ABORTION PROCEDURE WAS MEDICALLY NECESSARY?

15 A. YES. THE CLOTTING DISORDER WOULD MEAN THAT SHE HAS EVEN
16 MORE LIMITED RESERVE FOR TOLERATING BLEEDING, SHE'S IN A VERY
17 HIGH RISK SITUATION FOR BLEEDING WITH A KNOWN PREVIA AND, IN
18 FACT, IS ALREADY BLEEDING, AND HER BLEEDING SITUATION WAS ONLY
19 WORSENERED BY THE CERVICAL PREPARATION, AT LEAST IN THE CASE THAT
20 YOU JUST READ TO ME, AND SO I BELIEVE THAT THEY POTENTIALLY
21 COMPRISED HER HEALTH AND HER LIFE WITH THAT APPROACH.

22 I DON'T KNOW ACTUALLY ANYONE THAT WOULD USE THAT
23 APPROACH.

24 Q. NOW, ANOTHER EXAMPLE, DOCTOR, A 38 YEAR OLD WOMAN PRESENTS
25 HERSELF TO YOU, SHE HAS HAD THREE PREVIOUS CESAREAN DELIVERIES

1 AND EVIDENCE OF PLACENTA ACCRETA, AND SHE WAS REFERRED FOR
2 PREGNANCY TERMINATION AT 22 WEEKS GESTATION.

3 AND SHE WAS REFERRED FOR PREGNANCY TERMINATION
4 BECAUSE HER RISK OF MASSIVE HEMORRHAGE AND HYSTERECTOMY AT THE
5 TIME OF THE DELIVERY WAS CORRECTLY ESTIMATED AT 75 PERCENT.

6 AFTER THE SONOGRAPHIC STUDIES OR TESTS CONFIRMED
7 PLACENTA PREVIA AND LIKELY ACCRETA, WOULD IT BE APPROPRIATE TO
8 TAKE -- TO DILATE THE CERVIX WITH LAMINARIA, AND MAKE BLOOD
9 AVAILABLE IN CASE TRANSFUSION WAS REQUIRED, AND THEN IN ORDER
10 TO REDUCE THE 75 PERCENT PROBABILITY OF AN EMERGENCY
11 HYSTERECTOMY, A DECISION WAS MADE TO EMPTY THE UTERUS AS
12 QUICKLY AS POSSIBLE WITH INTACT D&E AND HEMORRHAGE, WOULD IT BE
13 APPROPRIATE IN THIS SITUATION TO TREAT THAT PATIENT WITH THE
14 PARTIAL-BIRTH ABORTION PROCEDURE?

15 A. IT WOULD BE MOST DECIDEDLY INAPPROPRIATE TO DO THAT.

16 Q. WHY IS THAT YOUR OPINION?

17 A. WELL, IT'S A VERY SIMILAR CASE TO THE CASE BEFORE, BUT
18 WORSENERED BY THE FACT THAT THE PLACENTA IS NOT ONLY OVERLYING
19 THE CERVIX, BUT ALSO INTIMATELY INVOLVED WITH THE UTERINE
20 MUSCLE, THUS SUCH THAT IT CANNOT BE SEPARATED EASILY FROM THE
21 UTERINE MUSCLE WITHOUT CAUSING SIGNIFICANT BLEEDING RISK.

22 AND SO IN THAT SITUATION, INSTEAD OF TRYING TO HAVE
23 A LOT OF BLOOD AVAILABLE AND BE PREPARED TO DO AN EMERGENT
24 HYSTERECTOMY, WHICH WOULD BE THE HIGHEST RISK SITUATION TO PUT
25 A PATIENT IN, WE WOULD RECOMMEND DOING HER SURGERY IN A

1 CONTROLLED SETTING WHICH IS WHAT I BELIEVE EVERYONE THAT I KNOW
2 THAT TAKES CARE OF COMPLICATED PREGNANCIES WOULD DO, AND
3 PERFORM A HYSTEROTOMY TO REMOVE THE BABY, TO ATTEMPT TO REMOVE
4 THE PLACENTA. IF UNABLE TO REMOVE THE PLACENTA, TO TRY TO
5 OVERSOW THE PLACENTAL INSERTION SITE AND RETAIN HER UTERUS, AND
6 IF THAT DIDN'T WORK, THEN PROCEED WITH THE HYSTERECTOMY.

7 IF YOU WAIT TO THE POINT WHERE YOU HAVE ALREADY BEEN
8 MANIPULATING THE PLACENTA VAGINALLY IN A SURGICAL MANNER AND
9 WAIT UNTIL YOU ALREADY HAVE SIGNIFICANT BLEEDING, THAT DOESN'T
10 RESPOND TO YOUR THERAPIES AND THEN TRY AND DO AN EMERGENT
11 HYSTERECTOMY, YOU PUT HER AT SIGNIFICANT RISK FOR LOSS OF LIFE.

12 Q. DOCTOR, NOW I AM GOING TO TURN TO THE TOPIC OF FETAL
13 ANOMALIES. YOU TESTIFIED THAT YOU TREAT AND HAVE TREATED
14 PREGNANT WOMEN WITH FETAL ANOMALIES; IS THAT CORRECT?

15 A. THAT IS TRUE.

16 Q. IN YOUR OPINION, DO ANY FETAL ANOMALIES NECESSITATE THE
17 PARTIAL-BIRTH ABORTION PROCEDURE?

18 A. THEY DO NOT.

19 Q. WHAT TYPES OF FETAL ANOMALIES DO YOU ENCOUNTER IN YOUR
20 PRACTICE?

21 A. WE SEE A WIDE RANGE OF FETAL ANOMALIES OR ABNORMALITIES,
22 GENERALLY CATEGORIZED AS GENETIC OR CHROMOSOMAL ISSUES OR AS
23 SOME TYPE OF STRUCTURAL ABNORMALITY IN THE BABY THAT MAY
24 INVOLVE ONE OR SEVERAL ORGAN SYSTEMS.

25 Q. DO PREGNANCIES INVOLVING FETAL ANOMALIES EVER THREATEN THE

1 HEALTH OF THE WOMAN?

2 A. THERE ARE SOME SITUATIONS THAT ARE NOT VERY COMMON WHERE
3 THAT CAN BE THE CASE.

4 Q. WHAT ARE SOME OF THOSE SITUATIONS?

5 A. WELL, IF THERE IS A SITUATION WHERE THERE IS A PARTIAL
6 MOLAR GESTATION WHERE THERE ARE BOTH FETAL COMPONENTS AND
7 OVERGROWTH OF PLACENTAL COMPONENTS, THAT PREGNANCY CAN BE
8 COMPLICATED BY BLEEDING, HYPERTENSION AND POTENTIALLY EVEN
9 MALIGNANT INVOLVEMENT OF A PLACENTA. THERE ARE OTHER EXAMPLES
10 AS WELL.

11 Q. DOCTOR, WITH THE MOLAR -- THE POTENTIAL MORAL GESTATION
12 EXAMPLE THAT YOU JUST DESCRIBED, WOULD IT EVER BE NECESSARY IN
13 ORDER TO PROTECT THE HEALTH OF THE MOTHER TO PERFORM A
14 PARTIAL-BIRTH ABORTION PROCEDURE?

15 A. IT WOULD NOT BE.

16 Q. WHY IS THAT?

17 A. BECAUSE WE HAVE OTHER SAFE ALTERNATIVE OPTIONS AVAILABLE.
18 AND ONE OF THE SIGNIFICANT RISK WHEN YOU EMPTY A UTERUS OF A
19 MOLAR GESTATION IS THE RISK FOR BLEEDING COMPLICATIONS. SO,
20 AGAIN, YOU WOULD LIKE TO TRY TO USE SOMETHING THAT WOULD HAVE
21 MINIMAL INTRAUTERINE MANIPULATION, MINIMAL RISK FOR BLEEDING.

22 Q. ARE YOU FAMILIAR WITH THE FETAL ANOMALY OF TRIPLOIDY?

23 A. I AM.

24 Q. WHAT IS TRIPLOIDY?

25 A. TRIPLOIDY IS THE CHEMICAL SITUATION WHERE YOU HAVE THREE

1 COPIES OF SETS OF CHROMOSOMES RATHER THAN THE USUAL TWO SETS OF
2 COPIES OF CHROMOSOMES.

3 Q. AND COULD THE CONDITION -- COULD THE FETAL CONDITION OF
4 TRIPLOIDY EFFECT THE MOTHER'S HEALTH?

5 A. IT COULD. TRIPLOIDY IS THE CLINICAL SITUATION THAT
6 GENERALLY MANIFESTS ITSELF AS A PARTIAL MOLAR GESTATION.

7 Q. AND, AGAIN, THEN, IF IT MANIFESTED ITSELF AS A PARTIAL
8 MOLAR GESTATION AND THAT REQUIRED TERMINATION OF THE PREGNANCY
9 IN ORDER TO PROTECT THE MOTHER'S HEALTH, WHAT METHOD OF
10 TERMINATION WOULD YOU USE?

11 A. IT WOULD DEPEND UPON THE GESTATIONAL AGE.

12 AT LESS THAN 14 WEEKS, WE HAVE DONE SUCTION
13 EVACUATIONS FOR THOSE PREGNANCIES. AT LATER GESTATIONAL AGES,
14 WE WOULD USUALLY TRY AND DO AN INDUCTION OF LABOR MEDICALLY.

15 Q. WHEN IT'S PRE-14 WEEKS, HAS THE FETUS BEEN ALIVE WHEN
16 YOU'VE PERFORMED THAT, THE SUCTION PROCEDURE?

17 A. THAT VERY WELL MAY BE THE CASE. WE TEND NOT TO FOCUS ON
18 THAT SO MUCH SINCE WE CONSIDER THAT A NONSURVIVABLE SITUATION
19 FOR THE FETUS WITH TRIPLOIDY.

20 Q. HAVE YOU EVER ENCOUNTERED A SITUATION IN WHICH A FETUS HAD
21 TRISOMY DEFECT SUCH THAT IT AFFECTED THE MOTHER'S HEALTH?

22 A. I HAVE.

23 Q. COULD THE MOTHER'S HEALTH EVER BE SO ADVERSELY AFFECTED
24 FROM THE TRISOMY THAT IT REQUIRED TERMINATION OF PREGNANCY?

25 A. IT COULD BE.

1 Q. CAN YOU DESCRIBE THAT KIND OF SITUATION?

2 A. WELL WE HAVE HAD ISSUES BEFORE WITH BABIES WHO HAVE CERTAIN
3 TYPES OF ABNORMALITIES WHERE IT EITHER CAUSES MASSIVE
4 ACCUMULATION OF AMNIOTIC FLUID VOLUME WITHIN THE UTERUS WHICH
5 THEN CAN LEAD TO COMPROMISED OF THE PATIENT'S ABILITY FOR
6 NORMAL RESPIRATION AND CAUSE INCREASING DISCOMFORT.

7 WE ALSO SEE THE SITUATION ON RARE OCCASIONS WHEN
8 FETUSES CAN DEVELOP A HEART FAILURE TYPE SITUATION OR A
9 NONIMMUNE HYDROPS SITUATION THAT CAN THEN SUBSEQUENTLY LEAD TO
10 MATERNAL HYPERTENSIVE COMPLICATIONS IN THE NEED FOR DELIVERY.

11 Q. AND WHEN THERE IS THE NEED FOR DELIVERY, WHAT METHOD OF
12 TERMINATION AS A MATERNAL FETAL MEDICINE SPECIALIST WOULD YOU
13 USE TO TERMINATE THE PREGNANCY?

14 A. GENERALLY MEDICAL INDUCTION OF LABOR.

15 Q. DOCTOR, WOULD IT EVER BEEN NECESSARY TO TERMINATE A
16 PREGNANCY BECAUSE OF A CLEFT LIP IN THE BABY?

17 MS. GARTNER: YOUR HONOR, THERE IS NO FOUNDATION IN
18 THE RECORD THAT THAT OCCURS.

19 THE COURT: OVERRULED.

20 BY MS. CLARK:

21 Q. DOCTOR, WOULD IT EVER BE NECESSARY TO TERMINATE A PREGNANCY
22 BECAUSE OF A CLEFT LIP IN THE BABY?

23 A. IT WOULD NEVER BE NECESSARY, NO.

24 Q. WHAT IS CLEFT LIP?

25 A. A CLEFT LIP IS A DEVELOPMENTAL DEFECT WHERE THERE IS

1 NONCLOSURE OF THE SKIN BETWEEN THE NOSE AND THE LIP AND
2 INVOLVING THE LIP, IT MAY ALSO INVOLVE THE ROOF OF THE MOUTH OR
3 WHAT WE CALL THE BONEY PALATE.

4 Q. DID YOU TESTIFY BEFORE CONGRESS ABOUT THE PARTIAL-BIRTH
5 ABORTION PROCEDURE BEING PERFORMED ON BABIES WITH CLEFT LIP?

6 A. YES. AS PART OF MY TESTIMONY, I WAS ASKED TO REVIEW THE
7 SUBMITTED FETAL INDICATIONS FOR THIS PROCEDURE, AND SOME OF THE
8 INDICATIONS WERE TYPES OF CLINICAL SCENARIOS THAT WE DEAL WITH
9 ON A QUITE REGULAR BASIS THAT ARE RELATIVELY MINOR, MANY OF
10 WHICH ARE SURGICALLY REPAIRABLE.

11 ONE OF THE ONES I SPECIFICALLY TALKED ABOUT WAS
12 CLEFT LIP. ANOTHER WAS VENTRICULAR SEPTAL DEFECT, WHICH IS A
13 SMALL HOLE BETWEEN THE CHAMBERS OF THE FETAL HEART.

14 Q. AND WHY WAS IT THAT YOU TESTIFIED ABOUT CLEFT LIP IN
15 PARTICULAR?

16 A. WELL, I TESTIFIED ABOUT A NUMBER OF SCENARIOS, INCLUDING
17 THINGS LIKE ABNORMAL CORD INSERTIONS AND INCREASED AMNIOTIC
18 FLUID VOLUMES AND OTHER SITUATIONS THAT DON'T ALWAYS
19 NECESSARILY THREATEN THE MOTHER'S HEALTH, BUT I ALSO TALKED
20 ABOUT THE SPECIFIC STRUCTURAL THINGS LIKE THE CLEFT LIP AND THE
21 HEART DEFECT TO POINT OUT THAT THERE ARE, ONE, NOT A
22 REQUIREMENT THAT THAT PREGNANCY BE TERMINATED AND, TWO, OTHER
23 ALTERNATIVE OPTIONS FOR TERMINATING THE PREGNANCY IF THE WOMAN
24 ELECTED TO PROCEED WITH THAT.

25 Q. WHY, IN PARTICULAR, DID YOU ADDRESS THE CLEFT LIP

1 SITUATION?

2 A. I BELIEVE I WAS TRYING TO PICK OUT THINGS THAT WERE VERY
3 COMMONLY SEEN IN OUR PRACTICE AND OTHER PEOPLE'S PRACTICES AND
4 POINT OUT THINGS THAT WE HAD A LOT OF PERSONAL EXPERIENCE WITH
5 AND THINGS THAT MADE UP, YOU KNOW, LARGER NUMBERS OF SOME OF
6 THE CASES THAT THEY WERE LISTING.

7 Q. AND WHAT DO YOU MEAN BY "THEY WERE LISTING"?

8 A. AGAIN, THIS WAS DERIVED EXCLUSIVELY FROM DR. MCMAHON'S
9 SUBMITTED DATA OF WHAT HE CALLED HIS MATERNAL INDICATIONS AND
10 HIS FETAL INDICATIONS FOR HIS PROCEDURE. AND I WAS ASKED TO
11 REVIEW AND COMMENT ON THOSE.

12 SO MY COMMENTS WERE LIMITED JUST TO DR. MCMAHON'S
13 SUBMITTED EXPERIENCE AND COMMENTING UPON FROM THE PERSPECTIVE
14 OF MY HAVING TAKEN CARE OF MANY PATIENTS WITH SIMILAR
15 DIAGNOSES.

16 Q. DOCTOR, HAVE YOU EVER TREATED A FETUS WHO HAD THE CONDITION
17 OF HYDROCEPHALY?

18 A. I HAVE.

19 Q. WHAT IS HYDROCEPHALY?

20 A. HYDROCEPHALY IS USUALLY USED AS A TERM TO DESCRIBE AN
21 ENLARGEMENT OF THE VENTRICULAR SYSTEM WITHIN THE BABY'S BRAIN
22 THAT AT SOME POINT MAY POTENTIALLY LEAD TO MACROCEPHALY OR
23 ENLARGEMENT OF THE BABY'S HEAD.

24 Q. DOES EITHER HYDROCEPHALY OR MACROCEPHALY IN THE FETUS, DOES
25 THAT ANOMALY REQUIRE TERMINATION OF THE PREGNANCY?

1 A. IT DOES NOT.

2 Q. AND WHY NOT?

3 A. WELL, GENERALLY IT DOESN'T POSE AN ONGOING RISK TO THE
4 MOTHER AND IT MAY REQUIRE ALTERNATIVE ROUTES OF DELIVERY, IF
5 THE HEAD IS ENLARGED SO MUCH THAT WE CANNOT DO A SAFE VAGINAL
6 DELIVERY, BUT IT DOESN'T NECESSARILY REQUIRE A TERMINATION OF
7 PREGNANCY.

8 Q. CAN A FETUS WITH EITHER HYDROCEPHALY OR MACROCEPHALY BE
9 TREATED FOR THAT CONDITION?

10 A. YES.

11 Q. HOW WOULD THAT CONDITION BE TREATED?

12 A. GENERALLY IT IS TREATED BY EVALUATING WHAT THE CAUSE IS,
13 ESPECIALLY IF IT IS AN OVERPRODUCTION OF FLUID OR A BLOCKAGE OF
14 NORMAL FLUID DRAINAGE. NORMALLY THAT IS TREATED WITH SOME TYPE
15 OF SHUNTING PROCEDURE TO BYPASS THE OBSTRUCTION OR POSSIBLY
16 WITH A PLACEMENT OF A RESERVOIR INTO THE VENTRICULAR SYSTEM IN
17 ORDER TO DECOMPRESS THE SYSTEM.

18 Q. AND IS THE PROCEDURE CEPHALOCENTESIS EVER USED TO HANDLE A
19 FETUS THAT HAS HYDROCEPHALY OR MACROCEPHALY?

20 A. SOMETIMES CEPHALOCENTESIS IS USED IF YOU HAD THE SITUATION
21 OF NOT JUST ENLARGED VENTRICLES BUT ALSO ENLARGED HEAD IN ORDER
22 TO REDUCE THE SIZE OF THE BABY'S HEAD AND FACILITATE THE
23 ABILITY TO DELIVER VAGINALLY. IT'S BASICALLY USING IN UTERO
24 THE PROCEDURE THAT WOULD BE DONE BY A NEONATOLOGIST AFTER A
25 DELIVERY.

1 Q. AND IS HYDROCEPHALY OR MACROCEPHALY A LIFE-THREATENING
2 CONDITION WITH RESPECT TO THE FETUS?

3 A. IT CAN BE A LIFE-THREATENING SITUATION IF THERE GETS TO BE
4 PARTICULARLY INFECTION WITHIN THE VENTRICULAR SYSTEM, BUT IT IS
5 NOT NECESSARILY A LIFE-THREATENING SITUATION.

6 Q. IS IT A CONDITION THAT YOU TREAT FETUSES FOR?

7 A. YES. IT'S A COMMON CAUSE FOR REFERRAL TO OUR OFFICE.

8 THE COURT: MS. CLARK, I AM GOING TO INTERRUPT YOU.
9 I DON'T KNOW HOW MUCH MORE OF THIS YOU HAVE. YOU ALL INDICATED
10 TO ME THAT YOU WANTED YOUR WITNESSES TO BE EXCUSED BY THE END
11 OF THE DAY.

12 WE NOW HAVE LISTENED TO ALMOST THREE HOURS OF DIRECT
13 ON THIS WITNESS. HE'S CERTAINLY WELCOME TO COME BACK ON
14 THURSDAY, BUT WE NEED TO ADJOURN AT 1:30. IF YOU WANT HIM NOT
15 TO HAVE TO COME BACK, YOU WILL HAVE TO GIVE OPPOSING SIDE SOME
16 TIME TO CROSS.

17 YOU DECIDE. HE CAN COME BACK ON THURSDAY AND TAKE
18 THE ENTIRE MORNING.

19 MS. CLARK: NO. I WOULD LIKE -- I THINK HE IS ONLY
20 AVAILABLE TODAY, SO I WOULD LIKE TO TRY TO WRAP UP MY TESTIMONY
21 WITH HIM.

22 HOW MUCH TIME ARE YOU THINKING OF NEEDING?

23 MS. GARTNER: POSSIBLY TWO HOURS. IT REALLY DEPENDS
24 ON HOW RESPONSIVE HE IS TO MY QUESTIONS.

25 MS. CLARK: WOULD IT BE POSSIBLE TO TAKE THE

1 15-MINUTE BREAK NOW SO I CAN LOOK AT MY NOTES AND TRY AND
2 STREAMLINE THIS AND WRAP UP AS QUICKLY AS POSSIBLE?

3 THE COURT: OKAY. FINE.

4 (RECESS TAKEN AT 11:35 A.M.)

5 (PROCEEDINGS RESUMED AT 11:50 A.M.)

6 MS. CLARK: SIX OR SEVEN QUESTIONS. SHOULD BE ABLE
7 TO WRAP UP IN ABOUT FIVE OR 10 MINUTES. I KNOW ALSO
8 MS. GARTNER TOLD ME SHE EXPECTS TO -- SHE HOPES TO BE ABLE TO
9 WRAP UP IN AN HOUR-AND-A-HALF. WE NEVER KNOW HOW LONG IT WILL
10 TAKE. AND WE BOTH BE ABLE TO STAY A LITTLE BIT LATER, IF
11 NECESSARY.

12 THE COURT: I HAVE A LOT OF OTHER THINGS TO DO THIS
13 AFTERNOON, SO I WILL GIVE YOU A LITTLE LEEWAY, BUT NOT MUCH.
14 ALL RIGHT. SO LET'S TRY TO FINISH.

15 BY MS. CLARK:

16 Q. DR. COOK, PLAINTIFFS' EXPERT -- PLAINTIFFS' EXPERTS IN THIS
17 CASE ASSERT THAT THE PARTIAL-BIRTH ABORTION PROCEDURE MAY BE
18 SAFER THAN THE DILATION AND EVACUATION PROCEDURE BECAUSE AN
19 INTACT EXTRACTION INVOLVES FEWER INSTRUMENT PASSES, LESS BLOOD
20 AND LESS TIME.

21 DO YOU AGREE THAT THIS REASON IS A SOUND BASIS FOR
22 FINDING THAT THE PARTIAL-BIRTH ABORTION PROCEDURE IS AS SAFE OR
23 SAFER THAN OTHER AVAILABLE PREGNANCY TERMINATION METHODS?

24 A. WELL, INTUITIVELY, IF YOU ARE LOOKING AT THE EXACT SAME
25 GESTATIONAL AGES FOR THE TWO PROCEDURES -- AND FREQUENTLY THEY

1 ARE NOT AT THE SAME AGES -- THEN, IT MIGHT BE REASONABLE TO
2 CONCLUDE THAT THERE WOULD BE LESS NEED FOR INSTRUMENTATION
3 INSIDE THE UTERUS FOR THE D&X VERSUS THE D&E.

4 BUT, LIKE I SAID, OFTENTIMES THEY ARE NOT THE SAME
5 GESTATIONAL AGES. AND THAT DOESN'T ADDRESS THE LONG-TERM
6 CONCERNS THAT I HAVE WITH THE OVERDILATION OF THE CERVIX.

7 Q. AND WITH RESPECT TO THE OVERDILATION OF THE CERVIX THAT YOU
8 TESTIFIED YOU ARE CONCERNED ABOUT, WHAT, SPECIFICALLY, ARE YOU
9 CONCERNED ABOUT WITH RESPECT TO THE D&X PROCEDURE?

10 A. WELL, THERE ARE SHORT-TERM CONCERNS WHEN YOU HAVE THAT
11 AMOUNT OF DILATION WITH GREATER RISK FOR INFECTION AND
12 BLEEDING. BUT MY PRIMARY CONCERN IS THE LONG-TERM CONCERNS I
13 HAVE FOR THE MAINTAINING OF THE NORMAL INTEGRITY OF THE CERVIX
14 WITH SUBSEQUENT PREGNANCIES.

15 Q. OKAY. IF THE DILATION IN THE D&X PROCEDURE IS DONE SLOWLY
16 OVER MULTIPLE DAYS INVOLVING NATURAL OSMOTIC DILATORS, AS
17 OPPOSED TO MECHANICAL DILATORS, IN YOUR OPINION COULD THAT
18 POTENTIALLY LEAD TO LONG-TERM COMPLICATIONS FOR THE MOTHER?

19 A. YES.

20 Q. AND WHY IS THAT?

21 A. WELL, THE WORST SCENARIO WOULD BE TO TAKE RIGID DILATORS
22 AND FORCIBLY DILATE THE CERVIX TO A GREAT DEGREE AT ONE
23 SETTING. IT WOULD BE PREFERABLE TO DILATE OVER A PERIOD OF
24 DAYS VERSUS DOING THAT DIRECT MECHANICAL DILATION.

25 HOWEVER, THE AMOUNT OF EVENTUAL DILATION YOU GET TO

1 IS AS CRITICAL AS TO HOW YOU GET THERE. AND SO IN MY SITUATION
2 OF DISCUSSING D&X, I AM STILL CONCERNED ABOUT HOW MUCH DILATION
3 THEY ARE TRYING TO ACHIEVE, NOT JUST HOW THEY ARE DOING IT.

4 Q. WOULD YOUR CONCERN ABOUT DILATION, THEN -- WOULD THAT POSE
5 THE SAME CONCERNS WITH RESPECT TO AN INDUCTION PROCEDURE THAT'S
6 PERFORMED AT THE SAME GESTATIONAL AGE?

7 A. NO, BECAUSE AN INDUCTION PROCEDURE INVOLVES UTERINE
8 CONTRACTIONS INDUCING CERVICAL CHANGE THROUGH THE NORMAL
9 PHYSIOLOGIC PROCESS, RATHER THAN TRYING TO FORCE OPEN THE
10 CERVIX JUST IN THE AREA OF THE CERVIX.

11 Q. NOW, AND THAT IS EVEN THOUGH THE AMOUNT OF DILATION
12 ACHIEVED IN AN INDUCTION PERFORMED AT 20 WEEKS WOULD BE MORE
13 THAN THE DILATION NEEDED TO PERFORM A PARTIAL-BIRTH ABORTION
14 PROCEDURE ON THE SAME FETUS AT 20 WEEKS?

15 A. YES. EVEN IF YOU ARE TRYING TO ACHIEVE YOU KNOW, FULLER
16 DILATION ULTIMATELY WITH AN INDUCTION, YOU ARE TRYING TO
17 ACHIEVE IT THROUGH THE UTILIZATION OF UTERINE CONTRACTION AND
18 NORMAL PHYSIOLOGY RATHER THAN TRY TO FORCE IT OPEN WITH THE
19 DILATORS.

20 Q. THANK YOU.

21 MS. CLARK: NO FURTHER QUESTIONS.

22 THE COURT: ALL RIGHT. CROSS?

23 CROSS-EXAMINATION

24 BY MS. GARTNER:

25 Q. DR. COOK, AS I UNDERSTOOD IT, THE FIRST OPINION YOU STATED

1 TODAY WAS THAT, IN YOUR OPINION, INTACT D&E IS NOT MEDICALLY
2 NECESSARY FOR WOMEN WITH COMPLICATED PREGNANCIES; IS THAT A
3 FAIR STATEMENT?

4 A. THAT WAS PART OF WHAT I THINK I STATED, YES.

5 Q. OKAY. THAT WAS ONE OF YOUR OPINIONS?

6 A. CORRECT.

7 Q. OKAY. I THINK YOU ALSO STATED THAT YOU'VE TALKED TO OTHER
8 PHYSICIANS, OTHER MATERNAL FETAL MEDICINE SPECIALISTS, WHO HAVE
9 CONCURRED WITH YOU IN THAT OPINION; IS THAT YOUR TESTIMONY?

10 A. THAT IS CORRECT.

11 Q. HAVE THE ATTORNEYS FOR THE GOVERNMENT SHARED WITH YOU
12 EITHER THE TRIAL TESTIMONY OR THE DEPOSITION TESTIMONY OF ANY
13 OF PLAINTIFFS' EXPERTS OR PLAINTIFFS IN ANY OF THE THREE CASES
14 WHO THEMSELVES ARE MATERNAL FETAL MEDICINE SPECIALISTS WHO USE
15 THE INTACT D&E PROCEDURE IN MEDICALLY-INDICATED CIRCUMSTANCES?

16 A. I AM NOT SURE I UNDERSTAND THE QUESTION.

17 Q. OKAY. I AM SORRY. IT HAD MANY PARTS TO IT. THE QUESTION
18 WAS: HAVE YOU SEEN DEPOSITION TRANSCRIPTS OR TRIAL TRANSCRIPTS
19 FROM WITNESSES OR PLAINTIFFS IN ANY OF THE THREE OF THESE CASES
20 BY MATERNAL FETAL MEDICINE SPECIALISTS, SUCH AS YOURSELF, BUT
21 ONES WHO ACTUALLY USE THE INTACT D&E PROCEDURE IN
22 MEDICALLY-INDICATED CIRCUMSTANCES?

23 A. I DON'T BELIEVE I HAVE REVIEWED ANY TRIAL TRANSCRIPTS, AND
24 I AM NOT EVEN CERTAIN I HAVE SEEN DEPOSITIONS. I HAVE SEEN
25 THEIR SUBMITTED EXPERT REPORTS, POTENTIALLY SOME DEPOSITIONS

1 FROM INDIVIDUALS SUCH AS DR. JOHNSON, WHO AS I RECALL FROM MY
2 READING OF THE PROCEDURE -- OF HIS MATERIAL -- DOES NOT PERFORM
3 THIS PROCEDURE.

4 Q. MY QUESTION WAS SPECIFICALLY WHETHER YOU'VE REVIEWED
5 TESTIMONY FROM MATERNAL FETAL MEDICINE SPECIALISTS WHO ACTUALLY
6 DO USE THE INTACT D&E PROCEDURE IN MEDICALLY-INDICATED
7 CIRCUMSTANCES?

8 A. NO, THE MATERNAL FETAL MEDICINE PEOPLE I READ FOR THIS CASE
9 I DO NOT USE THIS PROCEDURE.

10 Q. OKAY. NOW, I WANT TO TRY TO UNDERSTAND WHAT YOU MEAN BY
11 THE TERM "MEDICALLY NECESSARY" WHEN YOU ARE USING THAT IN THIS,
12 IN THE CONTEXT OF THIS OPINION.

13 WOULD YOU AGREE WITH ME THAT A PROCEDURE COULD BE
14 SAFE BUT NOT BE MEDICALLY NECESSARY?

15 A. I THINK WE HAVE DISCUSSED THIS BEFORE. A PROCEDURE COULD
16 BE SAFE, BUT WE DESCRIBED BEFORE IS MEDICALLY REDUNDANT,
17 MEANING NOT NECESSARY BECAUSE YOU HAVE OTHER PROCEDURES READILY
18 AVAILABLE.

19 BUT MY CONCERNS ARE WITH THE SAFETY ISSUE, AS WELL.

20 Q. SURE. NO, I UNDERSTAND. BUT WHAT I WANT TO DO IS TRY AND
21 FOCUS SPECIFICALLY RIGHT NOW ON THE "MEDICALLY NECESSARY"
22 OPINION, AND THEN WE WILL MOVE -- AS I UNDERSTAND, YOU HAD TWO
23 MAIN OPINIONS. ONE IS IT'S NOT MEDICALLY NECESSARY, AND ONE
24 IT'S JUST NOT SAFE, IS THAT --

25 A. THAT IS A GOOD SUMMARY.

1 Q. -- ROUGH MEMORY.

2 A. YES.

3 Q. SO I WANT TO FOCUS ON THE MEDICALLY NECESSARY ONE AND ASK
4 YOU, BECAUSE I WANT TO UNDERSTAND WHAT YOU MEAN BY "MEDICALLY
5 NECESSARY."

6 AND DO YOU AGREE WITH ME THAT SOMETHING COULD BE NOT
7 MEDICALLY NECESSARY BUT STILL BE SAFE?

8 A. YES, EXCEPT I THOUGHT WE WERE TALKING ABOUT "NECESSARY" NOT
9 "SAFE." WHEN I SAY "NECESSARY," I MEAN THAT THERE IS ALREADY
10 PREEXISTING OPTIONS AVAILABLE THAT ARE WELL-ESTABLISHED THAT
11 HAVE READY ACCESS WHERE A PATIENT HAS READY ACCESS TO THEM, AND
12 THEY HAVE ALREADY BEEN DEMONSTRATED TO BE SAFE.

13 SO IF SOMETHING ELSE THAT WOULD COME UP THAT
14 WOULDN'T OFFER ANYTHING NEW -- YOU KNOW, LESS RISK, BETTER
15 EFFICACY, LESS COST -- TO ME, IT IS NOT MEDICALLY NECESSARY. IT
16 COULD BE MEDICALLY REDUNDANT.

17 Q. OKAY. MY SPECIFIC QUESTION IS: COULD SOMETHING BE SAFE
18 BUT NOT MEDICALLY NECESSARY? A MEDICAL PROCEDURE TECHNIQUE
19 COULD BE SAFE, BUT NOT MEDICALLY NECESSARY?

20 A. I SUPPOSE IT COULD.

21 Q. SO THAT WHEN YOU SAY A MEDICAL PROCEDURE IS NOT MEDICALLY
22 NECESSARY, THAT IS NOT SYNONYMOUS WITH SAYING THAT IT IS NOT
23 SAFE; IS THAT A FAIR STATEMENT?

24 A. NOT NECESSARILY. BECAUSE IN THE CONTEXT THAT I HAVE ALWAYS
25 USED "NOT MEDICALLY NECESSARY," I HAVE ALWAYS SAID AS PART OF

1 THE CONTINUATION OF THAT STATEMENT:

2 "NOT MEDICALLY NECESSARY BECAUSE IT'S NOT SAFER
3 OR NOT MORE EFFICACIOUS, OR NOT CHEAPER, OR NOT MORE
4 EFFICIENT."

5 THOSE ARE CRITERIA I USE FOR MEDICAL NECESSITY. YOU
6 HAVE TO BRING SOMETHING NEW TO THE TABLE TO BE MEDICALLY
7 NECESSARY.

8 Q. OKAY. BUT MY QUESTION IS: WHEN YOU SAY SOMETHING IS NOT
9 "MEDICALLY NECESSARY," THAT DOESN'T, IN AND OF ITSELF, MEAN IT
10 IS NOT SAFE; IS THAT TRUE?

11 A. IT MEANS IT DOESN'T MEET ONE OF THOSE CRITERIA.

12 Q. OKAY. THANK YOU.

13 AND YOU AGREE THAT REASONABLE PHYSICIANS CAN
14 DISAGREE ABOUT WHETHER A PARTICULAR TREATMENT IS MEDICALLY
15 NECESSARY; IS THAT RIGHT?

16 A. YES. I THINK I SAID BOTH REASONABLE AND UNREASONABLE
17 PHYSICIANS CAN DISAGREE ABOUT THAT.

18 Q. I THINK YOU DID SAY THAT.

19 AND YOU WOULD AGREE THAT LACK OF MEDICAL NECESSITY
20 IS NOT, IN AND OF ITSELF, A REASON TO BAN A MEDICAL PROCEDURE;
21 IS THAT RIGHT?

22 A. WELL, AGAIN, IN THE CONTEXT I USE "MEDICALLY NECESSARY," I
23 INCLUDE ALL OF THOSE ELEMENTS. SO, I THINK IF YOU HAVE
24 SOMETHING THAT IS NOT MEDICALLY NECESSARY AND SAFETY IS NOT
25 PART OF THE CONSIDERATION, IT DOESN'T NECESSARILY REQUIRE A

1 BAN.

2 Q. SO IN AND OF ITSELF, LACK OF MEDICAL NECESSITY DOESN'T
3 INDICATE THAT SOMETHING SHOULD BE BANNED?

4 A. NOT NECESSARILY.

5 Q. SO, WHAT I WANT TO DO IS JUST TALK ABOUT THE MEDICAL
6 NECESSITY OF SOME OF THE PROCEDURES THAT COME UP IN YOUR
7 PRACTICE.

8 NOW, I THINK YOU'VE -- THIS HAS BECOME CLEAR FROM
9 YOUR TESTIMONY TODAY, BUT AM I RIGHT THAT PHYSICIANS WHO
10 PRACTICE IN THE FIELD OF MATERNAL FETAL MEDICINE OFTEN SEE VERY
11 RARE COMPLICATIONS OF PREGNANCY; IS THAT RIGHT?

12 A. YES AND NO. THEY ARE COMMON FOR US, BUT THEY WOULD BE RARE
13 IN A GENERAL PRACTITIONER'S OFFICE.

14 Q. THAT IS WHAT I MEAN: RARE IN THE WORLD OF PREGNANCY. THE
15 COMPLICATIONS THAT YOU ARE SEEING ARE GENERALLY RARE IN TERMS
16 OF HOW LIKELY IT WOULD BE THAT ANY GIVEN PREGNANT WOMAN MIGHT
17 ENCOUNTER THEM?

18 A. THAT WOULD BE CORRECT.

19 Q. OKAY. AND SOMETIMES A PREGNANT WOMAN CAN HAVE MORE THAN
20 ONE UNDERLYING MEDICAL CONDITION; IS THAT FAIR?

21 A. THAT IS A FAIR STATEMENT.

22 Q. OR MORE THAN ONE PREGNANCY COMPLICATIONS; IS THAT --

23 A. YES, THAT IS A FAIR STATEMENT.

24 Q. OKAY. AND TREATMENT OF WOMEN WITH UNDERLYING MEDICAL
25 CONDITIONS AND COMPLICATED PREGNANCIES CAN BE COMPLEX; IS THAT

1 A FAIR STATEMENT?

2 A. SOUNDS FAIR.

3 Q. OKAY. AND SOMETIMES YOU WOULD AGREE THAT THE SITUATIONS
4 THAT PRESENT THEMSELVES ARE SO RARE THAT IT WOULD HAVE BEEN
5 IMPOSSIBLE FOR IT TO HAVE BEEN THE SUBJECT OF CONTROLLED STUDY;
6 WOULD YOU AGREE WITH THAT?

7 A. NOT NECESSARILY. I MEAN, THE ISSUES THAT WE ARE TALKING
8 ABOUT, FOR INSTANCE, PREECLAMPSIA EFFECTS 10 PERCENT OF
9 PREGNANCIES.

10 Q. SURE. WELL, I UNDERSTAND PREECLAMPSIA IS ONE OF THE MORE
11 COMMON OF THE RARE CONDITIONS. BUT WHEN YOU START TALKING
12 ABOUT CONSTELLATIONS OF CONDITIONS, FOR EXAMPLE, A WOMAN WITH
13 MARFAN'S SYNDROME WHO HAD THREE PRIOR MYOMECTOMY SURGERIES AND
14 POTENTIALLY HYPERTENSION, THAT WOULD BE A SITUATION THAT WOULD
15 NOT EVER BE THE SUBJECT OF A CONTROLLED STUDY BECAUSE THE
16 CONSTELLATION OF COMPLICATIONS IS FAIRLY UNUSUAL; WOULD YOU
17 AGREE WITH THAT?

18 A. YES. ONCE YOU START ADDING MULTIPLE CRITERIA THEY HAVE TO
19 MEET, THEY BECOME MORE AND MORE RARE AND MORE AND MORE
20 DIFFICULT TO STUDY.

21 Q. AND PROBABLY IN THE LAST YEAR OR TWO YOU HAVE SEEN
22 SITUATIONS IN YOUR PRACTICE OR YOUR -- YOUR PARTNERS' PRACTICE
23 THAT WERE AT LEAST IN SOME RESPECT DIFFERENT THAN YOU HAD EVER
24 SEEN BEFORE IN YOUR PRACTICE; IS THAT THE CASE?

25 A. IF I AM UNDERSTANDING THE QUESTION ARE YOU ASKING ME IN ANY

1 GIVEN YEAR DO I SEE SOMETHING I HAVE NEVER SEEN BEFORE?

2 Q. EXACTLY. WELL STATED.

3 A. THERE ARE OCCASIONS WHERE WE SEE VARIATIONS ON THINGS WE
4 HAVE NEVER SEEN BEFORE. IT IS NOT VERY COMMON THAT WE SEE A
5 WHOLE ENTITY THAT WE HAVE NEVER SEEN BEFORE, BUT IT COULD
6 HAPPEN.

7 Q. I THINK YOU TESTIFIED IN YOUR DEPOSITION THAT IN YOUR
8 10-PLUS-YEAR CAREER IN PRACTICING MEDICINE, YOU HAVE PERSONALLY
9 ONLY ONCE ENCOUNTERED A SITUATION WHERE YOU CONSIDERED A D&E
10 ABORTION TO BE MEDICALLY NECESSARY; IS THAT CORRECT?

11 A. I AM NOT SURE I HAVE EVER SAID THAT. I TALKED ABOUT
12 SITUATIONS WHERE I MYSELF HAVE DONE D&E'S BEFORE, AND I HAVE
13 CERTAINLY REFERRED PATIENTS FOR D&E MANY TIMES, BUT NOT ONES
14 THAT WERE REQUIRED BECAUSE OF MATERNAL HEALTH RISK.

15 Q. I AM FOCUSING ON THE MEDICALLY NECESSARY. AND I THOUGHT
16 THAT YOU HAD TESTIFIED IN YOUR DEPOSITION THAT ONCE YOU DID DO
17 A D&E THAT YOU CONSIDERED TO BE MEDICALLY NECESSARY, AND THAT
18 WAS THE CASE OF THE WOMAN WITH THE ABNORMAL CERCLAGE. DID YOU
19 CONSIDER THAT TO BE MEDICALLY NECESSARY SITUATION FOR D&E?

20 A. NOT NECESSARILY. I DID OFFER HER THE OPTION OF A REPEAT
21 LAPAROTOMY AND REMOVAL OF THE BABY FROM ABOVE.

22 Q. AND LAPAROTOMY WOULD BE A SURGERY IN THE ABDOMEN?

23 A. CORRECT. AND I ALSO OFFERED HER A D&E. AND WE TALKED
24 ABOUT THE ADVANTAGES OR DISADVANTAGES OF BOTH, AND TOGETHER
25 FELT THAT D&E WAS THE BEST OPTION FOR HER.

1 Q. OKAY.

2 A. BUT IT WASN'T THE ONLY OPTION.

3 Q. HAVE YOU EVER CONSIDERED D&E TO BE MEDICALLY NECESSARY?

4 A. WELL, I HAVE CONSIDERED IT TO BE --

5 Q. IN YOUR PRACTICE?

6 A. YES, I HAVE CONSIDERED IT TO BE A MEDICAL OPTION, BUT I AM
7 NOT CERTAIN THAT IT IS EVER THE ONLY OPTION AVAILABLE.

8 Q. SO WHEN YOU SAY THAT INTACT D&E IS NOT MEDICALLY NECESSARY,
9 WOULD YOU ALSO AGREE THAT D&E IS NOT MEDICALLY NECESSARY?

10 A. WELL, NO, I WOULD NOT SAY THAT, BECAUSE I HAVE ALWAYS
11 QUALIFIED WHEN I AM TALKING ABOUT "MEDICALLY NECESSARY" I AM
12 NOT TALKING ABOUT TWO THINGS THAT ARE EQUIVALENT. I AM TALKING
13 ABOUT ONE THING THAT OFFERS NOTHING NEW AND POTENTIALLY OFFERS
14 GREATER RISK. AND THAT IS WHY I AM CONCERNED ABOUT D&X.

15 D&E COULD BE CONSIDERED AN EQUIVALENT OPTION WITH
16 MAYBE SLIGHTLY DIFFERENT VARIATIONS ON RISK THAN WOULD BE OTHER
17 OPTIONS AVAILABLE AT CERTAIN SITUATIONS.

18 AND SO I INVOLVE THE PATIENT. WE DISCUSS THE
19 ADVANTAGES AND DISADVANTAGES OF BOTH. AND D&E MAY BE A MEDICAL
20 OPTION. MAYBE IT IS INDUCTION. MAYBE IT IS ANOTHER TECHNIQUE.

21 BUT INTACT D&E OR D&X IS A DIFFERENT ISSUE, BECAUSE
22 I DON'T CONSIDER IT AN EQUIVALENT OPTION, AND I CONSIDER IT
23 POTENTIALLY A LESSER OPTION.

24 Q. I THINK YOU WENT THROUGH WITH MS. CLARK A SERIES OF MEDICAL
25 CONDITIONS AND COMPLICATIONS. AND AS TO EACH OF THEM YOU

1 CONCLUDED THAT WHAT YOU CALL "PARTIAL-BIRTH ABORTION" IS NOT
2 MEDICALLY NECESSARY; IS THAT ACCURATE?

3 A. THAT WAS MY TESTIMONY. YES.

4 Q. AND I AM WONDERING, IN THOSE SAME SITUATIONS WOULD YOU SAY
5 THAT D&E WAS ALSO NOT MEDICALLY NECESSARY?

6 A. NO, I WOULD NOT SAY THAT.

7 Q. OKAY. BUT IN YOUR PRACTICE YOU HAVE NEVER CONSIDERED D&E
8 TO BE MEDICALLY NECESSARY; IS THAT CORRECT?

9 A. NO, THAT IS NOT CORRECT.

10 Q. IN WHAT CIRCUMSTANCES IN YOUR PRACTICE HAVE YOU PERFORMED A
11 D&E AFTER DETERMINING THAT D&E WAS MEDICALLY NECESSARY?

12 A. WELL, THERE HAVE BEEN MANY CIRCUMSTANCES WHERE I HAVEN'T
13 PERFORMED IT, BUT I HAVE REFERRED PATIENTS FOR THE PROCEDURE.

14 Q. BECAUSE YOU DETERMINED IT WAS MEDICALLY NECESSARY?

15 A. BECAUSE I DETERMINED IT WAS ONE OF THE OPTIONS AVAILABLE
16 THAT I CONSIDERED AN EQUIVALENT MEDICAL OPTION OR A VIABLE
17 MEDICAL OPTION, AND THE PATIENT OPTED TO GO THAT ROUTE.

18 Q. I AM REALLY TRYING TO UNDERSTAND WHAT IT MEANS WHEN YOU SAY
19 "PARTIAL-BIRTH ABORTION," IN YOUR WORDS, IS NOT MEDICALLY
20 NECESSARY, I THINK WE ARE ENTITLED TO TRY TO UNDERSTAND WHAT
21 THAT MEANS IN YOUR LEXICON.

22 SO DO YOU EVER CONSIDER IN YOUR MEDICAL PRACTICE D&E
23 TO BE MEDICALLY NECESSARY?

24 A. IT MAY BE AT TIMES.

25 Q. AND HAVE YOU EVER FOUND IT TO BE IN YOUR PRACTICE?

1 A. I HAVE FOUND IT TO BE A MEDICAL OPTION. WHEN I SAY
2 "MEDICALLY NECESSARY," OR NOT MEDICALLY NECESSARY, MEANING IT
3 IS NOT JUST AN EQUIVALENT OPTION LIKE CHOICE A, B OR C.

4 THE COURT: YOU HAVE ALREADY EXPLAINED THAT HER.

5 THE WITNESS: OKAY.

6 THE COURT: HER QUESTION IS VERY, VERY
7 STRAIGHTFORWARD. YOU HAVE A TENDENCY TO GIVE A LOT MORE
8 INFORMATION THAN THE QUESTION CALLS FOR. WE HAVE A LIMITED
9 AMOUNT OF TIME.

10 THE WITNESS: OKAY.

11 THE COURT: I WOULD LIKE YOU TO FOCUS DIRECTLY ON
12 WHAT SHE IS ASKING YOU, AND IF YOU CAN ANSWER IT "YES" OR "NO,"
13 PLEASE DO SO.

14 THE WITNESS: I AM NOT SURE I UNDERSTAND THE
15 QUESTION, YOUR HONOR.

16 THE COURT: WHY DON'T YOU REPEAT IT?

17 BY MS. GARTNER:

18 Q. OKAY. USING THE WORDS "MEDICALLY NECESSARY" AS YOU USE
19 THEM IN THE CONTEXT OF YOUR OPINION, WHEN YOU SAY PARTIAL-BIRTH
20 ABORTION, IN YOUR WORDS, IS NOT MEDICALLY NECESSARY, USING
21 "MEDICALLY NECESSARY" AS YOU ARE USING IT IN THAT OPINION, CAN
22 YOU TELL US WHETHER IN YOUR MEDICAL PRACTICE YOU HAVE EVER
23 CONSIDERED D&E TO BE MEDICALLY NECESSARY FOR ONE OF YOUR
24 PATIENTS?

25 A. YES.

1 Q. AND CAN YOU DESCRIBE THAT CIRCUMSTANCE FOR US?

2 A. IT MAY BE A SITUATION LIKE I WAS REFERRED THE DAY BEFORE I
3 LEFT FOR THE TRIAL WHERE THE MOTHER HAS A FETAL CONDITION THAT
4 HAS MULTIPLE FETAL ANOMALIES. SHE'S OPTING NOT TO CONTINUE HER
5 PREGNANCY. SHE IS CURRENTLY 17, MAYBE 18 WEEKS GESTATION. SHE
6 WAS REFERRED TO AN OUTSIDE CLINIC FOR TERMINATION OF HER
7 PREGNANCY.

8 IT IS MY UNDERSTANDING THAT SHE WILL BE UNDERGOING A
9 D&E PROCEDURE. THIS WAS A CASE THAT WAS ADDRESSED WITH ME ON
10 MONDAY MORNING.

11 Q. OKAY. AND YOU FOUND D&E TO BE MEDICALLY NECESSARY FOR HER,
12 AS YOU ARE USING IT IN YOUR OPINION THAT PARTIAL-BIRTH ABORTION
13 IS NOT MEDICALLY NECESSARY?

14 A. YES, AS I AM USING IT IN THE SITUATION OF PARTIAL-BIRTH
15 ABORTION, I DID FIND IT TO BE MEDICALLY NECESSARY.

16 Q. AND WHAT ABOUT THAT WAS MEDICALLY NECESSARY?

17 A. THE FACT THAT IT IS CONSIDERED A VIABLE OPTION, AN OPTION
18 THAT HAS AN ESTABLISHED SAFETY RECORD, AN OPTION THAT HAS BEEN
19 UTILIZED IN THE PAST, AND AN OPTION THAT CAN BE UTILIZED AT
20 THAT GESTATIONAL AGE, AND AN OPTION IN WHICH THE PATIENT
21 DESIRED IT AND WAS NOT INTERESTED IN PATHOLOGY EVALUATION OTHER
22 THAN CHROMOSOMES.

23 WE MADE ARRANGEMENTS AS I LEFT MONDAY MORNING WITH
24 THE CLINIC DOING THE PROCEDURE TO OBTAIN MATERIAL FOR
25 CHROMOSOME EVALUATION AFTER THE PROCEDURE IS DONE.

1 Q. SO IF ALL OF THOSE CONSIDERATIONS WERE PRESENT IN THE CASE
2 OF AN INTACT D&E, IF IT WAS A VIABLE OPTION, MEANING THAT THERE
3 WAS A PROVIDER WHO WAS AVAILABLE TO PROVIDE IT, A PROVIDER WITH
4 GOOD SKILL IN THE PROCEDURE, IF THE WOMAN UNDERSTOOD HER
5 OPTIONS, CONSENTED TO IT, WOULD YOU CONSIDER PARTIAL-BIRTH
6 ABORTION, THEN, TO BE MEDICALLY NECESSARY FOR THAT WOMAN?

7 A. I WOULD NOT.

8 Q. AND WHY IS THAT?

9 A. BECAUSE THERE ARE SEVERAL ASSUMPTIONS THAT I DON'T AGREE
10 WITH.

11 Q. OKAY. I AM ASKING YOU TO ASSUME MY ASSUMPTIONS. IT IS A
12 HYPOTHETICAL QUESTION. IF IT WAS A VIABLE OPTION, MEANING
13 THERE WAS A PROVIDER AVAILABLE WHO WAS SKILLED IN THE
14 PROCEDURE, WHO WAS WILLING TO TREAT THIS WOMAN, IF THE WOMAN
15 MADE AN INFORMED DECISION TO SELECT THAT PARTICULAR PROCEDURE,
16 THERE WAS NO MEDICAL CONTRAINDICATION TO THE PROCEDURE, WOULD
17 THAT BE MEDICALLY NECESSARY FOR HER?

18 A. IT WOULD NOT.

19 Q. AND WHY NOT?

20 A. BECAUSE IN YOUR ASSUMPTIONS THAT YOU GAVE ME YOU DID NOT
21 INCLUDE AN ESTABLISHED SAFETY RECORD OF THAT PROCEDURE.

22 Q. OKAY. AND WHAT IF THERE WAS AN ESTABLISHED SAFETY RECORD
23 FOR THAT PROCEDURE?

24 A. THEN IT COULD BE POTENTIALLY MEDICALLY NECESSARY.

25 Q. I WANT TO FOCUS IN WITH YOU A LITTLE BIT ON SOME OF THE

1 PARTICULAR CONDITIONS THAT YOU TESTIFIED ABOUT. I THINK YOU
2 TESTIFIED ABOUT SEVERE PREECLAMPSIA OCCURRING PREVIABILITY.

3 I THINK YOU ALSO MENTIONED HELLP SYNDROME, WHICH I
4 GUESS IS A VARIANT OF SOME SORT ON PREECLAMPSIA.

5 YOU TALKED ABOUT, I BELIEVE, LEUKEMIA, BUT MAYBE
6 NOT, AND RENAL CONDITIONS. AND I THINK YOU SAID WITH ALL OF
7 THOSE, WITH THE PREECLAMPSIA, THE HELLP SYNDROME AND THE RENAL
8 CONDITIONS, WOMEN WOULD BE AT PARTICULAR RISK FOR BLEEDING; IS
9 THAT A FAIR STATEMENT OF YOUR TESTIMONY?

10 A. NO.

11 Q. OKAY. I AM SORRY. YOU SAID THAT SIGNIFICANT BLOOD LOSS
12 FOR THOSE WOMEN COULD BE DANGEROUS; IS THAT A FAIR STATEMENT OF
13 YOUR TESTIMONY?

14 A. THAT IS A FAIR STATEMENT.

15 Q. OKAY. SO FOR THOSE WOMEN, THE WOMEN WITH PREECLAMPSIA,
16 HELLP SYNDROME OR RENAL CONDITIONS, YOU WANT TO DO -- IF SHE
17 HAS TO HAVE AN ABORTION YOU WANT TO DO IT IN A WAY THAT WOULD
18 MINIMIZE BLOOD LOSS; IS THAT A FAIR STATEMENT?

19 A. IT IS AN INCOMPLETE STATEMENT. I WANT TO DO SOMETHING THAT
20 IS GOING TO REDUCE THE RISK FOR REVERSIBLE FORMS OF BLEEDING,
21 MEANING LACERATIONS, TEARS, BLEEDING THAT CANNOT BE WELL
22 CONTROLLED. I ALSO WANT TO REDUCE THE RISK OF INFECTION.

23 Q. OKAY. LET'S FOCUS ON THE BLOOD LOSS PART OF IT. YOU WANT
24 TO DO A PROCEDURE THAT IS GOING TO REDUCE THE RISK FOR BLOOD
25 LOSS; IS THAT A FAIR STATEMENT AS FAR AS IT GOES?

1 A. BLOOD LOSS IS RELATED TO SITUATIONS THAT CANNOT BE
2 MEDICALLY CORRECTED.

3 Q. I AM SORRY. I DON'T KNOW WHAT THAT MEANS.

4 A. WELL, THERE'S BLOOD LOSS THAT OCCURS FROM A LACERATION OR
5 PERFORATION WHICH REQUIRES ANOTHER ADDITIONAL SURGICAL
6 PROCEDURE WHICH GENERALLY INVOLVES LAPAROTOMY, WHICH GENERALLY
7 INVOLVES GREATER DELAY IN TREATMENT, WHICH GENERALLY INVOLVES
8 MORE BLEEDING.

9 THEN, THERE IS BLEEDING THAT OCCURS FROM UTERINE
10 APNEE (PHONETIC), WHICH MEANS THE UTERUS NOT CLAMPING DOWN
11 ADEQUATELY.

12 WE HAVE MEDICAL OPTIONS AVAILABLE THAT CAN BE
13 INSTITUTED IMMEDIATELY WITHOUT THE REQUIREMENT OF A LAPAROTOMY
14 AND WITHOUT A DELAY.

15 SO IT IS MORE THAN ABSOLUTE BLOOD LOSS. IT IS HOW
16 THE BLOOD LOSS OCCURS AND HOW QUICKLY AND EFFECTIVELY IT CAN BE
17 TREATED.

18 Q. OKAY.

19 OKAY. LET ME ASK YOU THIS. I THINK I UNDERSTAND
20 WHERE YOU ARE GOING WITH WHAT YOU JUST SAID, BUT IN THE CONTEXT
21 OF THESE CONDITIONS PREECLAMPSIA, HELLP SYNDROME, SERIOUS RENAL
22 CONDITIONS WHERE YOU ARE TRYING TO MINIMIZE THE RISK OF BLOOD
23 LOSS FOR THE REASONS THAT YOU'VE EXPLAINED, ONE OF THE REASONS
24 THAT YOU PREFER INDUCTION IN THOSE SITUATIONS IS THAT YOU'RE
25 NOT PUTTING INSTRUMENTS IN THE UTERUS AND YOU ARE NOT PUTTING

1 THE WOMAN AT RISK OF UTERINE PERFORATION WHICH WOULD CAUSE
2 BLOOD LOSS; IS THAT A FAIR STATEMENT?

3 A. I BELIEVE IT IS A FAIR STATEMENT, ALTHOUGH IT IS A COMPLEX
4 STATEMENT, BUT I THINK I AGREE WITH ALL OF IT.

5 Q. DID YOU WANT ME TO RESTATE IT? DID YOU FOLLOW IT?

6 A. IT WOULD BE MORE HELPFUL, MAYBE, IF YOU SAY IT ONE MORE
7 TIME.

8 Q. OKAY. FOR THOSE WOMEN: PREECLAMPSIA, HELLP SYNDROME,
9 RENAL CONDITIONS WHERE YOU ARE TRYING TO MINIMIZE THE RISK OF
10 BLOOD LOSS, ONE OF THE REASONS YOU PREFER INDUCTION FOR THOSE
11 WOMEN IF THEY ARE TERMINATING IS BECAUSE IN THE INDUCTION
12 METHOD YOU ARE NOT PUTTING INSTRUMENTS IN THE WOMAN'S UTERUS,
13 SO YOU ARE NOT PUTTING HER AT RISK OF PERFORATION FROM THOSE
14 INSTRUMENTS; IS THAT A FAIR STATEMENT?

15 A. THAT IS A FAIR STATEMENT.

16 Q. OKAY. SO IF YOU HAD A PATIENT WITH ONE OF THOSE
17 CONDITIONS, AND YOU WERE JUST FOCUSING ON THE POTENTIAL RISK
18 FROM BLOOD LOSS AND NO OTHER COMPLICATION, AND IF THE WOMAN
19 ABSOLUTELY REFUSED TO HAVE AN INDUCTION ABORTION, WOULDN'T YOU
20 AGREE THAT INTACT D&E WOULD BE SAFER FOR HER THAN A D&E,
21 SPECIFICALLY IN TERMS OF REDUCING THE INSTRUMENTATION IN THE
22 UTERUS?

23 A. YES, I ASSUME WE ARE TALKING ABOUT --

24 Q. AT COMPARABLE GESTATIONAL AGES?

25 A. YES, AT COMPARABLE GESTATIONAL AGES, THEN INTUITIVELY IT

1 WOULD MAKE SENSE THAT ANYTHING THAT INVOLVES LESS
2 INSTRUMENTATION WOULD REDUCE THE RISK OF LACERATION OR
3 PERFORATION.

4 Q. OKAY. AND THEN, WITH RESPECT TO -- I THINK YOU TESTIFIED
5 ABOUT VARIOUS CARDIAC OR MAYBE CARDIOVASCULAR CONDITIONS, AND
6 YOU MENTIONED MARFAN'S SYNDROME WITH DILATED AORTIC ROUTE?

7 A. YES.

8 Q. SIGNIFICANT PULMONARY HYPERTENSION AND LEFT-TO-RIGHT
9 SHUNTING DISORDER. DID I GET THOSE RIGHT?

10 A. YES. THE LAST ONE HAS A NAME. I WAS TRYING TO AVOID USING
11 IT. IT IS EISENMENGER'S SYNDROME.

12 Q. AND I THINK YOU TESTIFIED THAT FOR THESE WOMEN AS WELL
13 INDUCTION WOULD BE PREFERABLE TO A SURGICAL PROCEDURE; IS THAT
14 RIGHT?

15 A. YES.

16 Q. I ACTUALLY WANT TO FOCUS ON THAT LAST ONE THE EISENMENGER'S
17 SYNDROME OR THE LEFT-TO-RIGHT SHUNTING DISORDER, BECAUSE I AM
18 ACTUALLY NOT CLEAR ON YOUR OPINION.

19 YOU THINK INDUCTION IS SUPERIOR TO D&E OR INTACT D&E
20 FOR WOMEN IN THAT CIRCUMSTANCE; IS THAT RIGHT?

21 A. YES.

22 Q. OKAY. BUT DIDN'T YOU ALSO TESTIFY IN YOUR DEPOSITION THAT
23 FOR PEOPLE WITH THAT CONDITION THAT INDUCTION WOULD NOT BE
24 OPTIMAL?

25 A. NO, I SAID THOSE ARE THE PEOPLE -- AT LEAST AS I RECALL --

1 THAT ARE THE MORE COMPLICATED ONES THAT DELIVER BY ANY MANNER
2 AND DO REQUIRE VERY CAREFUL DILATION OF FLUID VOLUMES AND
3 INVASIVE MONITORING.

4 Q. BUT DID YOU NOT SAY IN YOUR DEPOSITION THAT INDUCTION WOULD
5 NOT BE OPTIMAL WITH A WOMAN WITH LEFT-TO-RIGHT SHUNTING
6 DISORDER?

7 A. WELL, ANYTHING THAT IS A LABORING PROCESS MAKES HER DISEASE
8 POTENTIALLY WORSE. EVEN CONTINUING HER PREGNANCY MAKES HER
9 DISEASE POTENTIALLY WORSE. SO, WHAT YOU HAVE TO CHOOSE FROM IS
10 MULTIPLE BAD OPTIONS. AND ONE OF THE BAD OPTIONS WOULD BE TO
11 TRY TO DO AN INDUCTION OF LABOR IN A CLOSELY-MONITORED AND
12 CAREFULLY-SUPPORTED SITUATION.

13 Q. OKAY. WHY DON'T YOU GO AHEAD AND LOOK AT YOUR DEPOSITION?
14 PAGE 185, BEGINNING AT LINE 15. DO YOU SEE THAT, FROM 15 TO
15 21?

16 A. I DO.

17 Q. AND DID YOU SAY THAT:

18 "FOR A WOMAN WITH, FOR EXAMPLE, EISENMENGER'S
19 SYNDROME INDUCTION WOULDN'T BE OPTIMAL"?

20 A. YES. THERE WAS -- THE QUESTION PUT TO ME WAS:

21 "IT ABSOLUTELY CONTRAINDICATED?"

22 AND MY RESPONSE IS:

23 "IT IS NOT ABSOLUTELY CONTRAINDICATED, BUT IT
24 WOULDN'T BE OPTIMAL."

25 Q. IT WOULDN'T BE OPTIMAL. OKAY. THANK YOU.

1 AND THEN, I THINK WE CONTINUED THE DISCUSSION. AND
2 I WILL JUST HAVE YOU LOOK BACK AT YOUR DEPOSITION AT PAGE 187.
3 ACTUALLY, LET ME ASK YOU FIRST: DO YOU RECALL THAT IN YOUR
4 DEPOSITION YOU TESTIFIED THAT, IN FACT, THE SAFEST ABORTION
5 PROCEDURE FOR A WOMAN WHO WAS 22 WEEKS PREGNANT AND WHO HAD TO
6 TERMINATE THE PREGNANCY DUE TO EISENMENGER'S SYNDROME OR
7 LEFT-TO-RIGHT SHUNTING, THAT THE SAFEST PROCEDURE FOR HER WAS A
8 SURGICAL PROCEDURE, EITHER VAGINAL OR ABDOMINAL, IN A
9 CONTINUOUSLY-MONITORED ENVIRONMENT, AND IT WAS NOT PREFERABLE
10 TO DO AN INDUCTION PROCEDURE FOR HER?

11 A. WELL, I WOULD HAVE TO REVIEW THE SPECIFIC QUESTIONS AND THE
12 EXCHANGE. BUT, GENERALLY, WHATEVER WE CAN DO TO EFFECT A
13 VAGINAL DELIVERY USING NORMAL UTERINE ACTIVITY IS ALWAYS OUR
14 FIRST OPTION.

15 IF WE HAVE A PATIENT THAT IS SO COMPROMISED THAT WE
16 DON'T THINK THAT SHE CAN TOLERATE THAT AT ALL, THEN WE WOULD
17 PROBABLY PROCEED WITH A CESAREAN DELIVERY OR HYSTEROTOMY.

18 Q. WHY DON'T YOU GO AHEAD AND LOOK, BECAUSE I THINK YOU DID
19 TESTIFY IN YOUR DEPOSITION THAT VAGINAL -- THAT A SURGICAL
20 TECHNIQUE, EITHER VAGINAL OR ABDOMINAL, WAS PREFERABLE IN THAT
21 SITUATION.

22 IF YOU LOOK AT PAGE 187, STARTING ON LINES EIGHT
23 THROUGH LINE 19.

24 A. YES, I SEE THAT.

25 Q. OKAY. AND I SAID TO YOU:

1 "WOULD YOU SAY THAT IN A WOMAN WITH, FOR
2 EXAMPLE, THE LEFT-TO-RIGHT SHUNTING HISTORY THAT
3 YOU DESCRIBED, THAT IF SHE WERE 22 WEEKS PREGNANT
4 AND HER CONDITION DETERIORATED IN SOME WAY, AND SHE
5 NEEDED TO END HER PREGNANCY, WOULD D&E BE A SAFE
6 PROCEDURE FOR HER?"

7 AND YOUR ANSWER WAS:

8 "IT WOULD NOT BE A SAFE PROCEDURE, BUT IT WOULD
9 BE AN OPTION AS A PROCEDURE."

10 WAS THAT YOUR TESTIMONY?

11 A. THAT WAS.

12 Q. AND THEN, I CONTINUED. I SAID:

13 "WHAT WOULD BE THE SAFEST PROCEDURE FOR HER?"

14 AND YOU ANSWERED:

15 "THE SAFEST PROCEDURE FOR HER WOULD BE TO BE
16 ABLE TO EFFECT HER DELIVERY SURGICALLY, WHETHER
17 VAGINAL OR ABDOMINAL, IN A CONTINUOUSLY-MONITORED
18 ENVIRONMENT."

19 WHAT WAS THAT YOUR ANSWER?

20 A. AS I UNDERSTOOD THE QUESTION --

21 Q. WELL, WAS THAT YOUR ANSWER, DR. COOK?

22 A. IT WAS THE ANSWER TO THE QUESTION THAT WAS PUT TO ME.

23 Q. OKAY.

24 A. WHICH WAS THAT SHE WAS DETERIORATING RAPIDLY.

25 Q. OKAY. AND YOUR ANSWER WAS:

1 "IN THAT CONTEXT WHERE A WOMAN HAS
2 EISENMENGER'S SYNDROME AND IS DETERIORATING RAPIDLY,
3 THAT THE SAFEST WAY TO DELIVER HER IS A SURGICAL
4 TECHNIQUE, WHETHER VAGINAL OR ABDOMINAL"; IS THAT
5 CORRECT?

6 A. YES, BECAUSE IT IS THE FASTEST WAY TO DELIVER HER WHEN SHE
7 IS DETERIORATING, BUT IT IS NOT THE GENERAL APPROACH FOR JUST
8 EISENMENGER'S SYNDROME, IN GENERAL.

9 Q. OKAY. AND A SURGICAL VAGINAL APPROACH TO ABORTION IS A
10 D&E; IS THAT NOT CORRECT?

11 A. THAT IS CORRECT.

12 Q. OKAY.

13 A. THAT IS WHY I SAID IT WAS AN OPTION.

14 Q. OKAY. SO IN YOUR DIRECT TESTIMONY YOU SAID THAT IN A WOMAN
15 WITH LEFT-TO-RIGHT SHUNTING DISORDER YOU WOULD DO AN INDUCTION
16 PROCEDURE. BUT IS IT NOW REALLY THE CASE THAT IN A WOMAN WITH
17 EISENMENGER'S SYNDROME, IF HER CONDITION WAS DETERIORATING YOU
18 MIGHT OPT FOR A D&E?

19 A. YES. MY TESTIMONY IS CONSISTENT. IF IT IS EISENMENGER'S
20 SYNDROME, MY FIRST OPTION IS MEDICAL INDUCTION. IF IT IS
21 EISENMENGER'S SYNDROME WHERE SHE IS RAPIDLY DETERIORATING AND
22 TIME IS OF THE ESSENCE, THEN I WANT TO TRY AND DELIVER HER AS
23 RAPIDLY AS POSSIBLE. MY FIRST OPTION WOULD BE ABDOMINAL
24 SURGERY, MEANING HYSTEROTOMY. BUT IT ALSO COULD INCLUDE A D&E
25 PROCEDURE.

- 1 Q. SO YOU WOULD DO -- IN THAT SITUATION YOUR FIRST OPTION
2 WOULD BE HYSTEROTOMY; IS THAT RIGHT?
- 3 A. CORRECT.
- 4 Q. AND HYSTEROTOMY, IS THAT LIKE A CESAREAN SECTION, RIGHT?
- 5 A. BASICALLY, IT IS A CESAREAN DELIVERY.
- 6 Q. BUT IS IT A LOW TRANSVERSE INCISION OR IS IT ONE OF THE
7 VERTICAL-TYPE INCISIONS?
- 8 A. IT IS USUALLY LIKE A CLASSICAL CESAREAN DELIVERY, VERTICAL
9 INCISION.
- 10 Q. IF THE WOMAN HAS A HYSTEROTOMY IN THAT CIRCUMSTANCE, THAT
11 MAKES IT UNLIKELY THAT SHE WOULD EVER HAVE A VAGINAL DELIVERY
12 IN FUTURE PREGNANCIES; IS THAT FAIR?
- 13 A. IT WOULD MAKE IT UNLIKELY. I HAVE DELIVERED PEOPLE WITH
14 VERTICAL INCISIONS VAGINALLY.
- 15 Q. BUT A VERTICAL INCISION PUTS A WOMAN AT MUCH HIGHER RISK
16 FOR UTERINE RUPTURE IN FUTURE PREGNANCIES; IS THAT FAIR?
- 17 A. IT INCREASES THE RISK TO ABOUT FIVE TO 10 PERCENT.
- 18 Q. FIVE TO 10 PERCENT OF WOMEN THAT DELIVER WITH A CLASSICAL
19 CESAREAN SECTION WILL HAVE AN UTERINE RUPTURE?
- 20 A. UTERINE RUPTURE, CORRECT.
- 21 Q. JUST TO SUMMARIZE THIS, YOU WOULD AGREE THAT FOR SOME WOMEN
22 WITH CARDIOVASCULAR CONDITIONS UNDER SOME CIRCUMSTANCES D&E MAY
23 BE A SUPERIOR TECHNIQUE TO INDUCTION?
- 24 A. IT MAY BE A SUPERIOR TECHNIQUE TO INDUCTION --
- 25 Q. OKAY.

1 A. -- IN SOME SPECIAL CIRCUMSTANCES.

2 Q. RIGHT, UNDERSTOOD. OKAY. I THINK YOU ALSO TESTIFIED
3 ABOUT THE CIRCUMSTANCES OF WOMEN WHO DEVELOP AN INFECTION OF
4 THE UTERUS; IS THAT RIGHT?

5 A. THAT'S RIGHT.

6 Q. IS THAT ALSO CALLED CHORIOAMNIONITIS?

7 A. YES, THAT IS CORRECT.

8 Q. OKAY. AND DO SOME WOMEN WITH CHORIOAMNIONITIS ALSO HAVE A
9 PREMATURE RUPTURE OF THEIR MEMBRANES?

10 A. THEY OFTEN DO, YES.

11 Q. AND IS CHORIOAMNIONITIS WITH PREMATURE RUPTURED MEMBRANES
12 SOMETIMES ASSOCIATED WITH CERVICAL DILATION?

13 A. IT OFTEN IS.

14 Q. AND IS IT ALSO SOMETIMES ASSOCIATED WITH CERVICAL
15 SOFTENING?

16 A. IT CAN BE, YES.

17 Q. AND IF A WOMAN PRESENTS TO YOU CHORIOAMNIONITIS AND
18 PREMATURE RUPTURED MEMBRANES AND HER CERVIX IS SOFT AND
19 PARTIALLY DILATED, IS IT POSSIBLE THAT SOMETIMES YOU CAN
20 ACTUALLY SEE FETAL PARTS PRESENTING AT THE CERVICAL OS?

21 A. I SUPPOSE THAT IS POSSIBLE.

22 Q. AND IF THAT WERE THE CASE, WOULDN'T IT BE PREFERABLE IF IT
23 COULD BE DONE EASILY TO SIMPLY -- IF THE WOMAN NEEDED A
24 TERMINATION, IF THE INFECTION WAS SO BAD -- TO JUST SIMPLY PULL
25 THE FETUS THROUGH THE PARTIALLY DILATED CERVIX RATHER THAN

1 PUTTING HER THROUGH AN INDUCTION OF LABOR?

2 A. NO.

3 Q. SO EVEN IF SHE IS PARTIALLY DILATED ALREADY AND THE FETAL
4 PARTS ARE PRESENTED AT A PARTIALLY DILATED CERVIX, YOU WOULD
5 STILL INDUCE LABOR RATHER THAN EVACUATING THE FETUS EITHER WITH
6 INSTRUMENTS OR JUST WITH YOUR HAND?

7 A. NO.

8 Q. I AM SORRY. WHAT WOULD YOU DO IN THAT CIRCUMSTANCE?

9 A. IN THAT CIRCUMSTANCE IF YOU ARE ALREADY DILATED AND YOU ARE
10 ALREADY PRESENTING WITH FETAL PARTS, THEN YOU'RE ALWAYS HAVING
11 UTERINE ACTIVITY. THE INFECTION, IN AND OF ITSELF, CAUSES
12 UTERINE IRRITATION IN LABOR. SO ALL I WOULD DO IS CONTINUE TO
13 SUPPORT THE WOMAN DURING THE PROCESS, POTENTIALLY AUGMENT HER
14 ALREADY EXISTING LABOR AND DELIVER VAGINALLY.

15 IN MY EXPERIENCES, ONCE YOU ARE AT THAT POINT YOU
16 ARE ALREADY IN LABOR AND YOU ARE GOING TO PROGRESS RAPIDLY TO
17 DELIVERY.

18 I WOULD DO ANYTHING TO AVOID INTRAUTERINE
19 MANIPULATIONS. NO MATTER HOW EASY IT LOOKS, IT IS NEVER THAT
20 EASY.

21 Q. SO THAT WOULD EVEN BE THE CASE IN A WOMAN WITH
22 CHORIOAMNIONITIS WHERE SHE IS INFECTED AND YOU HAVE A RISK THAT
23 SHE IS GOING TO DEVELOP SEPSIS IN THAT CIRCUMSTANCE UNLESS THE
24 UTERUS IS EVACUATED RAPIDLY; ISN'T THAT THE CASE?

25 A. IN MY EXPERIENCE IN THE CASE THAT YOU'VE PRESENTED THEY DO

1 DELIVER RAPIDLY, WITHIN AN HOUR OR TWO. AND IT'S STILL THE
2 SAFEST, MOST NATURAL, LEAST RISKY PROCEDURE.

3 Q. SO I JUST WANT TO BE CLEAR. SO IF YOU HAD A WOMAN WITH
4 CHORIOAMNIONITIS, HER CERVIX IS DILATED. THE FETAL PARTS ARE
5 PRESENTING AT THE UTERUS. YOU WOULD LET HER CONTINUE TO LABOR
6 FOR A FEW MORE HOURS RATHER THAN SIMPLY EVACUATING THE FETUS AT
7 THAT MOMENT, EVEN WHEN IT IS PRESENTING?

8 A. THAT IS CORRECT.

9 Q. OKAY. NOW, I THINK YOU INDICATED THAT ONE OF THE REASONS
10 THAT YOU PREFER INDUCTION IN THE CONTEXT OF THESE KINDS OF
11 MEDICALLY-COMPLICATED PREGNANCIES IS THAT YOU BELIEVE IT CAN BE
12 DONE -- INDUCTION CAN BE DONE IN A CONTROLLED AND MONITORED
13 FASHION; IS THAT A FAIR STATEMENT OF YOUR TESTIMONY?

14 A. THAT IS ONE ELEMENT OF IT.

15 Q. AND WHAT IS -- JUST VERY BRIEFLY, I WANT TO -- WHAT -- WHAT
16 ARE SOME OF THE OTHER ELEMENTS OTHER THAN THE CONTROLLED AND
17 MONITORED ASPECT OF IT?

18 A. AS FAR AS WHY I PREFER INDUCTION?

19 Q. WHY YOU PREFER INDUCTION, LEAVING ASIDE -- WELL, ACTUALLY
20 WHAT --

21 MS. GARTNER: LET ME WITHDRAW THAT QUESTION.

22 BY MS. GARTNER:

23 Q. LET'S TALK ABOUT WHY YOU PREFER INDUCTION BECAUSE IT CAN BE
24 DONE IN A CONTROLLED AND MONITORED WAY.

25 A. LOOKING ONLY AT THAT PORTION OF IT.

1 Q. EXACTLY. ONLY AT THE CONTROLLED AND MONITORED PORTION.

2 A. WELL, I BELIEVE IN MANY OF THESE COMPLEX MEDICAL CONDITIONS
3 IT IS PREFERABLE TO BE TREATED BASICALLY IN AN INTENSIVE CARE
4 TYPE OF ENVIRONMENT VERSUS BEING ON AN OFF-SITE CLINIC, FOR
5 INSTANCE. BEING CARED FOR BY PEOPLE WHO HAVE CRITICAL CARE
6 TRAINING AND TRAINING IN COMPLICATED PREGNANCIES, BEING
7 CONTROLLED IN A TEAM MANAGED FASHION, WHICH USUALLY INCLUDES
8 OBSTETRICAL ANESTHESIA, OTHER CRITICAL CARE SUPPORT PEOPLE AND
9 POTENTIALLY OTHER MEDICAL SPECIALISTS.

10 IT INVOLVES THE USE OF OTHER TYPES OF MONITORING
11 SITUATIONS. IT INVOLVES THE PATIENT BEING ABLE TO RELATE TO US
12 HER SYMPTOMS AND HOW SHE IS PROGRESSING. IT ALLOWS US TO
13 UTILIZE OTHER TECHNIQUES, LIKE EPIDURAL ANESTHESIA, THINGS OF
14 THAT NATURE.

15 Q. OKAY.

16 A. SO THERE IS A WHOLE CLINICAL SCENARIO THAT WOULD BE
17 INCORPORATED.

18 Q. OKAY. LET'S TAKE THOSE -- WHAT YOU JUST STATED ONE BY ONE.
19 FOR INSTANCE, FIRST OF ALL I THINK YOU SAID THAT ONE OF THE
20 BENEFITS FOR INDUCTION IS THAT SHE CAN BE IN AN INTENSIVE CARE
21 TYPE SITUATION, IS THAT --

22 A. NO. I --

23 Q. -- WHAT YOU SAID?

24 A. THAT ISN'T EXACTLY WHAT I SAID.

25 Q. OKAY.

1 A. I SAID IF YOU HAVE A PATIENT -- AND I AM ASSUMING WE ARE
2 TALKING ABOUT SOME OF THESE VERY COMPLICATED MEDICAL
3 SITUATIONS --

4 Q. YES.

5 A. -- THEY REQUIRE, IN ORDER TO BE DELIVERED SAFELY, THAT THEY
6 ARE IN A SITUATION WHERE THEY HAVE VERY SOPHISTICATED
7 MONITORING AND VERY HIGHLY-TRAINED SUPPORT STAFF AVAILABLE TO
8 TREAT THEM, TO RECOGNIZE THE PROBLEMS AND TO DO DEFINITIVE
9 THERAPIES, AS REQUIRED, AS OPPOSED TO BEING DELIVERED IN AN
10 OUTSIDE CLINIC BY A PRACTITIONER WHO MAY HAVE LIMITED OR NO
11 EXPERIENCE WITH THESE TYPES OF MEDICAL CONDITIONS.

12 Q. AND LET ME JUST TRY TO SHORT CIRCUIT THIS AND ASK YOU: IS
13 THERE ANYTHING ABOUT D&E OR INTACT D&E THAT PRECLUDES IT FROM
14 BEING DONE, AS FAR AS YOU UNDERSTAND, IN A HOSPITAL SETTING, IN
15 AN INTENSIVE CARE SETTING, BY PEOPLE WITH CRITICAL CARE
16 TRAINING, AND BY A WHOLE TEAM OF PEOPLE THAT HAVE THE RELEVANT
17 KNOWLEDGE AND BACKGROUND AND SKILL TO TREAT A WOMAN WITH A
18 MEDICALLY- COMPLICATED PREGNANCY?

19 A. I DON'T BELIEVE THAT IS WHAT I WAS IMPLYING.

20 Q. OKAY. WELL, I AM TRYING TO UNDERSTAND, BECAUSE I THINK IN
21 YOUR DIRECT TESTIMONY YOU SUGGESTED THAT INDUCTION WAS SUPERIOR
22 TO D&E FOR WOMEN WITH MEDICALLY-COMPLICATED PREGNANCIES IF SHE
23 HAS TO TERMINATE. AND ONE OF THE THINGS YOU SAID WAS THAT WHAT
24 IS BETTER ABOUT INDUCTION IS IT CAN BE DONE IN A CONTROLLED AND
25 MONITORED FASHION.

1 IS THAT FAIR? THAT IS ONE OF THE THINGS THAT IS
2 BETTER ABOUT INDUCTION FOR VERY SICK WOMEN?

3 A. THAT IS ONE PART OF WHAT I SAID, YES.

4 Q. OKAY. AND WHAT I AM TRYING TO UNDERSTAND IS WHY COULDN'T
5 THE CONTROLLED AND MONITORED FASHION THAT YOU ACHIEVE IN YOUR
6 HOSPITAL FOR WOMEN WHO ARE HAVING INDUCTIONS, WHY COULDN'T THAT
7 BE ACHIEVED FOR WOMEN WHO ARE HAVING D&E OR INTACT D&E?

8 A. WELL, IT COULD POSSIBLY BE DUPLICATED. BUT THAT IS, BY THE
9 WAY, NOT MY ONLY CONCERN ABOUT D&E VERSUS INDUCTION.

10 Q. OKAY. BUT I AM JUST TRYING TO FOCUS IN PARTICULARLY.

11 SO TO THE EXTENT YOUR PREFERENCE FOR INDUCTION OVER
12 D&E OR INTACT D&E FOR VERY SICK WOMEN IS BASED ON THE FACT THAT
13 INDUCTION CAN BE DONE IN A CONTROLLED AND MONITORED FASHION,
14 WOULD YOU AGREE WITH ME THAT D&E AND INTACT D&E COULD BE DONE
15 IN A SIMILARLY CONTROLLED AND MONITORED FASHION?

16 A. D&E COULD BE DONE IN A SIMILAR FASHION.

17 Q. THANK YOU.

18 AND THEN, I THINK ANOTHER PART OF YOUR TESTIMONY WAS
19 THAT YOU THINK INDUCTION -- I THINK THIS COMES UP REPEATEDLY IN
20 YOUR TESTIMONY -- THAT YOU THINK INDUCTION IS PREFERABLE THAN
21 D&E OR INTACT D&E FOR SICK WOMEN BECAUSE IT IS A MORE NATURAL
22 AND PHYSIOLOGIC PROCESS; IS THAT ANOTHER PART OF YOUR OPINION?

23 A. IT IS. SURGERY IS DECIDEDLY NONPHYSIOLOGIC PHENOMENA.

24 Q. AND, AGAIN, LET ME JUST TRY TO MAKE SURE THAT I UNDERSTAND
25 THAT OPINION. SO WHAT YOU ARE SAYING IS THAT FOR A SICK WOMAN,

1 A WOMAN IN A MEDICALLY-COMPROMISED SITUATION -- IS THAT A FAIR
2 CHARACTERIZATION OF IT, OF THE WOMEN YOU TREAT?

3 A. THAT WOULD BE A FAIR CHARACTERIZATION.

4 Q. FOR THEM YOU BELIEVE IT WOULD BE LESS PHYSICALLY STRESSFUL
5 TO BE IN THE HOSPITAL IN LABOR FOR 24 HOURS, OR POSSIBLY
6 LONGER, AND DURING THAT TIME TO BE EXPERIENCING CONTRACTIONS,
7 TO HAVE A SPINAL EPIDURAL, TO POTENTIALLY BE ON A NARCOTIC DRIP
8 OR RECEIVE NARCOTIC DRUGS IN SOME OTHER FASHION, AFTER WHICH
9 SHE WOULD DELIVER THE FETUS.

10 AND THEN, IF SHE IS LUCKY SHE WOULD EXPEL THE
11 PLACENTA IN TWO TO FOUR HOURS, BUT IF SHE DIDN'T YOU WOULD TAKE
12 HER TO THE OPERATING SUITE AND REMOVE THE PLACENTA
13 INSTRUMENTALLY, THAT THAT PROCEDURE WOULD BE MORE NATURAL AND
14 PHYSIOLOGIC THAN IF THE WOMAN RECEIVED LAMINARIA FOR A PERIOD
15 OF TIME SUFFICIENT FOR DILATION, AND THEN SHE UNDERWENT AN
16 EVACUATION PROCEDURE THAT TOOK AT THE MOST FROM 15 MINUTES TO
17 60 MINUTES, AFTER WHICH THE SURGERY WOULD BE OVER.

18 YOU ARE SAYING THE LONGER PROCESS OF CONTRACTIONS,
19 EPIDURAL, NARCOTIC DRIP WOULD BE MORE EASY FOR THE WOMAN TO
20 TOLERATE THAN THE D&E PROCEDURE; IS THAT CORRECT?

21 A. THAT'S THE LONGEST QUESTION I HAVE EVER BEEN ASKED. AND
22 THE ANSWER IS: NO, IT IS NOT CORRECT.

23 Q. OKAY. WHY DON'T YOU EXPLAIN IT TO ME, THEN?

24 A. AN INDUCTION ON AVERAGE LASTS 12 HOURS. WE WOULDN'T USE
25 SPINAL ANESTHESIA. WE WOULD USE EPIDURAL ANESTHESIA IN ORDER

1 TO PROVIDE HER ADEQUATE ANALGESIA AND HAVE THE LEAST STRAIN ON
2 HER SYSTEM.

3 AND, YES, LABOR INDUCTION -- NOT JUST MY OPINION BUT
4 ANY MAJOR OBSTETRICAL OR OBSTETRICAL ANESTHESIA WILL CONFIRM --
5 IS THE BEST WAY TO TREAT PEOPLE THAT HAVE COMPLICATED
6 UNDERLYING MEDICAL CONDITIONS.

7 Q. BUT I GUESS THAT IS YOUR CONCLUSION, AND I AM TRYING TO
8 UNDERSTAND WHAT ARE THE PIECES THAT GO INTO THE INDUCTIONS.
9 AND AM I CORRECT YET WHEN YOU SAY ON AVERAGE IT TAKES 12 HOURS,
10 BUT IT COULD TAKE UP TO 48 OR 72 HOURS; ISN'T THAT CORRECT?

11 A. THAT IS NOT CORRECT.

12 Q. YOUR TESTIMONY IS THAT AN INDUCTION NEVER TAKES UP TO 48 OR
13 72 HOURS TO COMPLETE?

14 A. TWO STANDARD DEVIATIONS BEYOND THE MEAN FOR INDUCTION IS 24
15 HOURS. THAT MEANS 96 PERCENT OF ALL PREGNANCIES THAT ARE
16 INDUCED ARE DELIVERED WITHIN 24 HOURS.

17 Q. OKAY.

18 A. MOST ARE DELIVERED ACTUALLY SIX TO 12 HOURS.

19 Q. OKAY.

20 A. RETAINED PLACENTA OCCURS IN 10 PERCENT OR LESS OF THOSE
21 PREGNANCIES. ANALGESIA CAN BE VERY SAFELY PERFORMED USING
22 EPIDURAL ANESTHESIA.

23 SO IT IS REALLY A TRUE VARIANT OF LABOR IN A VERY
24 CONTROLLED SETTING WHERE YOU, BY ADEQUATE ANALGESIA, CAN PUT
25 THE LEAST STRAIN ON HER SYSTEM AND IS THE SAFEST WAY TO DELIVER

1 PATIENTS WITH UNDERLYING MEDICAL COMPLICATIONS.

2 Q. SO UP TO 24 HOURS, THAT 96 PERCENT WILL DELIVER IN 24
3 HOURS; 10 PERCENT WILL HAVE A RETAINED PLACENTA REQUIRING AN
4 ADDITIONAL SURGICAL TECHNIQUE; THE WOMAN WILL BE UNDER SPINAL
5 EPIDURAL AND IF SHE IS STILL IN PAIN YOU WOULD PROBABLY GIVE
6 HER SOME KIND OF NARCOTICS; IS THAT FAIR?

7 A. WELL, THERE IS NO SUCH THING AS SPINAL EPIDURAL.

8 Q. OKAY.

9 A. BUT SHE WOULD BE UNDER EPIDURAL --

10 Q. EPIDURAL.

11 A. -- WHICH IS DIFFERENT FROM SPINAL. AND, YES, SOMETIMES WE
12 DO GIVE THEM ADDITIONAL NARCOTIC MEDICATIONS, AS WELL.

13 Q. OKAY. AND YOU THINK THAT THAT PROCEDURE IS MORE NATURAL
14 AND PHYSIOLOGIC AND LESS STRESSFUL THAN A D&E PROCEDURE IN
15 WHICH THE WOMAN HAS LAMINARIA AND SOME CRAMPING, AND THEN 15 TO
16 45 MINUTE SURGICAL EVACUATION?

17 A. ABSOLUTELY.

18 Q. OKAY. I THINK IN YOUR TESTIMONY YOU ALLUDED OR YOU AND
19 MS. CLARK DISCUSSED A STUDY THAT YOU ARE CURRENTLY WORKING ON.
20 I THINK IT IS A RANDOMIZED STUDY OF MANAGEMENT OF PREMATURE
21 RUPTURE OF MEMBRANES; DO YOU REMEMBER THAT?

22 A. YES, I DO.

23 Q. AND THE STUDY IS COMPARING TWO DIFFERENT TREATMENT OPTIONS
24 FOR PREMATURE RUPTURE OF MEMBRANES; IS THAT CORRECT?

25 A. THAT IS CORRECT.

1 Q. AND ONE OF THE TREATMENT OPTIONS IS TOCOLYSIS WITH
2 MAGNESIUM SULFATE; IS THAT RIGHT?

3 A. THAT IS CORRECT.

4 Q. AND THE OTHER TREATMENT OPTION IS NO TOCOLYSIS, BUT ROUTINE
5 MANAGEMENT, SUCH AS WITH ANTIBIOTICS; IS THAT CORRECT?

6 A. YES, EXCEPT THEY ARE ALLOWED TO HAVE TOCOLYSIS FOR
7 TRANSPORT.

8 Q. OKAY. THAT'S RIGHT. OKAY.

9 FIRST OF ALL, CAN YOU JUST VERY BRIEFLY TELL US WHAT
10 IS "TOCOLYSIS"?

11 A. TOCOLYSIS IS THE INSTILLATION OR INFUSING OF A MEDICATION
12 IN ORDER TO CAUSE UTERINE QUIESCENCE OR QUIETING OF UTERINE
13 CONTRACTIONS.

14 Q. AND AM I RIGHT THAT THE PURPOSE OF YOUR STUDY IS TO SEE
15 WHETHER THE SHORT-TERM DELAY FOR WOMEN WITH PREMATURE
16 RUPTURE -- WHETHER TO SEE -- I AM SORRY.

17 THE PURPOSE IS TO ASSESS IN WOMEN WITH PREMATURE
18 RUPTURE OF MEMBRANES WHETHER THE SHORT-TERM DELAY THAT MAY BE
19 ACHIEVED WITH TOCOLYSIS HAS A POTENTIAL BENEFIT; IS THAT A FAIR
20 STATEMENT?

21 A. IT IS A FAIR GENERAL STATEMENT OF THE STUDY, YES.

22 Q. OKAY. YOU WOULD AGREE THAT CURRENTLY THERE IS NO
23 DEFINITIVE ANSWER IN THE MEDICAL COMMUNITY AS TO WHETHER THAT
24 SORT OF SHORT-TERM DELAY IN DELIVERY BY MEANS OF TOCOLYSIS HAS
25 A BENEFIT; IS THAT RIGHT?

1 A. THAT IS CORRECT. I PREVIOUSLY PUBLISHED IN THE FORMER
2 TRIAL SHOWING THAT LONG-TERM TOCOLYSIS DID NOT HAVE BENEFIT,
3 BUT SHORT-TERM IS AN UNANSWERED QUESTION AT THIS POINT.

4 Q. THAT IS WHY YOU ARE DOING YOUR STUDY, RIGHT?

5 A. EXACTLY.

6 Q. NOW, IN THE ABSENCE OF A DEFINITIVE ANSWER, DIFFERENT
7 DOCTORS MAY MANAGE PREMATURE RUPTURE OF THE MEMBRANES
8 DIFFERENTLY; IS THAT RIGHT?

9 A. THAT IS CORRECT.

10 Q. AND YOU WOULD AGREE THAT IN YOUR PRACTICE THERE IS NOT A
11 CONSISTENT METHOD OF TREATMENT OF PREMATURE RUPTURE OF
12 MEMBRANES EXCEPT FOR THE WOMEN WHO ARE PARTICIPATING IN THE
13 STUDY; IS THAT RIGHT?

14 A. WELL, THERE ARE MULTIPLE THINGS THAT ARE VERY CONSISTENT IN
15 THEIR MANAGEMENT, BUT SPECIFICALLY IN THE ISSUE OF TOCOLYSIS
16 THERE IS NOT CONSISTENCY.

17 Q. THAT IS RIGHT, AND THAT IS WHAT I MEANT.

18 SO OUTSIDE OF THE WOMEN THAT ARE PARTICIPATING IN
19 YOUR STUDY, THERE IS NO CONSISTENCY IN WHETHER OR NOT YOU USE
20 TOCOLYSIS WHEN THEY HAVE PREMATURE RUPTURE OF MEMBRANES; IS
21 THAT RIGHT?

22 A. WELL, IT IS NOT DICTATED TO THEM OTHERWISE; IS THAT WHAT
23 YOU ARE ASKING?

24 Q. CORRECT.

25 A. OKAY.

1 Q. THERE IS NO REQUIREMENT?

2 A. CORRECT.

3 Q. I GUESS WHAT I AM GETTING AT IS THE DECISION OF WHETHER TO
4 USE TOCOLYSIS FOR AN INDIVIDUAL WOMAN, IF SHE IS NOT PART OF
5 YOUR STUDY, IS BASED ON AN INDIVIDUAL ASSESSMENT OF HER
6 SITUATION; IS THAT CORRECT?

7 A. YES.

8 Q. AND THAT ASSESSMENT OF WHETHER TO USE TOCOLYSIS MIGHT
9 INCLUDE SUCH FACTORS AS THE GESTATIONAL AGE OF THE FETUS; IS
10 THAT RIGHT?

11 A. CORRECT.

12 Q. THE MATERNAL HEALTH CONDITION?

13 A. THAT IS CORRECT.

14 Q. THE PATIENT PREFERENCE?

15 A. THAT IS CORRECT.

16 Q. AND YOU WOULD NOT SUPPORT A LAW THAT WOULD REQUIRE
17 TOCOLYSIS IN ALL SITUATIONS OF PREMATURE RUPTURE OF MEMBRANES,
18 WOULD YOU?

19 A. I WOULD NOT.

20 Q. LET ME JUST -- JUST A POINT OF CLARIFICATION FROM YOUR
21 DIRECT TESTIMONY. WHEN YOU WERE TALKING ABOUT THE ORGANIZATION
22 CALLED, PHACT, P-H-A-C-T, NOT ALL OF THE MEMBERS OF PHACT WERE
23 ACTUALLY MATERNAL FETAL MEDICINE SPECIALISTS OR PEDIATRIC
24 SPECIALISTS, WERE THEY?

25 A. I BELIEVE THAT I TESTIFIED THAT ALL WERE PHYSICIANS

1 INVOLVED IN TEACHING AND TRAINING, GENERALLY IN THE AREA OF
2 COMPLICATED PREGNANCIES OR PEDIATRIC MANAGEMENT AFTERWARDS.

3 Q. SO NONE OF THEM WERE JUST GENERAL PHYSICIANS WITHOUT A
4 SPECIFIC TRAINING IN MATERNAL FETAL MEDICINE CONDITIONS OR
5 PEDIATRIC CONDITIONS?

6 A. MY UNDERSTANDING WAS THAT THEY WERE OBSTETRICIAN
7 GYNECOLOGISTS, MATERNAL FETAL MEDICINE SPECIALISTS,
8 PEDIATRICIANS OR PEDIATRIC SUBSPECIALISTS. THAT WOULD BE THE
9 VAST MAJORITY OF THE GROUP, BUT I DON'T EVEN KNOW ALL THE
10 MEMBERS OF THE GROUP.

11 Q. SO, REALLY, YOU DON'T KNOW WHAT THE SPECIALTIES OF ALL OF
12 THE MEMBERS OF PHACT WERE?

13 A. I KNOW THAT EVERY ONE THAT I AM AWARE OF THAT IS INVOLVED,
14 WHICH IS SEVERAL DOZEN, ARE ALL THOSE CRITERIA.

15 Q. OKAY.

16 A. AND I AM TOLD BY THE PERSON WHO PUTS THE LIST TOGETHER THAT
17 THAT MAKES UP THE -- WHAT THE LIST IS. I HAVE NOT GONE BACK
18 AND CONFIRMED INDIVIDUALLY ON MY OWN THAT, INDEED, WHAT I WAS
19 TOLD WAS TRUE OR NOT TRUE. I JUST KNOW THAT EVERY ONE THAT I
20 KNOW DOES MEET THAT CRITERIA.

21 Q. OKAY. OF THE SEVERAL DOZEN YOU KNOW?

22 A. RIGHT.

23 Q. OKAY. NOW, LET'S -- I WANT TO TALK TO YOU ABOUT YOUR, I
24 THINK, SECOND PRIMARY OPINION HERE TODAY WHICH RELATED TO THE
25 SAFETY OF INTACT D&E, OR AS YOU CALL IT "PARTIAL-BIRTH

1 ABORTION," AND, SPECIFICALLY, YOUR CONCERNS ABOUT THE SAFETY OF
2 THAT PROCEDURE OVER AND ABOVE YOUR CONCERNS ABOUT THE SAFETY OF
3 THE D&E METHOD.

4 ACTUALLY, JUST ANOTHER POINT OF CLARIFICATION. YOUR
5 OPINION ABOUT THE SAFETY OF INTACT D&E, ARE YOU LIMITING THAT
6 OPINION TO WOMEN WITH THE KIND OF COMPLICATED PREGNANCIES THAT
7 YOU TREAT IN YOUR PRACTICE?

8 A. NOT NECESSARILY. MY CONCERNS ARE FOR THE PROCEDURE ITSELF.
9 THOSE CONCERNS WOULD BE EVEN GREATER IN A WOMAN WHO HAS LIMITED
10 ABILITY TO TOLERATE THOSE TYPE OF COMPLICATIONS.

11 Q. SO I THINK YOU ACTUALLY TESTIFIED THAT YOU AGREE THAT
12 INTACT D&E MAY APPEAR TO OFFER SOME BENEFITS WHEN COMPARED TO
13 DISARTICULATION D&E AT THE SAME GESTATIONAL AGE AS FAR AS THE
14 AMOUNT OF INSTRUMENTATION THAT IS REQUIRED; IS THAT CORRECT?

15 A. AS RELATED SPECIFICALLY TO INSTRUMENTATION.

16 Q. RIGHT.

17 A. BUT, AGAIN, I HAVE TO THINK OF THE WHOLE PROCEDURE AND THE
18 WHOLE REALM OF COMPLICATIONS. AND A LOT OF THOSE CONCERNS ARE
19 THE LONG-TERM COMPLICATIONS.

20 Q. WE ARE GOING TO GET TO THAT. I NEED TO -- FOR MY QUESTIONS
21 I NEED TO BREAK IT INTO PIECES.

22 SO JUST IN TERMS OF JUST THE INSTRUMENTATION PART,
23 YOU WOULD AGREE THAT WITH RESPECT TO THE INSTRUMENTATION,
24 COMPARING D&E WITH INTACT D&E AT THE SAME GESTATIONAL AGE,
25 THERE MAY APPEAR TO BE SOME BENEFITS TO INTACT D&E; IS THAT A

1 FAIR STATEMENT?

2 A. AS RELATED TO INSTRUMENT PASSING?

3 Q. AS RELATED ONLY TO INSTRUMENT -- THE INSTRUMENTATION IN THE
4 UTERUS.

5 A. YES, THAT IS TRUE.

6 Q. AND I THINK YOU'VE ACTUALLY -- I THINK YOU ACTUALLY
7 TESTIFIED IN NEBRASKA THAT YOU THINK THAT THAT ASPECT OF THE
8 PROCEDURE, JUST THE INSTRUMENTATION IN THE UTERUS, WOULD BE
9 SAFER IN AN INTACT D&E THAN A DISARTICULATION D&E; IS THAT
10 CORRECT, AT THE SAME GESTATIONAL AGE?

11 A. INTUITIVELY, THAT WOULD MAKE SENSE. I DON'T KNOW OF DATA
12 ONE WAY OR ANOTHER ON THAT SPECIFIC ISSUE.

13 Q. OKAY. AND THEN, I THINK IN TERMS OF WHAT YOU ALLUDED TO
14 AS YOUR LONG-TERM CONCERNS, YOUR FIRST -- YOUR PRIMARY
15 LONG-TERM CONCERN IS THE IMPACT ON THE CERVIX FROM THE CERVICAL
16 DILATION; IS THAT CORRECT?

17 A. THAT IS CORRECT.

18 Q. OKAY. NOW, I THINK YOU TESTIFIED AT ONE POINT TODAY
19 THAT -- I THINK YOU STATED THAT THERE IS EVIDENCE THAT
20 FIRST-TRIMESTER ABORTIONS PUT WOMEN AT RISK OF SUBSEQUENT
21 PRE-TERM DELIVERY AND POTENTIALLY LOW BIRTH WEIGHT BABIES? DID
22 YOU TESTIFY TO THAT?

23 A. YES, THE DATA APPEARS TO BE STRONGER FOR PRE-TERM DELIVERY
24 THAN LOW BIRTH WEIGHT.

25 Q. OKAY. BUT THAT IS BASED ON FIRST-TRIMESTER ABORTIONS,

1 CORRECT?

2 A. WELL, THE VAST MAJORITY OF THE DATA THAT I AM AWARE OF HAS
3 BEEN DONE ON FIRST-TRIMESTER BECAUSE THAT IS WHEN MOST OF THE
4 ABORTIONS ARE DONE.

5 Q. RIGHT. AND IN THE FIRST-TRIMESTER TYPICALLY DILATION IS
6 ACHIEVED WITH MECHANICAL DILATION; IS THAT CORRECT?

7 A. THAT IS CORRECT.

8 Q. OKAY. NOW, YOU'RE NOT AWARE OF ANY STUDIES THAT CONCLUDE
9 THAT THERE IS A CORRELATION BETWEEN SLOW OSMOTIC CERVICAL
10 DILATION AND CERVICAL INCOMPETENCE; IS THAT CORRECT?

11 A. THAT IS NOT CORRECT.

12 Q. OKAY. AND WHAT STUDIES -- IF THAT IS NOT CORRECT, CAN YOU
13 EXPLAIN WHY THAT IS NOT CORRECT?

14 A. WELL, THERE ARE STUDIES THAT HAVE IMPLIED THAT
15 SECOND-TRIMESTER TERMINATIONS OF PREGNANCY THAT INVOLVE A
16 GREATER AMOUNT OF CERVICAL DILATION, ALMOST EXCLUSIVELY DONE
17 WITH OSMOTIC DILATORS, HAVE A HIGHER RISK THAN THOSE DONE IN
18 THE FIRST-TRIMESTER.

19 Q. WHICH STUDIES ARE YOU REFERRING TO?

20 A. WELL, THESE WOULD BE STUDIES THAT HAVE BEEN DEVELOPED SINCE
21 THE LATE '70'S AND ONWARD, SOME WITH MIXED RESULTS, BUT HAVE
22 BEEN REFERENCED IN GENERAL REVIEW ARTICLES TALKING ABOUT THE
23 ISSUE OF PREGNANCY COMPLICATIONS RELATED TO TERMINATION OF
24 PREGNANCY.

25 Q. NOW, IN YOUR EXPERT REPORT IN THIS CASE YOU CITED TWO

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1 ARTICLES THAT YOU RELY ON FOR YOUR OPINION ABOUT CERVICAL
2 INCOMPETENCE; IS THAT RIGHT?

3 A. NO, I GAVE A LIST OF ARTICLES THAT WERE SUPPORTIVE OF MY
4 EXPERT REPORT, NOT ARTICLES SPECIFICALLY SUPPORTIVE OF CERVICAL
5 INCOMPETENCE.

6 Q. OKAY. DID YOU CITE TWO ARTICLES IN YOUR EXPERT REPORT IN
7 YOUR LIST OF REFERENCES AT THE END OF YOUR EXPERT REPORT THAT
8 IN YOUR DEPOSITION YOU TOLD ME WERE INTENDED TO SUPPORT YOUR
9 OPINION THAT THERE MAY BE SOME CONCERN ABOUT CERVICAL
10 INCOMPETENCE AFTER ABORTION?

11 A. AGAIN, TRYING TO BE SPECIFIC, I LISTED, AS I RECALL, THREE
12 ARTICLES. ONE TO SUPPORT THE ISSUE OF THE SAFETY OF
13 PROSTAGLANDINS, ONE TO ESTABLISH THE CONCERN OF SUBSEQUENT
14 PRE-TERM DELIVERY AND PREGNANCY LOSS AFTER TERMINATION OF
15 PREGNANCY, AND ONE TO ATTEMPT TO SUPPORT THE ISSUE OF AN
16 INCREASING CONCERN FOR MID-TRIMESTER LOSSES OF PREGNANCY.

17 Q. OKAY.

18 A. BUT AS I THINK MAYBE WE HAVE DISCUSSED OR WE WILL DISCUSS
19 THAT THE THIRD ARTICLE WAS AN INAPPROPRIATE PLACEMENT, MEANING
20 THAT I MISREAD THAT ARTICLE AT THE TIME THAT I WAS UNDER
21 PRESSURE TRYING TO PROVIDE SOME QUICKLY OBTAINABLE RESEARCH
22 SUPPORT FOR PORTIONS OF MY EXPERT REPORT.

23 Q. OKAY. I UNDERSTAND THAT. AND JUST TO MAKE THE RECORD
24 CLEAR ON THAT, BECAUSE I THINK IT'S POSSIBLE YOU AND I ARE THE
25 ONLY PEOPLE IN THE ROOM THAT UNDERSTAND WHAT YOU JUST SAID, I

1 WANT TO GO THROUGH AND MAKE THAT CLEAR FOR THE RECORD.

2 SO THE TWO STUDIES YOU ARE REFERRING TO NOW, YOU
3 CITED IN YOUR EXPERT REPORT, ONE FOR THE CONCERN ABOUT PRE-TERM
4 BIRTHS AND ONE ABOUT THE CONCERN ABOUT MID-TRIMESTER ABORTIONS.

5 THOSE WERE THE AUDU AND HENRIET STUDIES; IS THAT
6 CORRECT, OR AUDU AND HENRIET PAPERS?

7 A. YES, REVERSED THE OTHER WAY AROUND, BUT THE HENRIET ARTICLE
8 WAS THE ARTICLE LOOKING AT THE FIRST-TRIMESTER LOSSES, AND THE
9 AUDU ARTICLE IS THE ONE WITH THE SECOND-TRIMESTER LOSSES.

10 Q. OKAY. LET ME JUST SHOW THESE TO YOU.

11 MS. GARTNER: YOUR HONOR, THESE ARE DEFENDANT'S
12 LEARNED TREATISES A-7 AND A-29.

13 THE COURT: ALL RIGHT. THANK YOU.

14 MS. GARTNER: MAY I APPROACH THE WITNESS?

15 THE COURT: YES.

16 BY MS. GARTNER:

17 Q. DR. COOK, BEFORE WE TALK ABOUT THESE TWO STUDIES IN
18 PARTICULAR, DO YOU RECALL THAT AT YOUR DEPOSITION I ASKED YOU
19 WHETHER THERE WERE ANY OTHER ARTICLES OR DATASETS OTHER THAN
20 THESE TWO THAT YOU RELY ON FOR YOUR OPINION THAT THERE MAY BE
21 CONCERNS ABOUT THE CERVICAL DILATION IN THE INTACT D&E
22 PROCEDURE?

23 A. I AM SORRY? WHAT IS YOUR QUESTION?

24 Q. MY QUESTION IS: DO YOU RECALL THAT I ASKED YOU WHETHER
25 THESE ARE THE ONLY TWO ARTICLES OR DATASETS THAT YOU RELY ON?

1 DO YOU RECALL THAT I ASKED YOU THAT?

2 A. I RECALL SOME DISCUSSION. I DON'T KNOW IF THAT WAS IN
3 REGARDS TO FORMATION OF MY EXPERT REPORT OR IN FORMATION OF MY
4 OPINION EVER.

5 I DON'T RECALL THE SPECIFICS OF THAT QUESTION.

6 Q. OKAY.

7 A. OBVIOUSLY, WE MAKE OUR OPINIONS MEDICALLY BASED UPON MORE
8 THAN JUST A HANDFUL OF ARTICLES.

9 Q. SURE. AND I GUESS MY QUESTION AT THE DEPOSITION AND MY
10 QUESTION TO YOU NOW IS: ARE THESE -- OR LET ME ASK YOU THIS.

11 AT YOUR DEPOSITION I ASKED YOU WHETHER THESE TWO
12 ARTICLES ARE THE ONLY ARTICLES -- I UNDERSTAND THERE IS
13 ADDITIONAL THINGS LIKE YOUR CLINICAL EXPERIENCE -- BUT IN TERMS
14 OF ARTICLES THAT YOU RELY ON, WERE THESE THE ONLY TWO ARTICLES
15 THAT YOU RELIED ON TO FORM YOUR OPINION THAT THERE MAY BE
16 CONCERNS ABOUT CERVICAL INCOMPETENCE OR PRE-TERM BIRTHS IN
17 FUTURE PREGNANCIES AS A RESULT OF THESE INTACT D&E PROCEDURE?

18 A. I AM NOT SURE IT'S ACCURATE TO SAY THESE ARE THE ONLY
19 ARTICLES.

20 AND AS I UNDERSTOOD THE QUESTION, IT WAS "DATASETS
21 AND ARTICLES." SO WHEN I INCLUDE AN ARTICLE, I INCLUDE THE
22 ARTICLES AND ITS SUPPORTIVE BIBLIOGRAPHY.

23 I INCLUDED THESE AS EXAMPLES OF THINGS THAT I
24 THOUGHT SUPPORTED MY EXPERT TESTIMONY, BUT I AM NOT SURE THERE
25 AREN'T OTHER ARTICLES THAT WOULD ALSO SUPPORT THE EXPERT

1 TESTIMONY.

2 Q. DO YOU RECALL IN YOUR DEPOSITION WHEN I ASKED YOU TO
3 IDENTIFY ANY OTHER ARTICLES OR DATASETS AND YOU WERE UNABLE TO
4 DO THAT AT YOUR DEPOSITION, THAT SUPPORTED THAT OPINION?

5 A. I AM CERTAIN AT THAT TIME WHEN ASKED I COULD NOT PRODUCE
6 IMMEDIATELY OTHER ARTICLES THEN AND THERE OTHER THAN THE ONES
7 THAT I SUBMITTED AND THE SUPPORTING BIBLIOGRAPHIES OF THOSE
8 ARTICLES.

9 I SUBSEQUENTLY COULD STATE OTHER ARTICLES, BUT THAT
10 IS BECAUSE I HAVE DONE MORE RESEARCH THAN IN THE LIMITED TIME I
11 HAD BEFORE THE DEPOSITIONS.

12 Q. SO AT THE DEPOSITION THESE WERE THE ARTICLES THAT YOU
13 PRODUCED ACTUALLY TO US IN ANTICIPATION OF THE DEPOSITION THAT
14 YOU RELIED ON IN FORMING YOUR OPINIONS STATED IN YOUR EXPERT
15 REPORT?

16 A. CORRECT. THEY ARE PART OF THE ARTICLES I USED TO SUPPORT
17 THE EXPERT REPORT.

18 Q. OKAY. LET'S TALK FIRST ABOUT THE AUDU STUDY, WHICH IS
19 LEARNED TREATISE A-7. DO YOU NOW -- IS IT NOW YOUR BELIEF, DR.
20 COOK, THAT THIS ARTICLE DOESN'T REALLY HELP YOU WITH YOUR
21 OPINION IN THIS CASE?

22 A. WELL, IT DOESN'T ADD INFORMATION IN THE AREA THAT I HAD
23 THOUGHT IT WOULD ADD INFORMATION, INITIALLY, PARTLY BECAUSE OF
24 THEIR TERMINOLOGY OF CONTINUING TO REFER TO "MID-TRIMESTER
25 ABORTION."

1 AND, IN A MORE CAREFUL EVALUATION AND METHODOLOGY
2 THEY ARE USING THAT TERM FOR PREDOMINANTLY SPONTANEOUS
3 ABORTIONS.

4 THEY DID HAVE INDUCED ABORTIONS, IN THIS GROUP, AS
5 WELL, BUT FROM MY READING OF THIS SUBSEQUENTLY, THOSE WERE ALL
6 DILATION AND CURETTAGE ABORTIONS. SO, TO ME THIS DOESN'T
7 DISPROVE ANYTHING, IT JUST DOESN'T ADD OR SHED ANY ADDITIONAL
8 LIGHT, SPECIFICALLY IN THE AREA OF MID-TRIMESTER INDUCED
9 ABORTIONS.

10 Q. IN FACT, OF THE 141 WOMEN IN THIS STUDY THAT HAD CERVICAL
11 CERCLAGE, ONLY SEVEN OF THE 141 HAD ACTUALLY HAD A
12 MID-TRIMESTER ABORTION; IS THAT RIGHT?

13 A. ACTUALLY, I WOULD READ THAT AS THEY HAD FIRST-TRIMESTER
14 ABORTIONS.

15 Q. OKAY. SO SEVEN WOMEN HAD AN INDUCED ABORTION OF ANY KIND?

16 A. CORRECT.

17 Q. OUT OF THE 141. SO, REALLY, THIS DOESN'T ILLUMINATE THE
18 MATTERS AT HAND; IS THAT CORRECT?

19 A. RIGHT. I WOULD CONSIDER IT NONINFORMATIVE --

20 Q. OKAY.

21 A. -- IN RETROSPECT.

22 Q. OKAY. AND THEN, THE OTHER -- THE OTHER STUDY THAT YOU
23 CITED IN YOUR EXPERT REPORT AND YOU RELIED ON IN FORMING THE
24 OPINIONS IN YOUR EXPERT REPORT WAS THE HENRIET STUDY?

25 A. CORRECT.

1 Q. OKAY. AND THAT IS DEFENDANT'S LEARNED TREATISE A-29.

2 NOW, THIS ARTICLE ALSO ONLY DISCUSSES THE POTENTIAL
3 FUTURE IMPACTS OF FIRST-TRIMESTER ABORTIONS; ISN'T THAT
4 CORRECT?

5 A. WELL, NOT NECESSARILY. THEY DO DISCUSS THE OTHER EXISTING
6 DATA THAT REFERS TO OTHER GESTATIONAL AGES. AND THEN, THERE
7 ARE SOME REFERENCES TO THAT. BUT THIS DATASET INCLUDES, AS I
8 UNDERSTAND IT, PREDOMINANTLY FIRST-TRIMESTER PREGNANCY
9 TERMINATIONS.

10 Q. RIGHT. AND THIS WAS -- THIS WAS A STUDY FROM FRANCE; IS
11 THAT CORRECT?

12 A. CORRECT.

13 Q. AND I THINK IN HERE THAT THEY SAY THAT 96 PERCENT OF THE
14 ABORTIONS AT ISSUE IN THIS DATASET WERE PERFORMED BEFORE 11
15 WEEKS OF PREGNANCY; IS THAT CORRECT?

16 A. I WOULD HAVE TO LOOK AT THE "11 WEEKS," BUT THAT SOUNDS
17 CORRECT.

18 Q. OKAY. AND THE AUTHORS OF THIS ARTICLE DON'T DISCUSS WHAT
19 TYPE OF DILATION WAS USED IN THE ABORTIONS THAT WERE DISCUSSED
20 IN THIS -- THAT WERE AT ISSUE IN THIS DATASET; IS THAT CORRECT?

21 A. THAT IS CORRECT.

22 Q. SO WOULD YOU AGREE WITH ME THAT NO CONCLUSION CAN BE DRAWN
23 FROM THIS PARTICULAR STUDY ABOUT ANY CONNECTION BETWEEN INTACT
24 D&E AND CERVICAL INCOMPETENCE?

25 A. NO, I WOULD NOT AGREE WITH THAT.

1 Q. OKAY. WHAT WOULD YOU SAY? HOW WOULD YOU SAY THAT THIS
2 HENRIET STUDY OF FIRST-TRIMESTER ABORTION ILLUMINATES YOUR
3 OPINIONS?

4 A. WELL, YOU HAVE TO REMEMBER THE SETTING IS THAT THERE HAS
5 BEEN MIXED DATA THAT HAS BEEN AVAILABLE ABOUT ANY ASSOCIATION
6 OF PRIOR INDUCED ABORTIONS IN SUBSEQUENT PREGNANCY LOSSES.

7 AND THIS WAS A DATASET WHERE THERE WAS VERY COMPLETE
8 DATA, WHERE THEY FELT THAT PEOPLE WERE REVEALING INFORMATION
9 FREELY BECAUSE OF THE WAY THE SITUATION IS SET UP IN FRANCE,
10 THAT THEY HAD ELIMINATED A LOT OF BIASES OTHER STUDIES MAY HAVE
11 INCLUDED. AND THIS DID DEMONSTRATE UNEQUIVOCALLY THE INCREASED
12 RISK FOR SUBSEQUENT PREGNANCY LOSSES ASSOCIATED WITH
13 TERMINATION OF PREGNANCY. AND THE RISK --

14 Q. BUT -- I'M SORRY -- BASED ON A FIRST-TRIMESTER PREGNANCY;
15 IS THAT RIGHT?

16 A. CORRECT. AND THE ISSUE AT ODDS HERE IS NOT THAT THEY ARE
17 AT HIGHER RISK FOR INFERTILITY, NOT THAT THEY ARE HIGHER RISK
18 FOR UTERINE RUPTURE FROM PRIOR TRAUMA, BUT THEY ARE AT HIGHER
19 RISK FOR PRE-TERM DELIVERY. AND THOSE PRE-TERM DELIVERIES ARE
20 FREQUENTLY SECONDARY TO CERVICAL ISSUES IN THEIR INABILITY TO
21 RETAIN A PREGNANCY.

22 AND CERVICAL ISSUES ARE RELATED TO CERVICAL TRAUMA
23 OR MANIPULATION. AND SO I DO THINK IT IS EASY TO INFER THAT IF
24 YOU HAVE SIGNIFICANT RISK FROM MINIMAL CERVICAL DILATION OF
25 FIRST-TRIMESTER, THEN HOW MUCH GREATER POTENTIALLY COULD BE THE

1 RISK IF YOU HAVE EVEN GREATER DILATION?

2 Q. THE FIRST-TRIMESTER DILATION WOULD BE DONE WITH MECHANICAL
3 METHODS, CORRECT?

4 A. GENERALLY THAT IS TRUE, YES.

5 Q. AND WOULDN'T YOU AGREE THAT OSMOTIC DILATION MAY BE GENTLER
6 ON THE CERVIX THAN MECHANICAL DILATION?

7 A. IT MAY BE. BUT, AGAIN, IT IS NOT JUST HOW YOU ARE DOING
8 IT, BUT HOW MUCH YOU ARE DOING IT.

9 Q. AND WOULDN'T YOU AGREE THAT IF THE DILATION IN THE
10 SECOND-TRIMESTER USED MISOPROSTOL TO ACHIEVE THE DILATION THAT
11 THAT MIGHT ACTUALLY LESSEN THE RISK OF ANY POTENTIAL FUTURE
12 CERVICAL INCOMPETENCE?

13 A. WELL, MISOPROSTOL IS BASICALLY DOING AN INDUCTION, AND THAT
14 IS WHAT I HAVE BEEN SUPPORTING THROUGHOUT THIS WHOLE PROCESS.

15 Q. OKAY. BUT ARE YOU AWARE OF THE FACT THAT MANY PHYSICIANS
16 IN THIS COUNTRY USE MISOPROSTOL AS A CERVICAL RIPENING AGENT
17 PRIOR TO PERFORMING A D&E ABORTION?

18 A. YES, BUT THE MECHANISM IS CAUSING UTERINE ACTIVITY AND
19 SUBSEQUENT CERVICAL RIPENING, WHICH IS AN INDUCTION.

20 Q. OKAY. BUT IF THE PHYSICIAN PREPARATORY TO A D&E USED
21 MISOPROSTOL TO PREPARE THE CERVIX, YOU WOULDN'T BE CONCERNED
22 ABOUT THAT FOR POTENTIAL CERVICAL INCOMPETENCE; IS THAT
23 CORRECT?

24 A. I WOULD BE MUCH LESS CONCERNED THAN I AM ABOUT THE OTHER
25 METHODS OF CERVICAL DILATION.

1 Q. OKAY. I THINK YOU ALSO SAID THAT OTHER THAN YOUR
2 LONG-TERM CONCERN ABOUT THE CERVICAL ISSUES THAT YOUR OTHER
3 PRIMARY CONCERN FOR THE IMMEDIATE HEALTH RISK TO THE WOMAN FROM
4 INTACT D&E IS THAT IN ANY SORT OF SURGICAL PROCEDURE DONE
5 VAGINALLY AT LATER GESTATIONAL AGES CARRIES A HIGH RISK OF
6 BLEEDING, INFECTION AND UTERINE PERFORATION; IS THAT YOUR
7 OPINION?

8 A. I AM SORRY. I GOT LOST A LITTLE BIT IN THE QUESTION.

9 Q. I AM SORRY. LEAVING ASIDE THE LONG-TERM COMPLICATIONS, THE
10 CERVICAL ISSUES THAT YOU ARE CONCERNED ABOUT, IS YOUR -- YOU
11 ALSO HAVE CONCERNS ABOUT THE SAFETY OF INTACT D&E THAT ARE MORE
12 IMMEDIATE; IS THAT CORRECT?

13 A. THAT IS CORRECT.

14 Q. AND ARE THOSE IMMEDIATE CONCERNS YOUR CONCERNS ABOUT
15 BLEEDING, INFECTION AND UTERINE PERFORATION?

16 A. YES, AND TRIPLE LACERATIONS.

17 Q. OKAY. AND WOULD YOU AGREE THAT AT THE SAME GESTATIONAL
18 AGES YOU WOULD HAVE THE SAME CONCERNS IN A DISARTICULATION D&E
19 AS YOU WOULD IN AN INTACT D&E?

20 A. TO A CERTAIN EXTENT I WOULD FOR THE ISSUE OF CERVICAL
21 LACERATION. THERE IS A DIFFERENT LEVEL OF INTRAUTERINE
22 MANIPULATION INVOLVED IN GRASPING A FETUS AND BRINGING A FETUS
23 DOWN THAN THERE IS IN TRYING TO CONVERT A FETAL POSITION WITHIN
24 THE UTERUS.

25 Q. SO THAT WOULD ONLY BE A DIFFERENCE IN THOSE INSTANCES WHERE

- 1 CONVERSION WAS NECESSARY; IS THAT CORRECT?
- 2 A. THAT IS CORRECT.
- 3 Q. SO IN THE CIRCUMSTANCES LEAVING ASIDE THE LONG-TERM
- 4 COMPLICATIONS IN TERMS OF THE IMMEDIATE CONCERNS YOU HAVE, YOU
- 5 WOULD SAY THAT THE CONCERNS IN THE CASE WHERE THE FETUS
- 6 PRESENTS ALREADY IN A BREECH POSITION, THAT YOUR CONCERNS ABOUT
- 7 INTACT D&E WOULD BE THE SAME AS YOUR CONCERNS ABOUT D&E AT THE
- 8 SAME GESTATIONAL AGE; IS THAT FAIR?
- 9 A. I THINK SO.
- 10 Q. OKAY.
- 11 A. AGAIN, THE QUESTION -- I GET LOST IN THE QUESTION. I THINK
- 12 I CAN SIMPLIFY IT FOR YOU.
- 13 Q. OKAY. GO AHEAD.
- 14 A. I HAVE JUST TWO CONCERNS UNIQUE TO D&X.
- 15 Q. OKAY.
- 16 A. THE LONG-TERM CERVICAL DILATION, WHICH I CONSIDER TO BE
- 17 DIFFERENT THAN D&E.
- 18 Q. OKAY.
- 19 A. AND SHORT-TERM INTERNAL PODALIC VERSION, WHICH I CONSIDER
- 20 TO BE DIFFERENT FROM D&E.
- 21 Q. OKAY.
- 22 A. OTHER THAN THAT, MY CONCERNS SHORT-TERM AT SAME GESTATIONAL
- 23 AGES ARE VERY SIMILAR.
- 24 Q. OKAY.
- 25 A. D&E AND D&X.

1 Q. OKAY. THAT IS VERY HELPFUL.

2 A. DOES THAT SIMPLIFY IT?

3 Q. YES. THANK YOU. SOMETIMES THE QUESTION AND ANSWER PROCESS
4 CAN BE CUMBERSOME.

5 SO IN THOSE CIRCUMSTANCES WHERE INTERNAL PODALIC
6 VERSION ISN'T NECESSARY BECAUSE THE FETUS PRESENTS BREECH, IN
7 TERMS OF THE SHORT-TERM COMPLICATIONS YOU WOULD SEE D&E AND
8 INTACT D&E AS COMPARABLE; IS THAT FAIR?

9 A. I BELIEVE THAT IS FAIR.

10 Q. BUT YOU ALSO TESTIFIED THAT YOU HAD ACTUALLY SEEN SOME
11 ADVANTAGES TO INTACT D&E COMPARED TO D&E AT THE SAME
12 GESTATIONAL AGE BECAUSE THERE IS LESS INSTRUMENTATION IN THE
13 UTERUS; IS THAT CORRECT?

14 A. I SAID INTUITIVELY IT WOULD MAKE SENSE, AND IT IS NOT
15 PROVEN, AND POTENTIALLY THAT MAY BE THE CASE.

16 Q. OKAY. I WANT TO TALK TO YOU A LITTLE BIT ABOUT YOUR VIEWS
17 ON INDUCTION ABORTIONS.

18 I THINK IT WAS PRETTY CLEAR FROM YOUR TESTIMONY, BUT
19 IN GENERAL YOUR VIEW IS THAT INDUCTION IS BETTER SAFER, FOR
20 WOMEN WITH COMPLICATED MEDICAL SITUATIONS THAN D&E ABORTIONS;
21 IS THAT A GENERALLY FAIR STATEMENT?

22 A. YES.

23 Q. NOW, ARE THERE, TO YOUR KNOWLEDGE, ANY RANDOMIZED CLINICAL
24 TRIALS ESTABLISHING THAT INDUCTION IS SAFER THAN D&E AFTER 20
25 WEEKS GESTATION, USING MODERN TECHNIQUES OF D&E AND INDUCTION?

1 A. I BELIEVE THERE HAVE BEEN SOME RECENT PUBLICATIONS LOOKING
2 AT SURGICAL ABORTIONS LATE IN TRIMESTER AND CARRYING THEM WITH
3 INDUCTION.

4 Q. AND WERE THOSE RANDOMIZED STUDIES?

5 A. NO, I DO NOT BELIEVE THEY WERE RANDOMIZED.

6 Q. AND YOU TESTIFIED ABOUT THIS ON DIRECT, BUT YOU AGREE THAT
7 A PRIOR UTERINE SCAR INCREASES THE RISK OF UTERINE RUPTURE
8 DURING AN INDUCTION ABORTION; IS THAT CORRECT?

9 A. IT DOES DURING ANY LATE PROCESS.

10 Q. RIGHT. FOR A WOMAN WHO HAS A SCAR FROM A LOW TRANSVERSE
11 CESAREAN SECTION -- WELL, ACTUALLY, LET ME GO BACK.

12 AM I CORRECT THAT A LOW TRANSVERSE CESAREAN SECTION
13 IS THE MOST COMMON TYPE OF PRIOR UTERINE SCAR?

14 A. THAT IS CORRECT.

15 Q. AND FOR A WOMAN WITH THAT TYPE OF SCAR, THE RISK OF UTERINE
16 RUPTURE DURING AN INDUCTION ABORTION IS APPROXIMATELY 1 IN 200;
17 IS THAT CORRECT?

18 A. NOT NECESSARILY. THAT IS THE RISK OF RUPTURE AT TERM FOR A
19 NORMAL LABOR PROCESS.

20 Q. OKAY. AND DO YOU HAVE A SENSE OF WHAT THE RISK WOULD BE AT
21 A 20 TO 24 WEEK INDUCTION ABORTION?

22 A. I WOULD SUSPECT IT WOULD BE LESS.

23 Q. I THINK YOU ALSO -- YOU CONSIDER THOSE TO BE ACCEPTABLE
24 RISKS; IS THAT CORRECT?

25 A. I DO IN THE SITUATION WHERE YOU CAN ADDRESS THE

1 COMPLICATION, MEANING THAT OB ANESTHESIA OR CAPABILITY, TRAINED
2 PERSONNEL TO DEAL WITH IT.

3 FOR INSTANCE, A LOT OF SMALLER HOSPITALS IN THE
4 UNITED STATES ARE NO LONGER DOING VBAC'S BECAUSE THEY DON'T
5 HAVE THE ABILITY TO ADDRESS EVEN A RARE COMPLICATIONS VERY
6 RAPIDLY.

7 Q. FOR THE RECORD WHY DON'T YOU EXPLAIN WHAT A VBAC IS?

8 A. I AM SORRY, A VAGINAL BIRTH AFTER CESAREAN DELIVERY.

9 Q. AND IT IS AN ACRONYM, VBAC?

10 A. IT IS, YES.

11 Q. SO YOU ARE SAYING THAT THAT IS A -- THE RISK OF UTERINE
12 RUPTURE IS ACCEPTABLE IF YOU HAVE THE CAPACITY TO TREAT THE
13 WOMAN AFTER THE RUPTURE; IS THAT CORRECT?

14 A. CORRECT.

15 Q. AND WOULD THAT TEND TO RESULT IN THE NEED FOR A
16 HYSTERECTOMY?

17 A. NOT NECESSARILY. MOST TIMES WE DO NOT HAVE TO DO
18 HYSTERECTOMIES FOR UTERINE RUPTURES.

19 Q. OKAY. BUT OBVIOUSLY IT RESULTS IN SOME SIGNIFICANT BLOOD
20 LOSS AND OTHER COMPLICATIONS?

21 A. IT DOES REQUIRE ADDITIONAL SURGERY MOST TIMES.

22 Q. OKAY. I THINK YOU INDICATED THAT FOR A WOMAN WITH A PRIOR
23 UTERINE SCAR YOU WOULD STILL CONSIDER DOING AN INDUCTION
24 ABORTION, BUT YOU WOULD JUST USE DIFFERENT PROSTAGLANDINS THAN
25 YOU ORDINARILY WOULD TO INDUCE HER; IS THAT CORRECT?

1 A. THAT IS CORRECT. OUR MAINSTAY PROSTAGLANDIN NOW FOR
2 INDUCTIONS IS MISOPROSTOL OR PROSTAGLANDIN E1, BUT THE SAME
3 THING THAT MAKES IT EFFICACIOUS ALSO MAKES IT RISKIER WHEN YOU
4 HAVE A SCARRED UTERUS. SO WE WOULD SELECT OTHER PROSTAGLANDINS
5 THAT WE HAD USED FOR YEARS PRIOR TO MISOPROSTOL IN THAT
6 SITUATION.

7 Q. OKAY. AND WOULD ONE OF THOSE BE PROSTAGLANDIN E2?

8 A. THAT WOULD BE ONE, YES.

9 Q. OKAY. AND THAT YOU WOULD USE THAT TO INDUCE AN ABORTION
10 IN A WOMAN WITH A PRIOR UTERINE SCAR?

11 A. THAT IS CORRECT.

12 Q. OKAY. AND I BELIEVE YOU SAID ON DIRECT THAT, IN PART, YOU
13 RELY ON THE CHEZ ARTICLE FOR SUPPORT FOR THAT PRACTICE; IS THAT
14 CORRECT?

15 A. IN PART, THAT IS CORRECT.

16 Q. OKAY. DO YOU HAVE THAT ARTICLE UP THERE WITH YOU?

17 A. I DO.

18 MS. GARTNER: YOUR HONOR, THIS IS DEFENDANT'S
19 LEARNED TREATISE A-10.

20 BY MS. GARTNER:

21 Q. ACTUALLY, BEFORE WE TURN TO THIS ARTICLE, LET ME ASK YOU,
22 WOULD YOU ALSO DO AN -- IF NECESSARY, PERFORM AN INDUCTION ON A
23 WOMAN WHO HAD TWO PRIOR LOW TRANSVERSE UTERINE SCARS?

24 A. YES, IN MOST CIRCUMSTANCES I WOULD.

25 Q. AGAIN, USING THE PGE2 PROSTAGLANDIN?

1 A. CORRECT. I THOUGHT THAT WAS ASSUMED, BUT, YES.

2 Q. YES, IT WAS. I AM JUST VERIFYING.

3 CAN YOU REMIND US OF THE DATE ON THE CHEZ ARTICLE?

4 A. 1995.

5 Q. AND YOU BELIEVE THAT THIS ARTICLE SUPPORTS YOUR CONCLUSION
6 THAT IT IS APPROPRIATE TO INDUCE LABOR IN A WOMAN WITH A PRIOR
7 UTERINE SCAR USING PGE2?

8 A. THIS WAS THE FIRST ARTICLE TO TRY TO ACCUMULATE A LOT OF
9 DIFFERENT ARTICLES OF DATA ON THE USE OF PROSTAGLANDINS. AT
10 THIS TIME, PROSTAGLANDIN 2, PREDOMINANTLY, IN THE USE OF PEOPLE
11 WITH PRIOR SCARRED UTERUSES. SO IT WAS SORT OF A LANDMARK AT
12 THE TIME ARTICLE FOR THIS ISSUE.

13 THERE HAVE CERTAINLY BEEN OTHER ARTICLES
14 DEMONSTRATING THE SAFE USE OF CERTAIN PROSTAGLANDINS
15 SUBSEQUENTLY. AND AS I UNDERSTAND IT, THE AMERICAN COLLEGE HAS
16 MADE AN ADVISORY STATEMENT REGARDING THE USE OF MISOPROSTOL IN
17 THIS SITUATION, BUT HAS NOT INCLUDED THE OTHER PROSTAGLANDINS
18 IN THE RECOMMENDATIONS.

19 Q. OKAY. WHY DON'T WE ACTUALLY LOOK AT THAT?

20 MS. GARTNER: YOUR HONOR, MAY I APPROACH THE
21 WITNESS?

22 THE COURT: YES.

23 BY MS. GARTNER:

24 Q. DR. COOK, WHAT I HAVE JUST HANDED YOU IS AN ACOG COMMITTEE
25 OPINION, OPINION NUMBER 271, FROM APRIL OF 2002, WHICH IS

DIANE E. SKILLMAN, OFFICIAL COURT REPORTER, USDC (415) 552-5393

1 ENTITLED: "INDUCTION OF LABOR FOR VAGINAL BIRTHS AFTER
2 CESAREAN DELIVERY."

3 IF YOU CAN -- FEEL FREE TO TAKE YOUR TIME TO TAKE A
4 LOOK AT THAT. BUT I WANT TO PARTICULARLY DRAW YOUR ATTENTION
5 TO THE LAST PARAGRAPH.

6 A. YES.

7 Q. COULD YOU READ THE FIRST SENTENCE OF THE LAST PARAGRAPH,
8 PLEASE?

9 A. "THE PURPOSE OF THIS DOCUMENT IS TO DISCOURAGE USE OF
10 PROSTAGLANDINS FOR CERVICAL RIPENING OR INDUCTION IN
11 LABOR IN WOMEN ATTEMPTING VBAC."

12 Q. AND SO DO YOU READ THAT -- DO YOU UNDERSTAND FROM THAT THAT
13 ACOG DISCOURAGES THE USE OF ANY PROSTAGLANDIN IN A WOMAN WITH A
14 PRIOR UTERINE SCAR?

15 A. NO, I DO NOT.

16 Q. OKAY. WHY DON'T YOU UNDERSTAND THAT?

17 A. BECAUSE YOU HAVE TO READ THE ENTIRE THING.

18 Q. OKAY.

19 A. AND THE ENTIRE THING IS BASED PREDOMINANTLY UPON A RECENTLY
20 PUBLISHED ARTICLE THAT THEY USE FOR THEIR SUPPORT FOR THIS
21 ISSUE WHICH DID NOT SEPARATE OUT THE TYPE OF PROSTAGLANDIN.

22 ALSO, IT SAYS THAT THE USE OF VARIOUS PROSTAGLANDIN
23 COMBINATIONS MAY BE A CONCERN. AND THEY ADMIT THERE IS A
24 LIMITATION IN THIS STUDY BECAUSE OF SEVERAL PROBLEMS WITH THE
25 STUDY, INCLUDING HOW THEY WERE ABLE TO DETERMINE A PERSON

1 ACTUALLY HAD AN UTERINE RUPTURE. AND AT THE VERY END, THEY SAY
2 THAT:

3 "THE COMMITTEE ON OBSTETRICAL PRACTICE
4 REITERATES THEIR PREVIOUS STATEMENT THAT MISOPROSTOL
5 SHOULD NOT BE USED IN PATIENTS WITH PREVIOUS
6 CESAREAN DELIVERY."

7 Q. OKAY. SO DO YOU NOT UNDERSTAND THIS LAST PARAGRAPH AS
8 MEANING THAT MISOPROSTOL SHOULD ABSOLUTELY NOT BE USED IN A
9 WOMAN WITH A PRIOR UTERINE SCAR, BUT THAT ANY PROSTAGLANDIN IS
10 DISCOURAGED IN THAT CONTEXT?

11 A. I UNDERSTAND IT TO SAY THAT THERE SHOULD BE CAUTION DONE,
12 AND THEY DO STATE THAT THESE CAN BE USED IN CERTAIN SITUATIONS,
13 ESPECIALLY IF THERE IS COMPELLING CLINICAL INDICATION, WHICH
14 THEY DO STATE. BUT THAT THE SPECIFIC USE OF MISOPROSTOL SHOULD
15 NOT BE CONSIDERED EVEN IN THAT SITUATION.

16 Q. RIGHT.

17 A. SO I INTERPRET THIS TO BE A STATEMENT REITERATING
18 PREDOMINANTLY THEIR CONCERN ABOUT MISOPROSTOL USE, AND THEIR
19 GENERAL WARNING THAT ALL PROSTAGLANDINS SHOULD BE USED WITH A
20 CERTAIN EXERCISE OF CAUTION, WHICH IS WHAT WE DO.

21 Q. AND THAT, IN FACT, IT IS DISCOURAGED; IS THAT NOT THEIR
22 LANGUAGE?

23 A. IT IS DISCOURAGED, AGAIN, WITH THE STATEMENT ABOVE "IF
24 THERE IS NOT COMPELLING CLINICAL INDICATION."

25 Q. OKAY.

1 A. AND IN MANY OF THE SITUATIONS PUT TO ME THERE ARE
2 COMPELLING CLINICAL INDICATIONS.

3 Q. OKAY. THANK YOU.

4 NOW, I WANT TO -- SORRY. MY VOICE IS GIVING OUT.

5 I WANT TO ASK YOU SOME QUESTIONS ABOUT CIRCUMSTANCES
6 THAT I THINK YOU ALLUDED TO IN YOUR DIRECT TESTIMONY WHERE
7 INDUCTION METHODS FAIL.

8 AM I CORRECT THAT ON AN APPROXIMATELY TWO TO THREE
9 OCCASIONS IN YOUR CAREER AN INDUCTION ABORTION FAILED
10 COMPLETELY TO DELIVER THE FETUS AND THE PROCEDURE HAD TO BE
11 CONVERTED TO A D&E PROCEDURE?

12 A. YES AND NO. I WAS NOT INVOLVED IN THE EARLIER STAGES OF
13 THAT. I WAS ASKED TO COME IN TO HELP THEM AT A POINT AT WHICH
14 IT WAS DETERMINED BY OTHER PRACTITIONERS THAT THE PATIENT WAS
15 NOT RESPONDING TO PROSTAGLANDINS.

16 Q. OKAY.

17 A. AND AT THAT TIME, I THEN ASSISTED THEM WITH THE D&E.
18 SUBSEQUENTLY, I NOW GENERALLY RECOMMEND THAT PEOPLE USE A
19 DIFFERENT TYPE OF PROSTAGLANDIN, IF YOU HAVE NOT RESPONDED TO
20 THE E SERIES. NOW, I RECOMMEND THEY USE THE PROSTAGLANDIN F
21 SERIES AS A FOLLOW-UP, AND HAVE HAD QUITE GOOD SUCCESS WITH
22 THAT.

23 Q. BUT IN YOUR CAREER ON TWO OR THREE OCCASIONS YOU'VE BECOME
24 INVOLVED IN AN ABORTION WHERE THE ATTEMPT TO INDUCE THE
25 ABORTION FAILED AND THE PROCEDURE WAS CONVERTED TO A D&E

1 TECHNIQUE; IS THAT CORRECT?

2 A. THAT IS CORRECT.

3 Q. AND IN SOME OF THOSE CASES I THINK YOU ACTUALLY PERFORMED
4 THE D&E, AND IN OTHERS YOU EITHER SUPERVISED OR ASSISTED; IS
5 THAT CORRECT?

6 A. RIGHT. OFTENTIMES, I WAS ASKED SPECIFICALLY TO BE THERE TO
7 PROVIDE THE ULTRASOUND GUIDANCE FOR THE PROCEDURE.

8 Q. OKAY. AND YOU'RE ALSO AWARE OF CASES WHERE THE FETUS WAS
9 PARTIALLY EXPELLED DURING AN INDUCTION, BUT THE INDUCTION COULD
10 NOT BE COMPLETED WITHOUT INSTRUMENTS OF SOME KIND; IS THAT
11 CORRECT?

12 A. I AM NOT SURE I KNOW EXACTLY WHAT YOU MEAN BY THAT. I HAVE
13 HAD BABIES THAT ARE BREACHED-DELIVERED BABIES THAT HAVE STUCK
14 AFTER-COMING HEADS, AND WE HAVE DONE AFTER-COMING FORCEPS. IS
15 THAT WHAT YOU ARE IMPLYING?

16 Q. I THINK THAT MIGHT BE RIGHT. I AM REFERRING TO IN YOUR
17 DEPOSITION WHEN YOU AGREED THAT YOU ARE AWARE OF CASES IN WHICH
18 THE FETUS WAS PARTIALLY EXPELLED DURING AN INDUCTION, BUT THE
19 INDUCTION COULDN'T BE COMPLETED WITHOUT INSTRUMENTS.

20 A. YES, I BELIEVE --

21 Q. DO YOU RECALL THAT?

22 A. I BELIEVE WHAT I WAS REFERRING TO -- AND I WOULD HAVE TO
23 LOOK AT THE CONTEXT, BUT IN ORDER NOT TO DELAY THINGS I WILL
24 ASSUME IT WAS TALKING ABOUT THE SITUATION OF A VAGINAL BREECHED
25 DELIVERY WITH A NOT IMMEDIATELY DELIVERING AFTER-COMING HEAD --

1 Q. I THINK THAT IS WHAT YOU WERE TALKING ABOUT.

2 A. YES.

3 Q. YES. THAT IS WHAT YOU WERE TALKING ABOUT, BECAUSE YOU WERE
4 CALLED IN TO GIVE ADVICE ABOUT WHAT TO DO IN THAT SITUATION
5 WHERE THE FETUS HAD DELIVERED UP TO THE HEAD; IS THAT CORRECT?

6 A. I THINK THOSE ARE TWO DIFFERENT SCENARIOS. I HAVE BEEN
7 CALLED IN BEFORE ON PEOPLE THAT WERE TRYING TO INDUCE A
8 PREGNANCY, AND THEN THE BABY WOULD BE DELIVERED TO THE LEVEL OF
9 THE AFTER-COMING HEAD AND THEY WOULD ASK MY ADVICE WHAT TO DO
10 AT THAT POINT.

11 AND MOST OFTEN MY ADVICE HAS BEEN TO DO NOTHING
12 BECAUSE THE BABY GENERALLY WILL DELIVER ON ITS OWN SOON
13 THEREAFTER. BUT THERE HAVE BEEN TIMES I HAD TO ASSIST THE
14 DELIVERY EITHER MEDICALLY OR SURGICALLY.

15 Q. OKAY. AND I THINK WHAT WE TALKED ABOUT IN YOUR DEPOSITION
16 WAS THERE WOULD BE SITUATIONS WHERE THAT OCCURS WHERE THE FETUS
17 IS DELIVERED TO THE POINT OF THE AFTER-COMING HEAD AND, IF THE
18 PATIENT STARTED DEVELOPING SOME SORT OF UNSTABLE SITUATION,
19 SUCH AS BLEEDING OR INFECTION, THEN YOU WOULD RECOMMEND
20 PROGRESSING TO DEFINITIVE COMPLETION OF THE DELIVERY RATHER
21 THAN WAITING FOR HER TO DELIVER ON HER OWN; IS THAT CORRECT?

22 A. THAT IS CORRECT.

23 Q. AND YOU WOULD HAVE SEVERAL OPTIONS FOR HOW TO EFFECTUATE
24 THAT; IS THAT CORRECT?

25 A. THAT IS CORRECT.

- 1 Q. AND ONE OF THOSE OPTIONS WOULD BE MANUALLY DELIVERING THE
2 HEAD WITH FORCEPS; IS THAT -- I AM SORRY -- MANUALLY. ONE
3 OPTION WOULD BE MANUALLY DELIVERING THE FETUS; IS THAT CORRECT?
- 4 A. "MANUALLY" MEANING FORCEPS?
- 5 Q. USING MANIPULATIONS WITH YOUR HANDS TO DELIVER THE HEAD?
- 6 A. YES, THAT IS ONE OPTION.
- 7 Q. OKAY. AND ANOTHER OPTION WOULD BE USING FORCEPS TO EFFECT
8 THE DELIVERY?
- 9 A. THAT WOULD BE ANOTHER OPTION.
- 10 Q. OKAY. NOW, IF YOU ATTEMPTED -- AND THEN ANOTHER OPTION
11 WOULD BE TO MAKE INCISIONS ON THE WOMAN'S CERVIX; IS THAT
12 CORRECT?
- 13 A. AN INCISION. BUT, GENERALLY, THOSE WOULD BE AFTER I HAVE
14 TRIED MEDICAL OPTIONS, FIRST.
- 15 Q. SURE. OKAY. BUT IN THAT CASE WHERE THE WOMAN WAS BLEEDING
16 OR INFECTED AND HER SITUATION WAS UNSTABLE, IF YOU GAVE HER
17 NITROGLYCERIN AND IT DIDN'T WORK FAIRLY RAPIDLY, THEN YOU WOULD
18 HAVE TO MOVE TO ANOTHER STEP; IS THAT CORRECT?
- 19 A. THAT IS CORRECT.
- 20 Q. AND IN THAT CONTEXT, IF YOU COULDN'T DELIVER THE HEAD WITH
21 YOUR HANDS, YOU WOULD THEN HAVE TO CONSIDER EITHER THE USE OF
22 FORCEPS OR THE USE OF DUHRSSSEN'S INCISIONS; IS THAT CORRECT?
- 23 A. THAT IS CORRECT.
- 24 Q. OKAY. AND IN YOUR PRACTICE WHEN YOU HAD THE CHOICE
25 BETWEEN FORCEPS AND DUHRSSSEN'S INCISIONS, YOU WOULD PROBABLY

1 CHOOSE DUHRSSSEN'S INCISIONS; IS THAT CORRECT?

2 A. IT WOULD DEPEND ON THE CLINICAL SITUATION. IF IT IS A
3 VIABLE BABY, I GENERALLY WOULD DO FORCEPS.

4 Q. OKAY.

5 A. IF IT IS PREVIABLE BABY, THEN I MIGHT MORE FREQUENTLY GO TO
6 AN INCISION, IF ALL THE OTHER ELEMENTS HAVE FAILED PRIOR TO
7 THAT.

8 Q. OKAY. AND AM I CORRECT THAT WHEN YOU MAKE THE -- WHEN YOU
9 DO THE DUHRSSSEN'S INCISION PROCEDURE, YOU MAKE ANYWHERE FROM
10 ONE TO THREE INCISIONS ON THE WOMAN'S CERVIX?

11 A. THAT IS CORRECT.

12 Q. OKAY. AND THE LARGEST INCISION YOU MIGHT HAVE TO MAKE IS
13 MAYBE 2 CENTIMETERS IN LENGTH?

14 A. ONE TO 2 CENTIMETERS, YES.

15 Q. AND IT IS THE FULL DEPTH OF THE CERVIX?

16 A. NO.

17 Q. IT IS NOT THE FULL DEPTH OF THE CERVIX?

18 A. IT IS THE FULL DEPTH OF THE COMPLETELY EFFACED CERVIX. BUT
19 ONCE THE CERVIX RETAINS ITS NORMAL LENGTH, AGAIN, THEN IT MAKES
20 UP A VERY SMALL PORTION JUST THE END OF THE CERVIX. THE NORMAL
21 CERVICAL LENGTH IN PREGNANCY IS 3 TO 4 CENTIMETERS.

22 Q. OKAY.

23 A. SOMETIMES MORE.

24 Q. OKAY. DO YOU WANT TO JUST TAKE A LOOK AT YOUR DEPOSITION
25 AT PAGE 195? DO YOU WANT TO LOOK AT LINES ONE THROUGH SIX? I

1 ASKED YOU:

2 "WHAT IS THE LARGEST INCISION YOU HAVE TO
3 MAKE?"

4 AND YOU SAID:

5 "MAYBE TWO CENTIMETERS IN LENGTH."

6 AND I ASKED YOU:

7 "HOW ABOUT IN DEPTH?"

8 AND YOU ANSWERED:

9 "IT IS A FULL DEPTH, AND IT IS ALL THE WAY
10 THROUGH THE CERVIX, SO PROBABLY THE LENGTH IS THE
11 BEST WAY TO EVALUATE HOW MUCH IS THERE."

12 WAS THAT YOUR TESTIMONY?

13 A. THAT WAS MY UNDERSTANDING OF DEALING WITH THE EFFACED
14 CERVIX, WHAT I UNDERSTOOD YOU ASKED ME RIGHT NOW IS: WHAT DOES
15 THAT REPRESENT AS A PORTION OF THE TOTAL CERVICAL LENGTH?

16 Q. OKAY. AND AM I RIGHT THAT YOU WOULD MAKE THAT KIND OF
17 INCISION WITH SCISSORS?

18 A. USUALLY, YES.

19 Q. AND YOU'VE PERFORMED THIS TYPE OF PROCEDURE, RIGHT?

20 A. I HAVE.

21 Q. AND YOU BELIEVE THAT A DUHRSSSEN'S INCISION IS MORE GENTLE
22 THAN DILATION USING OSMOTIC DILATORS?

23 A. I DON'T AGREE WITH THAT. THOSE ARE NOT -- THAT IS NOT AN
24 OPTION IN THAT SITUATION TO USE OSMOTIC DILATORS.

25 Q. OKAY. BUT IN GENERAL, IN TERMS OF LOOKING AT THE IMPACT

1 ON THE CERVIX, YOU BELIEVE THAT A DUHRSSSEN'S INCISION IS MORE
2 GENTLE THAN OSMOTIC DILATION; IS THAT CORRECT?

3 A. IN RELATION TO LONG-TERM POTENTIAL COMPLICATIONS WITH THE
4 CERVIX, YES.

5 Q. OKAY. AND YOU BELIEVE THAT A DUHRSSSEN'S INCISION IS PART
6 OF THE NATURAL PHYSIOLOGIC PROCESS; IS THAT CORRECT?

7 A. NOT EXACTLY. WHAT I SAID WAS THAT CERVICAL LACERATIONS
8 OCCUR FREQUENTLY AS A RESULT OF A NORMAL LABOR PROCESS, AND THE
9 AMOUNT OF CERVICAL LACERATION THAT WE SEE IS VERY SIMILAR TO
10 THE CONTROLLED SURGICAL INCISION WE MAKE ON THE CERVIX.

11 Q. DID YOU TESTIFY IN NEBRASKA LAST WEEK THAT DUHRSSSEN'S --
12 YOU WERE ASKED:

13 "DOCTOR, IS IT PART OF THE NATURAL" --

14 MS. CLARK: CAN I GET A PAGE NUMBER?

15 MS. GARTNER: SURE. LET ME HAND THIS --

16 THE WITNESS: I THINK I CAN ANSWER YOUR QUESTION,
17 THOUGH. I BELIEVE I WAS ASKED, YOU KNOW, "IS IT MORE
18 TRAUMATIC," AND THINGS OF THAT NATURE.

19 AND I SAID:

20 "IT IS A VARIATION ON A NORMAL PROCESS,"

21 MEANING THAT WE ACTUALLY HELP THE CERVIX DO WHAT IT WOULD
22 SOMETIMES DO ON ITS OWN WHEN IT CAUSES LACERATION TO FACILITATE
23 DELIVERY.

24 BY MS. GARTNER:

25 Q. OKAY. YES. I THINK THAT IS WHAT YOU TESTIFIED TO IN

1 NEBRASKA.

2 A. OKAY. I AM TRYING TO SAVE TIME.

3 Q. OKAY. SO, JUST TO BE CLEAR ABOUT THAT, YOU WOULD AGREE OR
4 YOU WOULD -- YOU WOULD -- IT IS YOUR OPINION THAT INCISIONS IN
5 THE CERVIX THAT COULD BE UP TO 2 CENTIMETERS LONG, AND THERE
6 COULD BE ANYWHERE FROM ONE TO THREE OF THEM, THAT DOING THAT
7 TYPE OF INCISION WOULD BE MORE PHYSIOLOGIC THAN OSMOTIC
8 DILATION WITH LAMINARIA?

9 A. THAT IS CORRECT.

10 Q. AND IT IS YOUR BELIEF THAT THAT TYPE OF INCISION HAS NO
11 IMPLICATION FOR THE WOMAN'S FUTURE CHILD BEARING?

12 A. THAT IS CORRECT.

13 Q. AND IT IS YOUR BELIEF THAT THAT TYPE OF INCISION, OR
14 SEVERAL INCISIONS, HAS NO IMPLICATION FOR POTENTIAL FUTURE
15 CERVICAL INCOMPETENCE; IS THAT CORRECT?

16 A. THAT IS CORRECT. THERE CERTAINLY -- THERE ARE REASONS TO
17 BE CONCERNED ABOUT THAT, AND THIS IS AN AREA OF ACTIVE
18 RESEARCH. PEOPLE WITH CERVICAL LACERATIONS, EVEN PEOPLE WITH
19 CERVICAL CONIZATION, WHERE THEY REMOVE THE WHOLE LOWER PORTION
20 OF THE CERVIX, AND THEY HAVE NOT BEEN ASSOCIATED WITH INCREASED
21 RISK OF CERVICAL INCOMPETENCE.

22 OSMOTIC DILATORS OR DILATORS OF ANY SORT AFFECT THE
23 ENTIRE ENDOCERVICAL CANAL, THE ENTIRE THREE OR FOUR CENTIMETERS
24 OF CERVICAL LENGTH, NOT JUST THE LOWER ONE OR TWO SONOMETERS.
25 SO IT IS A DIFFERENT ISSUE.

1 Q. OKAY. I WANT TO SWITCH GEARS AND TALK ABOUT D&E
2 PROCEDURES. AM I CORRECT THAT IN GRAND RAPIDS WHERE YOU
3 PRACTICE THERE ARE NO HOSPITAL-BASED PROVIDERS OF D&E'S AFTER
4 20 WEEKS GESTATION?

5 A. I AM NOT CERTAIN THAT'S THE CASE.

6 Q. OKAY. I THINK -- DID YOU TESTIFY IN YOUR DEPOSITION THAT
7 IF YOU HAVE A PATIENT WHO NEEDS A HOSPITAL-BASED D&E AFTER
8 CERTAIN GESTATIONAL AGE YOU WOULD SEND HER TO ANN ARBOR OR
9 DETROIT OR LANSING?

10 A. I DON'T REMEMBER THE SPECIFICS OF THE QUESTION. I DON'T
11 GENERALLY RECOMMEND ANYBODY HAVE A D&E BEYOND 20 WEEKS OFF-SITE
12 OR OUT OF THE HOSPITAL, BECAUSE I AM CONCERNED ABOUT THE
13 INCREASED RISK FOR BLEEDING OR COMPLICATIONS.

14 SO IF A PATIENT IS GOING TO HAVE A D&E DONE BEYOND
15 20 WEEKS, MY PREFERENCE IN THE TYPE OF PATIENTS WE TAKE CARE OF
16 IS THAT IT IS DONE IN A HOSPITAL.

17 Q. AND WHAT HOSPITAL WOULD YOU TEND TO SEND YOUR PATIENTS TO?

18 A. WELL, IF IT'S NOT DONE FOR A MATERNAL INDICATION OR A
19 LETHAL FETAL ANOMALY, THEN WE MAY REFER THEM TO A NUMBER OF
20 OTHER HOSPITALS. WE HAVE REFERRED THEM TO DETROIT, TO LANSING,
21 TO ANN ARBOR.

22 Q. AND THOSE CITIES ARE SEVERAL HOURS AWAY FROM GRAND RAPIDS;
23 IS THAT CORRECT?

24 A. LANSING IS WITHIN AN HOUR. ANN ARBOR WOULD BE TWO HOURS.
25 AND DETROIT WOULD BE UP TO THREE HOURS.

1 Q. AND YOU DON'T KNOW OF ANY PHYSICIANS WHO PERFORM D&E'S
2 AFTER 22 WEEKS GESTATION; IS THAT CORRECT?

3 A. I DON'T KNOW ANY PHYSICIANS WHO PERFORM D&E'S AFTER 22
4 WEEKS GESTATION ANYWHERE.

5 Q. OKAY. YOU THINK TO THE EXTENT THAT THERE ARE PHYSICIANS
6 WHO DO D&E'S AFTER 22 WEEKS, YOU THINK THAT THEY ARE
7 EXCEEDINGLY RARE AND ARE PUSHING THE ENVELOPE OF SAFETY; IS
8 THAT CORRECT?

9 A. I THINK THEY ARE PUSHING THE ENVELOPE OF MANY THINGS
10 BECAUSE AFTER 22 WEEKS BABIES HAVE A 30 TO 40 PERCENT SURVIVAL.
11 BUT ALSO WE KNOW THAT THE RISKS DO INCREASE WITH ADVANCE IN
12 GESTATIONAL AGE. SO, YES, I DO BELIEVE THERE ARE MATERNAL
13 SAFETY CONCERNS, AND I THINK THERE ARE PREVAILING FETAL
14 INTERESTS, ONCE YOU GET TO BE 23 WEEKS.

15 Q. AND IF YOU HAD A PATIENT WHO NEEDED TO END HER PREGNANCY
16 BETWEEN 20 AND 24 WEEKS GESTATION, YOU WOULD NOT OFFER D&E TO
17 HER AS AN OPTION, WOULD YOU?

18 A. GENERALLY NOT, DEPENDING ON WHAT THE SITUATION IS.
19 GENERALLY, WE WOULD OFFER MEDICAL INDUCTION OF LABOR.

20 Q. AND IT'S YOUR OPINION -- AND I THINK YOU HAVE REALLY SAID
21 THIS -- THAT D&E BETWEEN 22 AND 24 WEEKS POSES SIGNIFICANT
22 RISKS FOR MATERNAL MORTALITY; IS THAT CORRECT?

23 A. WELL, SIGNIFICANT RISK FOR COMPLICATIONS. I DON'T KNOW IF
24 I SAID "MATERNAL MORTALITY." BUT IT'S A SIGNIFICANT RISK FOR
25 COMPLICATIONS. ALSO, I DON'T KNOW OF PEOPLE DOING D&E'S BEYOND

1 22 WEEKS.

2 Q. WHY DON'T YOU LOOK AT YOUR DEPOSITION AT PAGE 189, LINES 23
3 TO 25?

4 A. YES.

5 Q. DID I ASK YOU:

6 "DOCTOR, SO IS IT YOUR OPINION THAT D&E BETWEEN
7 22 AND 24 WEEKS POSES SIGNIFICANT RISKS FOR MATERNAL
8 MORTALITY?"

9 AND YOU ANSWERED:

10 "THAT IS MY FEELING, YES."

11 WAS THAT YOUR TESTIMONY?

12 A. I STAND BY -- IN THE CONTEXT OF THE QUESTION I WAS ASKED,
13 ABOUT INCREASING GESTATIONAL AGE, DOES IT INCREASE THE RISK FOR
14 MORTALITY, I SAID "YES."

15 Q. OKAY. AND IN THAT TIME FRAME OF 22 TO 24 WEEKS, YOU
16 CONSIDER D&E TO BE RISKIER THAN INDUCTION; IS THAT CORRECT?

17 A. YES, I DO.

18 PARTICULARLY IN THE PATIENTS THAT WE CARE FOR.

19 Q. RIGHT. AND YOU ALSO IN THAT SAME TIME FRAME CONSIDER D&E
20 TO BE RISKIER THAN HYSTEROTOMY; IS THAT CORRECT?

21 A. D&E TO BE RISKIER THAN HYSTEROTOMY IN THE SETTING OF 20 TO
22 24 WEEKS?

23 Q. CORRECT.

24 A. IT DEPENDS ON THE SITUATION. HYSTEROTOMY CAN BE A
25 PROCEDURE THAT IS NOT ENTERED INTO LIGHTLY, SO WE WOULD NOT

1 CHOOSE HYSTEROTOMY. WE WOULD CHOOSE INDUCTION OF LABOR.

2 Q. BUT I UNDERSTAND THAT YOU WOULD CHOOSE INDUCTION OF LABOR.

3 BUT IN A SITUATION WHERE, FOR WHATEVER REASON, EITHER PATIENT
4 REFERENCE OR SOME OVERRIDING MEDICAL CONCERN INDUCTION WAS NOT
5 AN OPTION, BETWEEN 22 AND 24 WEEKS, YOU DO BELIEVE HYSTEROTOMY
6 IS SAFER THAN D&E; IS THAT CORRECT?

7 A. IN THE CLINICAL SCENARIO YOU ARE ASKING ME, YES.

8 Q. AND, AGAIN, THE HYSTEROTOMY WOULD HAVE IMPLICATIONS FOR THE
9 WOMAN'S ABILITY TO HAVE VAGINAL DELIVERIES IN THE FUTURE; IS
10 THAT CORRECT?

11 A. YES.

12 Q. IN YOUR TESTIMONY YOU EXPRESSED A VIEW THAT YOU CONSIDER
13 INTACT D&E TO BE A DISTINCT PROCEDURE FROM D&E; IS THAT
14 CORRECT?

15 A. THAT IS CORRECT.

16 Q. OKAY. AND ONE OF THE RESPECTS IN WHICH YOU SEE THESE AS
17 DISTINCT IS IN THE DILATION PROCESS; IS THAT CORRECT?

18 A. THAT IS CORRECT.

19 Q. OKAY. AND IN YOUR EXPERT REPORT IN THIS CASE, YOU STATED
20 THAT A PHYSICIAN -- AND HERE I WILL QUOTE FROM YOUR EXPERT
21 REPORT:

22 "PLANNING TO PERFORM A D&E WOULD TAKE STEPS TO
23 LIMIT THE AMOUNT OF DILATION OF THE CERVICAL OS IN
24 ORDER TO PROVIDE TRACTION TO ASSIST IN THE
25 DISMEMBERMENT PROCESS."

1 DO YOU RECALL SAYING THAT?

2 A. I DO RECALL HAVING IT IN MY EXPERT REPORT.

3 Q. AND IS THAT YOUR OPINION?

4 A. IT IS. I THINK THAT IT COULD BE MISCONSTRUED IN SUBSEQUENT
5 READING OF IT.

6 Q. OKAY.

7 A. MEANING THAT THAT IS NOT THE ONLY REASON THAT I FEEL THAT
8 THEY LIMIT THE AMOUNT OF CERVICAL DILATION. I BELIEVE ONE OF
9 THE REASONS THEY LIMIT THE AMOUNT OF CERVICAL DILATION IS FOR
10 MATERNAL DISCOMFORT ISSUES. AND ONE IS FOR POTENTIAL RISK OF
11 LATER COMPLICATIONS. SO YOU DON'T WANT TO DILATE MORE THAN YOU
12 HAVE TO. BUT IN THIS CONTEXT, I WAS TRYING TO EXPLAIN THAT YOU
13 DON'T NEED AS MUCH CERVICAL DILATION, BECAUSE YOU ARE PULLING
14 THE BABY OUT IN PIECES, AND USE THAT LIMITED CERVICAL DILATION
15 AS YOUR TRACTION IN ORDER TO DISARTICULATE.

16 SO, IN RETROSPECT, IT WASN'T AS ARTFULLY PHRASED AS
17 IT COULD HAVE BEEN.

18 Q. HAVE YOU EVER SEEN A PHYSICIAN WHO WAS DOING A D&E TAKE
19 STEPS TO LIMIT THE AMOUNT OF DILATION THEY ACHIEVE?

20 A. WHAT I MEANT BY "TAKING STEPS TO LIMIT," THEY DON'T PUT IN
21 25 OSMOTIC DILATORS.

22 Q. AS A PHYSICIAN WHO DOES D&E'S EVER TOLD YOU THAT HE OR SHE
23 TAKES STEPS TO LIMIT THE AMOUNT OF DILATION THEY ATTEMPT TO
24 ACHIEVE --

25 A. YES.

1 Q. -- IN DOING A D&E?

2 A. THEY TELL ME THAT THEY ONLY ATTEMPT TO PLACE LIKE SIX
3 DILATORS, OR WHAT HAVE YOU, AND THEY DO IT OVER A ONE-DAY
4 PREPARATORY PERIOD.

5 Q. HAS A PHYSICIAN EVER EXPRESSED TO YOU THAT THEY ARE UNHAPPY
6 IN DOING A D&E IF THEY ACHIEVE MORE DILATION THAN IS NECESSARY
7 TO PERFORM THE PROCEDURE?

8 A. NOPE, THAT WAS NOT WHAT I WAS IMPLYING. I WAS IMPLYING
9 WHAT THEY WOULD DO VOLITIONARY ON THEIR OWN TO CAUSE MORE
10 CERVICAL DILATION, MEANING HOW MANY DILATORS THEY WOULD PLACE.

11 IF YOU GET MORE DILATION THAN THAT, THEN I HAVE
12 NEVER HEARD ANYONE COMPLAIN ABOUT THAT.

13 Q. OKAY. AND YOU WOULD AGREE, DR. COOK, THAT THERE ARE
14 CLEARLY RISKS TO THE WOMAN IF THE PHYSICIAN UNDERDILATES THE
15 CERVIX, RIGHT?

16 A. I AM NOT SURE I KNOW WHAT YOU MEAN BY "RISKS TO THE WOMAN."

17 Q. THERE COULD BE COMPLICATIONS IN TERM OF HOW THE PROCEDURE
18 IS DONE IF THE CERVIX IS UNDERDILATED. THERE MAY BE INJURY TO
19 THE WOMAN IN THE EXTRACTION PROCESS IF THERE IS UNDERDILATION
20 OF THE CERVIX?

21 A. I AM NOT SURE THAT IS THE CASE. IT IS NOT THAT IT IS
22 UNDERDILATED. IT IS THE AMOUNT OF INTRAUTERINE MANIPULATION
23 THAT IS REQUIRED.

24 Q. HAVE YOU EVER TREATED A WOMAN WHO EXPERIENCED A
25 COMPLICATION IN AN ABORTION DUE TO INADEQUATE CERVICAL

1 DILATION?

2 A. NOT FROM WHAT I WOULD CONSIDER INADEQUATE CERVICAL
3 DILATION. I HAVE SEEN WOMEN FROM WHAT I WOULD INTERPRET WAS AN
4 INACCURATE ASSESSMENT OF GESTATIONAL AGE, MEANING THAT WE GET
5 PATIENTS REFERRED TO US IN THE HOSPITAL THAT HAD ATTEMPTED D&E
6 PROCEDURES ELSEWHERE. AND IN RETROSPECT, THEY UNDERESTIMATED
7 THE GESTATIONAL AGE, AND OFTENTIMES EITHER HAD PERFORATIONS OR
8 RETAINED FETAL PARTS.

9 Q. DR. COOK, IN THE INDUCTION ABORTION PROCEDURES THAT YOU
10 HAVE PERFORMED, YOU HAVE NEVER USED DIGOXIN OR KCL TO INDUCE
11 FETAL DEMISE BECAUSE YOU ALWAYS CONSIDERED IT UNNECESSARY IN
12 THOSE PROCEDURES; IS THAT RIGHT?

13 A. THAT IS CORRECT.

14 Q. AND IN YOUR OPINION THERE IS SOME WOMEN FOR WHOM INJECTION
15 OF DIGOXIN OR KCL WOULD CARRY RISKS OVER AND ABOVE THE SMALL
16 RISK THAT WOULD BE PRESENT FOR ALL WOMEN; IS THAT RIGHT?

17 A. I AM NOT SURE I KNOW WHAT YOU MEAN BY THE "SMALL RISK" PART
18 OF IT.

19 Q. IS IT YOUR BELIEF THAT ANY TIME YOU INJECT KCL OR DIGOXIN
20 THERE MAY BE SOME SMALL RISK TO THE WOMAN?

21 A. I SUPPOSE.

22 Q. OKAY. AND OVER AND ABOVE THAT SMALL RISK THAT WOULD BE
23 PRESENT FOR ANY WOMAN, IS IT YOUR BELIEF THERE ARE SOME WOMEN
24 FOR WHOM THE INJECTION WOULD CARRY GREATER RISKS?

25 A. YES.

1 Q. OKAY. SO, FOR EXAMPLE, WOMEN WITH KNOWN VIRAL DISEASES,
2 SUCH AS HIV AND HEPATITIS MAY BE AT GREATER RISK IF THEY
3 RECEIVE AN INJECTION OF DIGOXIN OR KCL THAN OTHER WOMEN; IS
4 THAT A FAIR STATEMENT?

5 A. NOT EXACTLY. MY CONCERN WASN'T NECESSARILY THAT IT WAS KCL
6 OR DIGOXIN. THE CONCERN WAS HAVING AN INVASIVE PROCEDURE IN
7 LIGHT OF VIRAL DISEASE.

8 Q. OKAY. THAT IS WELL-TAKEN. SO FOR A WOMAN WITH HIV OR
9 HEPATITIS, AN INJECTION THROUGH THE ABDOMEN INTO THE UTERUS
10 WOULD POSE GREATER RISKS THAN FOR A WOMEN WITHOUT THOSE
11 DISEASES; IS THAT CORRECT?

12 A. IN THEORY, THE RISK IS BEING INFECTING THE FETUS, THAT
13 BEING THE RISK. NOT THE RISK TO THE MOTHER'S HEALTH, PER SE.

14 FOR INSTANCE, WE TRY TO AVOID INVASIVE PROCEDURES,
15 FOR INSTANCE, GENETIC AMNIOCENTESIS, IN AN HIV POSITIVE WOMAN
16 IN ORDER TO TRY TO REDUCE THE RISK OF VERTICAL TRANSMISSION OF
17 THAT VIRAL DISEASE TO THE FETUS.

18 Q. I SEE. SO -- BUT IN YOUR DEPOSITION DID YOU NOT SAY THAT
19 YOU WOULD BE CONCERNED ABOUT INJECTING DIGOXIN OR KCL IN A
20 WOMAN WITH HIV OR HEPATITIS?

21 A. YES, BUT I BELIEVE I WAS ASKED A NUMBER OF POTENTIAL
22 CONCERNS OF DOING AN INJECTION. THAT WASN'T MEANT TO IMPLY
23 THAT IT'S THE KCL OR THE DIGOXIN. IT IS HAVING THE ADDITIONAL
24 PROCEDURE DONE THAT I WAS REFERRING TO.

25 Q. OKAY. AND HAVING THAT ADDITIONAL PROCEDURE WOULD BE A

1 GREATER CONCERN IN A WOMAN WITH HIV OR HEPATITIS?

2 A. YES, BUT FOR A FETAL CONCERN, NOT NECESSARILY MATERNAL
3 CONCERN. I WOULD HAVE TO REVIEW HOW THE QUESTION WENT.

4 Q. OKAY. YEAH. WHY DON'T WE DO THAT TOGETHER? WHY DON'T YOU
5 LOOK AT PAGE 198 AND 199 OF YOUR DEPOSITION, STARTING ON PAGE
6 198, AT LINE 17.

7 A. OH, I AM SORRY. WHAT LINE?

8 Q. I AM SORRY. 198, LINE 17.

9 A. OKAY. I AM SORRY. ARE YOU ASKING ME TO READ IT?

10 Q. JUST READ IT TO YOURSELF.

11 A. I DID READ IT.

12 Q. OKAY. SO THEN, LET ME ASK YOU: ON LINE 17 OF PAGE 198,
13 DID I ASK YOU:

14 "ARE THERE WOMEN FOR WHOM AN INJECTION OF
15 EITHER DIGOXIN OR KCL TO INDUCE FETAL DEMISE PRIOR
16 TO AN INJECTION WOULD CARRY MORE THAN A SMALL RISK
17 BECAUSE THE WOMAN HAD A PREEXISTING MEDICAL
18 CONDITION."

19 MR. COPPOLINO OBJECTED, AND YOU ANSWERED:

20 "YES. THERE ARE SOME SITUATIONS WHERE THE RISK
21 WOULD BE GREATER THAN FOR OTHER WOMEN."

22 AND I ASKED YOU:

23 "CAN YOU GIVE ME EXAMPLES OF SITUATIONS WHERE
24 THE RISK WOULD BE GREATER?"

25 AND YOU STATED:

1 "YES. IN A SITUATION WHERE YOU ARE CONCERNED
2 ABOUT INFECTION, THEN THERE IS THE CONCERN OF
3 SEEDING OTHER ASPECTS OF HER VASCULATURE, OR
4 WHAT HAVE YOU, TO CAUSE SYSTEMIC INFECTION. IN A
5 SITUATION WHERE IT MAY BE TECHNICALLY DIFFICULT
6 BECAUSE OF SIGNIFICANT MATERNAL OBESITY. AND THEN
7 THERE ARE OTHER SCENARIOS WHERE, FOR INSTANCE, WE
8 DON'T GENERALLY RECOMMEND INVASIVE PROCEDURES IF WE
9 CAN AVOID THEM. PEOPLE WITH KNOWN VIRAL DISEASES,
10 HEPATITIS AND HIV, THINGS OF THAT NATURE, IF WE CAN
11 AVOID ADDITIONAL PROCEDURES, THEN WE WOULD."
12 WAS THAT YOUR TESTIMONY?

13 A. YES.

14 Q. OKAY. AND YOU OBVIOUSLY TESTIFIED TODAY AT GREAT LENGTHS
15 ABOUT THE MATERNAL RISKS, THE RISK TO THE WOMAN OF INTACT D&E;
16 IS THAT FAIR?

17 A. SORRY?

18 Q. YOU HAVE TESTIFIED TODAY ABOUT THE MATERNAL RISKS OF DOING
19 INTACT D&E'S?

20 A. CORRECT.

21 Q. BUT YOU WOULD AGREE, WOULDN'T YOU, THAT THE WAY IN WHICH
22 THE FETAL HEAD IS DELIVERED IN AN INTACT D&E MAY IN SOME RARE
23 INSTANCES BE THE MOST EFFECTIVE WAY TO COMPLETE AN ABORTION
24 PROCEDURE IF THE FETUS IS NOT LIVING; ISN'T THAT CORRECT?

25 A. IT MAY BE AN OPTION. BUT, AGAIN, I HAVE BEEN ASKED TO COME

1 IN ON THOSE VERY SCENARIOS AND NOT FOUND THAT I HAVE EVER HAD
2 TO UTILIZE THAT AS AN OPTION.

3 Q. SO YOU DON'T THINK THERE ARE SOME SCENARIOS IN WHICH THAT
4 WOULD BE THE MOST EFFECTIVE WAY TO COMPLETE THE ABORTION?

5 A. WELL, THE PROBLEM IS IN MEDICINE YOU ALWAYS HAVE TO MEASURE
6 RISK AND BENEFITS. AND THERE IS A RISK IN CRUSHING A SKULL OR
7 EVACUATING A SKULL IN A VERY VASCULAR AREA OF THE CERVIX. SO
8 WE WOULD RATHER PROCEED WITH THE LEAST INVASIVE AND LEAST
9 TRAUMATIC WAY TO DO THAT.

10 Q. OKAY. DO YOU RECALL IN YOUR DEPOSITION WE HAD A
11 DISCUSSION ABOUT WHY CONGRESS WOULD HAVE CHOSEN TO BAN INTACT
12 D&E'S WHEN THE FETUS WAS LIVING, BUT NOT WHEN THE FETUS HAD
13 ALREADY DIED, GIVEN THAT THE MATERNAL HEALTH RISKS SEEMED AS IF
14 THEY WOULD BE COMPARABLE, REGARDLESS OF WHETHER THE FETUS WAS
15 LIVING? DO YOU RECALL THAT CONVERSATION?

16 A. I DO.

17 Q. AND IN ANSWER TO MY QUESTION TO YOU ABOUT WHY YOU THOUGHT
18 CONGRESS MIGHT HAVE DRAFTED IT IN THAT WAY, DO YOU RECALL
19 INDICATING THAT CONGRESS MAY HAVE WANTED TO GIVE PHYSICIANS
20 LEEWAY TO FREELY USE THAT TECHNIQUE TO COMPLETE THE DELIVERY IN
21 THE MOST EFFECTIVE WAY?

22 A. I THINK I MOSTLY RECALL SAYING I HAD NO WAY OF KNOWING WHAT
23 CONGRESS WAS THINKING. BUT THEN I THINK WHEN PUSHED I SAID
24 THAT WAS A POSSIBLE CONSIDERATION OF WHAT THEY MAY HAVE TAKEN
25 INTO ACCOUNT.

1 Q. WHY DON'T YOU LOOK AT YOUR DEPOSITION AT PAGE 257,
2 STARTING -- I AM SORRY. THAT MIGHT NOT BE THE RIGHT PAGE.

3 I AM SORRY.

4 A. I DON'T THINK THAT IS THE RIGHT PAGE.

5 Q. I AM SORRY. IT IS ACTUALLY AT THE BOTTOM OF PAGE 247, TO
6 THE TOP OF 248.

7 A. I AM THERE.

8 Q. IF YOU START ON 247, AT LINE 19, AND READ THROUGH 248, AT
9 LINE 10. JUST READ IT TO YOURSELF.

10 A. YES, I HAVE READ IT.

11 Q. OKAY. AND WOULD YOU AGREE THAT -- WELL, LET ME READ IT TO
12 YOU. I SAID TO YOU:

13 "OKAY, DR. COOK. WOULD YOU AGREE THAT IF
14 CONGRESS HAD BEEN PRIMARILY CONCERNED ABOUT THE
15 IMPACT OF THIS PROCEDURE ON MATERNAL HEALTH, IT
16 WOULD HAVE BANNED ITS USE REGARDLESS OF WHETHER THE
17 FETUS WAS DEAD OR LIVING?

18 "ANSWER: I DON'T AGREE WITH IT.

19 "QUESTION: OKAY. WHY IS THAT?

20 "ANSWER: WELL, I THINK IF I WAS WRITING THIS
21 PORTION OF THE BILL, ONE OF THE PURPOSES IN DEFINING
22 SPECIFICALLY A LIVING FETUS VERSUS A NONLIVING FETUS
23 IS TO ALLOW A PHYSICIAN IN A SETTING WHERE FETAL
24 INTERESTS NO LONGER COME TO BEAR, MEANING A BABY IS
25 NO LONGER ALIVE, THAT YOU MIGHT HAVE GREATER LEEWAY

1 WITH CERTAIN, YOU KNOW, OPTIONS AVAILABLE TO YOU.
2 AND IF ONE OF THOSE OPTIONS WOULD BE POTENTIALLY THE
3 NEED TO DECOMPRESS OR PRESS THE SKULL OF A NONLIVING
4 FETUS IN ORDER TO MOST EFFECTIVELY, YOU KNOW,
5 COMPLETE THE DELIVERY, THAT THEY SHOULD BE ABLE TO
6 FEEL LIKE THEY HE CAN DO THAT FREELY."
7 WAS THAT YOUR TESTIMONY?

8 A. YES.

9 Q. AND WOULD YOU AGREE BASED ON THAT, THAT THERE MAY BE
10 SITUATIONS IN WHICH IT WOULD BE MOST EFFECTIVE TO COMPLETE THE
11 DELIVERY TO USE A DECOMPRESSION TECHNIQUE OR A TECHNIQUE THAT
12 CRUSHES THE SKULL OF A NONLIVING FETUS?

13 A. COULD YOU REPEAT THE LAST QUESTION, AGAIN.

14 MS. GARTNER: COULD YOU ACTUALLY READ BACK THE LAST
15 QUESTION?

16 (RECORD READ AS FOLLOWS:

17 "AND WOULD YOU AGREE BASED ON THAT, THAT THERE
18 MAY BE SITUATIONS IN WHICH IT WOULD BE MOST
19 EFFECTIVE TO COMPLETE THE DELIVERY TO USE A
20 DECOMPRESSION TECHNIQUE OR A TECHNIQUE THAT CRUSHES
21 THE SKULL OF A NONLIVING FETUS?)

22 THE WITNESS: NO.

23 BY MS. GARTNER:

24 Q. IF THAT WOULDN'T BE A BENEFIT TO PHYSICIANS, WHY DO YOU
25 THINK PHYSICIANS WOULD WANT TO BE ABLE TO DO THAT FREELY, AS

1 YOU SAID IN THAT ANSWER?

2 A. WELL, AS I UNDERSTOOD THE QUESTION PUT TO ME AND READ BY
3 THE COURT REPORTER, IT WAS: WOULD IT BE THE MOST EFFECTIVE
4 METHOD, WHICH WAS NOT WHAT I WAS -- WHICH WAS WHAT I WAS
5 ANSWERING. AND, NO, IT WOULD NOT BE THE MOST EFFECTIVE METHOD.
6 IT MIGHT BE AN OPTION AVAILABLE IF FETAL INTERESTS ARE NO
7 LONGER AT PLAY, WHICH I BELIEVE IS WHAT I TESTIFIED TO AT MY
8 DEPOSITION.

9 Q. OKAY. THOUGH YOU DID USE THE TERM "MOST EFFECTIVELY" ON
10 LINE 8 OF YOUR DEPOSITION, DID YOU NOT?

11 A. I SAID "POTENTIALLY THE NEED OF THAT IN ORDER TO MOST
12 EFFECTIVELY, IN THAT PERSON'S OPINION, EFFECT A
13 DELIVERY."

14 IN MY OPINION, IS IT THE MOST EFFECTIVE METHOD? NO.

15 Q. BUT YOU WOULD AGREE THAT THERE ARE PHYSICIANS WHO WOULD
16 CONSIDER THAT TO BE THE MOST EFFECTIVE TECHNIQUE IN THAT
17 CIRCUMSTANCE; IS THAT CORRECT?

18 A. THERE MAY BE PHYSICIANS WHO AREN'T AWARE OF OTHER
19 TECHNIQUES, AND TO THEM, THAT IS THEIR MOST EFFECTIVE WAY TO DO
20 IT. AND I WOULDN'T BEGRUDGE THEM THAT IF THEY WERE IN THE
21 SETTING OF A NO LONGER LIVING FETUS.

22 HOWEVER, THAT IS A FAR CRY FROM A D&X PROCEDURE.

23 Q. WELL, THAT WASN'T THE QUESTION.

24 A. OKAY.

25 MS. GARTNER: YOUR HONOR, I THINK JUST TWO OR THREE

1 MORE MINUTES, OKAY?

2 BY MS. GARTNER:

3 Q. DR. COOK, YOU HAVE PREVIOUSLY TESTIFIED IN TWO CASES,
4 TESTIFIED IN COURT.

5 LEAVING ASIDE THE NEBRASKA CASE IN WHICH YOU
6 TESTIFIED LAST WEEK, YOU TESTIFIED IN TWO CASES INVOLVING STATE
7 LAWS THAT BAN SO-CALLED PARTIAL-BIRTH ABORTION; IS THAT
8 CORRECT?

9 A. TESTIFIED AT --

10 Q. IN COURT?

11 A. IN COURT, YES.

12 Q. AND THOSE WERE IN MICHIGAN AND IN MISSOURI?

13 A. YES, I BELIEVE I TESTIFIED MORE THAN ONE TIME IN MISSOURI,
14 BUT --

15 Q. OKAY. AND YOU SUBMITTED DECLARATIONS IN TWO OTHER CASES
16 INVOLVING STATE LAWS BANNING SO-CALLED PARTIAL-BIRTH ABORTION;
17 IS THAT CORRECT?

18 A. AS I BEST RECALL.

19 Q. THOSE WERE WISCONSIN AND ALASKA?

20 A. YES.

21 Q. AND YOU TESTIFIED THAT ON TWO OCCASIONS YOU TESTIFIED
22 BEFORE CONGRESS IN SUPPORT OF FEDERAL LEGISLATION BANNING
23 SO-CALLED PARTIAL-BIRTH ABORTION?

24 A. YES.

25 Q. AND CONGRESSIONAL STAFF SOUGHT YOUR INPUT INTO THE DRAFTING

1 OF THIS PARTICULAR LAW, THE PARTIAL-BIRTH ABORTION BAN ACT OF
2 2003; IS THAT CORRECT?

3 A. CERTAIN ELEMENTS OF IT, YES.

4 Q. AND AM I CORRECT THAT CONGRESSIONAL STAFF PERSONS SOUGHT
5 YOUR ADVICE IN SOME SPECIFIC AREAS OF LANGUAGE AND SOME
6 ASPECTS -- WELL, LET ME JUST STOP WITH THAT.

7 AM I CORRECT THAT CONGRESSIONAL STAFF PERSONS SOUGHT
8 YOUR ADVICE IN SOME SPECIFIC AREAS OF LANGUAGE?

9 A. YES.

10 Q. AND SOME OF THOSE ASPECTS THAT THEY SOUGHT YOUR ADVICE ON,
11 WERE INCORPORATED INTO THE FINAL VERSION OF THE LAW; IS THAT
12 CORRECT?

13 A. YES.

14 Q. OKAY. AND ONE OF YOUR SUGGESTIONS THAT YOU DISCUSSED WITH
15 CONGRESSIONAL STAFF PERSONS WAS THAT THEY INCLUDE A PROVISION
16 THAT WOULD LIMIT THE APPLICABILITY OF THIS LAW TO 20 WEEKS
17 GESTATION AND LATER; IS THAT CORRECT?

18 A. THAT IS CORRECT.

19 Q. AND THAT SUGGESTION WAS ULTIMATELY NOT INCLUDED IN THIS
20 LEGISLATION AS FAR AS YOU KNOW; IS THAT CORRECT?

21 A. CORRECT.

22 MS. GARTNER: I HAVE NO FURTHER QUESTIONS, YOUR
23 HONOR.

24 THE COURT: ALL RIGHT. YOU ARE EXCUSED.

25 THANK YOU.

1 MS. CLARK: I HAVE ONE QUESTION.

2 THE COURT: ONE QUESTION?

3 MS. CLARK: YES.

4 THE COURT: YOU MAY ASK ONE QUESTION.

5 REDIRECT EXAMINATION

6 BY MS. CLARK:

7 Q. DR. COOK, WITH THE SUGGESTION YOU JUST TALKED ABOUT WITH
8 MS. GARTNER ABOUT THE 20 WEEK LIMITATION, WHY WAS IT THAT YOU
9 MADE THAT 20 WEEK GESTATIONAL AGE RECOMMENDATION TO CONGRESS?

10 A. WELL, THE PORTIONS OF THE BILL LANGUAGE THEY ASKED ME TO
11 HELP WITH WERE THE PORTIONS TO MOST NARROWLY DEFINE THE
12 PROCEDURE TO JUST THIS PROCEDURE SINCE THAT WAS ONE OF THE
13 AREAS SPECIFICALLY ADDRESSED IN PREVIOUS LITIGATION.

14 AND SO I MADE SUGGESTIONS THAT I THOUGHT WOULD LIMIT
15 THE PROCEDURE TO JUST THIS PROCEDURE. AND THAT INCLUDED THINGS
16 WE MENTIONED LIKE ANATOMIC LANDMARKS AND VOLITIONAL OR ACTIVE
17 DESTRUCTIVE PROCEDURE, THESE SORT OF THINGS.

18 I ALSO SUGGESTED 20 WEEKS GESTATION BECAUSE THERE
19 WAS A LOT OF DISCUSSION ABOUT:

20 "WELL, THIS BILL COULD INCLUDE FIRST-TRIMESTER
21 PREGNANCY LOSSES," OR OTHER ARGUMENTS THAT I DIDN'T
22 THINK -- THAT I THOUGHT WERE DISINGENUOUS ARGUMENTS.

23 SO I THOUGHT JUST AS A POINT OF FACILITATION IF YOU
24 DRAW THE LINE AT 20 WEEKS, WHICH IS HOW HASKELL AND MCMAHON
25 BOTH DEFINE THEIR PROCEDURES, IF YOU JUST DEFINE IT AT 20

1 WEEKS, THEN YOU CAN JUST DISCARD ALL THE OTHER CONCERNS AND
2 ARGUMENTS ABOUT PATIENTS LESS THAN 20 WEEKS.

3 SO IT WAS BASICALLY TO TRY TO FACILITATE THE
4 PROCESS.

5 MS. CLARK: THANK YOU.

6 THE COURT: ALL RIGHT. THANK YOU.

7 YOU ARE EXCUSED.

8 ALL RIGHT. I JUST WANT TO MAKE A COUPLE OF POINTS
9 FOR YOU ALL. TOMORROW IS OUR DOWN DAY -- AT LEAST FOR YOU ALL.
10 AND WE ARE GOING TO WRAP IT UP ON THURSDAY AND FRIDAY. I
11 DIDN'T MEAN TO SUGGEST THAT YOU ALL WEREN'T GOING TO BE
12 WORKING; JUST THAT YOU WEREN'T GOING TO BE HERE.

13 WITH REGARD TO THE RECORD EVIDENCE THAT WE WILL RELY
14 UPON, THAT WILL INCLUDE THE TESTIMONY OF THE WITNESSES
15 PRESENTED HERE IN COURT, AS WELL AS THE DEPOSITIONS THAT YOU
16 ALL HAVE SUBMITTED IN LIEU OF THEIR LIVE TESTIMONY.

17 I WONDERED WITH RESPECT TO THE -- AND ALSO ANY
18 EXHIBITS THAT HAVE BEEN ADMITTED HERE IN COURT.

19 AND I AM WONDERING WITH REGARD TO THE DECLARATIONS
20 THAT WERE SUBMITTED PRIOR TO TRIAL, AS WELL AS THE -- THERE
21 WERE SOME DEPOSITIONS, AS WELL, A FEW DEPOSITIONS AS WELL, OF
22 WITNESSES THAT HAVE ACTUALLY APPEARED HERE, AND IN ADDITION THE
23 EXPERT REPORTS, ALL OF WHICH YOU FILED. TYPICALLY, THEY ARE
24 NOT FILED IN CASES, AND THEY ARE NOT ADMITTED INTO EVIDENCE.

25 I JUST WANT TO MAKE SURE THAT YOU ALL ARE PROCEEDING

1 IN TERMS OF SUPPLEMENTING YOUR FINDINGS ON THE ASSUMPTION THAT
2 UNLESS THERE IS A STIPULATION, THE DEPOSITIONS OF WITNESSES WHO
3 HAVE APPEARED HERE WILL NOT BE ADMITTED NOR WILL THEY BE RELIED
4 UPON. THE EXPERT REPORTS, EVEN THOUGH THEY WERE FILED, WILL
5 NOT BE RELIED UPON. AND THE DECLARATIONS SUBMITTED IN
6 CONJUNCTION WITH PRIOR MOTIONS WILL NOT BE RELIED UPON.

7 IS EVERYONE PROCEEDING UNDER THAT UNDERSTANDING?

8 MR. QUINLIVAN: YES.

9 MS. PARKER: YES.

10 THE COURT: ALL RIGHT. THEN, IN TERMS OF THE
11 SUPPLEMENTATION, THEN, OF YOUR PROPOSED FINDINGS OF FACT AND
12 CONCLUSIONS OF LAW, I INDICATED TO YOU I WOULD LIKE TO, RATHER
13 THAN JUST SUBMIT POSTTRIAL BRIEFING, TO SIMPLY SUPPLEMENT
14 THOSE.

15 WHAT I WOULD LIKE IS REFERENCES TO THE EXHIBITS THAT
16 ULTIMATELY HAVE BEEN ADMITTED INTO EVIDENCE AND THE TRIAL
17 TRANSCRIPT, WHICH YOU ALL HAVE BEEN RECEIVING ON A DAILY BASIS.

18 THAT WILL CERTAINLY FACILITATE MY REVIEW OF THE
19 ENTIRE RECORD. BUT IT WILL ONLY DO SO IF I RECEIVE IT QUICKLY,
20 BECAUSE WE ARE GOING TO START ON THIS IMMEDIATELY. SO WHAT I
21 WOULD LIKE IS TO AFFORD YOU ALL AN ADDITIONAL WEEK TO DO THAT.

22 DO YOU ALL THINK --

23 MS. PARKER: WEEK FROM FRIDAY?

24 THE COURT: A WEEK FROM FRIDAY.

25 MS. PARKER: IF WE CAN DO IT A WEEK FROM MONDAY, I

1 THINK THAT -- SO IT WOULD BE --

2 THE COURT: YOU WOULD HAVE A FULL WEEK TO DO IT.

3 MS. PARKER: EXACTLY.

4 THE COURT: OKAY. THAT WOULD BE FINE, IF WE CAN GET
5 IT THE FOLLOWING MONDAY. THAT WOULD BE ONE FULL WEEK TO DO THE
6 SUPPLEMENTATION.

7 IN ADDITION, THERE ARE A COUPLE OF OTHER POINTS. I
8 RAISED A COUPLE OF ISSUES WITH YOU THE OTHER DAY, AND I
9 PROBABLY WILL HAVE A FEW MORE ON THURSDAY OR FRIDAY.

10 IF YOU WISH TO SUPPLEMENT THE FINDINGS FURTHER TO
11 ADDRESS THOSE, TO THE EXTENT YOU CANNOT ADDRESS THEM IN YOUR
12 CLOSING ARGUMENT, THAT WILL BE FINE. BUT YOU ARE STILL ONLY
13 GOING TO GET THE ONE FULL WEEK TO DO THAT.

14 MS. GARTNER: WE HAVE A QUESTION, YOUR HONOR, ABOUT
15 THE EXHIBITS THAT WERE SUBMITTED WITH THE DEPOSITIONS.

16 THE COURT: OH, THAT'S RIGHT. THAT IS ANOTHER ISSUE
17 I WANTED TO RAISE. SOME OF THE EXHIBITS WERE ACTUALLY --
18 ACTUALLY, SOME OF THE EXPERT REPORTS WERE INCORPORATED BY THE
19 TESTIMONY. WHAT IS YOUR -- DO YOU HAVE A JOINT POSITION ON
20 THAT? DO YOU WISH ME TO CONSIDER THOSE?

21 MS. PARKER: I DON'T THINK -- FROM OUR POSITION, I
22 DON'T THINK IT WAS THE EXPERT REPORTS, NECESSARILY, BUT MAYBE
23 WE SHOULD GO BACK AND DOUBLE-CHECK THAT WITH THE DEPOSITIONS.

24 WE SHOULD DOUBLE-CHECK THAT. BUT I THINK WE DID
25 WANT THE EXHIBITS, WHICH IS WHY WE DID PUT THE DEPOSITION

1 DESIGNATIONS IN THE AUTHENTICATION.

2 THE COURT: AUTHENTICATION OF THE EXHIBITS?

3 MS. PARKER: YES.

4 THE COURT: WHY DON'T WE JUST BRING OUT -- YOU ALL
5 CAN TAKE A LOOK AT -- I HAVE THE ORIGINALS THAT WILL BE
6 ADMITTED AS SORT OF LIKE EXHIBITS FOR THE APPELLATE RECORD.

7 AND THEY HAVE APPENDED TO THEM THE EXHIBITS. I
8 ACTUALLY WOULD LIKE YOU TO TAKE THE EXHIBITS THAT ARE NOT MEANT
9 TO BE INCLUDED, SO I DON'T HAVE TO CONSIDER WHETHER OR NOT THEY
10 SHOULD BE INCLUDED.

11 MS. GARTNER: WE ATTEMPTED TO.

12 THE COURT: YOU DID THAT, ALL RIGHT.

13 MS. PARKER: YES, WE ACTUALLY TRIED TO DO THAT AND
14 WE JUST GAVE YOU THE EXHIBITS THAT WE WANTED TO BE INCLUDED IN
15 THE RECORD.

16 THE COURT: IS THAT TRUE WITH BOTH SIDES?

17 MR. SIMPSON: YES, YOUR HONOR, I BELIEVE SO.

18 THE COURT: YOU DON'T HAVE TO DO ANYTHING. WE WILL
19 LOOK AT THEM.

20 OBVIOUSLY, I WILL GIVE ANY WEIGHT TO THOSE THAT I
21 THINK ARE APPROPRIATE, AND I WON'T TO THOSE I DON'T.

22 MS. GARTNER: POINT OF CLARIFICATION ON THAT, YOUR
23 HONOR. IN SOME INSTANCES WE DON'T THINK THE EXHIBITS WERE
24 ACTUALLY PROBATIVE.

25 IT WAS JUST TO GIVE YOU THE CONTEXT OF WHAT WAS

1 BEING DISCUSSED IN THE DEPOSITION.

2 THE COURT: SURE, OKAY.

3 ALL RIGHT.

4 ANYTHING ELSE BEFORE WE ADJOURN?

5 ALL RIGHT. THEN, WE WILL SEE YOU THURSDAY MORNING.

6 (THEREUPON, THIS TRIAL WAS CONTINUED UNTIL THURSDAY,
7 APRIL 15, 2004, AT 8:30 O'CLOCK A.M.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

	I N D E X	
1		
2	DEFENDANT'S WITNESS:	PAGE
3	COOK, CURTIS	
4	DIRECT EXAMINATION BY MS. CLARK	1345
5	VOIR DIRE EXAMINATION BY MS. GARTNER	1364
6	DIRECT EXAMINATION RESUMED BY MS. CLARK	1385
7	CROSS-EXAMINATION BY MS. GARTNER	1451
8	REDIRECT EXAMINATION BY MS. CLARK	1530
9		
10	DEFENDANT'S EXHIBIT:	EVD.
11	A-4	1347
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

