

IN THE UNITED STATES DISTRICT COURT  
FOR THE DISTRICT OF NEBRASKA

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

LEROY CARHART, M.D., WILLIAM G. ) 4:03CV3385  
FITZHUGH, M.D., WILLIAM H. KNORR,) March 30, 2004  
M.D., and JILL L. VIBHAKAR, M.D.,) 9:00 a.m.  
on behalf of themselves and the ) Lincoln, Nebraska  
patients they serve, )  
 )  
Plaintiffs, )  
 )  
vs. )  
 )  
JOHN ASHCROFT, in his official )  
capacity as Attorney General of )  
the United States, and his )  
employees, agents and successors )  
in office, )  
 )  
Defendant. )  
-----

VOLUME II,  
TRANSCRIPT OF TRIAL PROCEEDINGS,  
BEFORE THE HONORABLE RICHARD G. KOPF,  
UNITED STATES DISTRICT JUDGE

A-P-P-E-A-R-A-N-C-E-S:

FOR THE PLAINTIFFS: PRISCILLA J. SMITH  
JANET CREPPS  
NAN E. STRAUSS  
Attorneys at Law  
Center for Reproductive  
Rights  
120 Wall Street, 14th Flr.  
New York, New York 10005  
  
JERRY M. HUG  
Attorney at Law  
1823 Harney Street, #1004  
Omaha, Nebraska 68102

1 FOR DEFENDANT:

ANTHONY J. COPPOLINO  
PREEYA M. NORONHA  
TERRY M. HENRY  
ANDREW I. WARDEN  
U.S. Department of Justice  
Civil Division - Federal  
Programs Branch  
P.O. Box 883  
Washington, DC 20044

2

3

4

5

6 COURT REPORTER:

DAVID C. FRANCIS, RMR  
Official Ct. Reporter (Ret)  
6300 Briar Rosa Drive  
Lincoln, Nebraska 68516

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24 Proceedings recorded by manual stenograph, transcript  
25 produced with computer.

1 (Tuesday, March 30th, 2004, at 9:00 a.m.)

2 THE COURT: Good morning. All right, Doctor, if  
3 you will retake the witness stand, wherever you are. There  
4 you are.

5 (Dr. Fitzhugh resumed the witness stand)

6 THE COURT: Ms. Crepps, you may inquire.

7 MS. CREPPS: Thank you, Your Honor.

8 BY MS. CREPPS:

9 Q. Dr. Fitzhugh, I'm going to remind you to speak up a  
10 little bit so everyone can hear you.

11 A. Sorry.

12 Q. We finished yesterday -- we were talking about the  
13 dilation processes that you used for second trimester  
14 abortions. And I'm going to ask you some more questions  
15 about that. Can you insert the same number of laminaria for  
16 every woman at the same gestational age for your second  
17 trimester procedures?

18 A. It depends on several things. Number one is the  
19 gestational age. Natural opening of the cervix occurs as  
20 the gestational age goes up. So whereas a -- ten weeks, you  
21 could get a minimum amount of laminaria in. And 24 weeks,  
22 you probably could get six to eight comfortably, given all  
23 circumstances. Now, the difference, as I said yesterday,  
24 may be, but the size of laminaria vary so -- and the size of  
25 the cervix varies with each individual woman. So -- and the

1 comfort level of a woman varies. So I may be able with the  
2 same size cervix put two laminarias comfortably in a lady,  
3 and in another one, I may be able to put three or four. So  
4 that will vary on her comfort level and the -- her ability  
5 to tolerate the discomfort associated with the procedure.  
6 It also determines on the condition of the cervix.  
7 Sometimes you'll have a speculum -- you'll have a cervical  
8 incompetence or a relative cervical incompetence. That  
9 means it's already open. You won't have to put any  
10 laminaria in, and you just wait for the next day. Sometimes  
11 she's had surgery on the cervix which allow more laminaria  
12 to be inserted, and sometimes she's had some cryo surgery  
13 where the cervix is tougher so you do not get as many in.

14 Q. In your opinion, Doctor, is it possible to force  
15 laminaria into the cervix?

16 A. Well, you know, always the answer is you can probably do  
17 anything, but you put it to the level of my comfort, which  
18 is that the number will go in fairly easily that you don't  
19 have to apply -- apply a lot of pressure. You don't want to  
20 apply enough pressure so that you would go through the  
21 uterus on the side and cause major bleeding or infection.  
22 And as I said earlier, as you start getting more laminaria  
23 in, the lady starts getting more uncomfortable. So you have  
24 to -- you have your limit there on her comfortability level.

25 Q. Do you use some kind of a pain killer when you insert

1 the laminaria?

2 A. No, ma'am. I suppose you could use xylocaine, but my  
3 experience in using xylocaine around -- which is a numbing  
4 agent, around the cervix is that you have just as much  
5 discomfort with the injections as you do putting the  
6 laminaria in. So I would rather put the laminaria that  
7 she's comfortable with me inserting into her cervix.

8 Q. So is -- are you getting some feedback from her about  
9 her comfort level, as you're inserting the laminaria?

10 A. Yes, ma'am. She's in an awake position and so -- so  
11 she's giving me immediate feedback and, you know, I tell  
12 them that this is going to be uncomfortable. The exam is  
13 uncomfortable. Many times you put laminaria into the uterus  
14 or the cervical cavity without really a significant amount  
15 of discomfort. Sometimes you have to put one in and you  
16 have to wait a little while and calm it down, then put one  
17 or two more in. Sometimes you have to place a tenaculum on  
18 the cervix to straighten the cervix out, which is a grasping  
19 instrument. It's got some sharp points on the center so you  
20 can stabilize the cervix to put some in, and that's  
21 relatively uncomfortable too.

22 Q. Have you ever had a situation where the laminaria did  
23 not provide enough dilation for you to remove the fetus?

24 A. I have had one, maybe two cases, that I can remember  
25 where on the next day, there was not enough dilation. And

1 we had to stop the procedure and we insert laminaria at that  
2 point and bring them back the following day. But that has  
3 only been once. I mean in 24 years.

4 Q. Do you ever use mechanical dilators after you remove  
5 laminaria?

6 A. I will if I cannot get the cervix open to a -- insert  
7 the number 14 Pratt dilator. So, yes, ma'am, I did do that  
8 occasionally.

9 Q. Have you ever had to use smaller instruments than you  
10 would prefer, because of the amount of dilation that you  
11 have gotten?

12 A. Yes, ma'am. I have done that too.

13 Q. And do you have an opinion about whether that's  
14 advantageous or disadvantageous to use smaller instruments?

15 A. It's more disadvantageous because it's a -- it's a  
16 longer, more complicated procedure to use smaller  
17 instruments.

18 Q. And, Dr. Fitzhugh, are you aware that some physicians  
19 routinely use serial laminaria, that is over two or three  
20 days with multiple insertions?

21 A. Yes, ma'am, I am aware.

22 Q. Are you also aware some physicians are using cytotech,  
23 either alone or in conjunction with their dilations?

24 A. Yes, ma'am. I am.

25 Q. Why have you chosen to stay with the procedure that you

1 have described, the one-day laminaria?

2 A. In my medical career, I have found out that the least  
3 that you do safely is the best. If we start adding things  
4 into the equation, you may have more complications. The  
5 serial laminaria would involve having the patient spend an  
6 extra day in the area, if she's not from the Richmond area,  
7 which many of my patients are not. And I don't use cytotech  
8 because I have occasional patients that will go in labor and  
9 deliver prior to the procedure the next day. And this is  
10 always an awkward position to be placed in for both patient  
11 and me.

12 Q. Okay. Let's talk now, after you remove the laminaria,  
13 what's typically your next step in the procedure?

14 A. Okay. Well, I place the laminaria in the day before, or  
15 my assistants place the laminaria in the day before. Then  
16 at the hospital, we go over routine things. And I only do  
17 my procedures in the hospital, as I might have mentioned  
18 yesterday. And that's the Virginia Commonwealth University  
19 and primary also Henrico Doctor's Hospital. We use not a  
20 general anesthesia for the most part. Occasionally we do,  
21 but I find that if I use general anesthesia, I have a little  
22 bit more blood loss than if I do what we call a standby or a  
23 conscience sedation. And that's given IV medications to  
24 relax and narcotics with that. So you're not all the way  
25 asleep, but if I say, Mrs. Jones, take a deep breath, she'll

1 take a deep breath. And if I cause some situation where she  
2 has more pain, severe, a lot of pain, she will respond to  
3 that by movement or -- so for me, that's a more comfortable  
4 position having her awake. She doesn't have the after  
5 effects of having a tube in her mouth or more anesthesia.  
6 If I were to do some perforation or grab a bowel or  
7 something like that, she would be awake enough to tell me I  
8 was doing something unusual.

9 Q. Okay. And then after she's received whatever anesthesia  
10 or pain management she's going to have, then what do you do  
11 next?

12 A. At that point, we place her up in a dorsal lithotomy  
13 position which is the old fashioned stirrups where the legs  
14 go up in the air, and then we -- what's called prep her, put  
15 some drapes on the patient and wash her off with Betadine,  
16 which is an antiseptic agent. I place a speculum in the  
17 vagina. We have sponges at the laminar, so it's laminaria  
18 and sponges. Then I wash the cervix off again. I then  
19 place local anesthetic around the cervix and grasp --  
20 originally I grasp with a ring tenaculum, which is a sharp  
21 instrument. Now I use a ring forceps to stabilize the  
22 cervix which has less trauma to it. I might mention, at  
23 this point, that I tend to use a small speculum. Speculums  
24 come in different sizes. Most obstetricians are comfortable  
25 using larger speculums. Smaller speculums allow me less



1 distance from the operating site to where I am, so I'm more  
2 comfortable using smaller type speculums than larger.

3 Q. Let me ask you about the ring forceps that you just  
4 described. What do you actually do with the ring forceps  
5 and the cervix?

6 A. Initially, just grasp -- the cervix presents as a round  
7 sort of like putting my fingers together. So you just grasp  
8 the top part of the cervix and that stabilizes it and holds  
9 it for you. You have a tendency to pull down on the cervix  
10 as you stabilize it.

11 Q. And does the use of the speculum or the ring forceps  
12 ever change the distance between the cervix and the vaginal  
13 introitus?

14 A. It can, but as you look at the cervix, we think about  
15 the vagina being the same depth or something, but the cervix  
16 and depth of vagina varies with each individual person. I  
17 can have a large lady and her cervix be as much as eight  
18 inches from the outside of the vagina. And I could have a  
19 young -- and I find it more common in a young  
20 African-American and I open the cervix -- open the speculum  
21 and the cervix is right there at the introitus without  
22 pulling. So it varies from individual to individual. And I  
23 actually wouldn't say there is any average distance, length  
24 from the opening of the outside of the vagina to where the  
25 cervix is, after I have removed the sponges and the

1 laminaria.

2 Q. So you testified that the cervix could be right at the  
3 plane of the vaginal introitus or opening of the vagina.

4 Does the cervix ever come past that?

5 A. Yes, ma'am. Occasionally what you do is prolapse. The  
6 actual where it comes outside of the vagina tends to be on a  
7 lady that's had more children. But certainly, as I said,  
8 pulled on it, I mean pulled on the cervix, because that  
9 sounds like I really tug on it, but it's just to stabilize  
10 it with your hand to hold things so you can work.

11 Q. Just to be clear, so when you attach the ring forceps,  
12 you're not actually pulling on the cervix but the effect can  
13 be to bring the cervix down?

14 A. Right.

15 Q. How frequently would you estimate that the distance  
16 between the cervix and the introitus is fewer than two  
17 centimeters, when you begin to remove the fetus during the  
18 second trimester abortion?

19 A. Well, I estimate on my first trimester, it happens one  
20 in seven. And I didn't do a study. I just -- over a period  
21 of days, sort of counted and how many there was. And then  
22 the second trimester, as much as one in three.

23 Q. Do you have an opinion as to why you think there is a  
24 difference in that -- you see the cervix closer to the  
25 introitus more frequently in second trimester abortions than

1 in first?

2 A. Well, as the uterus gross, the ligaments have to relax  
3 to accommodate the uterus to grow, and upward motion to  
4 accommodate the growth of the pregnancy, and growth of the  
5 infant. And so if it's going to be that loose to go up,  
6 it's that loose to come down. So it's a play in the  
7 ligaments as the pregnancy advances, and it's also maybe a  
8 little bit function of the anesthesia relaxing the ligaments  
9 also that you give the patient.

10 Q. Do you think that having little or no distance between  
11 the cervical os and the introitus changes the risks of the  
12 procedure at all?

13 A. No, ma'am. Although the cervix being closer to you  
14 allows the procedure to be carried out at a plane closer to  
15 you so that you are not -- I have -- at the hospital, I have  
16 what's called large instruments and small. So my standard  
17 tray contains a standard small ring forceps. And if I have  
18 a cervix that's far away from me and a lady that's a little  
19 bit heavy, I have to get out what's called the large  
20 instruments, which standard instrument is about 12 inches  
21 long. Large instrument is up to 18 to 19 inches long. So,  
22 yes, it's more safer working at a lower distance.

23 Q. Do you ever remove the ring forceps from the cervix  
24 either prior to or during the removal of the fetus?

25 A. Yes, ma'am. I frequently do, because I tend to place my

1 hands on the abdomen. So I'll remove the ring forceps and  
2 frequently use that ring forceps as the instrument I remove  
3 the pregnancy with. So the cervix will stay where it's  
4 positioned.

5 Q. So the distance between the ring forceps and the cervix  
6 doesn't move when you remove the ring forceps?

7 A. No, ma'am. It does not.

8 Q. During the gestational ages -- well, during second  
9 trimester abortions, can you tell the Court what the range  
10 is of the length of the fetus?

11 A. Range of length of the fetus? Well, I imagine it's  
12 approximate -- I have to stretch things out in my mind over  
13 the years, but as we do a 13-week pregnancy is probably  
14 about two inches or under. As I do a 20-week pregnancy  
15 you're talking about a length of, I would say, eight inches.

16 Q. All right. I would like to move now and have you  
17 describe for the Court your process for actually removing  
18 the fetus. And I would like to have you start by describing  
19 the different positions within the uterus that you might  
20 find the fetus, at the point that you're ready to begin  
21 removal.

22 A. I have to start out by answering that this is all a  
23 blind procedure, and I do not use ultrasound technique to  
24 determine the position of the fetus. And at this early  
25 gestation, I'm unable to feel abdominally the position of

1 the fetus. So I have no idea where the fetus is at the  
2 start of the procedure. But it can either be in a vertex  
3 position with the head down. It can be in a breech position  
4 with the buttocks down, or it can be a transverse with  
5 either head to the left or the right and the feet on the  
6 opposite direction.

7 Q. When you're in the process of removing the fetus, what  
8 is your first step?

9 A. The first step, after placing the xylocaine, is using  
10 the suction to remove the amniotic fluid which ruptures the  
11 amniotic sack; integrity of the amniotic sack. If I'm  
12 fortunate, two things will happen at this point. One of two  
13 things, if I'm fortunate. It's my own mind. I have done  
14 this long enough to do it almost any way or how, but I  
15 prefer that either a foot will come down into that suction,  
16 or the umbilical cord will come down into that suction.

17 Q. Before I have you talk about those circumstances, can  
18 you tell the Court why the first thing you do is to use the  
19 suction to remove the amniotic fluid?

20 A. Well, the fetus is in a sack, so you have to get that  
21 sack out to move the fluid and it comes as two things. One,  
22 it removes the fluid and the uterus gets smaller. So it's  
23 less of a -- the uterus will contract down as you remove the  
24 fluid. So you accomplish that, and also, you could just  
25 break the amniotic sack with a ring forceps too, but I like

1 the fluid. I get a lot of fluid out and fast, and there is  
2 something in the back of my mind that you'll get less fluid,  
3 you have the less risk that you have of an amniotic fluid  
4 emboli, which is fluid in the maternal circulation which  
5 causes sudden shock. And I guess that's the most dread that  
6 we all have of doing these procedures is having a severe  
7 reaction like that.

8 Q. All right. Going back now, I think you said in some  
9 instances when you use a suction cannula, that part of the  
10 fetus or the umbilical cord will come out through the  
11 cervix. Then what do you do at that point?

12 A. Well, if the umbilical cord comes down, I unattach that  
13 from its integrity. I just break it and pull on it. If a  
14 foot comes down, I grab the foot and pull down on that.

15 Q. If no part comes down, as a result of the suction, what  
16 do you do?

17 A. Then I have to place the ring forceps up into the uterus  
18 and find a part.

19 Q. And is there a particular part that you're trying to  
20 grasp, at that point?

21 A. I take whatever I can get, because I have really -- I  
22 have a feel of when you feel the cranium of the head, but  
23 that's about the only thing I have a feel of when you grasp  
24 until you pull it down.

25 Q. And when you grasp the part, can you describe to the

1 Court the motion that you use with the forceps?

2 A. I just pull down with the forceps and, you know, see  
3 what part you have, and see if you can get more of that part  
4 out. If you get more of the part out, you twist to try to  
5 get more tissue out. If that doesn't happen, then you pull  
6 hard enough that it will disarticulate at that point or  
7 break off at that point.

8 Q. Does the part that you have grasped pass through the  
9 cervix while still connected to the part of the fetus that's  
10 inside the uterus?

11 A. The majority of the time it does. Yes, ma'am.

12 Q. And does the grasped part ever pass the vaginal  
13 introitus while still connected to the part of the fetus  
14 that's inside of the uterus?

15 A. Yes, ma'am, because of those times where it's situated  
16 right there, it's going to be out where the cervix is right  
17 at the introitus.

18 Q. Does it ever occur that the part that you have grasped  
19 in the forceps breaks off from the rest of the fetus before  
20 entering the cervix at all? In other words, entirely inside  
21 the uterus?

22 A. Yes, it can. Remember, it's got to break off with  
23 pressure and you have to have -- it just won't break off  
24 because it's in the uterus. You have to pull it against  
25 something so it's going to be against the interior portion

1 of the uterus. So you have to have something pulling it.

2 So if you have a limb that's on the interior of the -- on  
3 both sides of the uterus, and you pull on it, it will break  
4 off at that point.

5 Q. If you wanted to ensure that the dismemberment occurred  
6 entirely inside the uterus not through the cervix at all,  
7 how could you do that?

8 A. The only way I can imagine you do that is use two  
9 instruments. I can't use two instruments on the dilation  
10 which I have, for the most part.

11 Q. You would have to insert two instruments into the  
12 uterus?

13 A. Yeah, you would have to use one to stabilize and one to  
14 pull with.

15 Q. Do you think that would be a safe procedure?

16 A. I can't get two instruments in, for the most part.

17 Q. Is there an average number of passes that you have to  
18 make to fully remove the fetus or a range of number of  
19 passes?

20 A. No. If you are real lucky, everything comes out with  
21 one pass, which is infrequently. If you have a difficult  
22 situation where you have like a heavy person and everything  
23 is way high, you can make passes for 45 minutes, whereas the  
24 average procedure takes five to 10 minutes.

25 Q. In addition to removing the fetus, do you also remove



1 the placenta?

2 A. Yes, ma'am.

3 Q. Are there other steps that you take to complete the  
4 procedure?

5 A. Yes, ma'am. On a typical case, the procedure will occur  
6 that the placenta will come out, and then the cranium or the  
7 head will be the last thing that will come out. And at that  
8 point, the uterus is contracted down pretty good. So you're  
9 applying your suction, and you turn around and you feel that  
10 it's clean. The suction will grasp the uterus and it will,  
11 at that point, you realize it's clean. I know a lot of my  
12 colleagues will use a an instrument called a curette, but I  
13 do not because I'm a little worried about taking off too  
14 much of the myometrium where you get an Asherman's Syndrome,  
15 although I haven't really seen any. So I aspirate very  
16 little. I use my suction, and I realize the uterus is  
17 probably empty. The last thing I'll do after I remove the  
18 speculum, I will take my little finger, put it in and feel  
19 all inside the uterus that it feels intact.

20 Q. At that point, if you don't feel anything, do you  
21 consider the procedure complete?

22 A. Yes, ma'am. If she's not bleeding.

23 Q. You mention that you don't use ultrasound during your  
24 D & E procedures. Do you think that, in your practice,  
25 using ultrasound would reduce your rate of complications?

1 A. I think I have a very limited amount of complications.  
2 And the few times I have had to use ultrasound, it has been  
3 hard to get -- at the Medical College of Virginia  
4 Commonwealth University has to come from another building.  
5 Henrico Doctor's Hospital, it has to come from two floors  
6 up. So it has been relatively hard to get the use of  
7 ultrasound, and requires a person, another person, to be  
8 using the ultrasound. So in the instances where I have  
9 found ultrasound beneficial has been few and far between.  
10 One time six years ago, I think it was.

11 Q. Dr. Fitzhugh, you do -- I'm sorry. I didn't mean to  
12 interrupt you.

13 A. That's all right.

14 Q. No, go ahead.

15 A. I'm thinking.

16 Q. You're done. Sorry. You testified yesterday that you  
17 do D & E procedures to approximately 22 weeks. Do you have  
18 an opinion as to whether it's safer, it's safe to do D & E  
19 procedures past that time?

20 A. I like to stop at 20 weeks. My problem is I always get  
21 people that will have a story, a problem that want my help,  
22 and it's kind of hard. So sometimes you'll see 22 weeks.  
23 Sometimes -- I don't think -- I would say 23 weeks at times.  
24 Can I safely do it? Yes, I can safely do it.

25 Q. So your decision not to do procedures past that is not

1 based on safety concerns?

2 A. No.

3 Q. Now, I would like to go back and ask you some questions  
4 about something that you mentioned briefly a minute ago,  
5 which is that it sometimes occurs during a D & E procedure  
6 that the fetus comes through the cervix entirely or largely  
7 intact. Can you tell the Court how often the fetus comes  
8 through entirely intact, without you having to do anything  
9 more to remove it?

10 A. It happens about two to five times a year. And in those  
11 situations, it will occur one of two ways. One is that the  
12 ladies has had some labor up to that point. And when I  
13 remove the speculum, the laminaria and sponges from the  
14 vagina, she'll already have a foot in the vagina or two feet  
15 in the vagina. That's one of the times it happens. And the  
16 other time it happens is when I reach up and deliberately  
17 grasp for something. I will get a foot, bring it down, and  
18 the whole body will come down. And it happens about two to  
19 five times a year.

20 Q. And in that situation, is the entire fetus coming out or  
21 is it any part of it remaining in the uterus? Is the  
22 head --

23 A. It can happen either way. I would say one time out of  
24 those that I will pull and everything will come out. I'll  
25 pull and twist and everything will come out. And probably

1 two or three times, I'll have to pull and the head will get  
2 stuck against the cervix. So I'll have to use my ring  
3 forceps and crush the skull.

4 Q. And do you know, prior to beginning a procedure, whether  
5 or not the fetus is likely to come out?

6 A. I have no idea, unless I open -- open the speculum in  
7 there; there is the fetus in the speculum, in the vagina, or  
8 hanging outside the vagina.

9 Q. In those situations where it does come out intact, as  
10 you have described it, does it ever occur that the fetus  
11 passes the cervical os intact, past the navel with the head  
12 still in the uterus?

13 A. Yes, ma'am.

14 Q. Does it ever occur that the fetus can actually pass the  
15 vaginal introitus?

16 A. Yes, ma'am.

17 Q. You indicated that you use forceps to compress the  
18 skull; is that correct? In that situation?

19 A. Yes, ma'am.

20 Q. When you do that, why are you doing that?

21 A. I do that to reduce the size of the head.

22 Q. Do you have other concerns, when you find yourself in  
23 that situation, to cause you to use the forceps to compress  
24 the skull?

25 A. As I mentioned earlier, my preference is that when I use

1 a suction, my preference is that I obtain the umbilical cord  
2 and separate the umbilical cord. The one thing that I want  
3 -- and I don't want the staff to have to deal with is to  
4 have a fetus that you remove and have some viability to it,  
5 some movement of limbs, because it's always a difficult  
6 situation.

7 Q. So one of the reasons that you use the forceps to  
8 compress the skull is to ensure that the fetus is dead when  
9 you remove it?

10 A. That's one of the reasons.

11 Q. Now, are there other things that you could do at that  
12 point, when the head has been entrapped by the cervix, in  
13 order to remove the fetus?

14 A. I have heard of some use of drugs. I have never used  
15 drugs. I don't have any knowledge that they work. However,  
16 when we are in the operating room with constraints of  
17 anesthesia, and I guess you could, you could do damage to  
18 the cervix by cutting it. And as I have certainly done it  
19 trying to deliver a live fetus that has its head entrapped,  
20 and I have done that several times in my life. But I do  
21 that with the realization I'm going to damage the woman and  
22 I have to repair that, but I wouldn't do that in this  
23 situation.

24 Q. So other than drugs or making incisions in the cervix,  
25 could you simply detach the head at that point?

1 A. I guess you could, but then you would have to find it.  
2 And it's difficult because the head is a round object and as  
3 I said, sometimes I have used ultrasound, and that's about  
4 the only time you use ultrasound or have to use it is when  
5 you are totally unable to find the cranium in the uterus.  
6 You know the uterus contracts and sometimes it's contracts  
7 against or around the head and you have difficulty finding  
8 it sometimes. And that's when I have had, in the past, to  
9 get the ultrasound. I have just worked trying to get it and  
10 I couldn't get it. So, no, you don't like to lose it. You  
11 don't like to do that.

12 Q. Does it every happen that you would disarticulate a  
13 piece of the fetus, and then on the next pass, bring out the  
14 remainder of the fetus, except for the head?

15 A. Its happened that way, disarticulated up to a knee  
16 joint. You grab the next grasp and you brought most  
17 everything out.

18 Q. Okay. I'm going to ask you so speak up just a little.

19 A. I'm sorry.

20 Q. That's all right. Between removing the fetus in pieces  
21 and removing the fetus largely intact, do you have a  
22 preference?

23 A. The preference is to grab everything and remove it and  
24 be finished.

25 Q. And why is that?

1 A. It's time, it's relatively safer, you know. I do take  
2 all steps to remove everything, but certainly I have been  
3 called back about, I think three times now, where there is a  
4 piece of -- usually it's a piece of bone found in the uterus  
5 by either passing or ultrasound, and that's requires another  
6 -- so you don't want to do that. So even if you do all the  
7 steps to prevent, it's going to happen if you disarticulate  
8 enough.

9 Q. One of the issues you mentioned was the time. Why do  
10 you prefer a shorter operating time?

11 A. It's three things. One, it's just the time it takes to,  
12 you know, if you have been operating -- but there is always  
13 somebody scheduled behind. There is always somebody having  
14 another case to do. And they allot you a certain amount of  
15 time. That's a practical issue. That the patient issue is  
16 more anesthesia -- the more anesthesia she has, the more  
17 chance of aspirating or some other anesthetic risk, and more  
18 time it takes, the more bleeding you have.

19 Q. Doctor, why isn't there more of a risk of cervical,  
20 rupture, cervical laceration, if you are bringing out the  
21 fetus intact, presumably it's larger than if the fetus was  
22 brought out in pieces?

23 A. I might have mentioned yesterday, the cervix is an  
24 elastic organ. It's like a small band early on. It's a  
25 bigger band, rubberband-type thing as you go along. Always

1     assume a type of rubber band. For the procedure to be --  
2     for me to be able to remove all of the fetus in one pass,  
3     there has to be more dilatation than normal from this  
4     bell-shaped curve, on the right side of the bell-shaped  
5     curve, so that the elasticity of the cervix, the biochemical  
6     changes of the cervix is greater. So it accommodates this  
7     without rupture of the cervix.

8     Q. Now, going back just for a moment to the testimony you  
9     just gave about operating time. When you say that you feel  
10    that removal of the fetus intact or largely intact results  
11    in a shorter operating time, is that based on your personal  
12    experience?

13    A. I base everything just on my personal experience. And  
14    I'm not one to go to meetings. I don't have much time for  
15    reading either so articles I like to read.

16    Q. So your testimony here is based on what you've seen in  
17    your experience?

18    A. Yes, ma'am.

19    Q. Now, we have discussed some various ways that the fetus  
20    can be removed during a D & E. Are there other factors  
21    besides the amount of dilation that can affect how the fetus  
22    is removed or how you're able to remove the fetus?

23    A. I'm sorry. My mind was back in Virginia.

24    Q. Let me ask the question again. In addition to the  
25    dilation that we have discussed, are there other factors



1 that can influence how removal of the fetus proceeds?

2 A. Certainly, the response of the mother to anesthesia is  
3 one. I firmly believe that anesthesia pitocin, which we use  
4 to contract the uterus, and how much response to that makes  
5 a difference. The size of the woman makes a difference; a  
6 tremendous amount of difference. Unfortunately the amount  
7 of sleep I have makes a difference.

8 Q. You have been performing D & Es, I think you said, since  
9 1975?

10 A. Yes, ma'am, before that. Well, essentially we started  
11 in about 1975, learning how to perform.

12 Q. And for how much of that time has it been your  
13 preference to remove the fetus as intact as possible?

14 A. Well, ever since I started. I appreciated that the  
15 quicker I got done, the easier it was and the safer it was.

16 Q. And if you prefer to remove the fetus largely intact,  
17 why don't you increase the amount of dilation that you do in  
18 order to increase the likelihood of that occurring?

19 A. I don't do more because of -- I place a laminaria  
20 according to how the cervix's condition is at the time that  
21 I start the procedure, and the comfort level of the patient.  
22 And I don't think that for me, the safety issue of going in  
23 another day is not there.

24 Q. And before you begin to remove the fetus during a D & E  
25 procedure, is the fetus usually alive?

1 A. Well, in this condition we talk about what a life is. I  
2 do procedures, and I do a certain amount of fetal demise.  
3 Not a large amount, but probably one a week of fetal demises  
4 where the baby has died. And there is some that surprise me  
5 that I thought they were alive but they were dead at the  
6 time of the procedure.

7 Q. But some of them are alive at the time you do the  
8 procedure?

9 A. The majority of them are alive at the time.

10 Q. Now, is there any possibility for the weeks of pregnancy  
11 that you do abortions up to, I think you said, approximately  
12 20 weeks, that the fetus could survive for a sustained  
13 period of time outside the womb?

14 A. Well, we take steps to try and ensure that by the  
15 ultrasound. The unfortunate part is that medicine is not an  
16 exact science. So the -- as I do largely on a referral  
17 basis, and largely use ultrasound technique. So ill get  
18 calls like I have this patient in Fredericksburg. Could you  
19 take care of her tomorrow, put the laminarias in? And I  
20 said well, she's 5-0, and I say fine. I come down and it's  
21 not. It maybe larger, it may be smaller. And the question  
22 of viability has us all -- because I practice in the major  
23 medical institution. Both of them have very good  
24 perinatologists. And when I bring one of these down that  
25 could survive, they probably would survive at the

1 institution that I have, if it was possible, because they  
2 have very active perinatal services.

3 Q. Is it ever your intent to do an abortion on a fetus that  
4 you think has reached viability?

5 A. No, that's against me personally. But because of this,  
6 I have been in situations where I did not like to be.

7 Q. And going back now to the actual performance of the D &  
8 E procedure what actions do you take during a D & E that  
9 would be fatal to the fetus?

10 A. Well, number one, I like to interrupt the umbilical  
11 cord. Number two, we are working on a young gestation, but  
12 that's not to do it. And we break up parts in the uterus  
13 and we crush skulls.

14 Q. Dr. Fitzhugh, what would be the earliest, if you can  
15 recall, when you have had a situation in which the fetus  
16 came down through the cervix intact, except for the head.  
17 What would be the earliest age that you've seen that?

18 A. I have seen 16 weeks.

19 Q. Now, the actions that you just discussed, separating the  
20 cord, crushing the skull, or disarticulation, do you know  
21 which of any of these would be immediately fatal?

22 A. No. I have no idea, because I don't -- I don't know.

23 Q. And you testified that your preference is to separate  
24 the cord. Are you always able to do that?

25 A. No, only about 25%.

1 Q. And why can't you do that every time?

2 A. Well, I do when I grasp or I do when the suction comes  
3 down. That happens about that many times.

4 Q. But if that doesn't occur, you don't take additional  
5 steps to try and grasp the cord?

6 A. No, ma'am.

7 Q. Why not?

8 A. Sometimes it's hard to find. It can be above the fetus,  
9 and I don't use ultrasound to find it, so it's all --  
10 whatever I tend to pull.

11 Q. Okay. And you don't take steps to ensure fetal demise  
12 prior to beginning the D & E procedures; is that right,  
13 through an injection of Digoxin or KCL?

14 A. No, ma'am. Only those rare occasions where I'm asked to  
15 do a procedure where they think -- it occasionally occurs  
16 about once a year or maybe a little bit more; twice, three  
17 times, where you'll have a situation where a lady went to  
18 the doctor late and had the ultrasound and it showed a gross  
19 fetal abnormality. I'll get a call from the fetal maternal  
20 medicine man, wherever he might be, saying can you help me.  
21 I will say, well, if you can get the man in the maternal  
22 fetal medicine department to inject, I can do the procedure.

23 Q. So in those situations, you're not actually doing the  
24 injection?

25 A. No, ma'am. I'm uncomfortable enough doing the procedure

1 as it is without the injection; one. Two; I don't want to  
2 make that determination that that fetus needs injection.  
3 That's for somebody else to make that determination.

4 Q. Why don't you routinely induce intrauterine fetal demise  
5 for your D & E procedures?

6 A. I'm just comfortable doing it the way I am doing.

7 Q. Do you think that doing that could pose any risks to  
8 your patients?

9 A. It's an extra step, and I have found that extra steps --  
10 even though people say are safe, are sometimes not safe. So  
11 if somebody shows me that might be safer, it's a lot easier  
12 on the operator to operate on a fetus that's already been  
13 dead than one that is living. But to me, the advantage has  
14 not been shown.

15 Q. In your obstetrics practice, do you personally perform  
16 amniocentesis?

17 A. No, I do not. I did for a long time but my partner, for  
18 a long time, founded the maternal fetal medicine department,  
19 so I just let him do it. Now, I'm not that great of an  
20 ultrasoundographer, so I allow him to do all those.

21 Q. What about other obstetricians in your area? Do they  
22 routinely do amniocentesis?

23 A. If they do, there is only one or two out of 40. Nobody  
24 in my hospital, in the Henrico Doctor's Hospital, performs  
25 amniocentesis.

1 Q. That is patients are all referred to a maternal fetal  
2 specialist?

3 A. Yes, ma'am.

4 Q. During an abortion procedure where you're able to remove  
5 the fetus intact, but for the head, do you consider that a  
6 distinct medical procedure from a D & E?

7 A. Well, if you look at my charts, I just do the same  
8 procedure all the time, and I don't categorize things. So  
9 to me, I just terminate a pregnancy. So whatever I do, I  
10 do.

11 Q. During your deposition in this case, you agreed with a  
12 statement by the Government that, "Dismemberment is the  
13 dominant characteristic of D & Es." What do you mean by  
14 that statement?

15 A. That the majority of time, I disarticulate the fetus.

16 Q. If you perform a D & E, in which you remove the fetus  
17 intact, except for compressing the skull with the forceps,  
18 do you still consider that procedure to be a D & E?

19 A. As I said, I terminate pregnancies. I don't categorize  
20 that. We don't have a separate charge for it and I don't  
21 have a separate charge for it. So as I dictated, I would  
22 probably dictate it as a suction and curettage. Sometimes I  
23 may say D & E. To me, I terminate a pregnancy.

24 Q. Do you believe that the D & E procedures that you  
25 perform, in which you remove the fetus intact, but for the

1 head and compress the skull, do you believe that poses  
2 serious risks to women's health?

3 A. No, ma'am.

4 Q. I would like to ask you now about some other methods of  
5 abortion. What other methods besides what you've described  
6 here at length, what other methods are available in the  
7 second trimester?

8 A. The second trimester primarily are inductions and the  
9 surgical types. The medical inductions, they use a  
10 cytotech, which is a Prostaglandin agent, to induct labor.  
11 I don't think anybody uses saline anymore. I have done one  
12 induction on a dead fetus not too long ago. I did it, it  
13 takes longer. It's more uncomfortable for the patient. It  
14 can be done. There is available hysterotomies, which is  
15 like a cesarean section. I haven't done any since the  
16 residency. We do have more bleeding. You do have more  
17 danger of infection. You do traumatize the uterus, so it's  
18 unable to do anything but a cesarean delivery afterwards.  
19 And there is a hysterectomy, which we call porro, p-o-r-r-o,  
20 hysterectomy, which is removal of the uterus in pregnancy.  
21 We did that this past year on a young lady that had I  
22 diagnosed at the time with a procedure of cervical cancer.  
23 And we wanted to operate on her before and then not  
24 terminate the pregnancy, so we performed a radical  
25 hysterectomy on her. Unfortunately, she subsequently died

1 not from the procedure but from the cancer.

2 Q. Do you have an opinion as to the relative safety of D &  
3 Es in the second trimester compared to induction abortions  
4 in the second trimester?

5 MR. HENRY: Your Honor, I'm going to object based  
6 on lack of foundation.

7 THE COURT: What foundation do you think is  
8 lacking.

9 MR. HENRY: Your Honor, he testified here today  
10 he's done one induction since, I believe, about 1979, when  
11 he switched to D & Es.

12 THE COURT: Do you wish to lay additional  
13 foundation?

14 MS. CREPPS: Your Honor, I believe we have laid an  
15 adequate foundation, but I will ask some additional  
16 questions.

17 THE COURT: Let me be specific. It would help me  
18 to know if the doctor considers it a part of his practice to  
19 do inductions if warranted.

20 MS. CREPPS: All right.

21 THE WITNESS: As a general matter as opposed to --  
22 I know that he did one in one case.

23 THE WITNESS: The -- I don't personally do them  
24 foramenally because this is what I do any days are set up  
25 for this. But part of them, I'm in a group of doctors, three



1 doctors where if we have a situation where we need that, my  
2 partners will induce it. I have been in the care of them,  
3 so I don't know if that qualifies for me as an expert being  
4 in partnership with a group of people. I probably won't be  
5 an expert of doing them. I will be an expert of saline  
6 injections.

7 THE COURT: Well, Doctor, let me ask you this: If  
8 you are confronted with a situation in which you conclude,  
9 well, let me ask it different. Are you confronted with  
10 situations in which you are called upon to make the decision  
11 whether or not an induction abortion will be completed?

12 THE WITNESS: Yes. Yes, sir. What happens is that  
13 -- primarily what happens -- well, two things will happen.  
14 One is they'll want the fetus for genetic studies. Two will  
15 be the preference of the lady wanting her entire fetus to  
16 comfort at the time. So at those times, my partners will do  
17 the injections for me. I'll just follow along with them.

18 THE COURT: So in that circumstance, you make the  
19 decision about whether the induction will be performed but  
20 you refer it to a partner.

21 THE WITNESS: Yes, sir.

22 THE COURT: The objection is overruled. You may  
23 proceed.

24 BY MS. CREPPS:

25 Q. Doctor, based on your experience, do you have an opinion

1 as to the relative safety D & Es in the second trimester  
2 compared to induction procedures?

3 A. My personal feeling and just -- this is observation, is  
4 that they are both relatively safe.

5 Q. All right.

6 A. That the discomfort level for the patient is much higher  
7 with the induction. The hospitalization time is much  
8 greater, and the care is much greater.

9 Q. You mentioned early on that some of the abortions that  
10 you do for the second trimester come to you -- the patients  
11 come to you as a result of a referral, and some of those  
12 cases involve fetal anomalies. In those cases, do you know  
13 whether the patient has had the option of having a procedure  
14 done by induction or D & E?

15 A. About a third of the doctors that I know real well that  
16 refer to me, the others are from out of the area, so I don't  
17 know them as well. But those that refer to me, I do know  
18 that they have been given the option of an induction and the  
19 option of my surgical procedure.

20 Q. I would like to ask you some questions now about your  
21 treatment of miscarriages. You mentioned that you do --  
22 treat women who are suffering from miscarriages. Is that  
23 both in the first and second trimester?

24 A. Yes, ma'am.

25 Q. How many times a year would you estimate you treat first

1 trimester miscarriages?

2 A. First trimester miscarriages occur in one out of eight  
3 pregnancies. My practice, I do about five deliveries a  
4 month, so I'll have one or two miscarriages a month of first  
5 trimesters. Maybe more than that because my partners are  
6 tending to take more call than I am, so my deliveries, my  
7 deliveries is skewed downwards from my partner's, so I'll  
8 see more patients. So it's probably on the order of three  
9 to four a month miscarriages that I will see.

10 Q. How do you care for a woman who is having a miscarriage,  
11 in the first trimester?

12 A. In the first trimester, in the ancient days, we would do  
13 D & Cs on all of them. Now, we do a speculum observation  
14 and they pass their own tissue, in their own home the  
15 majority of the time. And that we have to watch for  
16 infection, retained bleeding. So we do D & Cs on those, I  
17 would say on the order of 10 to 12%.

18 Q. Can you estimate how many women per month you see with  
19 miscarriages in the second trimester?

20 A. That's much less frequent. That's probably on the order  
21 of one every two or three months, and that number we include  
22 the women who come in with rupture of membranes and  
23 inevitable abortion.

24 Q. How do you treat women who are suffering miscarriage in  
25 the second trimester?

1 A. Frequently, most of them will -- one of three things  
2 will happen. One, they will be in labor and will continue  
3 that process. Two, we are able to put them in labor and  
4 continue that process and, three, I do surgical inductions  
5 on those.

6 Q. And is that similar to what you would do for a D & E?

7 A. Yes, ma'am.

8 Q. In the last several months, have you assisted in the  
9 treatment of a woman having a miscarriage?

10 A. Yes, yes, ma'am. You got me a little lost, but anyway,  
11 that was before Christmas. But anyway, I was called down to  
12 the Medical College of Virginia from the doctors which was  
13 about 20 minutes away, and I got a call from the OR that the  
14 doctor needed help. So I returned back and he had this  
15 patient they had tried a medical induction on the night  
16 before. It was a young lady that had ruptured membranes,  
17 and the temperature was 101, and they were trying to deliver  
18 her and it failed. So it was morning time now and the  
19 temperature was 103, and she was really sick. And they had  
20 a doctor who was fairly experienced but had called down  
21 asked me what to do. And, anyway, I came out and he had  
22 intentionally -- I guess with ultrasound, grabbed the foot  
23 and pulled the infant down, and he had what I see as this  
24 law referring to he had the body down, the head and the --  
25 one of the things about determining viability or not

1 viability, but life on a fetus is that we never -- never do  
2 it. It always takes a third observer to look at say, yeah,  
3 that baby has got some movement on it or that fetus has got  
4 some movement on it. So we had to crush the skull and get  
5 it out.

6 Q. So when you came into the procedure, the fetus was out  
7 of -- out of the cervix except for the head, is that  
8 correct?

9 A. When we came in, it was hanging by the head on the  
10 cervix and the rest of it was out of the body.

11 Q. And to your observation, there were signs of life?

12 A. Yes, ma'am.

13 Q. And is it common that fetuses will still show some signs  
14 of life at the point that you begin to treat a woman for a  
15 miscarriage in the second trimester?

16 A. It happens sometimes, yes, ma'am.

17 Q. Doctor, I would like to have you look at Plaintiff's  
18 Exhibit 69, which is sitting right on the desk there and  
19 this is the Partial-birth Abortion Ban Act that we are here  
20 to discuss. Before I have you look at the specific language  
21 of the Act, I would like to ask you, Doctor, if the term,  
22 what the term partial-birth abortion means to you, without  
23 reference to the definition in the Act. Just as you  
24 understand it.

25 A. I think everybody, not everybody, but I think that when

1 I -- before I had any contact with any legal situation, the  
2 partial birth refers to a baby that is -- has viability. So  
3 to me, I get the same impression that most lay people do,  
4 that you're a doctor is delivering a late pregnancy and is  
5 trying to terminate that pregnancy or partial-birth. You  
6 get the baby -- he knows he doesn't want the baby to live so  
7 he has to do something to terminate that life of that baby.  
8 Q. And looking at the language of the Act, do you think  
9 that the Act matches your original understanding of  
10 partial-birth?

11 THE COURT: I'm just going to inject here. For  
12 some of us, we realize the irony of using the phrase  
13 original understanding in the context of that question. Go  
14 ahead.

15 MS. CREPPS: All right. Thank you, Your Honor.

16 BY MS. CREPPS:

17 Q. The understanding you just described, how does that  
18 match up with the language of the Act?

19 A. Well, the Act has bothered me because -- the Act does  
20 not say this. It says does not have any dates on it.

21 Q. By dates, are you referring to gestational age?

22 A. It has no gestational age on the thing, so it can apply  
23 to any gestational age. And I don't know what deliberately  
24 and intentionally does. Everything I do is deliberately and  
25 intentionally, unless I'm asleep.

1 Q. Let's look at some other terms in the Act. Are there  
2 other terms in the Act, besides what you just mentioned,  
3 deliberately and intentionally, are there other terms or  
4 phrases in the Act that you have questions about?

5 A. I know one of the living fetus is. It has some sign of  
6 life or any sign of life. Does it have a heartbeat, does it  
7 have a cell reaction. Does it have something you can  
8 measure by EEG, which is how medically we define nonliving  
9 humans. I just -- so that -- that bothers me a lot. I  
10 guess anything that happens overt.

11 Q. I'm sorry. I cut you off. Were you finished?

12 A. I said overt. I guess that's anything that happens, so,  
13 yes. The language bothers me. It bothers me that it could  
14 be applied to things I do. It bothers me that -- that they  
15 won't allow me to do the things I do on the women that are  
16 sick. This doctor that I came upon, he probably used  
17 ultrasound so he probably deliberately intentionally grabbed  
18 a foot. Now, should he go to jail or should I go to jail  
19 for helping him or, you know, just a legal mess. I mean I'm  
20 sure these gentlemen don't mean to invoke us, but there is a  
21 lot of people out there that are not happy with what we do.

22 Q. Let me go back to something you said just a moment ago.  
23 That the Act could be applied to things you do. Do you  
24 believe that the Act could apply to the D & E procedures  
25 that you performed?

1 A. I believe it applies to D & E when you're bringing the  
2 fetus is down or the fetus is down to the introitus, and I  
3 have to crush the skull. I believe it could apply to that,  
4 yes, ma'am.

5 Q. That's a situation you have encountered in your  
6 practice?

7 A. Yes, ma'am.

8 Q. Do you think it's possible that induction procedures  
9 could be covered by the Act, abortion induction procedures?

10 A. Yes, I think that for those cases where we induce  
11 without using KCL, and KCL is primarily used for the purpose  
12 of making sure that you do not have a viable fetus when it  
13 is delivered. But on those cases where you don't use KCL,  
14 that you'll have cases -- I notice that when the doctor was  
15 going to induce a hydrocephalic, which is a large head, he  
16 said what happens if it gets stuck. I said I think under  
17 the law, this applies, because you'll have to do something  
18 to get the head out, and that maybe involved killing the  
19 fetus.

20 Q. In the example you just gave about the hydrocephalic  
21 fetus, is that an example you just got from another doctor?

22 A. Yes, ma'am. It was from another doctor to me.

23 Q. Do you think the Act could impact the way you treat  
24 miscarriages in the second trimester?

25 A. There where you have the impacted head, it could



1 possibly be invoked at that point too.

2 Q. I would like to draw your attention to the Act again  
3 which is on page S-6. I'm not sure which page you have in  
4 front of you. There is a sentence in there that states that  
5 the subsection does not apply to partial-birth abortion  
6 that's necessary to save the life of the mother. Do you see  
7 that language? Have you seen that language before?

8 A. Yes, ma'am.

9 Q. What do you think the term necessary means in that  
10 sentence to you as a practicing physician?

11 A. As a provider of care, it seems to limit it where the  
12 decision is strictly life or death, whether there is  
13 toxemia, whether the patient is comatose, that is  
14 unconscious or and severe cardiac failure to the heart. On  
15 those occasions, it would allow it, but I can't see in  
16 between life or death decision. I can't see where the lady  
17 that's bleeding real heavy -- when I have to intervene with  
18 hemoglobin gets to seven, or I can do it when it's nine.  
19 Those are decisions that would have to be made under life  
20 threatening situations.

21 Q. Going back to the example you gave us earlier about the  
22 woman who was suffering a miscarriage with a high  
23 temperature. Where do you think that situation would fall?  
24 Would it come within this or not, in your opinion?

25 A. It certainly, in modern medicine, we think we can cure

1 almost all high fevers, but we certainly do have people  
2 dying from infections. And so a high fever, some would say  
3 it's life endangering. Some would say it's just a threat to  
4 the health, and she may end up well. She may end up with  
5 some problems.

6 Q. Doctor, I would like to have you look to the next page  
7 of the Act in subsection D-1, it states that a defendant  
8 accused of an offense under this section may seek a hearing  
9 before the State Medical Board on whether the physician's  
10 conduct was necessary to save the life of the mother. Have  
11 you reviewed this language before?

12 A. Yes, ma'am, I have.

13 Q. Does this provision relieve any of your concerns about  
14 the Act?

15 A. No, ma'am.

16 Q. Why not?

17 A. The State Boards of Medicine is made up of various  
18 people, doctors, nurses, and they try to be fair but they  
19 are a group of doctors who have different views on different  
20 things. Since this is not going to be a cut and dried  
21 thing, they have to make the decision. And, frankly, I have  
22 seen some decisions good and I have seen some of the  
23 decisions bad.

24 Q. I would like to ask you just a few questions now about  
25 how enforcement of the act might impact you and your

1 patients. Do you think that enforcement of the Act would  
2 endanger the health of your patients?

3 A. The enforcement of the Act would limit my ability to  
4 care for those women who have problems.

5 Q. And can you estimate what percentage of the second  
6 trimester abortions you perform in Virginia?

7 A. Based on just my knowledge of what's going on around the  
8 Richmond area, I do 80% of the central Virginia area  
9 abortions, of second trimester abortions.

10 Q. Do you think it would be an option for women, if the Act  
11 were to become enforced, that they could switch over and get  
12 induction procedures?

13 A. My experience in trying to find doctors to do induction  
14 procedures is that it's very difficult to find one that will  
15 be willing to commit to do it. I can do it on those cases  
16 where there is some tragedy involved, 11-year-old being  
17 pregnant or severe fetal anomaly, but to ask my partners to  
18 do induction on a situation would be on a case-by-case. So  
19 I think it would be a limiting factor. And it takes a time  
20 commitment that I don't have at this age.

21 Q. Doctor, do you know what you would do at this point if  
22 the Act were to take effect in terms of your practice?

23 A. If the Act would take effect, I would probably continue  
24 until such time as I hear the enforcement or somebody is  
25 waking waves. And I don't know if the that would be too

1 late for me to be considered a defendant in that method or  
2 not. I would have to take my chances a little bit, I think.

3 Q. So you would risk jail time?

4 A. Probably.

5 MS. CREPPS: I don't have any other questions, Your  
6 Honor.

7 THE COURT: Mr. Henry, shall we take our break at  
8 this time? Would that be accept to you?

9 MR. HENRY: I don't have any problem with that.

10 THE COURT: Thank you. Doctor, you may step down.  
11 We'll stand in recess for 15 minutes.

12 (Recess from 10:21 to 10:40 a.m.; all parties present).

13 THE COURT: Counsel, it's a little cool in this  
14 courtroom. We'll try to pay our heat bill. I apologize.  
15 This courtroom is separately controlled for heating and air  
16 conditioning purposes and we have a difficulty of doing that  
17 but we'll try to get it more comfortable. Counsel, you may  
18 proceed.

19 CROSS-EXAMINATION

20 BY MR. HENRY:

21 Q. Thank you, Your Honor. Good morning, Doctor. I just  
22 have a few questions for you. You testified that you  
23 perform about five to second trimester abortions a week?

24 A. Yes, sir.

25 Q. I calculate that to be about 250, 350 per year. Does

1 that sound about right?

2 A. Sounds about right.

3 Q. And you have been doing approximately the same number of  
4 second trimester abortions per year since around 1979, 1980?

5 A. It certainly has decreased over the last several years.

6 It steadily has decreased. And as I said earlier, the  
7 acceptance of stay at home mother's -- some people are a  
8 little bit better at birth control methods. I don't think  
9 all of them are, unfortunately, but that has caused a  
10 decline over the years. I have seen them go from 20 a week  
11 to 10 a week to -- I would say about now.

12 Q. Okay. But since probably the '79, '80 time frame, you  
13 have done thousands of second trimester abortions, would  
14 that be correct?

15 A. Yes, sir.

16 Q. Let me ask you this. How many abortions of first and  
17 second trimester have you done during the course of your  
18 career, if you can just estimate that?

19 A. I'm sure you're talking about hundred thousands.

20 Q. So the vast majority of the abortions that you have  
21 performed have been first trimester abortions; is that  
22 correct?

23 A. Yes, sir.

24 Q. And you have been doing your second trimester abortions  
25 in essentially the same way since the 1979-80 time frame?

1 A. Well, the first three or four years, I did primarily  
2 saline injections, then gradually increased the length of my  
3 second trimesters after '79 probably.

4 Q. And the second trimester abortions after '79 would be  
5 the D & E abortions; is that what you're saying?

6 A. Yeah. As I said earlier, I have a hard time with  
7 terminology, but we'll let it be that.

8 Q. And the procedure we are talking about is the one you've  
9 talked about today where you use laminaria the day before  
10 the procedure, and then before the procedure to actually  
11 remove the fetus, then you use the suction cannula to remove  
12 amniotic fluid, and then you proceed with removing the fetus  
13 from the uterus; is that correct?

14 A. Yes, sir.

15 Q. Can I ask you this: Is there a gestational age where  
16 the using the suction alone is sufficient to remove the  
17 fetus in the second trimester?

18 A. It can be done up to 15, 16 weeks.

19 Q. But after that time, you have to use forceps to grasp  
20 the fetus and remove it from the uterus; is that correct?

21 A. Yes, sir.

22 Q. You don't use Misoprostol or other Prostaglandins when  
23 you're doing your D & E procedure?

24 A. No, sir.

25 Q. You use an ultrasound before starting the procedure to

1 get an approximate gestational age on the fetus; is that  
2 correct?

3 A. The day before the ultrasound has either been done by me  
4 or my assistant or the referral agency, so it has been a day  
5 before, yes, sir.

6 Q. But you don't use ultrasound, continuous ultrasound  
7 while you're actually doing the procedure in removing the  
8 fetus?

9 A. No, sir. I do not.

10 Q. Regarding the use of laminaria on these second trimester  
11 D & Es, there is no specific target amount of cervical  
12 dilation that you're trying to obtain through the use of the  
13 laminaria; is that correct? I don't mean to confuse you.

14 A. No, I'm sorry. I'm just trying to think. My initial  
15 answer is I want to be able to use that 14 suction cannula  
16 to remove the amniotic fluid, and use my ring forceps, and  
17 that's about the same size of -- the head of the ring  
18 forceps is about the same size as that 14. So if you say my  
19 aim, I would say yeah. If I have to do more dilation  
20 because the laminaria has not got to that point, then --  
21 then I am not always happy because I know I have a little  
22 bit more instance of a chance of rupturing the cervix,  
23 because its elasticity hasn't increased greater. So I don't  
24 know if that answered it or not.

25 Q. There has actually only been one instance -- I think you

1 said in 24 years, where you have not been able to obtain  
2 sufficient dilation to use the cannula and the forceps; is  
3 that correct?

4 A. There was one instance where I had to -- where I felt  
5 that the dilatation was unsatisfactorily enough that I ran a  
6 risk, a greater than acceptable risk to me, and that's a  
7 three in a year of probably, at that time, 600 cases. So  
8 one in 200 chance of rupturing the uterus, so that one case  
9 that I felt that I needed to send the patient home. And at  
10 that point, sent the patient home. Stopped the anesthesia  
11 and telling them that they have to go home. I can't do the  
12 procedure that day. I want to put in more laminaria and  
13 come back tomorrow. That's one time. There has been many  
14 other times where I have had to dilate the cervix to a  
15 greater degree.

16 Q. And when you say you dilated to a greater degree, that's  
17 through mechanical dilation?

18 A. Yes, sir.

19 Q. So would that be using a Pratt dilator?

20 A. Yes, sir. Pratt is like a pencil type. It's the end of  
21 the pencil. It's gradual. The old fashioned type we used  
22 to use -- some people still do, are more like fingers,  
23 variations of fingers. So you use small, and so it's much  
24 -- probably more traumatic.

25 Q. Okay. So once you have dilation sufficient to use your



1 forceps or use a suction cannula, when you're using the  
2 forceps, you grasp whatever fetal part presents itself; is  
3 that correct?

4 A. Yes, sir.

5 Q. And I believe you testified earlier this morning that  
6 unless a fetal part comes through the cervix, the reason of  
7 using the suction, it's kind of blind as far as you're  
8 concerned. You don't know what fetal part you're going to  
9 grab when you reach in there, is that correct?

10 A. That's correct. As I said, I can identify easily a  
11 larger part like the skull with my ring forceps, but a small  
12 part, I have to reach blindly. And another condition would  
13 be the case where the tissue in the vagina, if there is any  
14 parts in the vagina.

15 Q. I believe you testified earlier, you prefer to be able  
16 to get the umbilical cord first, if you can. Is that  
17 correct?

18 A. That would be my preference. Yes, sir.

19 Q. And I think you said that you had a preference for that,  
20 because you might have a fetus with, I believe you used the  
21 frame, some viability, and that created a difficult  
22 situation. Could you explain for me what the difficult  
23 situation is?

24 A. If -- it happens infrequent, but if I bring the fetus  
25 out and it has movement to it, the nurses, although they are

1 -- they aren't required to operate with me unless they have  
2 views that allow them to do this. So if they have very  
3 difficult views, they are not allowed to. They don't have to  
4 be -- but even still, if they have these views that they  
5 will accept that woman's need to have this abortion, if I  
6 have a fetus that has some viability on it, oohs and ahhs  
7 and they kind of act like -- really just being part of it is  
8 just uncomfortable.

9 Q. Doctor, when you're actually performing the removal of  
10 the fetus from the uterus, that process usually results in  
11 dismemberment of the fetus; is that correct?

12 A. Usually in my case. Yes, sir.

13 Q. In fact, it happens in the large majority of your cases?

14 A. The large majority of my cases. Yes, sir.

15 Q. In fact, I believe you testified that only in -- I  
16 believe you said two to five cases a year will the fetus  
17 come out largely intact, either completely intact or intact  
18 up to the head; is that correct?

19 A. That's approximately correct, sir.

20 Q. So when you're doing the D & E procedure that you do,  
21 you expect dismemberment to occur; is that correct?

22 A. It happens in the majority of cases, not expected, but  
23 it sure would be nice if it happened more often.

24 Q. Excuse me. What was your last statement? I'm sorry.

25 A. I'm sorry. It would be, you know, as I said, from the

1 usage it's what I expect, although it's not necessarily what  
2 you would like to have happen. I mean, you would like to --

3 Q. But you don't plan on obtaining the fetus intact; is  
4 that correct?

5 A. I don't expect it will happen because it doesn't happen  
6 often.

7 Q. In fact, you don't take any special steps to ensure that  
8 it comes out intact; is that correct?

9 A. No, sir.

10 Q. In fact, you would have to change the way you do the  
11 procedure to ensure that it comes out intact or largely  
12 intact; isn't that correct?

13 A. You would have to dilate more aggressively, right.

14 Q. By dilate more aggressively, you mean like using a  
15 second round of laminaria?

16 A. Yes, sir.

17 Q. For the second day?

18 A. Yeah, right.

19 Q. And when dismemberment, the dismemberment occurs in the  
20 D & Es as you do the -- that's caused by resistance or  
21 traction of the fetus against the uterus; is that correct?

22 A. Yes.

23 Q. It's against the internal os of the cervix; is that  
24 correct?

25 A. Yes, sir, which is usually comprised of the cervix and

1 the fundus of the uterus where the fundus comes into the  
2 cervix. The cervix technically is a port away from the  
3 fundus.

4 Q. There is an internal os to the cervix which is near the  
5 fundus of the uterus, right?

6 A. Right. The fundus ends at the end of the os. The  
7 uterus is bowl shaped and has an opening. Where the opening  
8 is called the internal os.

9 Q. Is there an external os to the cervix also?

10 A. External os is the portion you see of the vagina.

11 Q. So the traction or the resistance being caused or that  
12 goes on in the dismemberment where the fetus is or the  
13 traction occurs against the internal os, that can result in  
14 dismemberment just kind of right there at the internal os;  
15 is that correct?

16 A. Yes, sir. It can happen anywhere.

17 Q. So it can also be in the uterus itself, right?

18 A. Right.

19 Q. I wanted to ask you a question -- kind of go back to  
20 something you said just a minute ago. We were talking about  
21 that second round or second day of laminaria. I believe you  
22 testified earlier there is a safety issue in going through  
23 another day of using a laminaria. Can you tell me what that  
24 safety issue is?

25 A. Not -- I don't do it. I'm sorry. I haven't done it

1 because of my concerns about any time we put an additional  
2 step into medicine, that you inherently cause more problems.  
3 And so that's why I have been comfortable doing mine. If  
4 somebody else came and says it's really, really safe and I  
5 have been doing it a hundred years, and I would have to  
6 counter that with what I do, I have been doing 30 years and  
7 it's really, really safe. And I know that if I try to  
8 transform what I do to what he does or he does to what I do,  
9 it probably is not going to be as safe a procedure.

10 Q. Can I ask you a question about the forceps you use, the  
11 ring forceps I believe you called them. Are they rounded on  
12 the working end of the forceps?

13 A. They are rounded to a little bit oblong. Some of them  
14 are all rounded, some of them are a little disshaped like  
15 egg shaped.

16 THE COURT: Mr. Henry, the television screen next  
17 to the doctor has a push technology that he can draw with  
18 his finger, if you want him to try to do that. But if it  
19 fails, it's your fault, not mine.

20 MR. HENRY: I'm comfortable with your answer,  
21 Doctor, unless you want to draw it for us.

22 THE WITNESS: I'm a terrible speaker and a worse  
23 drawer.

24 THE COURT: We have all this equipment here, and  
25 I'm always trying to encourage the lawyers to use it,

1 because it's your tax dollars at work, but we'll move on.

2 Go ahead.

3 MR. HENRY: Thank you.

4 BY MR. HENRY:

5 Q. So, Doctor, the forceps, would you describe the working  
6 end of them as smooth and rounded? They are not sharp, are  
7 they?

8 A. No, they are not sharp, and they have a horizontal  
9 indentation in them. Probably, I would say, small, less  
10 than 16th of an inch on the inner surface of them.

11 Q. Those would be like ridges?

12 A. Ridges, yes, sir.

13 Q. Doctor, I believe you testified that you use a speculum  
14 in the vagina during the D & E; is that correct?

15 A. Yes, sir.

16 Q. And the function of that instrument is to hold the  
17 vagina open while you're doing your procedure?

18 A. Yes, sir.

19 Q. I believe you also testified that the cervix is drawn  
20 during the D & E procedure to where it is very close to or  
21 in line with the vaginal introitus, is that correct?

22 A. Yes, sir. Well, I believe I stated I frequently open  
23 the speculum. Sometimes I draw it into that plane, so it's  
24 about -- I don't know the percentage of times of each but  
25 doesn't happen very frequently or fairly frequently.

1 Q. I believe on the -- where you said one of the instances  
2 occurred one out of three times; is that where the cervix is  
3 drawn fairly close to the introitus?

4 A. That's in the second trimester, yes, sir.

5 Q. Second trimesters, yes. And you said that was based on  
6 you kind of counting occurrences of that happening over some  
7 period of time; is that right?

8 A. That was my original question, recollection. My  
9 original thought when I was originally asked that and  
10 subsequent to that, I thought maybe is that right or wrong  
11 and just over a period of a week or so, I just sort of  
12 informally counted how many times I did the procedure versus  
13 how many times the cervix --

14 Q. So that was over a week?

15 A. Over a week.

16 Q. In these situations where you talk about the cervix  
17 being drawn closer to the introitus, you're talking about  
18 the external os of the cervix, right?

19 A. Yes, sir.

20 Q. In those situation where the cervix is drawn closer to  
21 the introitus, does that ever cause the speculum to fall out  
22 or anything like that? In other words, does the cervix  
23 coming closer to the introitus interfere with the speculum's  
24 function?

25 A. That depends on the woman. For the most part, it does

1 not, but you talk about frequencies, and I like to think  
2 about times for a month or a year. And I would say about  
3 once a month, you pull down and the speculum would come out  
4 because the anterior vagina doesn't always support a  
5 speculum. You just work in the introitus or outside the  
6 introitus or right there with the ring forceps to hold  
7 things open.

8 Q. The second trimester D & Es you perform, you said they  
9 are performed in a hospital?

10 A. Yes, sir.

11 Q. And would those be done in an operating room?

12 A. Yes, sir.

13 Q. Okay. I want to ask you a few questions about the kind  
14 of upper gestational age range where you perform second  
15 trimester D & Es. I believe there is some questions about  
16 you do it up through about 20 weeks LMP; is that correct?

17 A. Yes, sir. My standard has always been I do them up to  
18 20 weeks, but as you look at my reports, you'll find I have  
19 up to 22 weeks, and those are situations where somebody has  
20 asked me to do it on more advanced pregnancy.

21 Q. So you do do them up to 22 weeks?

22 A. We do it by ultrasound, so it's 5-2, that's my absolute  
23 cutoff.

24 Q. When you say 5-2, that refers to the?

25 A. Biparietal diameter.



1 Q. Does 5-2 correspond with about a 22 week old fetus?

2 A. I believe it does.

3 Q. Can you tell me why you have this 22 week cut off, if I  
4 could characterize it as that?

5 A. I have it for two reasons. One of the reasons is  
6 technically, it's easy for me at that point, I would say  
7 three reasons. Three reasons. Does the hospital nursing  
8 staff feel comfortable at that stage again, and the third  
9 reason is my desire not to get involved in the viability  
10 issue of the fetus issue.

11 Q. Let me ask you this: When do you think viability be  
12 begins for a fetus? Is there a gestational age?

13 A. I have to go back to my experience and I know we are  
14 doing C sections at 23 weeks to try to save babies. So it's  
15 somewhere around 23 to 24 weeks, you can get a viable  
16 pregnancy.

17 Q. You're giving yourself a little bit of a cushion; is  
18 that --

19 A. I like the cushion. Yes, sir.

20 Q. You mentioned the perinatology folks at the hospital or  
21 hospitals you practice at. Would they normally try to save,  
22 say, a 22 week old fetus?

23 A. They try to save a fetus that has a capability of life.  
24 If you deliver a fetus and it has no breathing, then they  
25 won't try to save it. If you have a patient, you deliver a

1 baby and it has any type of breath activity, they will make  
2 efforts to try to save it. So they will be called upon to  
3 make that decision. So it's a matter of them not looking at  
4 it and saying it's this gestation. It's a matter of them  
5 looking at it saying whether the eyes are fussed or whether  
6 the lungs are breathing or making an attempt to breathe.

7 Q. Now, you have done abortions using the D & E procedure  
8 beyond 22 weeks, but only in situations where the fetus is  
9 already dead; is that correct?

10 A. I may have mentioned earlier that the only other times  
11 would be where my referral and their ultrasounds were off,  
12 and I have started a procedure, and it has been further  
13 advanced than I would have thought it would have been, and I  
14 have to complete the procedure.

15 Q. So that was a situation where there was some  
16 miscalculations or error about the gestational age?

17 Actually, my question was more along the lines where you  
18 know the fetus is beyond 22 weeks?

19 A. I don't normally do that.

20 Q. But you have done it where fetal demise has been done or  
21 occurred; is that correct?

22 A. Yes, sir.

23 Q. And those would be situations where another doctor has  
24 injected Digoxin or KCL to induce fetal demise?

25 A. Or a natural incurring.

1 Q. Or naturally incurring?

2 A. I have been asked to do a naturally occurring fetal  
3 demise at 27 weeks.

4 Q. In those situation where's fetal demise has occurred at  
5 gestational age of beyond the 22-week range, has the fetal  
6 demise made the D & E procedure easier?

7 A. Yes, sir.

8 Q. Is that because the fetal tissue is softer, easier to  
9 disarticulate?

10 A. The softer issue that we give is the fact that the  
11 ligaments at the joints are easier to disarticulate. So  
12 it's not touch soft. It's the disarticulation softness,  
13 easiness, I would say.

14 Q. But that results from the fact the fetus has been dead  
15 for awhile?

16 A. Yes, sir.

17 Q. Where you have a patient, who for some reason, wants to  
18 abort a live fetus who is beyond the 22-week gestational age  
19 point, you don't perform those abortions, do you?

20 A. No, I refer those to, I think Atlanta, and New York, and  
21 Kansas have a free facility that will do them further a long  
22 on a regular basis.

23 Q. So you're referring them to other abortion facilities;  
24 is that correct?

25 A. Yes, sir.

1 Q. Do you know what abortion methods or techniques those  
2 other abortion facilities use?

3 A. I have no idea, sir.

4 Q. Doctor, the D & E procedures, as you perform it, I  
5 believe you testified this morning is safe; correct?

6 A. Yes, sir.

7 Q. And it's safe throughout the gestational age range you  
8 perform the procedure?

9 A. Yes, sir.

10 Q. And you have used the procedure safely for abortions  
11 where the mother has suffered from let's say diabetes; is  
12 that correct?

13 A. Yes, sir.

14 Q. You did the procedure in essentially the same way as you  
15 normally do it; is that correct?

16 A. Yes, sir. On a diabetic, I will give antibiotics  
17 prophylactic afterwards, which I don't normally do during  
18 the procedure. I'm sorry.

19 Q. And you use the procedure safely for women with renal  
20 conditions; is that correct?

21 A. Yes, sir.

22 Q. And you do a procedure in basically the same way as you  
23 normally do?

24 A. Yes, sir.

25 Q. Same question for women with heart conditions?

1 A. Yes, sir.

2 Q. You have used it safely. You do the procedure in  
3 basically the same way?

4 A. Yes, sir.

5 Q. And women suffering from breast cancer?

6 A. Yes, sir.

7 Q. And for women suffering from pre-eclampsia?

8 A. Yes, sir.

9 Q. And how about for women suffering from uterine  
10 infection?

11 A. Yes, sir.

12 Q. You have also used the procedure safely for abortions  
13 involving fetuses that were suffering from some type of  
14 anomaly. I believe yesterday you referred to them as fetal  
15 indications; is that correct?

16 A. Yes, sir.

17 Q. And you have done it safely for fetuses that have  
18 genetic defects; is that correct?

19 A. Yes, sir.

20 Q. And you do the procedure essentially the same way in  
21 those situations; is that correct?

22 A. Yes, sir.

23 Q. You have done it for safely for fetuses involving a  
24 defect known as Trisomy 21; is that correct?

25 A. Yes, sir that's a genetic.

1 Q. Trisomy 21 is normally called Downs Syndrome; is that  
2 correct?

3 A. Yes, sir.

4 Q. And you do the procedure in essentially the same way?

5 A. Yes, sir.

6 Q. For fetuses involving Trisomy 13?

7 A. Yes, sir.

8 Q. Trisomy 18?

9 A. Yes, sir.

10 Q. Spina bifida?

11 A. Yes, sir.

12 Q. Have you done the procedure safely on fetuses that were  
13 hydrocephalic?

14 A. Yes, sir.

15 Q. And you do the procedure in basically the same way that  
16 you have described?

17 A. Yes, sir.

18 Q. Now, sometimes genetic or pathological testing is done  
19 on parts of the fetus after you have removed them from the  
20 woman; is that correct?

21 A. We send genetic tissue fairly frequently, once every two  
22 weeks, I would say, to the genetic lab. Pathological is  
23 very rarely done. We send chromosome, the issues for cases,  
24 for incest and rape. The pathological, I defer to my  
25 partners for inductions or other people who might do an

1 intact.

2 Q. In situations you've been involved in, you've only had  
3 to send out tissue samples for testing; is that correct?

4 A. That's right, sir.

5 Q. You've never had one where someone wanted the entire  
6 fetus; is that correct?

7 A. I have, but I have told them I could not provide it.

8 Q. Let me ask you some more questions about the safety of  
9 the D & E procedure that you used, Doctor. You've never had  
10 a situation where you perforated the woman uterus with your  
11 forceps, have you? And just before you answer, let me  
12 define perforation. I'm talking about putting the forceps  
13 or something through the uterine wall which I believe is how  
14 you talked about it yesterday.

15 A. Yes, sir. I have never had, that I can remember, and  
16 I'm getting old, but I think I should remember it that that  
17 has happened to me on a second trimester procedure. And  
18 that's probably because you are working on a lower plane and  
19 you have to -- but I have had where the cervix has been  
20 lacerated where the fetal part is too big or does not meet  
21 this elastic rubberband type situation, so it will break at  
22 the internal os. That has been reported out as a uterus has  
23 been perforated.

24 Q. But you would describe it as a cervical laceration or  
25 rupture?

1 A. I would describe it as a rupture of the cervix.

2 Q. And caused by the cervix not being sufficiently prepared  
3 to handle the size of the fetal part that's coming through?

4 A. Insufficient elastic to do it.

5 Q. And, Doctor, going back to talking about the way you  
6 define perforation of the uterus. That's actually more  
7 common in first trimester abortions, correct, than second  
8 trimester?

9 A. Yes, sir.

10 Q. Doctor, could you tell us, percentagewise, how many of  
11 your patients involved in second trimester abortions come to  
12 you by means of referrals?

13 A. I'm thinking it's about a third. I would be off a  
14 little bit, but approximately a third.

15 Q. Approximately a third. Do you remember giving a  
16 deposition in this case?

17 A. Yes.

18 Q. Back in February?

19 A. Yes.

20 Q. And?

21 A. I may have said a quarter then, but it's somewhere in  
22 that 25 to 30%. I don't remember what I said. I'm sorry.

23 Q. Okay. Actually, looking at page 69 of your deposition  
24 on line 14. I asked you with respect to your practice, your  
25 abortion practice, specifically in the second trimester,



1 even more specifically, how many of those did you perform  
2 are referrals as opposed to being your own patient? And  
3 your answer was I think the majority of the ones on this  
4 exhibit are referrals. The exhibit we were talking about  
5 there was the chart that's Exhibit 42 to your deposition,  
6 which may I bring it to the witness?

7 THE COURT: Sure. Counsel, do you have the exhibit  
8 in mind? Ms. Crepps, do you have the exhibit in mind.

9 MS. CREPPS: Yes, Your Honor, I do. I have mine.

10 THE COURT: All right.

11 BY MR. HENRY:

12 Q. And I think the exhibit we were talking about there in  
13 the deposition was Exhibit number 4 you're looking at and,  
14 specifically, the second page of that exhibit which is Bates  
15 numbered 2-2?

16 A. Yes, sir.

17 Q. I guess I'm just trying to understand. I believe you  
18 said the majority of the second trimester abortions referred  
19 to you on that exhibit, which purport to talk about the  
20 second trimester abortions performed down in your private  
21 practice were referrals. Now you're telling me it's only a  
22 third?

23 A. You know, I got a little confused by my thing in  
24 February, by my pages. But if you look at 2-2, these are  
25 the ones in my private office, and these are the ones that

1 are done on a referral basis. And if you compare that to  
2 Exhibit 42-1, you total those up. This will be about a  
3 third. 2-2 will be about a third of 2-1. 2-2 and 2-1 are  
4 the total number of abortions I do.

5 THE COURT: Pardon me for interrupting just a  
6 moment. If this is important, appreciate that our record is  
7 not going to be real clear because we are referring to a  
8 document that is not in the record. So it doesn't trouble  
9 me, but if it's important, you probably ought to have the  
10 thing we are referring to in evidence. If it's not, then  
11 just ignore me. Go ahead.

12 MR. HENRY: Okay. Thank you, Your Honor. May I  
13 have just a moment?

14 THE COURT: Of course. Sure. We can run a copy if  
15 that's what you're looking for.

16 MR. HENRY: I'm sorry, Your Honor. I'm just going  
17 to proceed for now.

18 THE COURT: That's fine.

19 MR. HENRY: We'll try to come back to it.

20 BY MR. HENRY:

21 Q. Doctor, with respect to your second trimester abortions,  
22 do you schedule any kind of follow up visit with the  
23 patients after the procedure is done?

24 A. They are all advised to come back for a three week  
25 follow up.

1 Q. And the majority of those patients don't come back; is  
2 that correct?

3 A. That's correct.

4 Q. And, Doctor, when we were talking just a moment ago  
5 about Exhibit number 4 to your deposition, I believe that  
6 that is Defendant's Exhibit 681. And if you will take that  
7 notebook right there and look at Exhibit 681?

8 A. Yes, sir.

9 Q. That's the same chart that you were talking about when  
10 we were --

11 A. Yeah, yes, sir.

12 THE COURT: Do you wish to offer 681?

13 MR. HENRY: Just one more question for the witness.

14 BY MR. HENRY:

15 Q. Dr. Fitzhugh, those are charts you produced to the  
16 defendant during discovery in this case; is that correct?

17 A. Yes, sir.

18 MR. HENRY: Your Honor, we would move Exhibit 681  
19 into evidence.

20 MS. CREPPS: Your Honor, I don't have an objection  
21 to the exhibits coming in, except I don't think a very clear  
22 record has been made about exactly what these are because  
23 two pages and they are separate charts. I can do that on  
24 redirect, if you prefer.

25 THE COURT: Well, I think we have identified it

1 sufficiently then. If you think there is some lack of  
2 clarity, you can do that on redirect. 681 is received.

3 BY MR. HENRY:

4 Q. Dr. Fitzhugh, some questions about the situation you  
5 testified about in which the fetus comes out all except the  
6 head which lodges in the cervix. You said that those  
7 conditions happen, I believe, three to five times a year; is  
8 that correct?

9 A. Yes, sir.

10 Q. In that situation, you sometimes try to manipulate the  
11 position of the fetus in order to facilitate the head  
12 passing through the cervix; is that right?

13 A. That's right.

14 Q. Sometimes you try to turn the body of the fetus to try  
15 to get the head to come through; is that right?

16 A. That's right.

17 Q. And I believe you testified earlier that the D & Es you  
18 perform are done in a hospital operating room, right?

19 A. Yes, sir.

20 Q. And there are nurses and others with you in the  
21 operating room while you're doing the procedure?

22 A. Yes, sir.

23 Q. When there have been instances where the -- you have  
24 been doing a D & E and the fetus has come out intact, have  
25 you been aware of reactions from others in the operating

1 room?

2 MS. CREPPS: Your Honor, I'm going to post a  
3 relevance objection.

4 THE COURT: That will be overruled. You may  
5 answer.

6 THE WITNESS: Yes, they certainly show more  
7 interest in that when it happens than they do on a routine  
8 situation.

9 BY MR. HENRY:

10 Q. In fact, they gasp, don't they, when that kind of thing  
11 happens?

12 A. Some of them gasp, yes, sir.

13 Q. Your impression in those situations is that they were  
14 probably having a harder time dealing with that situation;  
15 is that correct?

16 A. Yes, sir.

17 Q. Dr. Fitzhugh, a couple of questions on the injection of  
18 Digoxin and KCL. You don't do those injections yourself, do  
19 you?

20 A. No, sir.

21 Q. So you're not familiar with any details about the risk  
22 that those injections may pose; is that correct?

23 A. Only as I can extrapolate from my experience when I  
24 injected saline many times in the past.

25 Q. And that was before the 1979-1980 time frame?

1 A. Yes, sir.

2 Q. And you have never studied the risk of KCL-Digoxin  
3 injections in an academic fashion, have you?

4 A. I can't recall doing any academic investigations.

5 Q. Doctor, can I ask you a question about miscarriage? Can  
6 you tell me what exactly is a miscarriage?

7 A. A miscarriage and the term abortion are in medical  
8 literature simultaneously. The procedure we are talking  
9 about is induced abortion as compared to abortion whereby a  
10 fetal loss occurs. Usually, historically, as I learned in  
11 medical school, it's a pregnancy that terminates prior to 20  
12 weeks.

13 Q. And can you tell me what causes a miscarriage or what  
14 some of the causes may be?

15 A. Unfortunately for the most part, we don't know the  
16 reason. A few of them are called cervical incompetence,  
17 where the cervix doesn't stay open. A few of them is a  
18 woman's inability to hold a pregnancy for some reason. Some  
19 are drug use. Cocaine has been associated with  
20 miscarriages. Use of tobacco has been associated with more  
21 frequent miscarriages and pregnancy loss. Abnormalities to  
22 the uterus has been associated with miscarriages or  
23 abortions, spontaneous abortions. Infections have been  
24 associated. And there is a series of new ones which are  
25 thromboembolic associated with various clotting genetics

1 factors that women sometimes have that just come on the  
2 horizon, just recently being popularized.

3 Q. And you talk about some of the causes for a miscarriage,  
4 but is a miscarriage, is it started when the woman's body  
5 essentially goes into the type of labor, is that what starts  
6 the process of a miscarriage or how does that work?

7 A. Well, there is a whole gamut of miscarriage or the  
8 medical term is abortions, but there is a spontaneous  
9 abortion where everything is complete at one time. There is  
10 an inevitable, where she might rupture membranes or cervix  
11 is dilated, or by-products goes in through the cervix. That  
12 was one of them I'm forgetting about. Inevitable,  
13 spontaneous abortions. I guess I'm thinking of recurrent.  
14 Some people do this more than once.

15 Q. Okay. Doctor, concerning the Act, that Partial-birth  
16 Abortion Act, that's at issue in this case and the effect on  
17 your practice, do you feel the Act has no effect on your  
18 performance of first trimester abortions; is that correct?

19 A. That's correct.

20 MR. HENRY: Your Honor, may I confer with counsel?

21 THE COURT: Certainly.

22 BY MR. HENRY:

23 Q. Just one final question. Doctor, you gave some  
24 testimony a little bit earlier about situations where there  
25 is a miscalculation or mis judgment in the gestational age

1 of the fetus. So once you start a procedure, you get in  
2 there and you realize that the fetus may be older than 22  
3 weeks in gestational age. I just want to ask you, how do  
4 those misjudgments or miscalculations, how does that occur?

5 A. They occur because the ultrasound machine is good but  
6 it's not precise. Occasionally, the referring physician  
7 that refers has made a mistake in measurement of the fetal  
8 skull. Occasionally the growth of the fetus is smaller or  
9 retarded. I'm not sure what the word -- I'm at a loss here.  
10 We use the word smaller than dates. Maybe a placental  
11 insufficiency, but those two things can make an error in the  
12 calculation offhand.

13 Q. Do you know what the range of error would be in doing  
14 these ultrasound measurements?

15 A. The standard range at 20 weeks is two weeks. That's  
16 within the accepted norm of a pregnancy. So one ultrasound  
17 is 20 weeks, but that's giving optimal technician readout or  
18 doing -- that means the operator had done a correct job.

19 Q. I'm just trying to understand. So you're saying there  
20 is a two week error range; is that correct?

21 A. Yes, sir.

22 Q. Do you mean it could be -- the fetus could be two weeks  
23 older or two weeks younger?

24 A. Week older or week younger is what I'm saying, under  
25 normal circumstance.



1 MR. HENRY: No further questions, Your Honor.

2 THE COURT: Redirect?

3 REDIRECT EXAMINATION

4 BY MS. CREPPS:

5 Q. Thank you, Your Honor. Dr. Fitzhugh, let me have you  
6 take a look at Defendant's Exhibit 681. Is that still in  
7 front of you?

8 A. Yes, sir. Yes, ma'am.

9 Q. And that, can you tell the Court what these pages are?

10 A. I'm afraid we didn't do a very good job, but I have two  
11 places where I provide abortion services where we intake  
12 abortion services. One at my office at Henrico Doctor's and  
13 one at Richmond Medical Center for Women, where I do the  
14 first trimester abortions and provide services. So the  
15 first page, 2-11 was compiled by my staff there. So that's  
16 probably the numbers of -- that's the numbers of people that  
17 were referred directly there. And for the most part, they  
18 are indications provided by the patient, although, there are  
19 some, there is some crossover to both of them, and the  
20 second pages is 2-2, is compiled by my staff in Henrico  
21 Doctor's Hospital. And those are the number of abortions  
22 that I do, that they intake -- the patients come to the  
23 office they counsel them there at the office, and it's  
24 charged through that office. Now, unfortunately, there is  
25 two or three others there that come directly in, too, but

1 the numbers are not significant.

2 Q. Now, as between the second trimester abortions that you  
3 do through Richmond Medical compared to the ones you do in  
4 your private practice, your private office, can you estimate  
5 or compare where the women who are coming to you by referral  
6 from other physicians, which of those two practices are more  
7 likely to land in?

8 A. The physician referrals and internal fetal medicine  
9 referral are primarily to my private office, so that would  
10 be page 2-12.

11 Q. So the percentage of abortions that you do by the result  
12 of referrals is higher at your private office than Richmond  
13 Medical; is that correct?

14 A. Yes, sir.

15 Q. Doctor, I just want to clear up a little bit of some of  
16 the language that you have been using. When you use the  
17 term viability, but you also use the term viable fetuses, do  
18 you have, do you distinguish between the term viable fetuses  
19 and viability?

20 A. If I have used the term viable or showing some life or  
21 viable in the sense that I'm trying to -- I have some  
22 concerns that it may be presented as a live birth, so I'm  
23 not sure if I answered your question. I'm confused.

24 Q. This goes back to some earlier questions you answered  
25 that I think may now be a little confusing.

1 THE COURT: Ms. Crepps, I understood the doctor, if  
2 this helps at all. I understood the Doctor to say or at  
3 least imply that from his perspective, there is a difference  
4 between viability, the legal definition, the medical legal  
5 definition of viability and a fetus who exhibits some signs  
6 of life.

7 MS. CREPPS: Right.

8 THE COURT: I understood him to say that. Go  
9 ahead.

10 MS. CREPPS: I'll only make it worse if I go ahead  
11 so I'll skip that part.

12 THE COURT: Thank you.

13 MS. CREPPS: Thank you.

14 BY MS. CREPPS:

15 Q. You were asked if you expect that the fetus will be  
16 dismembered when removed during a second trimester abortion.  
17 Do you recall that?

18 A. Yes, ma'am.

19 Q. What is your intent in bringing the fetus out in pieces  
20 or as intact as possible when you begin to remove the fetus  
21 in a second trimester abortion?

22 A. My only intent when I start is to finish in the quickest  
23 manner. I answered that question on the basis that the  
24 majority of them will come out as a non-whole fetus.  
25 Incidentally, I would prefer the other way.

1 Q. And you were asked some questions about dismemberment  
2 and where dismemberment occurred. And I believe your  
3 testimony was that dismemberment occurs when the fetus is  
4 brought to the internal os. And I guess my question for you  
5 is, does it take more than simply having the fetus come into  
6 the position where it is up against the internal os in order  
7 for dismemberment to occur?

8 A. It's a traction principle. So it has to have traction  
9 against something. So the traction can be the body against  
10 -- holding against the internal os, or it could be traction  
11 inside the uterus where a portion is lodged from  
12 side-to-side against the internal os. So you would  
13 dismember it for that reason.

14 Q. And it can also occur when part of the fetus passes  
15 through the internal os?

16 A. Right. You'll frequently have a part that will break  
17 off after it comes through the internal os, and that's  
18 really nice because then you can find the other part and  
19 gradually work your way up. So it's a little bit easier.

20 Q. You were asked about the situations in which you have a  
21 fetus that comes out intact but for the head, and whether  
22 you attempted to manipulate the fetus in order to bring it  
23 out. Do you recall those questions?

24 A. Right.

25 Q. When you were talking about three to five fetuses a

1 year, earlier in your testimony, were you referring to  
2 fetuses on which you would attempt to remove them by  
3 manipulation, or fetuses that you had already attempted the  
4 manipulation on?

5 A. I said three to five a year where the situation where I  
6 have an almost whole fetus comes through the os, at the  
7 internal os. Probably one, younger age I can get out whole,  
8 and the two others, I probably have to crush the skull,  
9 somewhere in that range, or I crush the skull.

10 MS. CREPPS: Your Honor, I don't have any other  
11 questions.

12 EXAMINATION

13 THE COURT: Doctor, real briefly before you step  
14 down, I understood you to say that you only did second  
15 trimester abortions in a hospital setting; is that right?

16 THE WITNESS: Yes, sir.

17 THE COURT: Will you tell me again which hospitals  
18 you do second trimester abortions at?

19 THE WITNESS: The Virginia Commonwealth University  
20 Medical College, Virginia, which is our teaching facility in  
21 Virginia, Richmond. And Henrico Doctor's Hospital, Henrico  
22 County.

23 THE COURT: Are both of those facilities state  
24 facilities?

25 THE WITNESS: The Medical College of Virginia is a

1 state facility. Henrico is an overall ACA complex.

2 THE COURT: So it's a for profit?

3 THE WITNESS: For profit.

4 THE COURT: Privately operated corporation.

5 THE WITNESS: Yes, sir.

6 THE COURT: Why do you do those second trimester  
7 abortions in hospitals?

8 THE WITNESS: It's required by law in Virginia, I  
9 believe.

10 THE COURT: All right. So you're doing that  
11 because the state law requires you to do it in a hospital;  
12 is that right.

13 THE WITNESS: Yes, sir.

14 THE COURT: Okay. Are there surgical review  
15 committees in both those hospitals?

16 THE WITNESS: There is mortality and morbidity  
17 committee reviews.

18 THE COURT: So are your procedures customarily  
19 reviewed in the mortality and morbidity committees? Are  
20 your charts available to them?

21 THE WITNESS: Well, the chart is part of the  
22 hospital, but I can't remember of any that have been  
23 reviewed or received any letters of notification of that  
24 happening.

25 THE COURT: Okay. I think what you're telling me

1 is if there is no mortality or morbidity, there is no reason  
2 for the mortality or morbidity committees to look at the  
3 charts?

4 THE WITNESS: Yes, sir. If you were having, I  
5 would say, a run on hospital admissions, they would review  
6 your --

7 THE COURT: Okay. Is there any other review of  
8 your charts, other than through the mortality or morbidity  
9 committees of those two hospitals, in the hospital setting?

10 THE WITNESS: No, sir.

11 THE COURT: All right, thank you. I'm going to let  
12 the lawyers follow up. First for the plaintiffs, then the  
13 Government, then the plaintiffs. Counsel, you may inquire  
14 if you wish.

15 MS. CREPPS: I don't have any questions, Your  
16 Honor.

17 THE COURT: Counsel.

18 MR. HENRY: No questions.

19 THE COURT: Thank you, Doctor. You may step down.  
20 Ms. Smith? We'll go until about noon, counsel, then we'll  
21 stop then.

22 MS. SMITH: Thank you, Your Honor. I would like to  
23 call Dr. Jill Vibhakar to the stand, please.

24 JILL VIBHAKAR, PLAINTIFFS' WITNESS, SWORN

25 THE COURT: Doctor, if I could trouble you to put

1 your elbows sort of on the table in front of you. That  
2 chair does not move, so it's awkward and I apologize. That  
3 will force you into the microphones and if you pull the  
4 microphones about the same level as your mouth there, then  
5 we'll be able to hear you, I hope. Go ahead

6 DIRECT EXAMINATION

7 BY MS. SMITH:

8 Q. Thank you, Your Honor. Dr. Vibhakar, can you tell us  
9 what your profession is?

10 A. I'm a physician.

11 Q. Where do you currently practice?

12 A. At the University of Iowa.

13 Q. Is that in Iowa city?

14 A. Yes.

15 Q. Where are you from originally?

16 A. I'm from Iowa city.

17 Q. Thank you. Have you ever testified in court before,  
18 Doctor?

19 A. No, I have not.

20 Q. Doctor, Your Honor, may I approach to just help the  
21 witness with the binders of the exhibits I'm going to have?

22 THE COURT: Sure. You may have continuing leave.

23 MS. SMITH: Thank you.

24 BY MS. SMITH:

25 Q. And, Doctor, could you look at Plaintiff's Exhibit 102,



1 please? And do you recognize that document?

2 A. Yes, I do.

3 Q. And what is it?

4 A. It's my CV.

5 Q. And is it current and up-to-date?

6 A. It's dated December, 2002, so it's a little outdated,  
7 but it still reflects for the most part my current CV  
8 status.

9 Q. Thank you, Doctor. Doctor, you went to the University  
10 of Iowa Medical School, is that correct?

11 A. Yes.

12 Q. And you got your degree in 1995?

13 A. Yes.

14 Q. And you did your residency in OB-GYN at Beth Israel in  
15 New York; is that right?

16 A. Correct.

17 Q. That was in 1995 to '99; is that right?

18 A. Yes.

19 Q. And after your residency, what position did you take  
20 next?

21 A. I took a staff position at the University of Iowa as an  
22 Assistant Professor in the Department of Obstetrics and  
23 Gynecology.

24 Q. Is that your current position?

25 A. Yes.

1 Q. And are you licensed to practice medicine in Iowa?

2 A. Yes.

3 Q. Any other states, Doctor?

4 A. Not at present.

5 Q. And are you a member of the American College of OB-GYNs?

6 A. Yes.

7 Q. Are you actually a fellow of that organization?

8 A. Yes, I am.

9 Q. Do you have any board certifications?

10 A. Yes, I do, in the specialty of obstetrics and  
11 gynecology.

12 Q. When did you receive your board certification, if you  
13 remember, Doctor?

14 A. In January of 2002.

15 Q. Can you describe for the Court what your duties are  
16 associated with your current position?

17 A. I participate in a private OB-GYN practice within the  
18 University of Iowa. I also have a variety of clinical  
19 assignments that vary from day-to-day and week-to-week, and  
20 include supervising labor and delivery, working the  
21 ambulatory surgical center, doing outpatient procedures,  
22 also staffing the Veterans Administration Medical Center  
23 Gynecology Clinic, the procedure clinic where we do  
24 colposcopies, cryo therapies and Leeps.

25 Q. What was the last thing and Leeps?

- 1 A. L-e-e-p.
- 2 Q. Is that a gynecological procedure?
- 3 A. Yes, electrical surgical procedure.
- 4 Q. Thank you, Doctor. What other clinical assignments?
- 5 A. The Emma Goldman Clinic, I help to supervise the
- 6 resident continuity of CARE Clinic.
- 7 Q. Do you also work in the fibroid clinic?
- 8 A. Yes.
- 9 Q. Did you set that up?
- 10 A. I did.
- 11 Q. Doctor, you said you work on the labor delivery floor
- 12 and you have a private practice, and so does that mean you
- 13 deliver babies as part of your obstetrical practice?
- 14 A. Yes.
- 15 Q. Approximately how many babies do you deliver a year?
- 16 A. Approximately between 50 and 75 babies a year, I would
- 17 say.
- 18 Q. And do you also teach as part of your duties at the
- 19 University?
- 20 A. Yes.
- 21 Q. Is that both didactic and clinical teaching?
- 22 A. Yes, the majority is clinical.
- 23 Q. I'll ask you a little bit about your didactic teaching
- 24 first. Can you tell me how many lectures you give
- 25 approximately?

1 A. I give a lecture to the third year medical students who  
2 rotate through our specialty every six weeks. I give  
3 approximately two lectures a year to the gynecology  
4 residents and the medical students on the rotation at the  
5 time. And about every two years, I give a Grand Rounds  
6 Presentation.

7 Q. What topics do you lecture on?

8 A. I have given talks on topics such as ectopic pregnancy,  
9 pelvic pain, elective induction of labor, medical and  
10 surgical abortions. First trimester bleeding, normal and  
11 abnormal uterine bleeding. Those types of topics.

12 Q. Okay. Now I would like to ask you about your clinical  
13 teaching responsibilities. What does that entail?

14 A. In the various clinics, I described surgical and labor  
15 delivery assignments. I work with medical students and  
16 residents. I supervise them performing deliveries,  
17 procedures, surgeries. I also work with them in the  
18 clinics.

19 Q. Okay. Doctor, can you tell me what percentage of your  
20 time, if you can estimate it, you currently spend on your  
21 teaching responsibilities at the University?

22 A. The question was asked of me during my deposition. I  
23 believe I said approximately 75% of my time is devoted to  
24 teaching. I use that number because there is really only  
25 about one full day a week that I'm seeing private patients

1 by myself. However, I do not include office time that is  
2 built into my schedule where I do a lot of private patient  
3 follow up. So I guess it probably would be closer to 50 to  
4 75% of my time.

5 Q. Okay. Doctor, I would like to ask you about your  
6 medical practice. You provide a full range of OB-GYN  
7 services; is that right?

8 A. Yes.

9 Q. And you see OB patients -- I think I just want to be  
10 clear, in your private practice on the labor and delivery  
11 floor, and in supervising OB patients, supervising residents  
12 at the Continuity Care Clinic, is that correct?

13 A. Correct.

14 Q. Do you treat women with high risk pregnancies, as part  
15 of your practice?

16 A. Yes.

17 Q. Can you tell us what type of high risk pregnancies you  
18 have experience with?

19 A. Multiple disstation, diabetes, hypertension, pre-term  
20 labor.

21 Q. All right. And if one of your obstetric patients is  
22 high risk, do you also refer her to a maternal fetal  
23 specialist?

24 A. On occasion.

25 Q. And would you remain involved in her care?

1 A. Sometimes I consult them more informally and then  
2 continue to primarily manage the patient myself.

3 Q. Okay. And you mentioned the Emma Goldman Clinic, I  
4 believe. Could you tell us what that is?

5 A. That's an independent nonprofit women's clinic located  
6 in Iowa City and they provide a variety of services  
7 including basic gynecology services, family planning  
8 services, and abortion services.

9 Q. And what's your role at the clinic?

10 A. I staff approximately two half days a month at the  
11 clinic and perform abortions during that time.

12 Q. And do you also supervise the performance of abortions  
13 by residents?

14 A. Yes.

15 Q. Why do you only give two half days a month to the  
16 clinic?

17 A. The University of Iowa Department of OB-GYN has a  
18 contract with the clinic whereby we provide staff two half  
19 days a week providing us a venue to carry out  
20 medical-student and resident education. And that was worked  
21 up because that was believed to be a sufficient amount of  
22 time but not take away too much from our other  
23 responsibilities.

24 Q. Okay. And do you perform abortions anywhere other than  
25 at the Emma Goldman Clinic?

1 A. I have performed them at the University of Iowa  
2 hospitals and clinics.

3 Q. How many abortions do you provide in that setting?

4 A. Approximately one to three a month.

5 Q. Okay. And at the clinic, can you estimate how many  
6 abortions you provide at the clinic? We could talk about  
7 first trimester first.

8 A. I would estimate approximately 100 first trimester  
9 procedures.

10 Q. And how about second trimester?

11 A. I would refer to the statistics that the clinic compiled  
12 for me.

13 Q. And so you need to refresh your recollection. Let's  
14 look at Defendant's Exhibit 675. Does that refresh your  
15 recollection, Doctor?

16 A. Yes.

17 Q. So can you answer the question?

18 A. Yes, so between 2001 and 2003, I performed a total of  
19 264 second trimester procedures at the Emma Goldman Clinic.

20 Q. Okay. So is the percentage of second trimester  
21 abortions that you provide, is that a high percentage  
22 compared to other providers?

23 A. Yes, while some providers do not perform second  
24 trimester abortions, and because I'm one of a handful that  
25 does in Iowa do the second trimester abortions.

1 Q. Do you know how many providers there are in Iowa that  
2 provide second trimester abortions?

3 A. Currently, I would estimate about five or six.

4 Q. How far in pregnancy do you provide abortions at the  
5 clinic? To what gestational age at the Emma Goldman Clinic?

6 A. By performing second trimester, you mean D & E. There  
7 are probably more in our hospital that participate in  
8 induction and that was your last question.

9 Q. How far gestational age do you provide abortions at the  
10 clinic, at the Emma Goldman Clinic?

11 A. Through 19 weeks gestation.

12 Q. And how about at the University?

13 A. Up until 23 weeks gestation.

14 Q. Okay. And can you list for me the types of abortion  
15 procedures you currently provide?

16 A. At the Emma Goldman Clinic, we provide medical abortions  
17 through nine weeks gestation, and also suction procedures  
18 and D & E procedures and at the University. We also perform  
19 induction terminations.

20 Q. And I'm going to ask you some questions about your  
21 practice. Can you tell me what some of the reasons are that  
22 women seek abortions in the second trimester?

23 A. Sometimes there is a delay in the diagnosis of the  
24 pregnancy. Sometimes a fetal abnormality has not been  
25 diagnosed until later in the pregnancy. Sometimes the woman



1 needs time to make the decision or to obtain finances to  
2 have the abortion, money to pay for the abortion. Sometimes  
3 social circumstances change.

4 Q. And do you treat women who are seeking abortions for  
5 fetal anomaly?

6 A. Yes.

7 Q. What kinds of fetal anomalies have you seen in your  
8 practice?

9 A. Some of the abnormalities such as Trisomy 13, Trisomy  
10 18, Trisomy 21, Monosomy X. Also fetal defects such as hypo  
11 plastic heart, anacephaly, even some deficiencies such as  
12 Fabry's disease and bilateral renal agenesis.

13 Q. Okay. And do you sometimes terminate pregnancies for  
14 women with health conditions themselves?

15 A. Yes.

16 Q. And what kinds of health conditions have you seen in  
17 your practice, where women are terminating their  
18 pregnancies?

19 A. Severe pre-eclampsia. Also I had a case involving an  
20 intracranial hemorrhage, chorioamnionitis.

21 Q. And of the second trimester abortions you perform, can  
22 you estimate how many are for fetal indications or for  
23 maternal health problems?

24 A. I would estimate between 10 to 20%.

25 Q. All right. Doctor, you testified that you perform

1 abortions using suction curettage method and also the  
2 dilation and evacuation method; is that right?

3 A. Yes.

4 Q. How late in pregnancy do you perform suction and  
5 curettage typically?

6 A. Typically, I use that method in the first trimester.  
7 Sometimes I'm able to complete a procedure using that method  
8 in the early second trimester through 16 weeks, but often  
9 are usually at the greater than 14 weeks, I need to use  
10 forceps to complete the procedure.

11 Q. And is that, is that how you define when you're starting  
12 to do what you call a D & E, is when you use forceps?

13 A. Yes.

14 Q. Doctor, what involvement, if any, do you have in  
15 induction abortions, labor induction abortions?

16 A. At the University of Iowa hospitals and clinics, some  
17 women are offered that option and counseled accordingly.  
18 And if they choose that option, it's carried out. I  
19 typically am not involved in the counseling process, but I  
20 may be supervising a part of the process when I'm assigned  
21 to the labor floor either during the day or nights when I'm  
22 on call. So I may be there at the start, in the middle, or  
23 at the end, but not usually throughout the entire process.

24 THE COURT: Counsel, let me interrupt you now. We  
25 are approaching noon. You tell me when would be a good time

1 to stop.

2 MS. SMITH: If you let me ask two more questions,  
3 then we should stop because they know we are about to get  
4 into something bigger. Okay.

5 THE COURT: Sure. Go ahead

6 BY MS. SMITH:

7 Q. Thanks. What types of induction techniques are used at  
8 the hospital?

9 A. I use a variety of induction agents including Oxytocin,  
10 Misoprostol, with or without laminaria, osmotic dilators or  
11 intrauterine Foley bulbs.

12 Q. Are these considered modern techniques for labor  
13 induction?

14 A. Yes.

15 Q. Did you say before that you gave a lecture -- one of the  
16 lectures you gave in teaching was on labor induction or was  
17 that on medical abortions?

18 A. I did give a Grand Rounds Presentation on elective  
19 induction of labor. I focused less on the method than the  
20 indications.

21 Q. Okay. Thank you, Doctor.

22 MS. SMITH: This would be a good time to break.

23 THE COURT: Is that agreeable with you, Mr. Henry,  
24 or Mr. Coppolino?

25 MR. COPPOLINO: Pardon me, Your Honor?

1 THE COURT: Is that agreeable with the Government.

2 MR. COPPOLINO: Yes.

3 THE COURT: We'll take our break. We'll be in  
4 recess until 1:30. I do have other -- I have criminal  
5 matters in here over the noon hour. You may leave your  
6 things but if you have anything that's particularly  
7 sensitive, I suppose you should take imprisonment. We'll be  
8 in recess until 1:30. Doctor, you may step down.

9 (Recess at 11:59 to 1:30 p.m.; all parties present).

10 THE COURT: Doctor, if you will retake the witness  
11 stand.

12 (Witness resumed the witness stand).

13 THE COURT: Before we begin, some of us have soft  
14 voices, both lawyers and witnesses, and I don't much care if  
15 people in the back can't hear, but I need to hear and my  
16 clerks need to hear, so everybody needs to speak up.

17 MS. SMITH: We are going to try to raise the level  
18 a little bit.

19 THE WITNESS: Now, I can play around a little bit  
20 with the volume control.

21 MS. SMITH: I meant me, my own level I would try to  
22 raise.

23 THE COURT: That would be good, but actually we can  
24 hear you pretty well.

25 MS. SMITH: Okay. Good.

1           THE COURT: We have a little trouble with Mr.  
2 Henry. Mr. Henry, you were over -- where are you, Mr.  
3 Henry? There you are. You're hiding again. You were  
4 speaking over the mike, so if you raise it a little bit and  
5 because of that goofy chair in the witness box, it's bolted  
6 down and won't move. It's awkward for witnesses, so if they  
7 can lean into the mic that would be helpful to us. And I  
8 have found that mechanically, if you just put your elbows  
9 kind of as I said yesterday, it's not polite but put your  
10 elbows on the table. That will physically force you into  
11 the mic. All right. Pardon me for the interruption. Go  
12 ahead.

13 BY MS. SMITH:

14 Q. Thank you, Your Honor. Doctor, we were just talking  
15 about the modern abortion techniques that are used at the  
16 University of Iowa. I was wondering if you are aware or  
17 could you tell the Court if you are aware of any recent  
18 studies comparing the safety of modern induction techniques  
19 with D & E procedures after 20 weeks?

20 A. Yes. In the context of abortions, there was a paper  
21 published by Dr. Autry in 2002, that was a retrospective  
22 cohort looking at the complication rates of D & E procedures  
23 compared with induction abortions.

24 Q. Would you look in the -- it's the first of the binders  
25 of the plaintiff's exhibits. It's exhibit, Plaintiff's

1 Exhibit 19. Doctor, do you see the article at Plaintiff's  
2 Exhibit 19?

3 A. Yes.

4 Q. Is that the article that you're referring to?

5 A. Yes, it is.

6 Q. It's an article entitled A Comparison of Medical  
7 Induction and Dilatation and Evacuation for Second-Trimester  
8 Abortion; is that correct?

9 A. Correct.

10 Q. Can you tell me what journal that was published in?

11 A. It was published in the American Journal of Obstetrics  
12 and Gynecology.

13 Q. Is that a peer review journal?

14 A. Yes, it is.

15 Q. Is it a well respected journal in your field?

16 A. Yes, it is.

17 Q. Is it perhaps the most well respected journal in your  
18 field?

19 A. It's one of the most respected journals in my field.

20 Q. Thank you, Doctor. Can you summarize briefly for the  
21 Court the findings in that -- of that study, Dr. Autry's  
22 study?

23 A. Yes. As I said, it compared the complication rate  
24 retrospectively between D & E abortions between 14 and 24  
25 weeks, and medical induction abortions. And it found a

1 higher complication rate with the latter. There were  
2 approximately 4% complications D & E groups compared with  
3 29% in the induction groups, and they defined complications  
4 as failed medical abortion, hemorrhage that required  
5 transfusion, infection that required intravenous  
6 antibiotics, retained products of conception that requires a  
7 D & C, organ damage, including uterine perforation that  
8 included additional surgery and cervical lacerations that  
9 required repair and readmission to the hospital.

10 Q. Doctor, on page 394 of that study under section entitled  
11 results, I think it's the second paragraph, is that where  
12 you find the data you're referring to the 4% versus the 29%?

13 A. Yes.

14 Q. Did this study specifically look at induction techniques  
15 using Misoprostol, Doctor?

16 A. Yes, when they looked at the inductions that used  
17 Misoprostol as the agent compared with D & Es, there was  
18 still a high complication rate in inductions, 22% versus 4%  
19 in the D & E group.

20 Q. And is that a statistically significant difference?

21 A. Yes, it is.

22 Q. And, Doctor, is this study, is it consistent with your  
23 clinical experience with inductions and D & E abortions in  
24 the second trimester?

25 A. Yes, it is.

1 Q. And how so?

2 A. I have been involved in inductions that indeed have  
3 required antibiotic use for infection and additional  
4 procedures of D & C for retained placenta, and also for  
5 hemorrhage. And I have experienced more cases of  
6 significant blood loss and infection with inductions as  
7 compared to D & E.

8 Q. Do you believe that retained placenta is a complication  
9 of medical induction abortions?

10 A. I do because it adds a procedure with all of the  
11 inherent risks associated with that procedure, as well as to  
12 the induction.

13 Q. And do you have any experience with treating a woman who  
14 has retained placenta after a medical induction abortion?

15 A. Yes.

16 Q. And have any of those been in emergent situations?

17 A. Yes, several have been in emergent situations to control  
18 hemorrhage.

19 Q. Can you describe one of those cases for us?

20 A. For instance, one patient had delivered the fetus and  
21 the placenta didn't deliver immediately. She was given more  
22 of the Misoprostol or Oxytocin to accomplish delivery. It  
23 took time. In the mean time, she continued to bleed varying  
24 amounts, but the bleeding became heavier and she became  
25 hypotensive and needed to be brought back to the operating



1 room to undergo an emergency D & C to control hemorrhage.

2 Q. Were you called in to do that D & C?

3 A. Yes.

4 Q. Doctor, are there any limitations regarding the studies  
5 findings or methodology?

6 A. Well, it is a retrospective study so there is selection  
7 by us, but even when they control for gestational age, which  
8 tended to be higher in the induction group than the D & E  
9 group, there was still a higher complication rate in the  
10 induction group. I would also be interested to know how  
11 they defined retained products of conception. More details  
12 of the induction method, etc.

13 Q. So it's not perfect?

14 A. No.

15 Q. It's not a perfect study?

16 A. No.

17 Q. Is it still useful?

18 A. Yes.

19 Q. Doctor, are you aware of any other recent prospective  
20 comparing D & Es versus inductions?

21 A. Yes. Dr. Grimes recently published an article that  
22 described his attempt to perform a prospective -- control  
23 trial comparing complications between medical abortion and D  
24 & Es in the second trimester.

25 Q. Doctor, can you look at tab 44 in the same binder,

1 Plaintiff's Exhibit 44. Is that the paper you're referring  
2 to?

3 A. Yes, it is.

4 Q. And that's a paper by David Grimes and others entitled  
5 Mifepristone and Misoprostol versus dilation and evacuation  
6 from mid trimester abortion: A pilot randomized controlled  
7 trial; is that right?

8 A. Yes.

9 Q. What journal was that published in?

10 A. It was published in the British Journal of Obstetrics  
11 and Gynecology in February of 1994.

12 Q. So it's a very recent study?

13 A. Yes.

14 Q. Is the British journal, is that a peer review journal,  
15 do you know?

16 A. Yes, it is.

17 Q. Is it a well respected journal?

18 A. Yes, it is.

19 Q. And who is Dr. Grimes. Do you know who Dr. Grimes is?

20 A. Yes, I do.

21 Q. Who is Dr. Grimes?

22 A. He's an expert in abortion and epidemiology.

23 Q. Is he well respected in the field?

24 A. Yes, he is.

25 Q. And could you summarize for the Court the findings of

1 this paper?

2 A. Well, they terminated the study because they had trouble  
3 recruiting people into the medical abortion group. When  
4 people were randomized to that method, they ended up  
5 declining for their participation in the study because they  
6 didn't want to have a termination via that method.

7 Q. Does Dr. Grimes make any recommendations about how one  
8 might be able to design a study that would work where you  
9 could get people to agree to be randomized?

10 A. What I believe -- in the conclusion they suggested that  
11 it be done in a setting where labor induction abortion is  
12 the norm.

13 Q. And where would that be? Is there somewhere in the  
14 United States where labor induction is the norm?

15 A. There are probably places where it is the norm. I mean  
16 I have mentioned before we do perform them at the University  
17 of Iowa. I'm not sure you would call it the norm because,  
18 again, women still have the option of a D & E.

19 Q. Do you know what the percentage of labor induction  
20 abortions is in the United States versus D & Es roughly?

21 A. It's very low. It's under 5%.

22 Q. Of the second trimester procedures?

23 A. Yes.

24 Q. All right. Given these two articles and what you've  
25 stated about the safety issues, relative safety of D & E

1 abortions versus induction abortions, Doctor, why do you  
2 offer induction abortions at the University?

3 A. Some patients, after counseling regarding the risk  
4 versus benefits of either method, still opt to have the  
5 induction. So it, in large part, is patient -- rather large  
6 part is patient preference and informed consent. Sometimes  
7 because we are doing these in the majority of cases when  
8 there is a fetal anomaly, the pregnancy might have been very  
9 desired and the patient might find the induction method of  
10 termination psychologically easier. Then they can have an  
11 intact fetus with photos taken, and that can help with the  
12 grieving process.

13 Q. Are there any other issues in terms of the staff  
14 available to perform D & Es versus inductions at the  
15 University?

16 A. Yes. They haven't always had that many physicians who  
17 are skilled in performing D & Es, and even though now we do  
18 have several who are skilled in doing D & Es, they might not  
19 always be available given their schedules.

20 Q. Would you say there is a shortage of D & E providers?

21 A. Yes.

22 Q. And, Doctor, are there women for whom induction abortion  
23 is contraindicated or relatively contraindicated?

24 A. Yes, for example, if the woman has chorioamnionitis and  
25 is sick, then you would like to evacuate the uterus as soon

1 as possible to decrease her use of sepsis. Also sometimes  
2 we want to perform the termination in a more controlled  
3 setting, avoid significant changes in fluid balance and  
4 avoid pain and elevated blood pressure.

5 Q. Why would you want to control changes in fluid balance.  
6 What does that mean?

7 A. Well, sometimes there may be a maternal medical  
8 condition that could be worsened by rapid fluctuations in  
9 the -- her fluid balance.

10 Q. And have you ever performed a D & E on someone who was  
11 referred to you because of a maternal health condition?

12 A. Yes.

13 Q. And can you give us an example of that?

14 A. I remember one case where the patient had atypical  
15 severe pre-eclampsia at about 19 weeks, and she had  
16 pulmonary edema and her respiratory status was worsening.  
17 She was already undergoing an induction termination  
18 procedure but it was taking time, and it did not appear that  
19 she would deliver in the near future, so I was asked to  
20 perform a D & E. I did and she improved, clinically  
21 improved rapidly after that.

22 Q. And is it unusual for a woman to develop pre-eclampsia  
23 at 19 weeks. Is that why you call it atypical?

24 A. Yes. And in retrospect, she was diagnosed with  
25 antiphospholipid antibody syndrome, I believe.

1 Q. What is that. What is -- I won't try to say it. What  
2 is it?

3 A. Some women have certain types of antibodies in their  
4 blood such as anti cardiolith and antibodies or lupus  
5 anticoagulants, and it puts them at higher risk for  
6 developing conditions such as pre-eclampsia or spontaneous  
7 abortion or pregnancy loss and also thromboembolic events.

8 Q. Thank you, Doctor. Have you performed D & Es on any  
9 other patients who have been referred to you with medical  
10 conditions that were contraindications for medical  
11 inductions?

12 A. There was another patient who was approximately 20 weeks  
13 pregnant when she developed headache and loss of vision and  
14 was diagnosed with five to six centimeter intracranial  
15 hemorrhage due to a ruptured AVM, arterial venus  
16 malformation. And she wanted to terminate the pregnancy.  
17 She was counseled that she would be at risk of the AVM  
18 rebleeding should she carry the pregnancy to term and labor.  
19 She was also rather, the neuro surgeons at the University of  
20 Iowa did not want to treat the AVM. They did not want to  
21 ligate it or embolize it until after pregnancy. And my  
22 maternal fetal medicine colleague and the neuro surgeons in  
23 consultation with each other decided the best method for  
24 termination would be a D & E as opposed to an induction  
25 because it could be more controlled procedure.

1 Q. Thank you, Doctor. Now, I would like to talk a little  
2 bit about your D & E abortions. Could you tell me what the  
3 first step is in the D & E abortion?

4 A. Well, after the patient has been counseled and decides  
5 to go ahead with that method, she has an ultrasound, if she  
6 hasn't already, to confirm that gestational age. And she  
7 has one to two laminaria, sets of laminaria placed the day  
8 prior to the procedure at our institution at the Emma  
9 Goldman Clinic.

10 Q. And is that at -- what gestational age are you talking  
11 about?

12 A. Typically at 15 to 16 weeks, we insert one set of  
13 laminaria. At 17 weeks, two sets of laminaria are placed  
14 the day prior to the D & E.

15 Q. And what about prior to 15 weeks. Would any of those be  
16 D & Es or are those all suction?

17 A. At 13 to 14 weeks, at the Emma Goldman Clinic, we start  
18 administering the patients Misoprostol. Buccally.

19 Q. What does that mean?

20 A. In the checks or oral cavity without swallowing. Prior,  
21 the morning prior to the procedure.

22 Q. Okay. So for 13 and 14 week gestational age, you use  
23 Misoprostol buccally the morning of the procedure?

24 A. Typically, if they don't have a history of a previous C  
25 section or abdominal myectomy because there have been some

1 case reports with uterine rupture using the Misoprostol in  
2 the second trimester.

3 Q. Okay. By the way, Doctor, if there is case reports of  
4 uterine rupture for Misoprostol in -- is that in D & E  
5 procedures or would be a term, those case reports?

6 A. That includes cases in the second trimester either  
7 undergoing medical induction or with cervical ripening prior  
8 to extraction.

9 Q. So would that be a contraindication for a Misoprostol  
10 induction as well?

11 A. It could be, yes.

12 Q. And at 15 weeks, you said you start to use one to two  
13 sets of laminaria starting the day before. Is that correct?

14 A. Um-hm.

15 Q. And then do you use Misoprostol also in those  
16 procedures?

17 A. Yes. Typically we also administer Misoprostol buccally  
18 the morning of the actual D & E.

19 Q. Do you ever use mechanical dilators?

20 A. Sometimes.

21 Q. In what cases?

22 A. It's -- the cervical preparation which I just described  
23 did not achieve adequate dilatation to do the suction and  
24 extraction, then I would use metal dilators.

25 Q. Okay. Doctor, I want to ask you a little bit about how



1 much dilation you seek to achieve in your procedures, and I  
2 would like to go by gestational age just to make it clear  
3 for the record. And let's start with 13 to 14 weeks in  
4 these procedures. How much dilation are you trying to get?

5 A. Typically, I'm trying to dilate at least to 12 to 14  
6 millimeters, so I can insert a suction cannula of that size.

7 Q. And will you be using forceps also in that procedure?

8 A. Most of the time not, but sometimes I do need to  
9 complete the procedure using forceps, mainly for retaining  
10 calvarium.

11 Q. And those are the procedures for you using the  
12 Misoprostol buccally, correct?

13 A. Yes.

14 Q. How long does it take to achieve that dilation with the  
15 Misoprostol in the morning?

16 A. Typically we administer it one to two hours prior to the  
17 procedure, and then at the time of the dilatation, their  
18 cervix is dilated such that we don't typically get  
19 resistance with the metal dilators until 29 or 31 French and  
20 then --

21 Q. What is that. We don't know -- you have to explain to  
22 us what 29 or 31 French is.

23 A. Okay. It's a measurement system used in medicine that  
24 takes pi, P-I, into account, and so it's really -- you  
25 divide it by approximately three you'll get the size in

1 millimeters of the dilators.

2 Q. Those are the metal dilators that you use. Are those  
3 the metal dilators that you use that you're referring to?

4 A. Yes.

5 Q. It's just different sizes of the metal dilators; is that  
6 correct?

7 A. Right. So dilate up to the number in French. That's  
8 three times the size in millimeters of the suction cannula  
9 you want to use. And using the Misoprostol, even though we  
10 still have to use the metal dilators to dilate up that far,  
11 it makes the process easier and faster and less  
12 uncomfortable for the patient.

13 Q. Thank you, Doctor. At 15 to 16 weeks, how many dilation  
14 are you trying to achieve in that instance, in those cases?

15 A. Then I need to dilate to approximately 15 to 16  
16 millimeters to insert a suction cannula of that size into  
17 the uterus.

18 Q. Are you seeking to achieve -- so is that one-and-a-half  
19 to two centimeters for 15 to 16-week procedure?

20 A. About one-and-a-half centimeters, yes.

21 Q. Is that the minimum? What would you like to achieve?

22 A. Well, ideally I would have greater dilatation because it  
23 makes the procedure easier and faster, in my opinion; less  
24 uncomfortable for the patient if they are having it done  
25 under local anesthesia, especially, and also you have -- can

1 remove larger pieces of the fetus in general with less  
2 fragments and smaller parts that could cause injury.

3 Q. So if one-and-a-half centimeters is a minimum at which  
4 you'll do the procedure, what is your ideal?

5 A. For 17 weeks and up, I prefer two to four centimeters  
6 dilatation.

7 Q. At 17 weeks on up?

8 A. Um-hm.

9 Q. All right. Okay. At 17 weeks up, how many sets of  
10 laminaria are you using?

11 A. Typically two sets of laminaria the day prior.

12 Q. The day prior?

13 A. Um-hm.

14 Q. Okay. And why do you prefer to get a larger dilation?  
15 Why would you prefer two to four? Is four ideal? Is that  
16 what you're saying?

17 A. Using the cervical preparation method I just described,  
18 that's unusual, but it is nice when we have that amount of  
19 dilatation. It makes the procedure again faster, in my  
20 opinion, safer, less uncomfortable for the patient, etc.

21 Q. And how common is it to get two or three centimeters of  
22 dilation?

23 A. It varies quite a bit. I would say it's more common now  
24 that I'm using the Misoprostol.

25 Q. Okay. And, Doctor, you were starting to say why you

1 prefer the larger dilation. Can you answer that? I'm not  
2 sure if I got your whole answer to that. I'm sorry.

3 A. In my opinion, it makes the procedure faster, safer,  
4 easier to perform, less uncomfortable to the patient, safer.

5 Q. Why is it safer, in your opinion?

6 A. I believe there is less blood loss and, again, less  
7 chance of having to remove the fetus in small pieces which,  
8 in my opinion, can increase the chance of cervical injury or  
9 uterine perforation.

10 Q. Okay. Can you control the amount of dilation you get?

11 A. No.

12 Q. Why not?

13 A. Let's see. Using the cervical preparation method I  
14 described, there is just a wide range of dilatation  
15 achieved. Often, if the woman is parous, has already had  
16 delivered children vaginally, the cervix dilates better.  
17 The patient is very young, it might be harder to dilate.

18 Q. So those are some of the factors that will influence how  
19 easy or hard it is to get dilation; is that right?

20 A. Right.

21 Q. Why, if you prefer to get four centimeters of dilation  
22 for example, why don't you send women away for a third day?

23 A. We are limited in terms of our staffing ability.  
24 Logistically, it would be very difficult to introduce that  
25 to a recurrent system. Again, we only spend X amount of

1 time at the Emma Goldman Clinic. Plus these patients are  
2 often traveling far distances and may be staying in a hotel  
3 nearby in some cases, and it makes the procedure more  
4 expensive and more of a burden to them without gaining that  
5 much more medically.

6 Q. Doctor, I think I neglected to ask you whether you  
7 always insert the same number of laminaria when you're  
8 inserting your laminaria?

9 A. No. I generally insert as many as the cervix will  
10 accommodate without forcing the laminaria.

11 Q. Does that vary from patient to patient?

12 A. It does.

13 Q. And what factors influence whether the cervix will take  
14 more or less laminaria, do you know?

15 A. Again, parity is the main determinant.

16 Q. And the other factors that you mentioned, would those  
17 apply here as well?

18 A. Um-hm.

19 Q. Doctor, after you've removed the laminaria and you have  
20 determined you have sufficient dilatation to proceed, what's  
21 your next step?

22 A. Then I place the speculum in the vagina and cleanse the  
23 cervix with an antiseptic solution, and then usually start  
24 the local anesthetic injection and place the tenaculum on  
25 the cervix.

1 Q. What's the purpose of the tenaculum?

2 A. To provide traction on the cervix and straighten the  
3 cervical canal.

4 Q. Does it have -- does the tenaculum and the placement of  
5 the tenaculum have any impact on the location of the cervix  
6 in relation to the vaginal introitus?

7 A. Yes. When we pull on the tenaculum to straighten the  
8 cervix, it pulls the cervix closer to the introitus.

9 Q. And how close, how close is it?

10 A. Well, it varies quite a bit.

11 Q. What's the range?

12 A. The range, well, it also varies. It can depend on the  
13 length of the woman's vagina. It can depend on the location  
14 of the cervix in the vagina. Sometimes with an inverted  
15 vagina, the cervix will be inverted and closer to the vagina  
16 even prior to the placement of the tenaculum. It depends on  
17 the woman's parity and gestational age. So it can range  
18 from being virtually at the introitus to many inches away  
19 from the introitus.

20 Q. And how common is it for the cervix to be all the way  
21 right at the introitus?

22 A. Not very common.

23 Q. How about within, say, four centimeters?

24 A. I would say that's not uncommon.

25 Q. Is there any way you can give the Court a sense of how

1 often that happens?

2 A. It's difficult, because it's not something I necessarily  
3 keep track of during the procedure or gauge while I'm  
4 performing the D & E, but I know that, you know, sometimes  
5 the speculum is halfway out of the vagina with the traction  
6 applied to the cervix, so that would make the cervix  
7 probably within four centimeters of the introitus. I would  
8 say that probably occurs maybe about 10% of the time.

9 Q. Thank you, Doctor. Is it clinically significant, the  
10 position of the cervix, vis-a-vis the vaginal introitus,  
11 does that have any clinical relevance to you?

12 A. Not really unless it were very high in the vagina and  
13 did not come down much, and if it were far away, then it  
14 makes the procedure more difficult.

15 Q. Why does it make it more difficult?

16 A. Because then you can only open the forceps so widely if  
17 -- the majority of the length of the forceps is within the  
18 speculum, and it makes it harder to reach within the uterus  
19 if the length of the forceps is limited by the speculum. I  
20 mean the length is not limited, but you can't insert your  
21 hand in the vagina because of the speculum being there so  
22 you can only reach so far in the uterus.

23 Q. What factors influence how much the cervix will come  
24 down? I think you said the length of the woman's vagina at  
25 rest, as it were, would be one of the factors. Are there

1 other factors as well?

2 A. In the position of the cervix and the vagina, and the  
3 patient's parity plays a major role.

4 Q. Okay. And you said you think it's about 10% and I  
5 understand that's your attempt to make a good faith guess or  
6 estimate, really, of the amount of times that happens. Is  
7 that frequency the same as with first trimester abortions?

8 A. No. It's more common to have greater descensus further  
9 on --

10 Q. Further on in the pregnancy?

11 A. -- in the pregnancy due to the relaxation of the  
12 supporting ligaments.

13 Q. So there is a relaxation of the ligaments as the  
14 pregnancy progresses; is that right?

15 A. I believe so.

16 Q. Do you think when the cervix is brought down to the  
17 vaginal introitus, do you think that's because there is the  
18 too much force being put on the cervix?

19 A. No.

20 Q. Doctor, when you begin the process of removing the  
21 fetus, what's your first step?

22 A. The first step is to insert the suction cannula and  
23 evacuate the amniotic fluid from the uterus, and to bring  
24 the products of conception closer to the cervix.

25 Q. Okay. And what do you do next typically?



1 A. Then I insert the grasping forceps and start removing  
2 pregnancy tissue.

3 Q. And how much of the fetus are you trying to remove with  
4 each grasp?

5 A. As much as possible.

6 Q. If the fetus is not to come out intact, what's the best  
7 case scenario for you?

8 A. To remove as large pieces as possible at one time.

9 Q. Okay. And what, if anything, do you do in the process  
10 of removing the fetus that would facilitate your ability to  
11 remove larger pieces? I'm talking about what you do in the  
12 manipulation of instruments in particular?

13 A. Well, if I have a purchase on something.

14 Q. If you have a what?

15 A. A purchase on something and I'm pulling it through and  
16 it's not coming easily, then I will regrasp and change the  
17 direction to try to allow a fetal part to come through the  
18 cervix more intact. And if I start recognizing a fetal part  
19 coming through the cervix, then I will regrasp higher in the  
20 cervix or the uterus to try to bring out as much as possible  
21 and not to disarticulate it until I have gotten to the  
22 higher level.

23 Q. And why do you need to regrasp higher? What would  
24 happen if you simply kept pulling?

25 A. Well, sometimes it would become disarticulated in the

1 vagina, and also sometimes, I would just kind of twist the  
2 forceps to help bring something through rather than applying  
3 more traction at a certain point where it might  
4 disarticulate sooner than I would like.

5 Q. So you try to twist rather than just applying with the  
6 traction, is that what you said?

7 A. If it's not coming easily, yes.

8 Q. And in your experience, does that facilitate the removal  
9 of larger pieces of the fetus?

10 A. Sometimes, yes.

11 Q. Okay. And is that something that you teach your  
12 residents?

13 A. Yes. If anything, their tendency is to once they have  
14 pulled something into the vagina, just to keep pulling  
15 without regrasping higher on the fetus. So I instruct them  
16 to -- not to do that and to regrasp higher to protect --  
17 also to protect what's coming through the cervix to try to  
18 prevent injury to the cervix, but also to prevent the fetus  
19 coming out in smaller pieces.

20 Q. And does the grasp part, the part that you have got  
21 ahold of, does that ever pass the introitus while it's still  
22 connected to part of the fetus that remains inside the  
23 uterus?

24 A. Yes.

25 Q. And after the grasp part passes through the cervix, what

1 typically happens then?

2 A. At some point, the more proximal part of the fetus that  
3 remains in the uterus becomes too large to fit through the  
4 cervix, and so it becomes, pulls apart from the rest of the  
5 body and becomes -- or it becomes disarticulated.

6 Q. Okay. Is there an average number of times that you  
7 reach into the uterus? Can you characterize that for us in  
8 any given procedure?

9 A. No. It generally requires multiple passes.

10 Q. And does it vary according to how much -- well, tell me  
11 if it varies and what factors would influence --

12 A. It varies quite a bit. And the main determining factor  
13 is the degree of dilatation at the start of the procedure.  
14 So if the cervix is two or three centimeters dilated, that  
15 will require less passes into the uterus because you can  
16 extract more larger pieces at one time.

17 Q. Have you ever had a fetus come out completely intact in  
18 one of your second trimester D & E procedures?

19 A. Not after residency training.

20 Q. Have you had it come out intact up to the calvarium?

21 A. Yes, I have.

22 Q. And how often has that happened?

23 A. On occasion, once or twice.

24 Q. Okay. And at what gestational age was that?

25 A. It was about 19, 18 to 19 weeks gestation.

1 Q. And what did you do at that point?

2 A. Then I disarticulated the body from the calvarium, and  
3 then compressed the calvarium with the forceps and extracted  
4 the calvarium.

5 Q. And have you ever had the fetus come out not completely  
6 intact, but where part of the fetal trunk passed the  
7 umbilicus has come outside the body of the mother while the  
8 rest of the fetus is still inside?

9 A. Yes. I was supervising a D & E with one of our  
10 residents, and she extracted an upper extremity, then on the  
11 next pass, had a lower extremity, and the remainder of the  
12 fetus came out to the level of the calvarium. And then I  
13 had her disarticulate the body from the calvarium and  
14 compress the calvarium just like the previous case.

15 Q. And have you had any situations where the fetus is not  
16 necessarily coming out feet first but where part of the  
17 fetal trunk past the naval has come outside the mother?

18 A. Yes, I guess it would depend how you define fetal trunk,  
19 because the upper extremity is removed included the shoulder  
20 area, and sometimes when -- sometimes when we are doing the  
21 D & E, some of the first things that are removed are maybe a  
22 portion of skin from the trunk or even ribs or other trunk  
23 contents.

24 Q. And do you consider those part of the fetal trunk above  
25 the umbilicus?

- 1 A. Yes.
- 2 Q. And you've taken them outside the body of the mother?
- 3 A. Yes.
- 4 Q. Or the woman?
- 5 A. Yes.
- 6 Q. And can the fetus still be living in that it has a  
7 heartbeat or other signs of life at that time?
- 8 A. Possibly, yes.
- 9 Q. Now, Doctor, are you familiar with the term intact D & E  
10 or intact D & X?
- 11 A. Yes.
- 12 Q. And are you familiar with ACOG's definition of intact D  
13 & X?
- 14 A. Yes.
- 15 Q. Doctor, were you ever taught during your residency a  
16 procedure that was similar to that?
- 17 A. Yes. One of the physicians with whom I trained when I  
18 did an elective at our woman's clinic preferentially used  
19 that method for doing D & Es.
- 20 Q. What was that procedure called during your residency?
- 21 A. A D & E.
- 22 Q. So you didn't -- your teacher, professor, didn't  
23 distinguish between that D & E and other D & Es? They were  
24 all just D & Es?
- 25 A. No, I don't remember them giving it a different name.

1 Q. Okay. And what were you taught?

2 A. Well, he would do a breech extraction up until the level  
3 of the calvarium, and then a puncture at the base of the  
4 skull, and then suction the cranial contents and then  
5 extract the calvarium attached to the body.

6 Q. And in the D & E procedures that you learned how to do  
7 during your residency, including that one, but also ones  
8 that you were taught by other professors, what were you  
9 taught with relation to the amount of dilation you should  
10 seek?

11 A. More is better, and it's ideal to remove as large pieces  
12 as possible. We know from -- scratch that. Don't remember  
13 what I was going to say.

14 Q. Are you finished with your answer, Doctor?

15 A. I am. I am.

16 Q. And why were you taught to remove larger pieces, if  
17 possible, and why are were you taught that more is better in  
18 terms of dilation?

19 A. It was thought to be safer.

20 Q. Why was that?

21 A. Less -- less manipulation within the uterus, so less  
22 risk of perforation, less chance of retained tissue, less  
23 chance of small fetal parts causing injuries such as  
24 cervical lacerations.

25 Q. And so you -- which of those procedures are you still

1 performing? Are you performing the more is better dilation?

2 A. I'm performing the D & E, and though I'm skilled to do  
3 the procedure with the minimum amount of dilatation  
4 necessary, it gets much easier and safer, in my opinion, if  
5 you have greater dilatation.

6 Q. So you're able to do it through a smaller dilatation?

7 A. I am.

8 Q. But you feel it's safer in larger; is that right?

9 A. Safer to do it with more dilatation, yes.

10 Q. And why do you not currently perform the intact D & X  
11 procedure? I know it wasn't called that during your  
12 residency, but you described a procedure with a breech  
13 delivery and puncturing the skull, and you said you don't  
14 currently perform that. Why is that?

15 A. Because typically, I don't have the adequate, the amount  
16 of dilatation necessary to perform the procedure that way,  
17 and also, now I feel more experienced doing the  
18 dismemberment D & E.

19 Q. Okay. And do you feel your D & E procedures you perform  
20 are safe?

21 A. Yes.

22 Q. And do you feel there is any benefit to try to remove  
23 the fetus intact?

24 A. Yes.

25 Q. If you could remove the fetus intact through a larger

1 dilation, would that make your procedure safer?

2 A. Yes.

3 Q. Okay. Now, Doctor, we have discussed various scenarios  
4 that occur when you remove a fetus during a D & E. Do you  
5 know which way the procedure will proceed when you set out?

6 A. No. If the cervix is well dilated, there is a higher  
7 chance that that larger pieces will come out and that the  
8 fetus might come out predominantly intact, but I can't  
9 predict that at the beginning of the procedure necessarily.

10 Q. Okay. Doctor, before you start performing, removing the  
11 fetus during a second trimester D & E, is the fetus alive?

12 A. Yes.

13 Q. And how do you know that?

14 A. We have the ultrasound performed the previous day if  
15 it's at the Emma Goldman Clinic. At the University, usually  
16 an ultrasound for dating, rather an ultrasound has been  
17 performed within a couple of weeks of the procedure, and I  
18 do not necessarily document a fetal heart beat at the  
19 beginning of the procedure so there is a small chance that  
20 she could have had a fetal demise, but it's unlikely.

21 Q. Do you know when the removal of the fetus, fetal demise  
22 occurs?

23 A. No, I don't.

24 Q. Is there any clinical significance to when you cause  
25 fetal demise during the procedure?



1 A. Not in my opinion.

2 Q. Now, is it possible, have you heard of a procedure to  
3 induce fetal demise prior to a procedure using a medication?

4 A. Yes. Sometimes intrauterine or intrafetal Digoxin or  
5 KCL is injected to cause fetal demise.

6 Q. Do you use Digoxin or KCL the Emma Goldman Clinic?

7 A. No, we do not.

8 Q. Why don't you?

9 A. It's not deemed necessary, and it would add an increased  
10 burden to the girl/woman with an additional procedure and  
11 small risk associated with that, and more anxiety, and  
12 discomfort, and expense, and time involved.

13 Q. Is Digoxin or KCL injection used at the University to  
14 perform procedures?

15 A. Sometimes. Typically for induction terminations at 22  
16 weeks or greater, my colleagues will inject intracardiac  
17 KCL.

18 Q. And why is that, do you know?

19 A. To prevent the birth of a living fetus.

20 Q. Now, you mentioned a very small risk associated with the  
21 injections. Can you tell the Court what those risks are?

22 A. Well, in general, serious complications associated with  
23 amniocentesis are very rare, but you can have infection,  
24 bleeding and even death.

25 Q. Doctor, are you aware of any case reports concerning

1 complications associated with amniocentesis?

2 A. Yes. There was an article published recently out of  
3 Israel that listed two cases in which maternal death  
4 occurred following amniocentesis in the second trimester.

5 Q. Can you look in the third plaintiff's binder at tab 110.  
6 Do you have that in front of you, Doctor?

7 A. Yes. 110.

8 Q. Is that the article, Doctor?

9 A. Yes, it is.

10 Q. That's an article by Dr. Uriel Elchalal. I'm not sure  
11 if I'm pronouncing his name correctly, and others, entitled  
12 Maternal Mortality Following Diagnostic 2nd Trimester  
13 Amniocentesis; is that right?

14 A. Yes.

15 Q. It's in a journal called Fetal Diagnosis and Therapy?

16 A. Yes.

17 Q. Do you know when this was published?

18 A. This was published in 2004.

19 Q. So sometime earlier this year?

20 A. Yes.

21 Q. Do you know if that's a peer review journal, by the way?

22 A. Yes.

23 Q. It is?

24 A. Yes.

25 Q. And, Doctor, can you just summarize the case reports

1 that are discussed there?

2 A. They describe two cases in which women died due to  
3 sepsis shortly after having an amniocentesis performed for  
4 routine indications in the second trimester of pregnancy.

5 Q. Now, those are pretty significant complications but  
6 thousands and thousands of women get amniocentesis every  
7 year, correct?

8 A. Correct.

9 Q. Is there a difference between the amniocentesis risks  
10 and risks from Digoxin?

11 A. If intraamniotic or intrafetal Digoxin or KCL is  
12 injected, it involves the performance of an amniocentesis.  
13 So the risk of an amniocentesis to remove fluid would be  
14 similar to performing those injections. With the  
15 injections, you have the added risk, so they are very minute  
16 of the chemical agents you're introducing.

17 Q. Of the actual medications versus the injection?

18 A. Right, versus the amniocentesis itself, yes.

19 Q. Could you compare the volume or incidents of  
20 amniocentesis in this country as compared with the incidents  
21 of KCL or Digoxin injections? Are there more or less is  
22 what I'm trying to ask in a very unartful way.

23 A. Much more amniocentesis are performed without injecting  
24 KCL or Digoxin.

25 Q. So if the risk is very small, for example, that a woman

1 is going to suffer significant complications from  
2 amniocentesis, what would the impact be of requiring women,  
3 all women, to have Digoxin or KCL injections in second  
4 trimester abortions? Would that increase the incidents of  
5 complication from those procedures?

6 A. If you were to increase the amount of amniocentesis  
7 being performed, the absolute number of complications would  
8 go up even though they are small.

9 Q. Okay. Now, Doctor, you've said that your D & E  
10 procedure is generally a low risk surgical procedure; is  
11 that right?

12 A. Yes.

13 Q. Even when the fetus doesn't come out intact?

14 A. Yes.

15 Q. So is there any reason to try to make it safer?

16 A. Yes.

17 Q. And why?

18 THE COURT: Counsel, do you need that exhibit  
19 anymore?

20 MS. SMITH: No.

21 THE COURT: Perhaps you could help the witness so  
22 she doesn't have to sit there holding it.

23 THE WITNESS: That's fine. Safer. Yes, and also  
24 as I mentioned, in my experience the procedure is less  
25 uncomfortable to the patient when the fetus is removed

1 predominantly intact.

2 BY MS. SMITH:

3 Q. Okay. Thank you. Doctor, I would like you to look at  
4 Plaintiff's Exhibit 69 which is in the binder I just placed  
5 on the ledge there. Oh, it's not there? Oh. Exhibit 69.  
6 Thank you. Have you seen this document before?

7 A. Yes, I have.

8 Q. This is the Act, the Partial-birth Abortion Ban Act of  
9 2003; is that correct?

10 A. Yes.

11 Q. And could you turn to page S-6, and if you look at the  
12 language of the prohibition section, Doctor, have you read  
13 this before?

14 A. Yes.

15 Q. And do you think any of the abortion procedures that you  
16 currently perform are covered by the Act?

17 A. Yes. I think on occasion, the D & Es I perform would  
18 fall under the Act.

19 Q. And why is that?

20 A. Because it's vague, and I have already described a  
21 couple of cases where I had the fetus come out up until to  
22 the level of the umbilicus, and then continued to perform  
23 the D & E or commit overt acts that would -- could result in  
24 fetal death.

25 Q. Doctor, you said it was vague. What about the Act do

1 you think is vague. What don't you understand about it?

2 A. Well, it doesn't specify whether the fetus has to be  
3 intact up unto the level of the umbilicus or delivery of a  
4 portion of the trunk. And sometimes during a D & E, you can  
5 remove a portion of the trunk early in the procedure and  
6 then continue to -- to do things which could result in fetal  
7 death.

8 Q. So it's the definition overall you think is vague; is  
9 that right?

10 A. Yes.

11 Q. Are there any particular terms within the definition  
12 that you think are vague?

13 A. Well, I do think the term overt act is vague, and any  
14 part of the fetal trunk past the navel is outside the body  
15 is vague, and the -- yeah.

16 Q. Okay. Thank you, Doctor. I believe you have been  
17 talking about your D & E procedures. Do you think any of  
18 the induction procedures that you supervise would be covered  
19 by the Act?

20 A. Possibly.

21 Q. And how would that be true?

22 A. Sometimes in the case of an induction, a part of the  
23 fetus will deliver and part of the fetus will still be  
24 inside the uterus. And in trying to facilitate delivery of  
25 the rest of the fetus, the umbilical cord could become

1 compressed, and that could cause fetal death or the fetus  
2 could become disarticulated, and that could cause fetal  
3 death.

4 Q. Thank you, Doctor. Doctor, do you believe that  
5 enforcement of the Act would affect your patients?

6 A. Yes.

7 Q. In what way?

8 A. Well, then to bypass the Act, one alternative would be  
9 to use intraamniotic or intrafetal of KCL or Digoxin, and I  
10 think that would be riskier and more burdensome to the  
11 patients and might be prohibitively more expensive. And I  
12 might not be willing to incorporate that into my practice  
13 and, therefore, might discontinue doing second trimester  
14 terminations.

15 Q. When we were seeking an injunction back in the fall, had  
16 you made a decision about what you were going to do if we  
17 were unsuccessful in obtaining an injunction?

18 A. I was going to cease from performing second trimester  
19 abortions.

20 Q. Did you make that decision yourself?

21 A. Yes.

22 MS. SMITH: Can I just take one moment to consult,  
23 Your Honor?

24 THE COURT: Sure.

25 MS. SMITH: Your Honor, I have just been informed I

1 neglected to move to admit Dr. Vibhakar's CV, which is  
2 Plaintiff's Exhibit 102.

3 MR. WARDEN: No objection.

4 THE COURT: 102 is received.

5 MS. SMITH: I have no further questions at this  
6 time.

7 THE COURT: Is it your intent to offer 19, 44, the  
8 journal article.

9 MS. SMITH: Well, Your Honor, yes. I will offer  
10 Plaintiff's Exhibit 19, Plaintiff's Exhibit 14 and  
11 Plaintiff's Exhibit 110.

12 MR. WARDEN: Which numbers are those?

13 MS. SMITH: 19, 14 and 110 which are the three  
14 articles we discussed.

15 MR. WARDEN: Your Honor, I'll object on hearsay  
16 grounds to those articles mentioned.

17 MS. SMITH: Your Honor, I'll just say that given  
18 this is a judge trial and Your Honor might like to have the  
19 articles, I think the harm here of introducing learned  
20 treatises is significantly reduced.

21 THE COURT: Well, I mean it's still hearsay.

22 MS. SMITH: I offer them really for the Court's  
23 convenience, Your Honor.

24 THE COURT: Well, I understand that, but anyway,  
25 the imposition of the hearsay objection to 19, 44 and 10 is



1 going to require me to look at a couple of things. I will  
2 tell you that it seems to me that if you -- on subsidiary  
3 questions, the effect of a good hearsay objection to a lot  
4 of these things would be to extend the trial on both sides.  
5 It would seem to me, because the alternative is then you go  
6 get the author. But let me look at a couple of things, so  
7 I'll withhold the ruling on 19, 44 and 110.

8 MS. SMITH: Thank you, Your Honor.

9 THE COURT: All right. Counsel, would you like to  
10 take your break now and then begin your examination?

11 MR. WARDEN: That would be preferable, Your Honor,  
12 yes.

13 THE COURT: Is that agreeable with you, counsel.

14 MS. SMITH: Yes, Your Honor.

15 THE COURT: Doctor, you can step down. We are  
16 going to take a 15-minute break and we'll start again  
17 shortly before three. We stand in recess.

18 (Recess from 2:39 to 2:58 p.m.; all parties present)

19 THE COURT: Counsel, before we begin, why don't we  
20 take up this issue of studies, and Mr. Coppolino, you may be  
21 the one to speak for the Government because we talked about  
22 it during the -- I'm not directing a question at you yet,  
23 Mr. Coppolino. I'm just warning you that you're probably  
24 the one.

25 MR. COPPOLINO: I was going to do it anyway.

1 THE COURT: Okay. It seems to me the hearsay  
2 objection is a good one unless you've given notice under the  
3 residual exception, and I take it that you haven't.

4 MS. SMITH: I'm sorry. Notice of the what?

5 THE COURT: Under the residual exception to the  
6 hearsay rule.

7 MS. SMITH: No, Your Honor.

8 THE COURT: Okay. So I permitted the witness and  
9 I'll permit others to essentially recite portions of the  
10 report into the record under the learned treatise exception  
11 to the extent that the documents are established as  
12 authoritative in my opinion. In any event, there was no  
13 objection, so it looks to me like the hearsay objection is  
14 good, but we have got a whole list of these articles that go  
15 to -- I don't want to say they are unimportant questions --  
16 but they are subsidiary questions, it seems to me.

17 The question then becomes what do you want your record  
18 to be like? I'm perfectly ambivalent, but if there are  
19 peer-reviewed studies on both sides of this issue, one  
20 wonders in a bench trial, I mean, if you go back and look at  
21 the learned treatise exception to the hearsay rule, the  
22 reason we have that is so jurors aren't improperly  
23 influenced by having people dump books on them and say,  
24 well, look, some expert said this in a book, and we are  
25 fearful that jurors won't understand that it's like anything

1 else.

2 In a bench-tried case, where you're dealing with  
3 scientific or medical evidence, and as we were talking  
4 earlier where studies may make a difference, but yet it is  
5 probably inefficient to call all these authors or to take  
6 depositions of them and try to do it that way, I leave it to  
7 you all whether you think it makes sense to exclude those  
8 exhibits, and one probably couldn't make a global  
9 determination of that anyway, that all of them ought to come  
10 in or all of them ought to stay out necessarily.

11 I simply ask you all to consider whether for these  
12 studies on subsidiary questions, and I suppose we could  
13 fight about what that means, subsidiary, but you know as I  
14 told you at the pretrial conference, I look at my job very  
15 much as a softball pitcher. I'm to make the roundest  
16 softball possible so other folks can hit it out of the  
17 ballpark to the Court of Appeals or someone else. It  
18 doesn't make much difference to me. I get paid the same  
19 money no matter what the record looks like. The question  
20 really for you as litigators is what do you want your record  
21 to look like? And here we have some studies that on the  
22 face of them appear to be, you know, done by -- well,  
23 Grimes, for example, we have heard of Grimes before in the  
24 other case. I presume that he's somebody who knows what  
25 he's doing, and he's done a study that tells us some things.

1 As the record exists, we have an expert giving us  
2 essentially a summary, but we don't have the underlying  
3 analysis, his words. Is that the kind of record that you  
4 want to present in this perfectly round softball that I hope  
5 to create for other people to look at? And only you all as  
6 good lawyers, as really good lawyers, as you all are, can  
7 know that, but I just urge you not to be reflexive in  
8 asserting perfectly good objections, so I'm prepared to rule  
9 that 19, 44 and 110 ought to be excluded on hearsay grounds.  
10 The objection is technically correct, but I'll hear the  
11 parties if you want -- if you have anything else you would  
12 like to tell me on this or related subjects, and I'm not  
13 trying to suggest Let's-Make-a-Deal. You all, but it's  
14 something worth thinking about before you just decide that  
15 these things aren't coming in.

16 MS. SMITH: Well, Your Honor, the only thing I  
17 would notice that, clearly, they are articles and learned  
18 treatises on both sides, and perhaps I wasn't exactly going  
19 to say Let's-Make-a-Deal, but I was going to say perhaps we  
20 should consult and counsel should consult and see if we can  
21 come to some agreement about learned treatises or not and  
22 inform the Court as soon as possible of what that is. Now,  
23 perhaps Mr. Coppolino will say forget it. No deal right  
24 now, and then that's it, and that's fine, but if not, and  
25 counsel was amenable, we could talk about it and come back

1 to the Court.

2 THE COURT: That's perfectly okay with me. Mr.  
3 Coppolino?

4 MR. COPPOLINO: I don't think I have ever said  
5 forget it, no deal, to Ms. Smith. I actually think it's a  
6 good idea. I think your objection, I think your ruling  
7 should stand because they are hearsay and should be  
8 admitted, and then I think since we have other articles that  
9 witnesses will be addressing, we'll put our heads together  
10 and see if there is some limited basis on which they could  
11 be admitted so that the Court has access to them and that  
12 they are available on the record for a limited purpose, but  
13 it's something we obviously have to consider very carefully  
14 because here the learned treatise exception is quite clear  
15 that you have to read portions into the record.

16 THE COURT: Well, essentially, I have allowed the  
17 witness to do that. You all didn't object, and I understood  
18 that. Ms. Smith, do you think you've gotten a fair shot at  
19 the learned treatise exception on 19, 44 and 110? I think  
20 you have.

21 MS. SMITH: I think so, Your Honor.

22 THE COURT: So you don't need to reopen to do that?

23 MS. SMITH: No.

24 THE COURT: I mean, the physical process of having  
25 your witness read the thing, I permitted her to summarize

1 it.

2 MS. SMITH: That's fine with me, Your Honor.

3 THE COURT: All right. Mr. Coppolino, go ahead.  
4 Pardon me.

5 MR. COPPOLINO: I was just going to say we'll  
6 consider the admissibility under that exception, and we  
7 could advise the Court sort of what our global position is  
8 on all of these articles that experts will be considering  
9 throughout the trial because the exception is quite clear  
10 that while statements may be read, the articles are not to  
11 be admitted as exhibits, and so there is that, at least, and  
12 then further, if the articles are to be admitted then, they  
13 would have to be admitted subject to some specific  
14 limitation, so the long and short of it is, why don't we  
15 just think about it a bit and then advise the Court of what  
16 our views are on how we would treat these articles with  
17 witnesses.

18 THE COURT: That's fine. Why don't you take a day  
19 or so or think about it or whatever time you reasonably  
20 need. As I say I'm perfectly ambivalent. Doesn't make any  
21 difference to me, but I can just see somebody else asking  
22 where is that Grimes article, and then it won't be here.  
23 All right?

24 MS. SMITH: Yes. The only thing I would like to  
25 add, Your Honor, I understand you have sustained the

1 objection to those exhibits, and I would understand that I  
2 could renew my --

3 THE COURT: Offer?

4 MS. SMITH: -- my offer if we come to some  
5 agreement for those three.

6 THE COURT: Oh, sure. Yeah.

7 MS. SMITH: Thank you.

8 THE COURT: I was just saying by the objection, I  
9 have done nothing with the testimony. The testimony in the  
10 record stands as it is. There was no objection to it and so  
11 it's in the record, so we have a partial summary.

12 MS. SMITH: Thank you.

13 THE COURT: Okay. All right. Counsel, you may  
14 inquire.

15 CROSS-EXAMINATION

16 BY MR. WARDEN:

17 Q. Doctor, you're not board certified in maternal field  
18 medicine; is that correct?

19 A. Correct.

20 Q. You're not board certified in the subspecialties of  
21 obstetrics and gynecology; is that correct?

22 A. Correct.

23 Q. You were recently certified in obstetrics and gynecology  
24 in 2002; correct?

25 A. Correct.

1 Q. Doctor, you perform second trimester abortions using the  
2 D & E method; correct?

3 A. Yes.

4 Q. You're comfortable performing D & Es up to 23 weeks;  
5 correct?

6 A. Yes, and I would consider it up until 24 weeks to save  
7 the life or health of a mother.

8 Q. And, Doctor, when you perform a second trimester D & E,  
9 you encounter fetal dismemberment, disarticulation 100% of  
10 the time; is that correct?

11 A. Yes.

12 Q. And I believe you testified since your residency, you  
13 have never removed a fetus completely intact while  
14 performing a second trimester D & E; correct?

15 A. Correct.

16 Q. Doctor, in dilating the cervix during your second  
17 trimester D & E procedures, I believe on direct you  
18 testified that between 13 and 16 weeks, you want the cervix  
19 to be dilated at least to accept the same size millimeter  
20 cannula as the fetus is in gestational age; is that  
21 accurate?

22 A. Yes. There is some leeway there, but as a general rule,  
23 yes.

24 Q. Just, for example, like for 15 week fetus, you would  
25 want to dilate enough for the cervix to accept a 15



1 millimeter cannula; is that correct?

2 A. Correct, except those aren't available right now where I  
3 practice, so I might use a 14 millimeter instead.

4 Q. A 15 millimeter cannula is not available; is that  
5 correct?

6 A. Where I currently practice, yes.

7 Q. Doctor, to achieve that dilation, you would begin the  
8 extraction procedure; correct?

9 A. Yes.

10 Q. And at 16 weeks and above, the same rule applies that  
11 you would want to dilate a cervix at least to accept a 16  
12 millimeter cannula; is that right?

13 A. Yes.

14 Q. And if you achieve that degree of dilation, you would  
15 begin the extraction procedure?

16 A. Yes. Again, there is some leeway.

17 Q. Okay, so, for example, on a 19 or 20 week fetus, if the  
18 fetus would accept a 16 millimeter cannula, you would then  
19 begin the extraction procedure?

20 A. Yes.

21 Q. And you have the skill to perform a D & E on fetuses 16  
22 to 20 weeks with 15 and 16 millimeters of dilation; is that  
23 correct?

24 A. Yes.

25 Q. And at these -- at 16 millimeters in dilation and a

1 fetus 16 weeks and older, the fetus will come out in smaller  
2 pieces; is that correct?

3 A. Typically, yes.

4 Q. Doctor, is as a general rule, if you have at least  
5 one-half of the biparietal diameter and dilation, you begin  
6 the extraction procedure; is that correct?

7 A. Let me think about that. I guess as a general rule, I  
8 don't think about it that way. I know that if I can insert  
9 a 16 millimeter suction curette that I can complete the  
10 procedure with the -- for the gestational ages we have been  
11 talking about.

12 Q. And when dilating the cervix between 13 and 16 weeks,  
13 you generally use one set of laminaria the day before the  
14 procedure; is that correct?

15 A. Typically, yes.

16 Q. And you prefer to have the laminaria in between 12 and  
17 24 hours; is that correct?

18 A. If I'm using one set, yes.

19 Q. And after that 12- to 24-hour period, the patient would  
20 then return to the clinic; correct?

21 A. Yes.

22 Q. Then on the second day, Misoprostol would be  
23 administered about an hour or two before the extraction  
24 procedure; correct?

25 A. Yes.

1 Q. And after the Misoprostol, you would then begin  
2 extraction after that one to 2-1hour period; correct?

3 A. Typically, yes.

4 Q. And for fetuses that are 16 weeks and older, you  
5 generally use two sets of laminaria within that 24-hour  
6 period; is that correct?

7 A. Seventeen weeks and older, sometimes use a single set  
8 for 16, especially if the patient is parous.

9 Q. And then you would insert one set initially, and then  
10 the second set, I believe you said, about four hours after  
11 that?

12 A. Yes.

13 Q. And that's on the same day; correct?

14 A. Yes.

15 Q. And then on the second day, the woman then would return  
16 to the clinic; correct?

17 A. Yes.

18 Q. And she would receive Misoprostol approximately one to  
19 two hours before the procedure; correct?

20 A. Typically, yes.

21 Q. Doctor, I believe you testified on direct that if you  
22 don't obtain sufficient dilation we just talked about, that  
23 you occasionally use metal dilators; is that correct?

24 A. Yes.

25 Q. And it's about 5% of the time that you have to resort to

1 the metal dilators; is that correct?

2 A. Possibly.

3 Q. And, Doctor, would you agree that metal dilators  
4 increase the risk of uterine perforation?

5 A. Yes, there is some evidence that suggest that a slower  
6 preparation or dilatation of the cervix decreases the risk  
7 of uterine injury in general.

8 Q. Doctor, there are other side effects associated with  
9 Misoprostol; is that correct?

10 A. Yes.

11 Q. Would the risk of allergic infection, excuse me, risk of  
12 allergic reaction be one of the side effects?

13 A. Yes.

14 Q. Chills?

15 A. Yes.

16 Q. Nausea?

17 A. Yes.

18 Q. Diarrhea?

19 A. Yes.

20 Q. Fever?

21 A. Yes.

22 Q. And --

23 A. Most -- Go ahead.

24 Q. Side effects occur fairly frequently; correct?

25 A. Yes. They are typically mild.

1 Q. And, Doctor, you typically give the same dosage of  
2 Misoprostol to all your second trimester abortion patients;  
3 is that correct?

4 A. Yes.

5 Q. You don't vary the amount depending on the gestational  
6 age of the fetus; correct?

7 A. In the context of cervical preparation for D & Es, yes.

8 Q. And, Doctor, Misoprostol causes uterine contractions;  
9 correct?

10 A. Yes.

11 Q. And in your experience, you've noticed the use of  
12 Misoprostol caused the fetal tissue to bulge through the  
13 cervix; is that correct?

14 A. It causes the fetal tissue to be lower in the uterus in  
15 general which is very helpful to me, and sometimes I do see  
16 pregnancy tissue bulging.

17 Q. And that's evidence of the uterine contractions?

18 A. I believe so.

19 Q. Doctor, when you begin the extraction procedure during  
20 your second trimester D & Es, the first thing you do is  
21 insert this suction cannula and remove the amniotic fluid;  
22 is that correct?

23 A. The first thing I do?

24 Q. Yeah, when you begin your extraction procedure.

25 A. Typically, I mean, sometimes if the membranes are

1 bulging, then I will rupture them with a different  
2 instrument, but yes.

3 Q. And the purpose of the suction is to vacuum the amniotic  
4 fluid; is that correct?

5 A. Yes, and to bring pregnancy tissue closer to the cervix.

6 Q. And for 13 and 14 week fetuses, suction alone will  
7 remove most of the fetal tissue; is that correct?

8 A. Yes, in my experience.

9 Q. And then for 15 and 16 week fetuses, sometimes a  
10 majority of the fetus will come through just using that  
11 initial suction; is that correct?

12 A. Yes.

13 Q. At 17 weeks, the fetal limbs are sometimes -- can come  
14 through that initial suction; correct?

15 A. Yes.

16 Q. And, Doctor, you use a tenaculum during your second  
17 trimester D & E procedures; is that correct?

18 A. Yes.

19 Q. And the purpose of that instrument is to provide  
20 traction on the cervix; is that correct?

21 A. Yes, and to straighten the canal.

22 Q. Okay. Doctor, if you could open the binder in front of  
23 you to Defendant's Exhibit 677, please. Would you tell me  
24 what this is, Doctor, this document?

25 A. This is some educational material about a D & E

1 procedure that's given to clients at the Emma Goldman  
2 Clinic.

3 Q. And did you produce this document to the Government in  
4 the course of discovery?

5 A. Did I produce this document to the Government in the  
6 course of discovery? As fulfilling your request, yes.

7 Q. Yes.

8 A. Yes.

9 Q. And does this document fairly reflect information and  
10 materials that are given to women who receive second  
11 trimester abortions at the Emma Goldman Clinic?

12 A. Yes.

13 Q. Doctor, if you could turn to page 4-65? There is some  
14 illustrations. We have talked a lot about different  
15 technical tools. Just like to put a picture with some of  
16 them. There are two pictures of laminaria. Does that  
17 accurately reflect the laminaria that you have been talking  
18 about today?

19 A. Yes.

20 Q. And the picture on the right under placement of  
21 laminaria in the cervix, is that typically how you would  
22 insert laminaria in the cervix during your second trimester  
23 D & E procedures?

24 A. I'm not sure I understand the question.

25 Q. Well, Does this picture accurately reflect the placement

1 of laminaria in the cervix in the second trimester D & E  
2 procedure?

3 A. More or less.

4 Q. Doctor, could you turn to page 4-66, please? There is a  
5 device in this diagram labeled cervical stabilizer. Is that  
6 a tenaculum?

7 A. Well, yes. If you read above it, it says the doctor  
8 will attach a tenaculum, a clamp that holds the uterus  
9 steady during the procedure, and they are trying to -- they  
10 tried to produce a representation of that tenaculum.

11 Q. Does that fairly reflect how you use a tenaculum during  
12 your second trimester D & E procedures?

13 A. Again, I'm not sure I understand the question. Does  
14 what I do look like the picture? I guess it pretty much  
15 does.

16 Q. Okay. Doctor, there is also on that diagram a weighted  
17 speculum, and what does that instrument do?

18 THE COURT: Once again, counsel, we have all this  
19 fancy equipment. If you want to project it on the  
20 television screen and have the doctor testimony from there,  
21 feel free to do that, but I leave it to your good judgment?

22 MR. WARDEN: Thank you, Your Honor.

23 THE WITNESS: I do not typically use a weighted  
24 speculum. I use a bivalve speculum. On occasion I have  
25 used a weighted speculum.



1 BY MR. WARDEN:

2 Q. Could you flip to page 4-64? What type there is -- what  
3 type of speculum is that?

4 A. That's a bivalve speculum.

5 Q. That's, is that a diagram an accurate reflection of the  
6 bivalve speculum you use in your second trimester abortion  
7 procedures?

8 A. It's an accurate depiction of a speculum. It's not an  
9 exact representation of the type of speculum I use in regard  
10 to shape and size and components.

11 Q. Okay.

12 A. But it is a picture of a speculum.

13 Q. Okay. Thanks. Doctor, with respect to the use of the  
14 tenaculum, you place that on the cervix before you begin the  
15 initial suction; is that correct?

16 A. Yes.

17 Q. Okay. And after performing your initial suction, you  
18 would remove the tenaculum, then insert the grasping  
19 forceps; is that correct?

20 A. Could you repeat the question?

21 Q. Sure. After you perform your initial suction, you then  
22 remove the suction then insert the grasping forceps;  
23 correct?

24 A. Yes.

25 Q. So the forceps and the cannula are not inside the cervix

1 or the uterus at the same time?

2 A. Correct.

3 Q. So it's one or the other?

4 A. Yes.

5 Q. Okay. Doctor, with the tenaculum, I believe you said  
6 you were pulling the cervix closer to the vaginal introitus;  
7 is that correct?

8 A. Yes.

9 Q. I think you testified on direct that you consider that  
10 descensus, is that correct, is that the proper term?

11 A. Yes.

12 Q. And that's because you're using an instrument to pull  
13 the cervix closer; is that correct?

14 A. Yes.

15 Q. Doctor, instrumental descensus of the cervix is distinct  
16 from a condition known as uterine prolapse; is that correct?

17 A. Yes, but if they have, if the patient has preexisting  
18 uterine prolapse, you're likely to get much greater  
19 descensus when you apply the tenaculum and apply traction.

20 Q. Doctor, when you are pulling on the cervix with the  
21 tenaculum, is the cervix in place at that point?

22 A. Yes.

23 Q. When you're pulling, does the speculum ever fall out?

24 A. On occasion, yes.

25 Q. Why does that happen?

1 A. Because pulling the cervix down pushes the speculum out  
2 of the vagina.

3 Q. So as you're pulling the cervix closer to the vaginal  
4 introitus, is it more likely the speculum will fall out?

5 A. I don't understand the question.

6 Q. As you're pulling -- You said you were pulling the  
7 cervix closer to the vaginal introitus. If you are pulling  
8 it further and further, is it more likely the speculum will  
9 fall out if you are pulling further?

10 A. I guess the better way of phrasing it would be if -- the  
11 more descensus you have and the further you can pull the  
12 cervix down or the closer you can pull it to the introitus,  
13 the more likely the speculum is to fall out, yes.

14 Q. How often, in your experience, does the speculum fall  
15 out during your second trimester D & E procedures?

16 A. Just on occasion.

17 Q. A little more specific than that? Could you give a  
18 percentage perhaps?

19 A. Perhaps 1% of the time it actually falls out and needs  
20 to be replaced. I mean, often, it's partially outside of  
21 the vagina but doesn't completely fall out.

22 Q. So it would become dislodged on occasion but not fall to  
23 the ground, for instance?

24 A. It might even fall to the ground, but it can become  
25 dislodged, but more often just the portion of it comes out

1 of the vagina, not the entire speculum.

2 Q. How frequently would it occur that you would have to --  
3 that it would become dislodged such that you would have to  
4 readjust the speculum?

5 A. Again, I would estimate maybe 1% of the time.

6 Q. One percent?

7 A. Um-hm.

8 Q. If you could, from the informed consent materials, if  
9 you could flip back to page 4-67, please. Could you tell me  
10 what that is a picture of?

11 A. It's a picture of grasping forceps used for a D & E.

12 Q. Is this drawing an accurate depiction of the forceps you  
13 use during your second trimester D & E procedures?

14 A. More or less. I mean, to me it looks like a Soffer  
15 forceps, but I would have to actually place the picture of  
16 the Soffer next to it to see if maybe the screw is in the  
17 right location. I don't know if it's an exact  
18 representation to that level of detail, but yes, it's a  
19 picture of forceps similar to that that we use.

20 Q. And, Doctor, when you begin to extract the fetal tissue  
21 with the forceps, I believe you testified that you reach in  
22 and grab whatever fetal part is presenting on that specific  
23 occasion; is that correct?

24 A. That's correct. I reach in and grasp whatever my  
25 forceps reach, and it might not actually be the official

1 presenting part.

2 Q. Um-hm.

3 A. But whatever I run into first.

4 Q. You don't try to grab a specific fetal part; is that  
5 correct?

6 A. Yes, that's correct.

7 Q. And you don't manipulate the fetus into a certain  
8 position before beginning the extraction procedure with the  
9 forceps; correct?

10 A. Correct.

11 Q. And once you have grasped a part, I believe you  
12 testified you pull on it and use firm traction to remove it;  
13 is that correct?

14 A. Yes.

15 Q. And that traction is obtained against the cervical os;  
16 is that correct?

17 A. Yes.

18 Q. And is it the resistance between the cervical os and the  
19 grasped part, that's what causes the disarticulation; is  
20 that correct?

21 A. Yes, though the disarticulation can also occur in the  
22 vagina and in the cervix, so beyond the internal os, but the  
23 majority of the time it occurs at the level of the internal  
24 os.

25 Q. So it's an internal disarticulation; correct?

1 A. I don't know -- I don't use that term, so could you  
2 define that?

3 Q. Well, let's just let that one go?

4 A. Okay.

5 Q. Doctor, if the part doesn't want to come, you use a  
6 twisting motion on occasion; is that correct?

7 A. Yes. Sometimes I find that that helps me figure out  
8 which direction it will come more easily.

9 Q. If you encounter resistance, you may compress the tissue  
10 with the forceps; is that correct?

11 A. Yes, or in the process, I'll start regrasping, yes.

12 Q. You're squeezing firmly so you compress that tissue; is  
13 that right?

14 A. Yes.

15 Q. And, Doctor, when you're extracting the tissue,  
16 dismemberment typically occurs around the joints of the  
17 fetus; is that correct?

18 A. Yes.

19 Q. And, Doctor, once you remove all the large parts of the  
20 fetus, you use suction at the end of the procedure to remove  
21 the smaller pieces of the tissue; is that correct?

22 A. Yes.

23 Q. And what other steps do you take in your procedure to  
24 avoid retained fetal tissue?

25 A. If I'm not sure I have retrieved all the major parts

1 during the procedure, I will use an ultrasound to check for  
2 retained tissue. I also check the fetal tissue after the  
3 procedure to make sure I have an adequate amount and try to  
4 identify all the major parts. Those are the main things.

5 Q. And do you check the fetal tissue that's come through  
6 the suction machine as well to make sure you have all the  
7 fetal parts; is that correct?

8 A. If I am not sure that I have obtained it without the  
9 suction.

10 Q. You may use a curette on some occasions to ensure all  
11 fetal tissue has been removed?

12 A. Sometimes, yes, but I use a curette only on a minority  
13 of cases, a sharp or blank curette as opposed to the suction  
14 curette.

15 Q. Doctor, D & E is a safe procedure; correct, Doctor?

16 A. Yes.

17 Q. But there are some potential complications with D & E;  
18 correct?

19 A. Yes.

20 Q. And the likelihood of encountering one of those  
21 complications is about 1% or less; is that correct?

22 A. Could you restate that question, please?

23 Q. Sure. The likelihood of encountering a complication  
24 during a D & E procedure is 1% or less; is that correct?

25 A. Of encountering a major complication, I would agree that

1 that's about right. It's perhaps about 1% or less for  
2 possible infections or possible perforation, so I guess if  
3 somebody had two complications, it might be more frequently  
4 than that, so yeah.

5 Q. So for things like infection, hemorrhage, uterine  
6 perforation, cervical laceration, it would be 1% or less?

7 A. One percent or less, yeah.

8 Q. That's based on your understanding of the medical  
9 literature and your experience?

10 A. Yes.

11 Q. Doctor, in your experience, you have never had a case of  
12 uterine perforation during a second trimester D & E while  
13 you have performed the procedure; correct?

14 A. Not to my recollection, correct.

15 Q. And, in fact, you take a number of steps to avoid  
16 uterine perforation while you're performing your D & E; is  
17 that right?

18 A. Yes.

19 Q. Use ultrasound when necessary; is that correct?

20 A. Yes.

21 Q. And you try to minimize the amount of instrumental  
22 manipulation; is that correct?

23 A. Within the uterus, yes.

24 Q. And typically, Doctor, there isn't much blood loss  
25 during a second trimester D & E; is that correct?



1 A. Correct.

2 Q. And you have never encountered an infection while  
3 performing a second trimester D & E; is that correct?

4 A. It's not to my recollection. It's possible that, for  
5 instance, somebody at the -- who had had a D & E at the  
6 University or the Emma Goldman Clinic followed up elsewhere  
7 with an infection we didn't get to know about, but I'm not  
8 aware of any off the top of my head.

9 Q. Okay. And you have never had a surgical laceration  
10 that's caused a hemorrhage during a second trimester D & E;  
11 is that correct?

12 A. I have. I don't remember the gestational age. I  
13 believe it was -- there was a case about 14 weeks, I  
14 believe, and the majority was completed via the suction and  
15 then a calvarium was retrieved with ring forceps and the  
16 ultrasound's guidance, and following that, there was profuse  
17 bleeding from the cervix, and then I placed a clamp on the  
18 cervix to control the bleeding. We had the patient  
19 transferred to the University because it was done at the  
20 Emma Goldman Clinic and did a laparoscopy to make sure there  
21 were no perforations or entrapped abdominal bleeding, and  
22 she had received agents to cause uterine contraction to  
23 prevent bleeding, but she kept bleeding until I inserted a  
24 Foley bulb into the uterus and put traction against the  
25 cervix, so my suspicion was she might have had a high

1 cervical laceration that I could not see under direct  
2 visualization.

3 I know it was early in the second trimester. Might have  
4 been even 13 weeks. I do not recall specifically. She did  
5 lose a fair amount of blood through all this. I don't  
6 remember, but I think she may have required a blood  
7 transfusion, and that is one case I can recall there was  
8 excessive bleeding with the D & E.

9 Q. Doctor, on direct, you testified that you studied under  
10 a physician who would perform a second trimester abortion  
11 by, I believe, attempting to remove the fetus entirely  
12 intact and would puncture the base of the skull and suction  
13 the cranial contents; is that correct?

14 A. Yes.

15 Q. And you participated in several of those abortions  
16 during your residency; is that right?

17 A. Yes.

18 Q. Since your residency, you have never performed an  
19 abortion in that fashion; is that correct?

20 A. Correct.

21 Q. Doctor, in performing your second trimester D & Es, you  
22 just said the 16 millimeter cannula is the cannula you use  
23 to suction the fetal tissue when the fetus is 16 weeks or  
24 older; is that correct?

25 A. Yes, sometimes 15 weeks, but generally 16 millimeters, I

1 mean 15 millimeters, but generally 16 millimeters.

2 Q. And in order to puncture the skull and evacuate the  
3 cranial contents, you would have to use a much smaller  
4 cannula; is that correct?

5 A. Yes. In fact, I don't remember the size of the cannula  
6 that we use, but I think it was a 9 or a 10 millimeter  
7 cannula or a smaller even.

8 Q. Those types much cannula tubes aren't on the tray of  
9 instruments that you use when you perform your second  
10 trimester D & E procedures; is that correct?

11 A. They are available in the clinic, but it would require  
12 switching the tubing, and I don't request that.

13 Q. Okay. Doctor, you testified on direct that you've  
14 encountered several occasions where you extracted the entire  
15 body of the fetus except for the head, and the head was  
16 lodged; is that correct?

17 A. Yes. I clearly remember one case where the body was  
18 intact up to the level of the calvarium and another where  
19 one extremity was removed; otherwise, the body was intact up  
20 to the level of the calvarium.

21 Q. You can only remember one specific occasion; is that  
22 correct?

23 MS. SMITH: Objection, Your Honor.

24 Mischaracterizes her testimony. I think she just testified  
25 about two occasions.

1 THE COURT: The objection will be sustained. But  
2 you can go back and clarify it. I understand why.

3 MR. WARDEN: Sure.

4 BY MR. WARDEN.

5 Q. You've only encountered two occasions, Doctor, that you  
6 remember where this situation has happened?

7 A. Yes.

8 Q. Doctor, you didn't specifically try to extract the  
9 entire body of the fetus and have the head get stuck during  
10 that procedure; correct?

11 A. No, but I considered it a good thing.

12 Q. Right. And the gestational age of the fetus in those  
13 situations where the body was out and the head was stuck was  
14 18 to 20 weeks; is that correct?

15 A. The one, I believe, was 18 to 19 weeks and at the Emma  
16 Goldman Clinic, and the one at the University was 21 weeks.

17 Q. And in those situations, you used your typical dilation  
18 protocol, the two sets of laminaria and the Misoprostol; is  
19 that correct?

20 A. I believe so. Sometimes we don't use the Misoprostol in  
21 the case of a previous C section or abdominal laminectomy,  
22 but I believe we used in those two cases, and I believe  
23 that's why we had the greater disarticulation.

24 Q. In those particular situations you disarticulated the  
25 body from the head; is that correct?

1 A. I take that back. The first one at the Emma Goldman  
2 Clinic occurred shortly after I started there, and we  
3 weren't using the Misoprostol initially, so probably just  
4 the two sets of laminaria for one of those.

5 Q. Okay. When the head was stuck, you disarticulated the  
6 body from the head; is that correct?

7 A. Yes.

8 Q. And you removed the body, compressed the head and  
9 removed the head; is that correct?

10 A. Yes.

11 Q. And in decompressing the skull, you're trying to reduce  
12 its sides so it can fit through the cervix?

13 A. Yes.

14 Q. And when you are doing this, you're trying to remove  
15 skull pieces so the liquid brain will empty from the cranium  
16 and the head will decrease in size; is that correct?

17 A. And in compressing it, if it doesn't fit, and in my  
18 experience it hasn't fit without decompressing it in the  
19 process of crushing it or grasping it, it becomes punctured  
20 enough so that the cranial contents will drain, and then it  
21 will fit through the cervix.

22 Q. When you compress the skull in that fashion, it creates  
23 skull fragments; is that correct?

24 A. Sometimes, yes.

25 Q. Those fragments can cause lacerations; correct?

1 A. Yes.

2 Q. You testified earlier, Doctor, that you perform second  
3 trimester abortions on fetuses with anomalies and  
4 malformations; is that correct?

5 A. Yes.

6 Q. And about, I think you said, 10 to 20% of the abortions  
7 you perform are for fetal anomalies; is that correct?

8 A. Plus maternal medical conditions.

9 Q. Oh. Okay. What percentage of your cases involves  
10 solely fetal anomalies?

11 A. Solely fetal anomalies?

12 Q. Is that 10%?

13 A. Yeah, of that about 90 or 95% would be for fetal  
14 anomalies rather than maternal medical conditions.

15 Q. So that's 95% of the ten to 20%?

16 A. Yeah.

17 Q. Okay. You have had experience performing abortions on  
18 fetuses of a variety different anomalies; correct?

19 A. Yes.

20 Q. You testified neurotubule defects?

21 A. Yes.

22 Q. There is chromosomal defects; is that right?

23 A. Yes.

24 Q. Various organ malformations; is that correct?

25 A. Yes.

1 Q. You performed a D & E in all those situations; correct?

2 A. I have performed D & Es in those situations. I have also  
3 been involved in induction termination for similar  
4 indications.

5 Q. Doctor, you have had experience performing abortion on  
6 fetus with hydrocephalus; is that correct?

7 A. Yes.

8 Q. You performed a D & E on that fetus?

9 A. Yes, it was mild hydrocephalus, and I performed a D & E.

10 Q. You don't have to modify your D & E procedure because of  
11 the hydrocephalus?

12 A. No.

13 Q. Dr. In cases of fetal anomaly, you're usually not the  
14 physician who diagnoses the anomaly; is that correct?

15 A. Correct.

16 Q. Typically women who come to the University of Iowa, they  
17 are referred from an outside physician who would diagnose  
18 that anomaly, and they are referred to the University; is  
19 that correct?

20 A. Yes, or it's diagnosed or they are referred to the  
21 University for testing, and it's diagnosed at our Fetal  
22 Diagnosis and Therapy Center.

23 Q. Um-hm. What is Fetal Diagnosis and Therapy Center?

24 A. What is it?

25 Q. Yeah.

1 A. It's a clinic run by a maternal fetal medicine  
2 specialist. It includes sonographers and genetic counselors  
3 and MFMs.

4 Q. So a woman with a fetal anomaly referred to the  
5 University of Iowa would initially go to that Fetal  
6 Diagnosis and Testing Center; is that correct?

7 A. The FTC Center, typically, yes.

8 Q. Then the physicians in that center would then counsel  
9 the woman regarding her options of continuing the pregnancy;  
10 is that correct?

11 A. Yes.

12 Q. Then if the woman elected to terminate the pregnancy,  
13 then they would call you or bring you in to perform the  
14 termination procedure; is that accurate?

15 A. Or one of my colleagues if it's a D & E. If it's an  
16 induction termination, then they just set it up and who's  
17 ever covering the labor floor supervises that process, and  
18 it might change several hands because it can be sometimes a  
19 long process.

20 Q. Doctor, in the cases of fetal anomalies, it's the  
21 mother's choice to have the abortion; is that correct?

22 A. Yes.

23 Q. A portion for fetal anomaly is not necessitated by  
24 health reasons; is that correct?

25 A. Correct.



1 Q. Doctor, in cases of fetal anomalies at the University of  
2 Iowa, the extracted tissue is taken for a pathology exam; is  
3 that correct?

4 A. Typically, yes.

5 Q. Prior to the pathology exam, you, as the physician who  
6 is performing the abortion, has some information about the  
7 anomaly; is that correct, an initial diagnosis, perhaps?

8 A. Yes. Just a second. You lost me. Is this for -- Can  
9 you back up again two questions back?

10 Q. Sure. In the case of fetal anomalies, when you're  
11 performing the termination procedure, you had some  
12 information, you, as the physician who is performing the  
13 termination procedure, about that anomaly either from the  
14 amniocentesis or sonography; is that correct?

15 A. Yes.

16 Q. Okay. In your experience, the additional information  
17 provided by the subsequent pathology exam is not required to  
18 diagnose that anomaly; is that correct?

19 A. Yes. I can't think of an instance where it was  
20 required. Now, sometimes the patient has declined an  
21 amniocentesis, and then they are still interested in  
22 obtaining a chromosome analysis so then that will be  
23 performed on the fetal tissue if possible. If there was a  
24 certain genetic syndrome that the FDT staff were concerned  
25 about and they wanted additional information from the fetus

1 after it has been -- that would be taken into consideration.

2 Q. And the information obtained, in your experience, from  
3 the pathology exam doesn't add to any of the genetic  
4 counseling that occurs in relationship to that anomaly; is  
5 that direct?

6 A. Correct.

7 Q. Doctor, you can confirm a chromosomal anomaly with a  
8 disarticulated part of the fetus; is that correct?

9 A. Yes.

10 Q. Doctor, you're comfortable performing D & Es in cases  
11 where continuing the pregnancy is contraindicated for  
12 maternal health reasons; is that correct?

13 A. Could you repeat the question?

14 Q. Sure. You're comfortable performing D & E abortions in  
15 cases where continuing the pregnancy is contraindicated for  
16 maternal health reasons; is that correct?

17 A. Yes.

18 Q. And you've performed abortions D & E abortions on women  
19 with maternal health complications; is that correct?

20 A. Yes.

21 Q. I believe you testified about one case involving severe  
22 pre-eclampsia earlier?

23 A. Yes.

24 Q. And you performed a D & E abortion on that woman; is  
25 that correct?

1 A. Yes.

2 Q. In your opinion, the D & E procedure was the safest way  
3 to evacuate the fetus from the mother in that particular  
4 situation; is that correct?

5 A. Yes.

6 Q. And I believe you testified that you've performed  
7 abortions on women with history of pulmonary embolus; is  
8 that correct?

9 A. I have.

10 Q. And I believe you testified earlier you've performed a D  
11 & E abortion in the case of, I believe it was a ruptured  
12 brain aneurysm; is that correct?

13 A. Yeah. Actually, in my deposition, I said it was a  
14 ruptured aneurism, and then after that we reviewed the  
15 information I had, and it was a ruptured AVM, but the  
16 management is similar.

17 Q. Doctor, if the fetus is near viability and the mother  
18 has a severe maternal health complication, say,  
19 pre-eclampsia, for example, how do you treat the mother and  
20 the fetus in that situation?

21 A. We weigh the risks of continuing the pregnancy with the  
22 mother with the risk of prematurity to the fetus with  
23 delivery.

24 Q. How do you evaluate those risks?

25 A. That's a broad question, and I can give you examples?

1 Q. Sure.

2 A. Can you or can you -- We counsel the patients regarding  
3 the prognosis of the fetus with premature delivery. That's  
4 usually done by our NICU staff. Counsel the patient  
5 regarding the risk to her health in terms of how do we  
6 evaluate those risks, we look at the medical literature and  
7 also the our local experience.

8 Q. Doctor, you don't perform induction abortions at the  
9 Emma Goldman Clinic; is that correct?

10 A. Yes.

11 Q. You have never performed an induction abortion on one of  
12 your private patients; is that correct?

13 A. Yes.

14 Q. And I think you said that you have never performed  
15 induction abortion from start to finish, but you may  
16 occasionally be involved in steps along the way; is that  
17 accurate?

18 A. Can you repeat that question?

19 Q. Sure. I think you testified on direct you have never  
20 performed an induction abortion from start to finish, but  
21 you have been there either supervising or aiding in the  
22 process along the way; is that correct?

23 A. Never say never. I don't know that I would use that  
24 terminology. If I did, it was a mistake because I may have  
25 been involved from start to finish in a very quick

1 induction, or if I were on a 24-hour-plus call, I can't  
2 recall specifically any instances, but I probably have been  
3 involved from start to finish excluding the initial  
4 counseling session.

5 Q. And in your opinion, there is some circumstances in  
6 which an induction abortion is contraindicated for one  
7 reason or another; is that correct?

8 A. Yes.

9 Q. In those situations, you perform a D & E abortion;  
10 correct?

11 A. Correct.

12 Q. Doctor, in cases where neither the D & E or induction  
13 method is contraindicated, the decision between the two  
14 procedures is a matter of informed consent for the patient;  
15 is that correct?

16 A. Yes, and also a matter of availability of staff and a  
17 matter of logistical considerations. Again, we don't  
18 perform induction terminations at the Emma Goldman Clinic,  
19 so they are not even offered there to the patient as an  
20 option because we don't have a facility and staff available  
21 24 hours of the day.

22 Q. At the University of Iowa, if either a D & E or  
23 induction is contraindicated, both those options would be  
24 presented in the informed consent; is that correct?

25 A. Again, if we have staff available, occasionally people

1 are at conferences. There was one instance where one of our  
2 faculty who doesn't normally perform them agreed to perform  
3 one on the labor floor, and then her mother needed emergency  
4 surgery, and in order to allow her to be with her mother, I  
5 came off my maternal leave to complete the D & E, so again,  
6 staff availability can be an issue sometimes.

7 Q. Doctor, you consider the labor induction method to be a  
8 safe method of abortion; is that correct?

9 A. Yes.

10 Q. Doctor, I believe for induction abortions at the  
11 University of Iowa, you testified that Misoprostol is  
12 typically the induction agent that's used; is that correct?

13 A. More recently, yes.

14 Q. And research has proven that Misoprostol is safe for the  
15 labor induction process; is that correct?

16 A. Yes.

17 Q. And in your experience, Misoprostol is faster than the  
18 other Prostaglandins available; is that correct?

19 A. In general, yes.

20 Q. In your experience, you have seen a lower rate of  
21 retained placenta through the use of Misoprostol than the  
22 other agents; is that correct?

23 A. Yes.

24 Q. Doctor, you testified on direct that you've never  
25 performed an injection of Digoxin or KCL or potassium

1 chloride to induce fetal demise before beginning an abortion  
2 procedure; is that correct?

3 A. Correct.

4 Q. You're not very familiar with medical literature  
5 regarding risk of Digoxin; is that correct?

6 A. Correct, just a general sense knowing risks are small  
7 but not absent.

8 Q. Doctor, the skill required to do an intraamniotic  
9 injection of Digoxin would take no more skill than the skill  
10 required to do a basic amniocentesis; is that correct?

11 A. It would take -- it depends if you wanted to do an  
12 intrafetal injection or intraamniotic.

13 Q. Intraamniotic?

14 A. So that is correct.

15 Q. And an intracardiac injection, that would be simple for  
16 a practitioner to learn, too; correct?

17 A. Yeah. It would take a little more skill but yes.

18 Q. Doctor, you said when performing induction abortions at  
19 the University of Iowa, is it a general policy to induce  
20 fetal demise after 22 weeks; is that correct?

21 A. Yes.

22 Q. And the purpose of that policy is to avoid a live fetus  
23 that could be viable; is that correct?

24 A. Not necessarily viable but just living at the time of  
25 birth. Even if it's truly 22 weeks and nonviable, it

1 involves less, might be less traumatic on the mother, and  
2 then if it is, if the dating is off, there is poor dating or  
3 because ultrasound dating can be off by 20%, then it avoids  
4 confusion on the part of the staff in regard to  
5 resuscitation issues.

6 Q. You said the dating of the gestational age of the fetus  
7 can be imprecise; is that correct?

8 A. Yes. We prefer to have ultrasound dating as much as  
9 last menstrual period dating. Sometimes we have IVF dating,  
10 invitro fertilization dating. Obviously that is very good  
11 dating, but a woman might have regular cycles, not know when  
12 her last period was. She might not have had one if she  
13 was -- certain types of contraception and what not.

14 Q. And that dating could be off by up to two weeks on some  
15 occasions; is that correct?

16 A. Yes.

17 Q. So, for example, a 23 week fetus could, in actuality, be  
18 25 weeks; correct?

19 A. In some cases, yes.

20 Q. Doctor, have you studied the risks of Digoxin versus  
21 amniocentesis?

22 A. Have I studied the risks of Digoxin versus  
23 amniocentesis. Can you rephrase that some way? I'm not  
24 sure I understand your question.

25 Q. Sure. Let me ask it this way. Have you seen any data



1 comparing the risks of amniocentesis to the risks of Digoxin  
2 with -- Strike that. Have you seen any data comparing the  
3 risks of amniocentesis to the risks of Digoxin?

4 A. Of Digoxin injection via amniocentesis?

5 Q. Um-hm?

6 A. Because injection involves an amniocentesis, so have I  
7 looked at data comparing the rates of complications with one  
8 versus the other? I have seen data on complications of  
9 amniocentesis in general, and I have seen data on  
10 complications of amniocentesis Digoxin injections, but I  
11 haven't seen them compared head-to-head.

12 Q. There has been no published data that would compare the  
13 amniocentesis, the risks or safety of an amniocentesis  
14 versus the risks associated with injecting Digoxin to induce  
15 fetal demise; is that correct?

16 A. I'm not sure I understand what you mean by no published  
17 data. I mean, they might in a review article, they might.  
18 I mean, I guess I'm not --

19 Q. You're not aware of any such studies or data; is that  
20 correct?

21 A. That look at them side-by-side, no.

22 Q. Doctor, you are a member of the National Abortion  
23 Federation; is that correct?

24 A. Yes.

25 Q. And you're a member of the National Abortion and

1     Reproduction Rights Action League; is that correct?

2     A.   Yes.

3                 MR. WARDEN:  One moment, Your Honor.  That's all,  
4     Your Honor.  Thanks.

5                 THE COURT:  You're welcome.  Redirect?

6                                 REDIRECT EXAMINATION

7     BY MS. SMITH:

8     Q.  Thank you, Doctor.  We are almost done.  Doctor, you  
9     said that on cross-examination, you just testified that  
10    disarticulation happens 100% of the time; is that correct?

11    A.  In my experience.

12    Q.  And by that, you're not excluding the cases that you  
13    discussed previously where the fetus came down intact up to  
14    the cranium?

15    A.  Right, because I count that as disarticulation.

16    Q.  Because when you either remove the fetal body, that's  
17    disarticulation, or when you're compressing the skull, you  
18    would include that in disarticulation?

19    A.  Well, when I'm removing the fetal body from the  
20    calvarium, then I count that as disarticulation, yes.

21    Q.  So completely intact means a fetus that has not had any  
22    distortion to it, no trauma at all; is that what you mean?

23    A.  I guess I would mean --

24                 MR. WARDEN:  Objection, Your Honor.

25                 THE COURT:  And the basis?

1 MR. WARDEN: She's leading the witness.

2 THE COURT: That will be overruled.

3 MS. SMITH: Thank you, Your Honor.

4 THE WITNESS: Completely intact to me would mean no  
5 missing major pieces.

6 BY MS. SMITH:

7 Q. Okay. Now, you also talked about the amount of dilation  
8 that you want in order to begin a procedure, a D & E  
9 procedure. Is there a difference between the minimum amount  
10 and the ideal amount of dilation?

11 A. Most definitely in my opinion.

12 Q. And what's the minimum amount you will accept and go  
13 ahead and do the procedure? Let's say at 16 weeks.

14 A. Right. So minimum, probably about sufficient dilatation  
15 to insert a 14 millimeter cannula and then --

16 Q. What would be the ideal?

17 A. Ideal would be -- I'll just talk about 16 to 20 weeks.

18 At 16 weeks, maybe one-and-a-half centimeters; and 17 to 20  
19 weeks, two to four centimeters would be ideal to me.

20 Q. And why do you prefer the two to four centimeters?

21 A. Because then fetus, then, for instance, will be more  
22 likely to come out predominantly intact. I have a better  
23 chance of the body coming out predominantly intact up to the  
24 level of the calvarium, at least, and that procedure then  
25 just involves, you know, one or two passes into the uterus,

1 no small fragments. It's faster, shorter, it's less  
2 uncomfortable to the patient, and there is less chance of  
3 uterine injury.

4 Q. Thank you, Doctor. Now, you also testified that  
5 dismemberment typically occurs around the joint. Is that  
6 true that it sometimes occurs at other places?

7 A. Yes. I mean, just dismemberment could occur at other  
8 places. Like I said, I typically use the word  
9 disarticulation if it is occurring at a joint, but smaller  
10 pieces might be removed that don't necessarily involve the  
11 joints.

12 Q. So when you say disarticulation, you're using that as a  
13 very specific term to mean taking a part at the joints; is  
14 that right, and dismemberment means something different?

15 A. I guess so. I don't use these terms in my practice at  
16 all. I use them in the context of this trial as this  
17 language has -- is being used.

18 Q. Okay. Now, you also testified about steps that you take  
19 to reduce the risk of uterine perforation?

20 A. Can I just go back? I do use the word disarticulation  
21 sometimes but not to the detail that we are discussing it  
22 right now. Okay. Go ahead.

23 Q. Okay. Doctor, you testified about steps that you take  
24 to reduce the risks of uterine perforation in your D & Es?

25 A. Yes.

1 Q. And you said you wanted to minimize the amount of  
2 instrumentation in the uterus. Do you do you that? How do  
3 you minimize the amount of instrumentation?

4 A. Well, number one, prepare the uterus to receive adequate  
5 dilatation and also avoid blindly reaching in and grabbing.  
6 If you don't feel anything, using the suction to bring more  
7 tissue down closer, using the ultrasound, if necessary, that  
8 type of thing.

9 Q. Okay. Thank you, Doctor. Now, you also testified that  
10 when you have to compress the skull, in the two situations  
11 that you discussed where the fetus has come out intact up to  
12 the head and you have had to compress the skull, that that  
13 exposes some bony fragments on the skull. Now, when you're  
14 performing a D & E where the fetus does not come out intact  
15 up to the head, do you sometimes also have to compress the  
16 skull?

17 A. Typically, I have to compress the skull.

18 Q. So are you exposing --

19 A. Can't think of a time when it's come out without being  
20 compressed.

21 Q. So in your regular D & Es where you're not taking the  
22 fetus out intact, you're still having to expose bony  
23 fragments in the skull; is that true?

24 A. Yes.

25 MS. SMITH: One moment, Your Honor. I have nothing

1 further, Your Honor.

2 EXAMINATION

3 THE COURT: Doctor, before you step down, tell me a  
4 little bit about the Emma Goldman Clinic. Physically, where  
5 is it situated?

6 THE WITNESS: It's located in downtown Iowa City  
7 and it's five minutes from the University of Iowa Hospital  
8 Clinic by car and about 12 minutes by foot.

9 THE COURT: Okay. Thank you. Can you give me a  
10 sense of its -- what's inside it? For example, does it have  
11 surgical suites, that sort of thing?

12 THE WITNESS: It has exam rooms and procedure rooms  
13 with examining tables, the suction machines, a sink, an exam  
14 light, it has separate sterilizing room.

15 THE COURT: All right.

16 THE WITNESS: And procedures are performed there  
17 under local anesthesia and oral or intramuscular with oral  
18 or intramuscular analgesia.

19 THE COURT: Is the clinic owned by the University.

20 THE WITNESS: No.

21 THE COURT: Who owns the clinic?

22 THE WITNESS: Who owns the clinic?

23 THE COURT: Well, let me ask you this: I don't  
24 much care, to be honest with you, but what I want to know,  
25 is it owned by a state entity or a private entity, or do you

1 know?

2 THE WITNESS: No. An independent nonprofit --

3 THE COURT: Okay.

4 THE WITNESS: Clinic.

5 THE COURT: All right. And the University has some  
6 sort of a relationship by which it provides your services as  
7 a part of its medical outreach and teaching  
8 responsibilities; is that right?

9 THE WITNESS: Correct.

10 THE COURT: Okay. So you aren't -- Are you paid by  
11 Emma Goldman, or are you paid by the University?

12 THE WITNESS: I'm on salary, and my paycheck comes  
13 from the University. There is a contract between the  
14 University and Emma Goldman Clinic, and they reimburse the  
15 University, the department of OB-GYN, a certain amount of  
16 money for the procedures we perform there.

17 THE COURT: All right.

18 THE WITNESS: That doesn't go into my pocket.

19 THE COURT: Okay. If you wanted to perform the  
20 procedures that you perform at Emma Goldman at the  
21 University Hospital, could you do that?

22 THE WITNESS: Well, we do do that when the patient  
23 falls out of the medical inclusion criteria for the  
24 procedures to be performed at the Emma Goldman Clinic, they  
25 are a member of the National Abortion Federation, and they

1 have certain guidelines that they follow, and if the clients  
2 have medical conditions that don't meet the inclusion  
3 criteria, then those terminations would be performed at the  
4 University in most cases.

5 THE COURT: All right. Let's assume that you have  
6 someone at Emma Goldman who would otherwise fit the  
7 admission criteria at Emma Goldman but for some reason or  
8 another would prefer to have the procedure done by you at  
9 the University. Will the University permit you to do that  
10 procedure at the University?

11 THE WITNESS: In general, we are discouraged from  
12 doing elective terminations at the University, but there is  
13 some physician discretion. So, for instance, once we had a  
14 very young girl who was very anxious, and it was thought  
15 that -- or they can't even tolerate an exam unless it's done  
16 under anesthesia, and then we ended up at the University and  
17 that might not meet the strict NAF exclusion criteria, but  
18 the physician, being that it was reasonable to do at the  
19 University instead of the Emma Goldman Clinic.

20 THE COURT: And the University discourages you  
21 because do you know why.

22 THE WITNESS: I don't know the specific details. I  
23 know we used to have our own clinic similar to the Emma  
24 Goldman Clinic that wasn't physically located within the  
25 University Hospital or across the street and where elective



1 terminations were performed including second trimester  
2 procedures, but the arrangement with the Emma Goldman Clinic  
3 was made, I believe, the year before my arrival on staff,  
4 and part of the reason was because the administration at  
5 that time did not want to put any more money or resources  
6 into the facilities at the University-run clinic. Also,  
7 that we just want to make sure that no state money goes  
8 towards elective procedures. So I think that's part of the  
9 concern, too.

10 THE COURT: Were you here during the testimony of  
11 Dr. Fitzhugh?

12 THE WITNESS: Today, yes.

13 THE COURT: Um-hm, and he testified that he was  
14 required under state law to do his procedures in a hospital.  
15 Do you recall that?

16 THE WITNESS: Right. Yes.

17 THE COURT: Okay. If you had the choice, where  
18 would you prefer to do the procedure?

19 THE WITNESS: I don't know. I think that it's  
20 safe, very safe to perform them in this -- at the Emma  
21 Goldman Clinic, so I don't have a problem with performing  
22 them there.

23 THE COURT: I didn't mean to suggest a problem. I  
24 just was interested in whether everything being equal,  
25 whether you would have a preference.



1 MS. SMITH: I just have one or two questions to  
2 clarify, Your Honor.

3 THE COURT: Go ahead.

4 BY MS. SMITH:

5 Q. Are there any reasons some patients would prefer to  
6 receive services at the Emma Goldman Clinic versus the  
7 hospital?

8 A. Yes.

9 Q. And what are they?

10 A. Less expensive. They might consider it more private or  
11 convenient, and plus there is a very woman-centered care  
12 philosophy there that is attractive to some people.

13 Q. And just because I don't know if it was clear in your  
14 testimony earlier, do you provide training for residents at  
15 the hospital and at the Emma Goldman Clinic or just one  
16 location or the other?

17 A. At both.

18 Q. At both, so you train them in abortion services at the  
19 Emma Clinic?

20 A. Yes, and at the University hospital.

21 Q. Do you perform D & Es in both locations?

22 A. Yeah.

23 Q. And do you perform inductions or supervise inductions in  
24 both locations?

25 A. No, just at the University of Iowa Hospital and the

1 clinic.

2 MS. SMITH: Thank you.

3 THE COURT: Counsel?

4 RECCROSS-EXAMINATION

5 BY MR. WARDEN:

6 Q. Just a few questions, Your Honor. Doctor, are there  
7 certain pregnancy conditions where performing a second  
8 trimester abortion in a hospital setting is preferable?

9 A. Yes.

10 Q. What are those conditions?

11 A. If the patient has severe cardiac disease and might even  
12 need an invasive monitoring, that needs to be done in the  
13 hospital.

14 Q. Any others besides severe cardiac disease?

15 A. Yes. Well, I can say part of the Emma Goldman Clinic  
16 exclusion criteria includes things like uncontrolled  
17 diabetes, uncontrolled seizure disorders, things of that  
18 nature.

19 Q. And can you describe, to the best of your knowledge,  
20 what the conditions are in the exclusion criteria?

21 A. Well, the ones I just mentioned. Also, if the patient  
22 has a history of uncontrolled asthma, I believe -- I don't  
23 have these all memorized because the Emma Goldman Clinic  
24 staff regularly refers to them when clients call in, and if  
25 there is a question that's not on the list, that's when they

1 consult me, but I do have the list in my office. Also, if  
2 there is a relative complication, might be if the patient  
3 has a large uterine fibroid that makes the procedure  
4 difficult, and I'm sorry, I can't think of them all at the  
5 moment.

6 Q. Doctor, are there certain pregnancy complications that  
7 arise during a second trimester abortion at the Emma Goldman  
8 Clinic where you would have to refer the patient to the  
9 University of Iowa hospitals and clinics?

10 A. Are there certain --

11 Q. Are there ever any circumstances in which you would be  
12 performing a second trimester abortion at the Emma Goldman  
13 Clinic in which a complication would arise and you would  
14 then have to take the patient for treatment at the  
15 University of Iowa hospitals and clinics?

16 A. Yes.

17 Q. Could you describe some of the circumstances?

18 A. Well, if there is complication of excessive bleeding,  
19 then that patient would be transferred to the University.  
20 If there is a known perforation, depending on the  
21 circumstances, that patient may be referred to the  
22 University.

23 Q. Is the Emma Goldman Clinic equipped to perform a blood  
24 transfusion?

25 A. No.

1 Q. Doctor, general anesthesia is not available at the Emma  
2 Goldman Clinic; correct?

3 A. Correct.

4 Q. It's available at the University of Iowa; correct, for  
5 second trimester abortions?

6 A. Yes.

7 Q. Just so we are clear, general anesthesia is not  
8 available at the Emma Goldman Clinic for second trimester  
9 abortions; is that correct?

10 A. Correct.

11 Q. And general anesthesia is available at the University of  
12 Iowa hospitals and clinics for second trimester abortions;  
13 is that correct?

14 A. Yes.

15 MR. WARDEN: That's all, Your Honor.

16 THE COURT: Redirect?

17 REDIRECT EXAMINATION

18 BY MS. SMITH:

19 Q. Thank you, Your Honor. Just one point of clarification  
20 that I'm afraid has gotten a little muddled here. Can you  
21 do elective second trimester abortions at the University of  
22 Iowa Hospital?

23 A. Yes.

24 Q. And are there certain restrictions on those abortions  
25 that you can perform at the University of Iowa Hospital?

1 A. Well, again, they have to fall outside of the inclusion  
2 criteria of the Emma Goldman Clinic or the Planned  
3 Parenthood Clinic.

4 Q. What are those exclusion criteria clinic for the Emma  
5 Goldman Clinic or the Planned Parenthood Clinic?

6 A. I don't have them all memorized, but again, things like  
7 severe cardiac disease, uncontrolled asthma, uncontrolled  
8 diabetes.

9 Q. In other words, if they have one of those conditions?

10 A. Right.

11 Q. Then they fall out of the exclusion criteria and they  
12 can't be seen at Emma or Planned Parenthood?

13 A. Right.

14 Q. And then they are allowed to be seen at University of  
15 Iowa?

16 A. Yes.

17 Q. Even if it's an elective?

18 A. Yes.

19 Q. So it has to be if it's an elective, it has to also have  
20 one of these other criteria. That means that it can't be  
21 performed at the clinic?

22 A. Yes.

23 MS. SMITH: Thank you, Doctor.

24 THE COURT: All right. May the doctor be excused?

25 MR. WARDEN: Yes. Your Honor, I neglected to move

1 to admit Defendant's Exhibit 677 during my examination. I  
2 would like to move to admit that at this time, if I may.

3 THE COURT: All right. May the doctor step down  
4 and be excused if she likes?

5 MS. SMITH: Yes. I'm sorry, yes. I'm sorry, Your  
6 Honor.

7 THE COURT: I would run, Doctor, if I were you.  
8 They said you could go. Now, the question is, do we receive  
9 into evidence 677?

10 MS. SMITH: May I have one moment, Your Honor?

11 THE COURT: Sure.

12 MS. SMITH: I have no objection, Your Honor, and  
13 I'm sorry to keep you waiting.

14 THE COURT: That's not a problem. 677 is received,  
15 counsel. It's about 20 minutes after 4:00. It would be my  
16 sense that may be we ought to take a break for the evening.  
17 What do the lawyers think?

18 MS. SMITH: Yes, I think that makes sense, Your  
19 Honor.

20 THE COURT: All right.

21 MR. COPPOLINO: Fine with me, Your Honor.

22 THE COURT: All right. We'll stand in recess until  
23 9:00 o'clock in the morning.

24 (Recess at 4:20 p.m.)

25 C E R T I F I C A T E



1 I, David C. Francis, certify that the foregoing is an  
 2 accurate transcription of the record of proceedings made in  
 3 the above-entitled matter.

4 s/ David C. Francis DATE: March 30, 2004  
 5 Official Court Reporter (Ret)

6 INDEX

7 PLAINTIFFS' WITNESSES:

8	Dr. William Fitzhugh	Direct by Ms. Crepps.....	229
		Cross by Mr. Henry.....	270
9		Redirect by Ms. Crepps.....	299
		Examination by Court.....	303
10	Dr. Jill Vibhakar	Direct by Ms. Smith.....	306
11		Cross by Mr. Warden.....	361
		Redirect by Ms. Smith.....	396
12		Examination by Court.....	400
		Redirect by Ms. Smith.....	405
13		Recross by Mr. Warden.....	406
		Redirect by Ms. Smith.....	408

15	EXHIBITS:	Offered	Received
16	102. Vibhakar CV	354	354
17	677. Educational materials	410	410
18	681. Statistics page and graph	294	295

19

20

21

22

23

24

25