

44crnat1

1 UNITED STATES DISTRICT COURT
1 SOUTHERN DISTRICT OF NEW YORK
2 -----x

2
3 NATIONAL ABORTION FEDERATION,
3 MARK I. EVANS, M.D.,
4 CAROLINE WESTHOFF, MD, MSC;
4 CASSING HAMMOND, MD,
5 MARC HELLER, MD,
5 TIMOTHY R.B. JOHNSON, MD,
6 STEPHEN CHASEN, MD,
6 GERSON WEISS, MD,
7 on behalf of themselves and
7 their patients,

8
8 Plaintiffs,

9 v.

03 Civ. 8695 (RCC)

9
10 JOHN ASHCROFT, in his official
10 capacity as Attorney General
11 of the U.S., along with his
11 officers, agents, servant,
12 employees, and successors
12 in office,

Trial

13 Defendants.

13 -----x

14 New York, N.Y.
14 April 12, 2004
15 9:45 a.m.

15 Before:

16 HON. RICHARD CONWAY CASEY

District Judge

17
18
19 APPEARANCES

20 AMERICAN CIVIL LIBERTIES UNION FOUNDATION
21 Attorneys for Plaintiffs
21 125 Broad Street, 18th floor
22 New York, New York 10004-2400
22 (212) 549-2600
23 BY: JULIE STERNBERG, ESQ.

44crnat1

1 APPEARANCES (continued)
2
3 THE ROGER BALDWIN FOUNDATION OF THE ACLU, INC.
4 Attorneys for Plaintiffs
5 180 N. Michigan Avenue, Suite 2300
6 Chicago, Illinois 60601
7 (312) 201-9740
8 BY: LORIE A. CHAITEN, ESQ.
9
10
11 WILMER CUTLER PICKERING LLP
12 Attorneys for Plaintiffs
13 2445 M Street, N.W.
14 Washington, D.C., 20037-14 20
15 (202) 663-6235
16 BY: A. STEPHEN HUT, JR.
17 AMY WIGMORE, ESQ.
18 KIMBERLY A. PARKER, ESQ.
19
20
21 SHEILA M. GOWAN, ESQ.
22 SEAN H. LANE, ESQ.
23 JOSEPH A. PANTOJA, ESQ.
24 ELIZABETH WOLSTEIN, ESQ.
25 Attorneys for Defendants
U.S. Department of Justice
86 Chambers Street, 3rd floor
New York, New York 10007
(212) 637-2800

SOUTHERN DISTRICT REPORTERS (212) 805-0300

44crnat1

1 (Trial resumed)
 2 THE COURT: Good morning.
 3 Ms. Gowan, it is opening day for the Mets and it would
 4 likewise seem to be opening day for the government's case.
 5 MS. GOWAN: Yes, it is, your Honor.
 6 THE COURT: You may proceed.
 7 MS. GOWAN: The government calls Dr. Charles Lockwood.
 8 CHARLES LOCKWOOD,
 9 called as a witness by the defendant,
 10 having been duly sworn, testified as follows:
 11 THE CLERK: Please state and spell your full name
 12 slowly for the record.
 13 THE COURT: Charles Joseph Lockwood, L-O-C-K-W-O-O-D.
 14 THE CLERK: Thank you, sir. Please be seated.t.
 15 DIRECT EXAMINATION
 16 BY MS. GOWAN:
 17 Q. Good morning, Dr. Lockwood.
 18 A. Good morning.
 19 Q. Are you presently employed?
 20 A. I am.
 21 Q. Where?
 22 A. At Yale University.
 23 Q. In what capacity?
 24 A. I'm the chairman of the department of obstetrics,
 25 gynecology, and reproductive sciences in the School of

1707

44crnat1

Lockwood - direct

1 Medicine.
 2 Q. How long have you held that position?
 3 A. Since July of 2002.
 4 Q. Would you briefly describe for the Court your duties and
 5 responsibilities as the chair of the department of OB-GYN at
 6 Yale.
 7 A. The academic chairs at Yale also serve, generally speaking,
 8 as the chiefs of service for Yale-New Haven Hospital. In those
 9 two capacities I oversee the education of our medical students,
 10 the training of our residents and fellows, the quality
 11 assurance of the clinical care that is provided, the financial
 12 and administrative aspects of our clinical practices and the
 13 full-time faculty, and also the research endeavors and efforts
 14 of the department.
 15 Q. What did you do before assuming your position as chair of
 16 the department of OB-GYN at Yale?
 17 A. I was the chairman of the department of obstetrics and
 18 gynecology at the NYU School of Medicine.
 19 Q. How long did you hold that position?
 20 A. For seven years.
 21 Q. Would you briefly describe for us what your duties and
 22 responsibilities were as chair of the department at NYU.
 23 A. Analogous.
 24 Q. Where did you receive your medical training?
 25 A. University of Pennsylvania.

1708

44crnat1

Lockwood - direct

- 1 Q. When did you graduate?
2 A. 1981.
3 Q. Where did you receive your post-graduate training?
4 A. I did my residency in obstetrics and gynecology at
5 Pennsylvania Hospital in Philadelphia, my fellowship in
6 maternal fetal medicine at Yale University School of Medicine
7 in New Haven, and also a post-doctoral fellowship in
8 coagulation and clotting at the Mount Sinai School of Medicine.
9 Q. Are you licensed the practice medicine, Dr. Lockwood?
10 A. I am.
11 Q. Where are you licensed?
12 A. Connecticut, New Jersey, and New York.
13 Q. How long have you been licensed to practice medicine?
14 A. Since 1981.
15 Q. Are you board certified?
16 A. I am.
17 Q. In what specialties?
18 A. Obstetrics and gynecology, with specialty certification in
19 maternal fetal medicine.
20 Q. What is maternal fetal medicine?
21 A. That is the field of endeavor in which we manage patients
22 with complicated pregnancies, either because of maternal
23 medical conditions or complicated obstetrical conditions, such
24 as toxemia pregnancy or preeclampsia, premature labor, or
25 conditions of the fetus that require either diagnosis or

1709

44crnat1

Lockwood - direct

- 1 treatment or both.
2 Q. What is preeclampsia?
3 A. Preeclampsia is a disorder in which the mother's blood
4 pressure is elevated and she has protein in her urine, and
5 often she has other manifestations of organ dysfunction
6 throughout the body. She could have a low platelet count, for
7 example, abnormal liver function tests, her kidneys can have
8 abnormalities, and so forth.
9 Q. As a maternal fetal medicine specialist, do you consider
10 both the mother and the fetus to be your patients?
11 A. I do.
12 Q. Do you have an active practice in maternal fetal medicine?
13 A. I do.
14 Q. Could you briefly describe for the Court what your practice
15 is.
16 A. It is a series of practices really. There is a prenatal
17 diagnosis and treatment component that employs ultrasound and
18 various other diagnostic modalities. There is a real practice
19 practice, where we see patients throughout their pregnancy for
20 reasons of maternal health complications or fetal
21 complications. And there is an active term "transport program"
22 where ill mothers or patients with premature labor and other
23 conditions are transferred to Yale-New Haven Hospital.
24 Q. Do you conduct research?
25 A. I do.

44crnat1

Lockwood - direct

1 Q. And in what areas?

2 A. Multiple areas. My primary clinical research areas are
3 premature labor and premature delivery, prevention and
4 detection, as well as recurrent pregnancy loss, as well as
5 maternal clotting disorders. My basic science research areas
6 that are supported by the National Institutes of Health are
7 primarily in the area of what I would define as uterine
8 biology, particularly the regulation of the formation of blood
9 vessels in the uterus and clotting in the uterus.

10 MS. GOWAN: Your Honor, may I approach?

11 THE COURT: Surely.

12 Could I ask you, Doctor, do you do this research
13 yourself along with others under your direction?

14 THE WITNESS: That's correct.

15 THE COURT: But you actually participate yourself, it
16 is not just something done by your department?

17 THE WITNESS: Yes. I design all the experiments and
18 craft the grants myself and write the papers myself, and so
19 forth, correct.

20 THE COURT: Thank you, Doctor.

21 Go ahead, Ms. Gowan.

22 Q. Dr. Lockwood, I am handing you what has been marked as
23 Government Exhibit Z6. Would you take a moment and look at
24 that, please. Can you identify this document?

25 A. I can.

1711

44crnat1

Lockwood - direct

1 Q. What is it?

2 A. This is my curriculum vitae.

3 Q. Did you prepare it?

4 A. I did, or my secretary did, or both.

5 Q. Is it current, Dr. Lockwood?

6 A. Reasonably current. There are about 11 publications
7 missing from it.

8 Q. Are those 11 publications that you have recently presented?

9 A. Obviously since this was prepared.

10 MS. GOWAN: Your Honor, the government moves the
11 admission of Government Exhibit Z6.

12 THE COURT: Any objection?

13 MR. HUT: No objection, your Honor.

14 THE COURT: It will be received.

15 (Defendant's Exhibit Z6 received in evidence)

16 Q. Does your curriculum vitae identify your various published
17 articles?

18 A. It does.

19 Q. Approximately how many articles have you published, Doctor?

20 A. I believe currently either already published or in press
21 150.

22 Q. Can you very generally describe for us some of the topics
23 upon which you have published.

24 A. They are fairly wide-ranging, and they cover everything
25 from ultrasound and ultrasound diagnoses to abnormal uterine

44crnat1 Lockwood - direct

- 1 bleeding; the regulation of in vitro what we call hemostasis,
2 or clotting, which is my real research passion, I guess; the
3 regulation of endometrial blood vessel formation or what we
4 call angiogenesis; and a variety of other topics, primarily
5 focusing on prematurity and methods for detecting or delaying
6 premature labor.
7 Q. Are you an expert in prematurity?
8 A. I think people would say I was.
9 Q. Have your articles been peer-reviewed?
10 A. They have been.
11 Q. Do you serve on the editorial boards of any publications?
12 A. I do.
13 Q. Can you generally describe for us some of the publications
14 upon which you sit on the editorial board.
15 A. I have served twice now on the editorial board of the
16 Journal of Clinical Endocrinology and Metabolism, which is the
17 leading journal in both medical and reproductive endocrinology,
18 as well as an assistant editor of the Journal for Maternal
19 Fetal Medicine and the Journal of Maternal and Neonatal
20 Medicine. I am the editor in chief of Contemporary OB-GYN,
21 which is actually if not the leading then one of the two
22 leading magazines, as we like to call it, read by obstetricians
23 and gynecologists. My CV includes some of the others. There
24 are a number of others.
25 Q. Have you ever served as a peer reviewer for studies

1713

44crnat1 Lockwood - direct

- 1 presented by other physicians?
2 A. I have.
3 Q. Do you recall which journals you have served as a peer
4 reviewer for?
5 A. Quite a few.
6 Q. Are you a member of any professional organizations?
7 A. I am.
8 Q. Will you tell the Court about a few of them, please.
9 A. I am a member of the American College of Obstetricians and
10 Gynecologists, the American Gynecological and Obstetrical
11 Society, on which I am actually the chair of a subunit called
12 the American Association of Obstetricians and Gynecologists
13 Foundation, which oversees a training program for young
14 scientists. In addition to that, I am a member of APOG,
15 Association of Professors of Obstetrics and Gynecology, the
16 AMA, local New Haven County Medical Society, the New York
17 Obstetrical Society, New Haven Obstetrical Society, and a
18 variety of others that are listed on that CV.
19 Q. Is the American College of Obstetrician and Gynecologists
20 also known as ACOG?
21 A. It is.
22 Q. Have you ever served on ACOG committees?
23 A. I have.
24 Q. Can you briefly tell us about your work on ACOG committees.
25 A. I served for five years on the committee of obstetrical

44crnat1

Lockwood - direct

1 practice, which is the committee that assesses new treatments
2 and technologies and medical practice in obstetrics and makes
3 recommendations usually in the form of something called a
4 committee opinion. I also served for two years as chair of
5 that committee. I am currently chair of the document review
6 committee, which reviews all documents related to obstetrics.
7 And I have served on a number of other committees, either as a
8 liaison member or as an active member of approximately five or
9 so other committees.

10 Q. Doctor, do you support a woman's right to choose to
11 terminate her pregnancy?

12 A. I do.

13 Q. Why did you agree to be an expert witness for the
14 government in this case?

15 A. I believe very strongly that, whether we are discussing
16 panels that serve the government, the FDA, and others, or
17 expert witnesses in trials and hearings, it is critical that
18 experts be objective, provide unbiased data, and don't bring, I
19 guess, their ideological slants to the table, if you will. And
20 I felt that I could serve in a very objective way on this
21 complex and delicate problem that we are discussing.

22 Q. Do you have any expertise concerning abortion, Dr.
23 Lockwood?

24 A. That which I have acquired in my training.

25 Q. What is the basis for your expertise?

1715

44crnat1

Lockwood - direct

1 A. It would actually start probably with my undergraduate
2 training in embryology and developmental biology and extend
3 through exposures to both that and abortion practice in medical
4 school, in residency in the form of lectures, as a resident
5 observing D&E's and other abortion procedures.

6 I spent 10 weeks at Pennsylvania Hospital in the
7 family planning service. Although I did not perform surgical
8 abortions, I managed, if you will, patients undergoing medical
9 abortions in whom someone else had placed the prostaglandin
10 suppository. But I cared for them and would remove the
11 placenta if retained, and so forth.

12 Subsequent to that time, I have certainly observed
13 D&E's in all the different institutions I have been in. In
14 addition to that, in cases of fetal demise I have performed
15 suction and curettage as well as medical terminations.

16 Q. Do you regularly read the medical literature concerning
17 abortion?

18 A. I regularly read the medical literature, which includes
19 topics on abortion.

20 Q. Why do you do that?

21 A. As chairman of the department, I am expected to know both
22 obstetrics and gynecology, and I am expected to speak
23 intelligently at our morbidity and mortality rounds and oversee
24 the quality of care provided by each of the different divisions
25 and components of the department.

44crnat1

Lockwood - direct

1 Q. Have you yourself ever performed an abortion on a live
2 fetus?

3 A. No.

4 Q. Why is that?

5 A. I don't want to go on for a long period of time, but
6 suffice to say that I feel that abortion does pose a real
7 conundrum for society because it brings into conflict a woman's
8 right to choose, really society's obligation to respect her
9 autonomy as relates to reproduction and privacy versus
10 society's obligation to protect the life, and I would add
11 dignity, of its members.

12 Obviously, society has many views on this topic. To
13 those that are pro life for religious reasons, all abortions
14 and many forms of contraception would be viewed as always being
15 immoral and should never be used. And for those that believe
16 the woman's right to choose should extend to the third
17 trimester, then really abortion should remain unfettered
18 throughout pregnancy.

19 I would add that I think society has very few members
20 that hold such extreme positions, that even those that believe
21 in the sanctity of life would argue that abortion was
22 permissible to save the life of the mother, perhaps some would
23 add in cases of incest and rape. I would think there are very
24 few people, no matter how pro choice they are, that would
25 support abortion on demand in the third trimester.

1717

44crnat1

Lockwood - direct

1 I think obviously as an obstetrician gynecologist we
2 are in a unique position, because we have to deal every day
3 with this issue, and we have to balance the same rights and
4 obligations and ethical duties to our patients, which include
5 respecting their reproductive autonomy, respecting their
6 choice, respecting their privacy in the case of the mother, and
7 at the same time doing everything we can to ensure that fetuses
8 are delivered to term and in a healthy state.

9 I would say that in coming to my somewhat paradoxical
10 position of being sort of personally pro life, if you will, and
11 publicly pro choice, I started with sort of the template or the
12 basic axiom that human life was different perhaps than other
13 animals, that humans had certain natural rights. I would say
14 our founding fathers identified some of them quite well: Life
15 for sure, liberty. And I would add, although it is very
16 controversial these days, that humans are endowed with dignity.

17 That, of course, poses the issue of how do you counsel
18 patients that may seek to have an abortion, should you perform
19 an abortion, and how do you juxtapose and balance these
20 potentially competing interests in the case of the mother of
21 reproductive autonomy and privacy; in the case of the fetus,
22 life and dignity.

23 I would say that in balancing that, I have chosen not
24 to do abortions but to absolutely support a woman's right to
25 choose, with certain limits. Just as society has limits on the

1718

44crnat1

Lockwood - direct

1 rights and its obligation to respect the rights of its
2 citizens, I use as an example that we probably should not
3 negotiate with terrorists to save a life and that folks
4 shouldn't drive at 110 miles an hour on the highways, because
5 although it is their liberty that would let them to that, they
6 are putting others in danger.
7 I think the same is true in abortion. Abortion is not
8 an absolute right for the mother, and the fetus I don't believe
9 has an absolute right to life in this complex and conflicting
10 ethical setting. I would say that we have to balance,
11 therefore, these two competing interests, and I think a
12 reasonable way to do that is to draw a line at 23 6/7 weeks,
13 which, as I have said repeatedly in the past, is the point at
14 which the fetus in the vast majority of cases has a potential
15 for meaningful life outside the womb.
16 Q. Doctor, I want to ask you --
17 THE COURT: Ms. Gowan, did you want to ask the Court
18 to recognize the doctor as an expert in any field?
19 MS. GOWAN: Your Honor, I was going to ask that. I
20 did have a few more questions before I do that.
21 THE COURT: Go right ahead. I just wondered. You
22 were moving away from the doctor's curriculum vitae. I
23 wondered. Go ahead.
24 Q. Doctor, you mentioned before, I believe, that you do
25 provide care to patients who have had miscarriage and

1719

44crnat1 Lockwood - direct
1 spontaneous abortion, is that right?
2 A. Correct.
3 Q. You mentioned suction curettage.
4 A. Correct.
5 Q. What is that, just briefly, for the Court?
6 A. Suction curettage is a procedure where the patient is --
7 would you like me to describe the procedure?
8 Q. Very briefly.
9 A. The patient -- this can be done in a hospital or in a an
10 ambulatory setting. In brief, she is given pain medications
11 pre-operatively, is then prepped and draped, as we call it, and
12 rendered relatively aseptic with Betadine versus other
13 antibacterial agents. Usually a pelvic exam is performed to
14 assess the size of the uterus or an ultrasound is performed to
15 identify in another way the relative size of the uterus and the
16 position of the uterus.
17 At that point, a speculum is placed in the vagina.
18 Generally speaking, we place a tenaculum on the cervix, which
19 is a clamp-like device, then inject local anesthetic into the
20 cervix, dilate the cervix with dilators. Then, after doing
21 that, I will sound the uterus to see how long it is, and then
22 place in a suction curette, a plastic tube, which is attached
23 to a vacuum, and evacuate the uterus by doing that.
24 Then, often I will make several passes with a
25 stainless steel curette to ensure that there is no residual

44crnat1

Lockwood - direct

1 products. In my practice I usually will do an ultrasound to
2 make sure there is no residual pregnancy products in the
3 uterus. Most often I will send that specimen for a karyotype
4 or pathology analysis.

5 At the completion of the procedure, I will see that
6 the instruments are removed. Depending on the gestational age,
7 I might begin oxytocin to facilitate contractions, reduce
8 bleeding, as the studies support its use. I usually will give,
9 depending on the gestational age, antibiotics -- again, the
10 studies suggest that they reduce infection -- and pain
11 medications, if I they are warranted.

12 Q. You mentioned D&E. What does that mean.

13 A. D&E is a dilatation and -- well, it can either mean
14 extraction or evacuation, most often evacuation.

15 Q. Have you observed the performance of D&E abortion?

16 A. I have.

17 Q. Can you describe for the Court the circumstances under
18 which you have observed that.

19 A. I have observed it to provide ultrasound guidance for the
20 procedure. I have observed it in cases where I have developed
21 a relationship with the patient and she wanted me to be there.
22 So I was there as she went to sleep and performed the
23 ultrasound for the physician. Generally in those kinds of
24 settings.

25 Q. At what gestational ages have you observed D&E abortion?

1721

44crnat1

Lockwood - direct

1 A. Certainly up to 20 weeks.

2 Q. Have you ever observed an abortion being performed on a
3 live fetus?

4 A. Yes.

5 Q. Would that be a D&E abortion?

6 A. That's correct.

7 Q. Have you ever written in connection with abortion?

8 A. I have.

9 Q. Could you please describe for the Court those types of
10 writings that you have done.

11 A. The bulk of the articles focused on RU486, mifepristone,
12 which is a medical form of termination, although it is now used
13 in a variety of different settings in obstetrics and
14 gynecology. We detail the mechanisms by which it caused
15 endometrial disruption and bleeding, the disruption of the
16 womb, the lining of the womb, lining of the uterus.

17 In addition to that, I also published an article with
18 George Thomas, who was the first author, at Mount Sinai on the
19 safety of D&E in patients with placenta previa, which is a
20 condition where the placenta covers the entrance to the uterus.

21 Q. In connection with your work on that paper, did you have an
22 opportunity to observe abortion by D&E?

23 A. I don't believe I specifically did for that paper.

24 Q. Was that study completed?

25 A. It was.

44crnat1

Lockwood - direct

- 1 Q. Was it published?
2 A. It was.
3 Q. What were the results?
4 A. There was greater blood loss in patients with placenta
5 previa, but the procedure was safe.
6 Q. Have you ever treated women who are experiencing
7 complications after receiving abortion?
8 A. I have.
9 Q. Can you describe that for us, please.
10 A. A variety of complications, including death and uterine
11 perforation requiring hysterectomy or repair of the uterus,
12 retained placentas after both medical and surgical abortions,
13 infections and sepsis. In one case, a case of clostridia,
14 gangrene of the uterus. But in general they are either
15 infectious or hemorrhagic complications.
16 THE COURT: Would you say in one case there was death?
17 THE WITNESS: One case of death, yes. They came to
18 the emergency room and had already expired.
19 THE COURT: Had the abortion occurred in the hospital?
20 THE WITNESS: If I remember correctly, it had occurred
21 in a fairly high volume out-patient setting.
22 THE COURT: But in an organized and legal clinic?
23 THE WITNESS: Correct.
24 THE COURT: Go ahead, Ms. Gowan.
25 Q. Doctor, while you were at NYU, did you have any

1723

44crnat1

Lockwood - direct

- 1 responsibility for abortion services?
2 A. I did.
3 Q. What were those responsibilities?
4 A. We organized a family planning/reproductive choice service.
5 The family planning service had actually existed prior to my
6 arrival. That section was charged with the duty of training
7 our residents in abortions and providing abortion services at
8 Bellevue Hospital, as well as to conduct clinical trials on
9 contraceptives as part of the National Institutes of Child
10 Health and Development -- Early Development -- Health and
11 Development, rather, contraceptive network set of trials.
12 Q. Were you responsible for creating that program?
13 A. I was.
14 Q. Did you have day-to-day supervision or responsibility for
15 the program?
16 A. Not day-to-day supervision, but overall responsibility.
17 Q. Did you have any role in deciding the methods of abortions
18 that would be performed on that service?
19 A. No.
20 Q. Who did?
21 A. The director.
22 Q. Did you leave those decisions up to the director?
23 A. I did.
24 Q. Why did you do that?
25 A. The director was extremely competent, well trained, and I

44crnat1

Lockwood - direct

- 1 had great -- I trusted her capacity to perform the procedures.
2 Q. Do you know the types of abortion services that are
3 currently provided at NYU?
4 A. I do.
5 Q. What are those?
6 A. They range from a manual vaginal aspiration to intact
7 dilatation and extraction, as well as medical abortions.
8 Q. I'm sorry. Medical abortions?
9 A. Correct.
10 Q. What do you mean by that?
11 A. That would be a procedure in which prostaglandins are
12 placed in the vagina and essentially labor is induced and the
13 fetus delivers.
14 Q. Is medical abortion the same thing as labor induction
15 abortion?
16 A. Yes.
17 Q. Prior to your work in this case, had you ever heard the
18 term "partial-birth abortion"?
19 A. Yes.
20 Q. Is that a medical term?
21 A. Not to my understanding anyway.
22 Q. Do you know what is meant by "partial-birth abortion"?
23 A. I do.
24 Q. What is it? What does it mean to you?
25 A. There are several potential definitions. One is the one in

1725

44crnat1

Lockwood - direct

- 1 the Act in question, and the other would be the definition put
2 forth by the American College of Obstetricians and
3 Gynecologists.
4 Q. To your knowledge, is that abortion procedure referred to
5 by other names?
6 A. It is.
7 Q. What are those names?
8 A. It could be called an intact D&E or an intact D&X,
9 dilatation and extraction.
10 Q. When did you first learn that D&X was performed at NYU?
11 A. I believe it was in December of 2003.
12 Q. How did it come about that you learned that D&X was
13 performed at NYU?
14 A. I called the director and asked her what procedures were
15 done and a number of other questions.
16 Q. Why did you call the director?
17 A. To prepare an expert report.
18 Q. Was that in connection with your expert work in this case?
19 A. Correct.
20 Q. Were you, in effect, performing some research in order to
21 inform your views in this case?
22 A. That is correct.
23 Q. Do you know whether D&X was performed at NYU while you were
24 the chairman of the department of OB-GYN?
25 A. I do.

44crnat1

Lockwood - direct

- 1 Q. You do know that?
2 A. I do know that it was performed, correct.
3 Q. When did you learn that?
4 A. When I called the director and asked her.
5 Q. You did not know at the time you were chair at NYU, is that
6 correct?
7 A. That is correct.
8 Q. How could that be that you did not know, Doctor?
9 A. The procedure is employed in about a third of the second
10 trimester abortions that are occurring at NYU. I did not
11 specifically ask the director the types of procedures that were
12 done. I trusted in her judgment that she would be performing
13 those that she had reasonable competency and training in
14 performing, and she never let me down. So I think that my
15 trust was well placed.
16 Q. While you were at NYU, did you recuse yourself at all from
17 any decisions concerning abortion?
18 A. There was a standing policy that the chair had to
19 adjudicate the appropriateness of procedures done at the point
20 of threshold of viability, so those performed certainly after
21 22 weeks, and I recused myself from that decision.
22 Q. Did some other panel make the decision in those cases in
23 which you recused yourself?
24 A. The Bellevue ethics panel or occasionally the director of
25 obstetrics.

1727

44crnat1

Lockwood - direct

- 1 Q. Is there a family planning and reproductive choice program
2 at Yale?
3 A. Not yet.
4 Q. Are you planning to develop such a program?
5 A. I am.
6 Q. What are your plans for the service?
7 A. They would be quite analogous to what we did at Bellevue.
8 The goal would be to provide services to train our residents,
9 to facilitate research in contraception, to publish papers, and
10 hopefully garner grants.
11 Q. Does Yale have an OB-GYN medical residency program?
12 A. It has an OB-GYN residency program and a medical residency
13 program.
14 Q. Are you responsible for the OB-GYN program?
15 A. I am.
16 Q. Very briefly, could you tell the Court what your
17 responsibilities are for that program.
18 A. The overall responsibility is to ensure that they are in
19 compliance with the 80-hour work week regulations, to ensure
20 that the curriculum that has been prepared is followed, that
21 they receive adequate didactic training, that they have
22 appropriate educational resources to learn, that the faculty is
23 first rate and able to teach them, to review on a regular basis
24 their performance, and to assess the performance of the faculty
25 in teaching them, and also to assess the faculty's evaluation

44crnat1

Lockwood - direct

1 of the residents, to help them prepare for their boards such
2 that they pass them, and at the same time, perhaps unique to
3 Yale and a few places, to encourage them to do research and to
4 focus on academic medicine as a career and to complete
5 subspecialty fellowships.
6 Q. Is instruction in abortion part of your program at Yale?
7 A. It is.
8 Q. Where is the instruction offered?
9 A. A variety of venues.
10 Q. Could you briefly describe those for us.
11 A. They include the hospital itself, where generally speaking
12 more advanced procedures are done. They are also done at an
13 out-patient facility called Williams Surgical Center. Those
14 procedures are generally done by our community physicians.
15 Usually RD means prior to 18 weeks. And our interns, when they
16 are on our internal medicine rotation, have several afternoons
17 a week that they can elect to go to Planned Parenthood to
18 observe abortions being performed in that out-patient setting.
19 Q. Are you familiar with the medical and surgical techniques
20 for abortion in the second trimester of pregnancy?
21 A. I am.
22 Q. What is the basis of your familiarity with those
23 techniques?
24 A. In the case certainly of medical terminations, I perform
25 medical terminations in the setting of dead fetuses. So I have

1729

44crnat1

Lockwood - direct

1 some personal experience with that. My experience with D&E's
2 are my experience with the literature and through observations
3 of others performing the procedure, through discussions with
4 doctors, presentations at our morbidity and mortality
5 conferences, and so forth.
6 Q. Could you very briefly describe for the Court how a D&E
7 abortion is performed.
8 A. It varies from the description I gave of suction curettage
9 in several ways and again depending on gestational age. A key
10 component is cervical ripening, which is generally done with
11 laminaria. One can also add misoprostol or cytotec 3 to 4
12 hours before the procedure to add additional cervical softening
13 and dilation. There are some studies afoot to use RU486 as
14 another method of inducing cervical ripening prior to surgical
15 procedures. There are a lot more studies about its use in
16 medical terminations.
17 After that period of cervical ripening, the patients
18 are brought to the operating room. This presumes that they
19 have had histories and physicals and appropriate lab tests
20 done, appropriate counseling provided, and so forth. The
21 laminaria are generally removed. The patient again is prepped
22 and draped. She might be put to sleep. That is generally what
23 we do at Yale-New Haven Hospital.
24 A pelvic exam will be performed after the physician
25 has scrubbed and put on their gowns, and so forth. Depending

44crnat1

Lockwood - direct

1 on the extent of the cervical dilatation, there may be need for
2 additional cervical dilatation. Speculums of different types
3 can be used, put in to expose the cervix, again depending on
4 the patient's form of anesthesia. If this is being done with
5 IV sedation rather than general anesthesia, a local anesthetic
6 might be injected into the cervix. The uterus may be imaged
7 with ultrasound during this procedure.

8 At that point the membranes are ruptured with an amnio
9 hook, and a special type of forcep or clamp is then used to
10 remove the fetus in parts. Following removal of the fetus --
11 and there are a variety of ways to confirm that it has been
12 completely removed by looking at the specimen, seeing if it is
13 intact, by doing an ultrasound -- there are various maneuvers
14 that can be effected to further ensure that the uterus is
15 empty. The placenta is removed by suction curettage, like in
16 the earlier abortion I described, and the uterus is generally
17 curetted with a sharp metal stainless steel curette to make
18 sure that there are no residual products of conception in the
19 uterus.

20 The instruments are removed. The patient returns to
21 the recovery room and is almost always given oxytocin during
22 this procedure to reduce blood loss, probably given
23 antibiotics, and sent home with appropriate pain medication,
24 antibiotics, and/or prescription for contraception.
25 Q. Doctor, when you say the fetus is removed in parts, do you

1731

44crnat1

Lockwood - direct

1 mean that the fetus is dismembered?

2 A. Correct.

3 Q. Can you briefly describe for us what the labor induction or
4 medical abortion procedure is.

5 A. Actually, it is quite similar in its early stages.
6 Cervical ripening is induced with laminaria and alternatively
7 can be induced with RU486, and a variety of different doses of
8 misoprostol can be used to induce the labor. Depending on the
9 dose and depending on whether it is used in conjunction with
10 RU486, the procedure can take longer or shorter.

11 For example, if one uses pretreatment with RU486 and
12 800 micrograms of misoprostol followed by 400 micrograms every
13 4 hours, the interval from the beginning of induction to
14 delivery can be as short as 4 hours. Other reports of similar
15 approaches suggest 6 hours. If one doesn't pretreat with RU486
16 and uses just 400 micrograms every 4 hours, the procedure
17 usually takes an average of 12 hours. About a 90 percent
18 success rate in that case, 95 to 100 in the first case I gave
19 you.

20 Q. When you say 90 percent success rate, what do you mean?

21 A. The fetus delivers, the placenta may not. In the very
22 aggressive regimen I just described with RU486, the placenta is
23 retained in about 5 percent of the cases. In cases without
24 RU486 it is retained in 10 to 20 percent of the cases.

25 Then you can use a low-dose regimen with 200

44crnat1

Lockwood - direct

1 micrograms, which is very often what I will do, because many of
2 my stillbirths are in the late second and early third
3 trimester. That is given every 4 hours. I will often use even
4 lower doses, 100 micrograms, 50, and occasionally even 25,
5 depending on the clinical setting. One would expect that labor
6 to last longer, 24 hours on average, in a very low-dose
7 setting.

8 At any rate, the fetus is delivered, the placenta will
9 either be removed spontaneously or manually. If it fails to
10 come out of the uterus within an hour or two hours, depending
11 on the clinical setting, I will do a D&C and remove it. Again,
12 the patients generally are given an epidural so that they are
13 comfortable during the procedure, and given appropriate pain
14 medication, antibiotics, and/or whatever other medications or
15 prescriptions would be required for their discharge.

16 Q. Doctor, do you have an understanding of what the D&X
17 procedure is?

18 A. I do.

19 Q. What is the basis for your understanding?

20 A. Reading the American College of Obstetricians and
21 Gynecologists description, reading the various expert reports,
22 depositions, and testimony of the plaintiffs, the writings of
23 Dr. Haskell and McMahon, would be the primary sources.

24 Q. How do you understand the procedure is performed?

25 A. In general, the procedures analogous to a D&E. There is a

1733

44crnat1

Lockwood - direct

1 prolonged precervical treatment, cervical ripening period with
2 laminaria and/or misoprostol. The goal is to achieve a greater
3 degree of cervical dilatation with the intact D&X.

4 This can be done with ultrasonic guidance or not. The
5 notion is to grasp the feet with the same kind of clamp that is
6 used for a D&E and either deliver the fetus directly if it is
7 in a breech presentation or flip it to a breech presentation by
8 doing what is called an internal podalic version. The fetus's
9 head is often entrapped in the cervix, and the contents of the
10 cranium are evacuated to effect delivery.

11 Q. Do you have an understanding of whether the fetus could
12 also be extracted manually with the physician's hands instead
13 of with the clamp as you have just described?

14 A. Correct.

15 MS. GOWAN: Your Honor, pursuant to Federal Rule of
16 Evidence 702, the government offers Dr. Lockwood as an expert
17 in obstetrics and gynecology and someone with the knowledge,
18 skill, experience, training, and education to testify in the
19 form of an opinion about abortion.

20 MR. HUT: No objection, your Honor.

21 THE COURT: He will be so recognized by the Court.

22 Q. Doctor, are you familiar with the published statistics
23 comparing the risks of maternal mortality and maternal
24 morbidity that is associated with abortion procedures?

25 A. I am.

44crnat1 Lockwood - direct

- 1 Q. What is meant by "morbidity"?
- 2 A. Morbidity reflects adverse outcomes that can affect organ
- 3 systems or actually, if they are serious, they can affect a
- 4 person's health after their occurrence or the functions of an
- 5 organ or limb, and so forth; as opposed to mortality, which,
- 6 obviously, reflects death.
- 7 Q. Who publishes those statistics?
- 8 A. Actually, there are a number of sources. Probably the most
- 9 reliable is published by the Centers for Disease Control.
- 10 Q. Do you consult those statistics during the regular course
- 11 of your work activities?
- 12 A. I receive their MMWR report, yes.
- 13 THE COURT: What is that, Doctor? What does that
- 14 stand for?
- 15 THE WITNESS: That would be, I believe, the morbidity
- 16 and mortality weekly report. It can really cover the full
- 17 range of America's health, from Lyme disease and West Nile
- 18 virus infections to upsurges in sexually transmitted diseases
- 19 or hepatitis, to terrorist attacks with bioactive agents. They
- 20 cover, in addition, cardiovascular mortality, cancers, and in
- 21 this case abortion.
- 22 MS. GOWAN: Your Honor, may I approach?
- 23 THE COURT: You may.
- 24 Q. Doctor, I am handing you what has been marked as
- 25 Plaintiffs' Exhibit 28 in evidence. Are these the morbidity

1735

44crnat1 Lockwood - direct

- 1 and mortality weekly report statistics prepared by the CDC that
- 2 you were just referencing?
- 3 A. They are.
- 4 Q. What is the period of publication for these statistics?
- 5 A. Yearly. For this specific set of statistics?
- 6 Q. Yes. What is the reporting period for these statistics?
- 7 A. I believe annually, but I am not sure that it is
- 8 consistently produced on an annual basis.
- 9 Q. In particular, what is the reporting period that is
- 10 encompassed by the statistics in Plaintiffs' Exhibit 28?
- 11 A. It provides both the data for the period of time from 1973
- 12 to 1997, but also provides additional data in '98, '99, and
- 13 2000.
- 14 Q. Is this the most recent available CDC data concerning
- 15 abortion in the United States?
- 16 A. I believe it is.
- 17 Q. Does this data show how many abortions were performed at 21
- 18 weeks and beyond?
- 19 A. It does.
- 20 Q. What does it show, Doctor?
- 21 A. I know these numbers. In 1999 -- I'm sorry. In the year
- 22 2000, approximately 1.4 percent of all abortions were performed
- 23 at or after 21 weeks.
- 24 THE COURT: Were performed what, Doctor?
- 25 THE WITNESS: At or after 21 weeks.

44crnat1

Lockwood - direct

- 1 Q. Does the data show the number of abortions that were
2 performed at or beyond 21 weeks?
3 A. Approximately 12,000.
4 Q. Can you tell from the statistics anything about the women
5 who received the abortions at or beyond 21 weeks?
6 A. You can.
7 Q. What can you tell, Doctor?
8 A. In general, these patients were younger and more often
9 minority race members.
10 Q. When you say these women were younger, what do you mean by
11 that?
12 A. They were of younger reproductive age criteria. They were
13 under 21 years of age.
14 Q. Are you able to draw any conclusion from the fact that the
15 statistics show a higher incidence of younger women receiving
16 abortion at 21 weeks and beyond?
17 A. Generally, that reflects method failure.
18 Q. What do you mean by that?
19 A. In general, it would reflect some breakdown in the use of
20 contraception or it would suggest that these folks had less
21 access to care, didn't know they were pregnant, or had trouble
22 accessing an abortion earlier.
23 Q. Can you tell anything from the statistics about the reason
24 that the women might have had abortion, the indication?
25 A. We can't have a specific explanation, but it is unlikely,

1737

44crnat1

Lockwood - direct

- 1 given the age group, that it is due to, for example,
2 chromosomal abnormalities.
3 Q. Why do you say that, Doctor?
4 A. Because chromosomal abnormalities are much more common in
5 older women.
6 Q. What do the statistics show in terms of mortality?
7 A. The mortality rate is probably even better defined by an
8 even more recent publication from this database by Bartlett
9 that literally just came out in Obstetrics and Gynecology this
10 year. The overall mortality rate for abortions in the United
11 States have dropped from 4.1 per 100,000 in 1973 to roughly .5
12 per 100,000 in 1999, I believe it was. So that really is a
13 remarkable 85 percent reduction in the risk of mortality from
14 abortions. Did I answer the question?
15 Q. Yes. Does the data show how many deaths were reported in
16 1999 for abortion?
17 A. 4.
18 Q. Does the data show the method of abortion that was used in
19 the cases of those deaths?
20 A. Not to my knowledge in the MMWR.
21 Q. Does the data show the gestational age of the fetus in the
22 case of those 4 deaths?
23 A. I don't believe so.
24 Q. Doctor, if you were to assume that all 4 deaths occurred in
25 women carrying fetuses at 20 menstrual weeks or greater, what

44crnat1

Lockwood - direct

- 1 would be the rate of death?
2 A. It would be approximately .05 percent.
3 Q. Does the data show the percentage of abortions performed
4 after 21 weeks by D&E?
5 A. It does.
6 Q. What does it show?
7 A. In 1999 85 percent were performed by D&E, 2.4 percent were
8 performed by instillation, saline instillation. That form of
9 medical abortion was rarely used I thought. Another 2.4
10 percent were medical abortions. Almost certainly the majority
11 of those in '99 were misoprostol. Approximately .2 percent
12 were done by injections of prostaglandins directly into the
13 amniotic fluid and about 10.1 percent were other, and that
14 would include hysterotomies.
15 THE COURT: That would include what, Doctor?
16 THE WITNESS: Hysterotomy, which is the equivalent of
17 a C-section on a nonviable fetus, an early C-section.
18 Q. Does the data for D&E break out any data for D&X?
19 A. No.
20 Q. Are there any reported statistics for the rate of D&X?
21 A. No.
22 Q. In your opinion, do the reported statistics for D&E tell us
23 anything at all about D&X?
24 A. No.
25 Q. And why is that?

1739

44crnat1

Lockwood - direct

- 1 A. They just don't. It is not something the CDC has yet
2 tracked.
3 Q. Can you draw any conclusions from this data as to the
4 safety of D&E abortion?
5 A. I think that the key evidence that this report and the
6 report in Obstetrics and Gynecology by Bartlett suggests is
7 that gestational age is a critical determinant of the risk of
8 the procedure. If one compares the risk of death in abortions
9 performed at or before 8 weeks with those that are performed,
10 for example, from 10 to 13 weeks, there is about a fifteenfold
11 increase risk of death and an overall mortality rate of 1.7 per
12 100,000. I'm sorry. That is from 13 weeks to 15 weeks. From
13 16 weeks to 20 weeks, that number climbs to a thirtyfold
14 increased risk of death from a given D&E procedure, and the
15 overall mortality rate would be approximately 3.4 per 100,000.
16 At or beyond 21 weeks, the increase in mortality is
17 seventh-sixfold higher, with an approximately 8.9 per 100,000
18 risk of death.
19 Those numbers are for terminations at those
20 gestational ages, and obviously, as I have already pointed out,
21 the majority of those terminations are D&E's. But it doesn't
22 specifically divide out the death rate for medical abortions
23 versus surgical abortions.
24 Q. Doctor, what is meant by the term "viability" as it relates
25 to the unborn fetus?

44crnat1

Lockwood - direct

- 1 A. There are both legal and medical definitions. I would say
2 that the best medical definition is that point where there is a
3 reasonable probability that the fetus will survive and have a
4 meaningful life or a relatively, as we call it -- I hate to use
5 the term -- intact survival.
- 6 Q. From the medical point of view, when does fetal viability
7 occur?
- 8 A. It is a subjective assessment. It is somewhat also
9 confounded by co-morbidities in the mother. For example, if
10 the mother is very ill and the fetus is small and acidotic,
11 producing acid in its blood, and so forth, then that fetus
12 might not survive if it is delivered at 28 weeks, whereas other
13 fetuses can survive and have good survival at 24 weeks. So if
14 you were to use a number that is a rolling average of all those
15 different conditions, I think the number I gave you before of
16 23 6/7 weeks is appropriate.
- 17 Q. What do you mean by 6/7 weeks?
- 18 A. The end of the 23rd week.
- 19 Q. Doctor, do you have an opinion as to whether the death of a
20 fetus at 23 6/7 completed weeks by abortion is ever required to
21 preserve the health of a mother?
- 22 A. Beyond that point I would say no.
- 23 Q. Why is that?
- 24 A. There have been substantial advances in our ability to take
25 care of critically ill mothers. There have been great

1741

44crnat1

Lockwood - direct

- 1 improvements in anesthesia for pregnant women. There have been
2 remarkable improvements in neonatal intensive care and in the
3 preparation of fetuses for early delivery. All those things
4 make it very likely that fetuses born at or beyond 24 weeks
5 will survive with a progressively higher rate of good outcomes.
6 And the methods to promote that delivery, whether it is
7 induction of labor or Cesarean section, are safe, well
8 established, and reasonable to use.
- 9 That is not to say that there aren't circumstances
10 where there is a lethal fetal anomaly that delivery should not
11 be effected for sort of humane reasons for the mother. But in
12 those conditions, obviously, the fetus can't survive.
13 Anencephaly is an example of that.
- 14 Q. Aside from the case of anencephaly that you have just
15 mentioned, can you conceive of any situation at 24 weeks or
16 later where the health of the mother must exchanged for the
17 life of the fetus or vice versa?
- 18 A. I cannot.
- 19 Q. Doctor, in your opinion, what can a physician do if a
20 mother's life is in jeopardy and she is carrying a viable
21 fetus?
- 22 A. Deliver the fetus and hopefully treat the underlying
23 condition.
- 24 Q. Have you ever taken steps to deliver a mother of a preterm
25 viable fetus when the mother's life was in jeopardy?

44crnat1

Lockwood - direct

- 1 A. Many, many times.
2 Q. Have you been successful, Doctor?
3 A. Yes, I have.
4 Q. In your opinion, Doctor, is D&X ever medically necessary to
5 preserve the health of a woman carrying a fetus at a
6 gestational age of 24 menstrual weeks or greater?
7 A. No.
8 Q. In your opinion, Doctor, are there any circumstances
9 relating to the health of the mother that may require
10 termination of a pregnancy prior to fetal viability?
11 A. Yes.
12 Q. In these circumstances, will the death of the fetus always
13 occur?
14 A. Yes.
15 Q. Why is that?
16 A. By definition, they are not viable.
17 Q. And the fetus cannot survive ex utero, is that right?
18 A. Exactly correct.
19 Q. In your opinion, how often are such circumstances
20 presented?
21 A. It is hard to give you a precise number, but in general, if
22 one looks at the occurrence of severe preeclampsia, infections,
23 and a variety of other life-threatening medical conditions --
24 which by themselves are relatively rare, on the order of 1 in
25 10,000 or 1 in 20,000 -- I think a reasonable number would be

1743

44crnat1

Lockwood - direct

- 1 somewhere between 1 and 5 per thousand.
2 Q. Would it be fair to say, Doctor, in your opinion, that
3 those circumstances where the health of the mother may require
4 termination of pregnancy prior to fetal viability are rare?
5 A. Right.
6 Q. I would like to focus on abortion in those circumstances
7 where, again, previability it may be necessary to preserve the
8 woman's health. Focusing now on the 20 to 24 weeks'
9 gestational age, in your opinion, has medical induction
10 abortion been shown to be a safe and accepted method of
11 abortion at these gestational ages?
12 A. Yes.
13 Q. Is its safety demonstrated in the scientific and medical
14 literature?
15 A. Yes.
16 Q. Are the risks associated with medical induction abortion
17 known?
18 A. Yes.
19 Q. And have they been studied?
20 A. They have.
21 Q. Does gestational age, in your opinion, have an effect on
22 the risks associated with medical induction abortion?
23 A. Less studied. But I think the argument to be made here is
24 that the closer one goes to term with an induction of labor,
25 the lower the risk of complications such as retained placentas,

44crnat1 Lockwood - direct

- 1 and so forth, faster labor. Therefore, you would expect that
2 the morbidity and mortality would drop with increasing
3 gestational age.
4 Q. Why do you say that, Doctor?
5 A. The biggest complication of medical termination, not the
6 most severe but the biggest, most common rather, is retained
7 placenta. As the pregnancy progresses, the likelihood of
8 retained placenta decreases.
9 Q. Why is that?
10 A. They asked me that at the deposition as well. It is a
11 complicated answer. To make a very, very, very long story
12 short, the connecting proteins between the placenta and the
13 uterine wall change in their chemical composition across
14 gestation in a way that makes them very sticky early, which is
15 what you would want, and somewhat slippery later, particularly
16 near term. That sticky substance is called fetal fibrin actin,
17 something I have studied fairly extensively. And the reason it
18 changes its chemical nature is that extra sugar groups are
19 attached to it as pregnancy progresses. That makes it less
20 adherent and facilitates removal of the placenta.
21 Q. At what gestational age, approximately, does the placenta
22 become less sticky?
23 A. It is a continuum. I can't give you a specific number.
24 But the amount of carbohydrate increases by about threefold
25 across gestation from the first to third trimester.

1745

44crnat1 Lockwood - direct

- 1 Q. Does the gestational age at which the retained placenta
2 occurs decrease? Let me try that again.
3 At which gestational age does the complication or the
4 occurrence of retained placenta decrease in connection with
5 medical induction abortion?
6 A. With each week it would decrease. I think that, just from
7 experience, the rate seems to start to decline rapidly after 20
8 weeks.
9 Q. How are cases of retained placenta managed?
10 A. Suction, aspiration of the placenta.
11 Q. Is that technique for removal of retained placenta any
12 different from the technique that is used to remove a placenta
13 during a D&E procedure?
14 A. No.
15 Q. Is there any difference in the risks that might be
16 associated with removal of a retained placenta in medical
17 induction abortion and D&E?
18 A. On the negative side, there might be a higher rate of
19 infection, and that could increase the likelihood of
20 perforation, although that is quite rare. On the positive
21 side, the fact that the uterus is actively contracting down and
22 emptied its cavity, and so forth, would suggest the wall would
23 be thicker and might reduce the risk of perforation.
24 Perforation at the time of removal of the placenta for
25 a retained placenta after a medical abortion is unbelievably

44crnat1

Lockwood - direct

- 1 rare. I have never heard of a case, to be honest with you.
2 Q. How is infection treated, Doctor?
3 A. With antibiotics.
4 Q. In your opinion, has DEA by dismemberment at 20 to 24
5 weeks' gestational age been shown to be a safe method of
6 abortion?
7 A. Yes.
8 Q. Has its safety been shown in the scientific and medical
9 literature?
10 A. It has.
11 Q. Based on randomized trials and other study?
12 A. Well, based on what I would consider very good
13 retrospective cohort studies, controlled case studies, and
14 those types of studies.
15 Q. What is a cohort study, Doctor?
16 A. It is where you look at a series of patients who have had
17 procedure A versus procedure B or some variation of the
18 procedure and look at outcomes. You can correct for potential
19 confounding biases with different statistical tools, and so
20 forth.
21 Q. What is a case study?
22 A. A case study is where you actually match patients. Rather
23 than relying on just getting a huge group of patients with
24 procedure A versus procedure B, a case study would be where in
25 fact you took the patients and matched them for age, parity,

1747

44crnat1

Lockwood - direct

- 1 the number of babies they had had, a variety of other potential
2 confounding variables, and then looked at outcomes for the two
3 procedures, for example.
4 Q. Are case studies and cohort studies commonly used in
5 medicine to assess treatments?
6 A. Very commonly.
7 Q. Are the risks of D&E by dismemberment known?
8 A. They are.
9 Q. What are those risks, Doctor?
10 A. They include amongst a number of other risks hemorrhage,
11 cervical laceration, and perforation.
12 Q. Do those risks increase or decrease with gestational age?
13 A. Since we know that the overall mortality rate of abortions,
14 and 85 percent of them are D&E's, increase with increasing
15 gestational age, we assume that there is an increased risk with
16 increasing gestational age. That has certainly been reported
17 in the literature and makes sense, because the uterine wall
18 becomes progressively thinner, and the sharp, bony aspects of
19 the fetus become sharper, and so on and so forth.

(Continued on next page)

20
21
22
23
24
25

44C5NAT2 Lockwood - direct

1 BY MS. GOWAN:

2 Q. And what are the risks of D&E by dismemberment?

3 A. So the risks of hemorrhage, of severe hemorrhage requiring
4 transfusion, varying in the literature from one percent to 1
5 per thousand, 1.5 per thousand.

6 The risk of cervical lacerations from 13 to 20 weeks
7 are approximately 1.2 percent or thereabouts, which is slightly
8 higher than it would be earlier in gestation. And the risk of
9 a perforation is widely reported in the literature, there is a
10 lot of numbers, but to give you the range would be anywhere
11 from 1.4 percent to 0.2 per thousand.

12 And so, there is quite a large range. Ultrasound
13 reduces the risk of perforation.

14 Q. Can you characterize at all what that risk of perforation
15 is?

16 A. What would be the outcome of perforation, for example?

17 Q. Can you characterize that as a low risk or --

18 A. Well, it's a concerning risk. I think that it's hard to
19 give a normative value to a number. It's what it is.

20 Q. In your opinion, how does the risk of infection in retained
21 placenta in medical induction abortion compare to the risk of
22 hemorrhage or laceration or perforation in D&E by
23 dismemberment?

24 A. It is certainly numerically much more common and ranges
25 from, as I have already mentioned with some of the more recent

1749

44C5NAT2 Lockwood - direct

1 techniques, 10 percent, to as high as 30 percent of the
2 literature.

3 I think 30 percent is probably a reasonable number to
4 use and obviously that's a much more common event than the
5 surgical morbidities I have just described, surgical
6 complications I have just described.

7 On the other hand -- so, quantitatively, it is greater
8 but qualitatively I would say it is a less severe complication.

9 Q. You mentioned a moment ago surgical techniques; is D&E by
10 dismemberment considered a surgical abortion?

11 A. Yes.

12 Q. Would D&X also be considered a surgical abortion?

13 A. Yes.

14 Q. How do the risks of induction abortion compare to the risks
15 of D&E by dismemberment prior to 20 weeks?

16 A. I would say that, in general, since there is a higher rate
17 of retained placentas and perhaps a slightly higher rate of
18 infection with medical abortions and since we believe the
19 incidence of perforation and hemorrhage is likely less, quite a
20 bit less, the earlier in gestation one goes that while they're
21 probably generally comparable, the overall quantitative
22 differences in complication rates are, definitely favor
23 surgical abortion.

24 Q. And what about after 20 weeks, Doctor?

25 A. Well, at best they're comparable. And for the reasons I

44C5NAT2 Lockwood - direct

1 outlined before I suspect medical abortions are slightly safer.
2 Q. Is that your opinion?
3 A. Correct.
4 Q. Dr. Lockwood, in your view, do safe and effective methods
5 of abortion exist to terminate a pregnancy for a maternal
6 health reason prior to viability without the need for resort to
7 a D&X procedure on a living fetus?
8 A. Generally, yes.
9 Q. And would those be labor induction abortion or D&E by
10 dismemberment?
11 A. Correct.
12 Q. In your view is D&X abortion ever necessary to protect the
13 health of a woman who needs to terminate her pregnancy?
14 A. I can't think of a reason why it would be.
15 Q. And, in your opinion, are anecdotal evidence and the use of
16 intuition a sound way to practice medicine, Doctor?
17 A. That phrase comes back to haunt me from my deposition. I
18 would agree with my comments in the deposition.
19 Q. And why is that?
20 A. It really speaks to the whole basis for evidence-based
21 medicine.
22 We make progress in medicine often by being innovative
23 and by thinking of new procedures and new techniques, and it's
24 important to do that. That shouldn't be in any way discouraged
25 but that those innovations should be subjected to rigorous

1751

44C5NAT2 Lockwood - direct

1 study. At a minimum, with retrospective study and the
2 maximized randomized clinical trials with doing whatever it is
3 you would want to be doing.
4 And the risks of not doing that are well known.
5 Thalidomide and its effects on limb reduction when used in
6 Europe. It was the F.D.A.'s prudence in not allowing it to be
7 imported in the United States without such study. That
8 prevented that catastrophe from happening here.
9 DS, I think, is a better example. I think I mentioned
10 that in the deposition as well where a drug was used, huge
11 numbers of women were exposed to it and it was only some 30
12 years later when we really did careful outcome analysis that we
13 showed that it was not beneficial in preventing prematurity and
14 that it had long-term health consequences, specifically
15 terrible effects on a woman's risk of prematurity and also a
16 risk of cancer.
17 Q. You mentioned a few moments ago evidence-based medicine
18 what did you mean by that?
19 A. It's the assumption that our medical practice ought to be
20 founded on evidence put in the simplest way possible.
21 Q. Are you aware of any evidence in the medical literature
22 that shows that D&X offers any safety advantage over medical
23 induction abortion?
24 A. Nothing that has appeared in print.
25 Q. Well, Doctor, is there any evidence that D&X has a greater

44C5NAT2 Lockwood - direct

1 safety advantage than medical induction abortion?

2 A. None that I'm aware of.

3 Q. Is there any evidence in the literature that D&X has a
4 greater safety advantage than D&E by dismemberment?

5 A. No.

6 Q. Doctor, are you convinced that the D&X procedure is safer
7 than D&E by dismemberment?

8 A. No.

9 Q. Are you convinced that the D&X procedure is safer than the
10 labor induction method of abortion?

11 A. It would somewhat depend on gestational age. But in
12 general, after 20 weeks, no.

13 Q. And do you believe the D&X procedure presents potential
14 long-term safety concerns?

15 A. I am concerned that it may present long-term health
16 concerns.

17 Q. And what are those concerns?

18 A. Primarily prematurity.

19 Q. And would you please tell us about that?

20 A. This is a highly contentious topic and one that obviously
21 has significant political overtones as well as medical
22 overtones. And there is a great deal of contradictory
23 literature on the association between induced abortions and
24 subsequent prematurity.

25 I would say that the literature taken as a whole is

1753

44C5NAT2 Lockwood - direct

1 not completely convincing one way or another, that there are
2 two large studies that we have discussed in the past at the
3 deposition, from France and Denmark, that suggest that there is
4 a higher rate of prematurity associated with induced abortions,
5 a study from France by Henriot and Kaminsky, was published in
6 the British Journal of OB/GYN in 2001, looked at a snapshot of
7 the French population in 1995 and examined 12,000 patients and
8 found about a 13-fold increased risk of prematurity in women
9 that had an induced abortion and about a, if I remember
10 correctly -- well, a 19-fold risk if they had had two induced
11 abortions.

12 And the Danish study, which I think had different
13 advantages and disadvantages by Zhou et al. in Obstetrics and
14 Gynecology in 1999 looked at a 15,000 patient cohort used their
15 national databases to correlate outcomes and found a 19-fold
16 higher rate of prematurity with one induced abortion and a
17 26-fold higher risk with two.

18 That study had the advantage of knowing that 92
19 percent of the procedures were D&Es -- rather were surgical in
20 that sense, were D&Cs but only 7 percent were D&Es. It doesn't
21 tell us where the suction D&C versus the D&E posed the greater
22 risk and we have no way of knowing.

23 And argument could be made both ways that with a
24 suction D&C the cervical dilatation is more traumatic,
25 mechanical, and that with -- you could also argue though that

44C5NAT2 Lockwood - direct

1 the degree of cervical dilatation is substantially greater with
2 a D&E and I think that I would like to see further study
3 drilling down deep on that specific issue to get a better idea
4 of which procedure is actually putting patients at risk.

5 I think that there are two other good french studies
6 and they all show about the same odds ratio for prematurity.

7 I am concerned there is a link between induced
8 abortion and prematurity and I would like to know exactly where
9 it is and is it with early procedures or later procedures. Is
10 it related to mechanical trauma or in fact related to something
11 totally unrelated, not in fact a simple mechanical abnormality
12 but a biological phenomena.

13 And I think it's an area that does need extensive
14 additional study and one that probably the Europeans are in
15 better shape to perform because they have interchangeable
16 databases, linked databases and so forth that can try to
17 identify patients that have had the different procedures and
18 look at the subsequent pregnancies.

19 Q. Is it your understanding that there is a greater cervical
20 dilation with D&X than with D&E by dismemberment?

21 A. There is.

22 Q. In your opinion what would be required to establish the
23 safety of D&X?

24 A. Well, ideally a randomized clinical trial. But I think it
25 would be very reasonable to do cohort studies.

1755

44C5NAT2 Lockwood - direct

1 There are now multiple centers performing the
2 procedure accruing an ever larger number of patients. And over
3 the course of a 10-year period these patients, we can look at
4 the short-term complication rates versus D&E, we can do
5 logistic regression to adjust for factors such as greater
6 cervical dilatation or more advanced gestational age, and then
7 to look at subsequent pregnancies and try to determine whether
8 one procedure or another is associated with higher rates of
9 prematurity.

10 Q. And would looking at subsequent pregnancies help you know
11 the answer to your question about whether D&X has an effect on
12 preterm delivery?

13 A. Yes.

14 Q. Do you think that -- I think I heard you say that you
15 believed that D&X could be subject to randomized trial, is that
16 right?

17 A. Yes.

18 Q. How would you set that up?

19 A. Well the, it would have to be an intent to treat study
20 because obviously patients that may start off in one category
21 may not end up in the same category at the end of the
22 procedure, but presumably there would be some difference in the
23 duration of cervical ripening, additional numbers of laminaria
24 placements and/or in a pretreatment with mifepristone or
25 misoprostol. And the patients would be randomized prior to

44C5NAT2 Lockwood - direct

- 1 that event and then, obviously, the physicians would make a
2 decision one way or the other about which procedure they would
3 do but having generated greater cervical dilatation in the D&X
4 group presumably more would be done in that group, and then you
5 would follow the outcomes. The doctor's intent was to do this,
6 how many times did they do it, what were the outcomes and so
7 forth. And the same on the other side.
8 Q. Are you describing evidence-based medicine?
9 A. I am.
10 Q. Is it your view that evidence-based medicine is the way to
11 go in assessing the safety of D&X as compared to D&E by
12 dismemberment?
13 A. It's the way to go in medicine.
14 Q. And of the three procedures that we have been discussing
15 today, medical induction abortion, D&E by dismemberment and
16 D&X, do you have an opinion as to which method is the safest
17 for the woman after 20 weeks?
18 A. I think they're roughly comparable. I would -- my bias is
19 in favor of medical termination.
20 Q. When you say roughly comparable, are you referring to
21 short-term outcome, Doctor?
22 A. Yes.
23 Q. You have no way of knowing in terms of long-term outcome,
24 is that right?
25 A. That's correct.

1757

44C5NAT2 Lockwood - direct

- 1 Q. And is that because it hasn't been studied?
2 A. That's correct.
3 Q. Now, have you discussed the use of D&X with any of the
4 physicians that you interviewed for the head of your new family
5 planning service at Yale?
6 A. I think I have talked at the last one about the fact that I
7 would be an expert for the government but assured her that I
8 was committed to the service and that she would be given the
9 same autonomy that the directors at NYU had.
10 Q. Let me ask you about that, Doctor.
11 Have you, in -- has your professional practice been
12 affected at all by the fact that you have agreed to testify on
13 behalf of the government in this case?
14 A. Well not my practice --
15 MR. HUT: Objection, your Honor. Relevance.
16 THE COURT: I will allow it.
17 THE WITNESS: My practice, I don't believe has been
18 affected. My patients generally don't come to me because
19 they're interested in termination, so no to that.
20 Q. How about your standing in the professional community, has
21 that been affected in any way?
22 A. Well, I wouldn't view it as a career enhancing move being
23 here today, no. I think that I have certainly heard from a
24 number of my friends their spirited opinion of me being here
25 and but, you know, I think that as I have stated at the

44C5NAT2 Lockwood - direct

1 beginning that if one is not willing to provide evidence that's
2 empirically derived that's not normative, that's not
3 ideological. The system doesn't facilitate that, doesn't
4 permit it whether the system is an F.D.A. panel or an E.P.A.
5 panel or a hearing before a judge, then we are in deep trouble
6 in this country.

7 Q. Prior to giving your deposition in this case had it even
8 occurred to you to think about whether you would address the
9 use of D&X with the new director at Yale?

10 A. No.

11 Q. And so when Mr. Hut asked you for the first time at your
12 deposition what you would do if D&X were legal at Yale, what
13 did you tell him?

14 A. I would certainly allow her to, or him, to make the
15 decision about what methods to use based on their expertise and
16 knowledge.

17 Q. And why would you do that?

18 A. Well, I would do that for each of my divisions. It would
19 be analogous to me telling the in vitro fertilization program
20 how many embryos he can transfer.

21 I have my bias about that and I would like it to be
22 one because I'm the one that takes care of the multiple
23 gestations and I, in fact, although he hears me nag him
24 repeatedly on the topic, I give him full reign to do what he
25 believes to be in the best interest of his patients.

1759

44C5NAT2 Lockwood - direct

1 So, I would say the same, that certainly I see no
2 perilous short-term data that suggests that a D&X is a
3 dangerous procedure such that I would encourage her or him not
4 to do the procedure. I certainly as a perinatologist, whose
5 primary career focus is prematurity, I am very interested in
6 whether or not the long-term outcomes that are untoward were
7 and if they were, if there was a higher rate of prematurity,
8 then I actually would interpose myself into the decision-making
9 and tell them not to do it.

10 Q. And if you were the director of the family planning service
11 and performed abortions you wouldn't use D&X, would you?

12 A. I wouldn't be doing abortion, I would be a very bad
13 director I would suggest. But my bias would be against it, to
14 be honest with you.

15 Q. And that's because you're worried about the long-term
16 consequences, is that right?

17 A. That's correct.

18 Q. Can you conceive of any circumstance where after 20 weeks
19 there would be an advantage to D&X over D&E by dismemberment?

20 A. We have talked about this extensively in the deposition and
21 I admit to not being omnipotent and there might be some
22 condition that I am unaware of where the situation would be
23 such that it would be a preferred procedure, but in those
24 cases, if the law were in effect, you could still inject
25 potassium chloride into the heart or digoxin or lidocaine into

44C5NAT2 Lockwood - direct

1 the heart -- you could inject into the heart lidocaine,
2 potassium chloride or digoxin; or you could inject digoxin or
3 hyperosmolar urea into the fetus, or digoxin into the amniotic
4 fluid.

5 Q. Before we get to those, Doctor, I just want to make clear,
6 is it your testimony, sitting here today, that you cannot
7 conceive of any circumstance in which after 20 weeks there
8 would be an advantage to D&X over D&E by dismemberment?

9 MR. HUT: Objection. Leading, your Honor.

10 THE COURT: I will allow it.

11 A. No.

12 Q. And sitting here today, can you think of any circumstance
13 where D&X would be necessary to preserve maternal health?

14 A. No.

15 Q. Now, you mentioned the use of potassium chloride and
16 digoxin; is potassium chloride also known as KCL?

17 A. Correct.

18 Q. What is that?

19 A. That is an electrolyte that in high concentrations will
20 cause the heart to stop beating.

21 Q. And have you ever heard of the use of potassium chloride or
22 KCL in connection with performing second trimester abortion?

23 A. I have.

24 Q. In what circumstances?

25 A. It is frequently used as a way of ensuring that a live born

1761

44C5NAT2 Lockwood - direct

1 fetus doesn't result from a medical termination or, for that
2 matter, to avoid having to perform either procedure, either
3 surgical abortion on a living fetus.

4 Q. Is KCL used at NYU?

5 A. It was.

6 Q. And is KCL used at Yale?

7 A. It is.

8 Q. What's digoxin?

9 A. Digoxin is a cardiac medication that decreases the heart
10 rate, an anti-chonotrope and increases the strength of
11 contractions, an inotrope, and it is used in, generally in
12 patients with congestive heart failure and in certain patients
13 with rhythm abnormalities of the uterus -- of the heart. I am
14 an obstetrician.

15 Q. Is digoxin used at NYU?

16 A. No.

17 Q. Is it used at Yale?

18 A. No.

19 Q. To your knowledge, is it used in connection with second
20 trimester abortion?

21 A. Yes.

22 Q. And how is the injection of digoxin performed?

23 A. It could be performed directly into the heart giving a
24 milligram. It could be injected into the muscle of the fetus
25 if you couldn't access the heart, or it could be injected into

44C5NAT2 Lockwood - direct

- 1 the amniotic fluid all at the same dose.
2 Q. Does the injection of digoxin require skill?
3 A. Well, it would --
4 Q. Pardon me?
5 A. Progressively less in the three methods I just described.
6 Q. Okay, why do you say that?
7 A. It takes a little bit more skill -- it would take a lot of
8 skill to inject it into the umbilical cord, I mentioned that,
9 but that's another possibility, and that would gain access to
10 the fetal vasculature that way.
11 It could be injected into the heart directly. And
12 that requires more skill but -- less skill than the umbilical
13 cord puncture but more than just injecting it sort of blindly
14 into the fetal muscle or body cavity.
15 And then injecting it into the amniotic fluid would be
16 simple.
17 Q. Could injection of digoxin be performed by nurse or
18 physician's assistant?
19 A. As an amniocentesis it probably could be.
20 Q. Are there any risks to the injection of potassium chloride?
21 A. None that we know of.
22 Q. Does the amount of KCL that's used to ensure the death of
23 the fetus pose any risk to the woman?
24 A. No.
25 Q. Why do you say that?

1763

44C5NAT2 Lockwood - direct

- 1 A. It is a very small amount. It's on the order of five MLs
2 of a two ML equivalent per liter solution, right. It is not
3 enough potassium chloride. You are injecting that into five
4 liters of blood in the case of a pregnant woman.
5 Q. Is there any risk to the injection into maternal structures
6 other than the fetus during the course of the procedure?
7 A. In the injecting potassium chloride?
8 Q. Yes.
9 A. It would burn.
10 Q. I'm sorry?
11 A. It would burn.
12 Q. And what would that result be?
13 A. Discomfort.
14 Q. Can you -- anything else?
15 A. If you have ever had an IV infiltrate in your hand -- a lot
16 of people have had that -- it would be very similar.
17 Q. What about the risk of digoxin, is there any risk
18 associated with that injection?
19 A. Well, there seems to be -- there are some studies on its
20 form of kinetic properties, pharmacokinetics product, and it
21 seems to produce a level when injected into the amniotic fluid
22 that is at a low level of therapeutic range for pregnant women.
23 Injecting it into the fetal muscle or perhaps even
24 directly into the fetal heart, since it has very poor
25 transplacental passage, would likely result in even lower

44C5NAT2 Lockwood - direct

- 1 levels.
2 Q. Have you ever heard that there was a risk of vomiting or
3 nausea with the injection of digoxin?
4 A. Yes.
5 Q. And can you treat nausea or vomiting in connection with the
6 injection of digoxin?
7 A. Yes.
8 Q. What would you do, doctor?
9 A. We would give the patient and anti-emetic.
10 Q. Doctor, did you testify in Nebraska last week on behalf of
11 the government?
12 A. I did.
13 Q. At that time were you asked whether the use of KCL
14 presented risks to a woman who was HIV positive or who had
15 hepatitis?
16 A. I did, yes.
17 Q. And what did you say, Doctor?
18 A. I think I had a little brain cramp.
19 The question was really -- my mind was focusing on
20 amniocentesis and, in general, since one has to do
21 amniocentesis to perform, if you will, any of these procedures,
22 any of these injections that, in general, we try to avoid
23 amniocenteses on HIV positive moms and Hepatitis-B and C
24 positive moms because you can infect the fetus.
25 And so, in focusing on that I said, well, you probably

1765

44C5NAT2 Lockwood - direct

- 1 avoid doing it.
2 But, in reality, we are talking about abortion, we are
3 talking about an amniocentesis for genetic analysis. Since the
4 fetus is going to be killed anyways it doesn't matter much, I
5 suppose, if it is, if the virus is transmitted.
6 Q. So, you wouldn't see a risk to a woman who is HIV positive
7 with the use of potassium chloride or digoxin to ensure fetal
8 death prior to abortion, is that right?
9 A. Not as long as the fetus is, you know, the plan is to have
10 the fetus die; yes.
11 Q. That's the intent, the abortion is the intent?
12 A. Yes. Right.
13 Q. Do you perform amniocentesis, Doctor?
14 A. Yes.
15 Q. Approximately how many have you performed?
16 A. Very, very many.
17 Q. Is there any risk to amniocentesis?
18 A. There is a very small risk.
19 May I just add that that risk is the risk of abortion,
20 of miscarriage.
21 Q. Does the fact that there may be less passes with
22 instruments with D&X than there are with D&E by dismemberment
23 affect your opinion that D&X does not have safety advantages
24 over D&E by dismemberment?
25 A. I think I made it clear in the deposition that having fewer

44C5NAT2 Lockwood - direct

1 passes is certainly a good thing.

2 Q. But what are the risks of D&X, for example?

3 Do you believe, is it your opinion that there is a
4 risk in connection with the internal podalic conversion?

5 A. You would be concerned that since it is a fairly uterine
6 distending procedure that there is a risk of tearing the
7 lateral wall of the uterus, particularly in advanced
8 gestational ages and so actually laceration either the cervix
9 or more likely the uterus in that setting.

10 Q. How is that performed? What is that, an internal podalic
11 conversion?

12 A. You literally flip the fetus around.

13 Q. So, would you agree?

14 THE COURT: Would you describe that in a little more
15 detail?

16 A. It actually is. Pretty much that's what it is because I do
17 it pretty regularly externally at term, to flip the fetus
18 around with my hands.

19 I think the added risk here is that there is a metal
20 instrument being used internally to flip the fetus so the
21 concern would be, at least the theoretical --

22 THE COURT: You are trying to get it to a breech
23 position?

24 THE WITNESS: Exactly.

25 THE COURT: Or a cranial position.

1767

44C5NAT2 Lockwood - direct

1 THE WITNESS: Trying to take a fetus whose head is
2 down and flip it all around so that the feet are down.

3 THE COURT: So that the feet are down, you have a
4 breech presentation.

5 THE WITNESS: Correct.

6 THE COURT: Is that what you are trying to do?

7 THE WITNESS: Desperately trying to say but not very
8 articulately.

9 BY MS. GOWAN:

10 Q. Do you understand that sometimes the internal podalic
11 conversion is done by the physician's hand as opposed to with
12 an instrument? You just mentioned an instrument.

13 A. That works in my opinion. Probably be a safer way to do
14 it.

15 Q. Would there still be some risk, in your view, associated
16 with doing the internal podalic conversion manually?

17 MR. HUT: Objection to leading, your Honor.

18 THE COURT: Overruled.

19 A. Less.

20 Q. But some?

21 MR. HUT: Objection.

22 A. Maybe.

23 THE COURT: Overruled.

24 Jeez, if you don't understand the distinction I can't
25 do it for you.

44C5NAT2 Lockwood - direct

1 Q. In your view, is there any risk associated with the
2 incision of the skull of the fetus and the puncturing with the
3 scissors in connection with D&X abortion?

4 A. Well, certainly any time a sharp instrument is used in a
5 uterus there is always the risk that you could lacerate or
6 tear. I think I a lot of that would have to do with how well
7 one imaged the target, if you will, and had good hands. That
8 would be important too.

9 Q. When you say how well one imaged the target, are you
10 referring to the use of sonogram?

11 A. No. In this case actually physically looking at the base
12 of the fetal neck. And this was a procedure done for millennia
13 to effect the delivery of obstructed labors with the fetus the
14 other way around.

15 And clearly a hundred years ago it was associated with
16 a very high mortality rate but in this setting the fetus is
17 smaller, it's delivered to the point of the base of the neck
18 being actually out beyond the level of the cervix.

19 I would say that if the procedure has to be done
20 further into the uterus obviously there would be created
21 greater risk but the key would be the skill of the
22 practitioner, the level to which the head had descended, and
23 the ability to actually directly visualize what one was
24 ensizing.

25 Q. And the risk would vary depending upon the presence or

1769

44C5NAT2 Lockwood - direct

1 absence of those factors?

2 A. Correct.

3 Q. Are there risks of retained placental fragment with D&X?

4 A. Presumably lower than with D&E.

5 Q. Have you ever heard of a placenta coming out completely
6 intact after D&X?

7 A. Well, I don't have any frame of reference.

8 I mean, generally speaking after a D&E, which I assume
9 would be comparable, you suction aspirate the placenta so it
10 doesn't come out intact. I don't know why it would matter one
11 way or the other.

12 Q. And you would use instruments in connection with the
13 removal of the placenta in D&E by dismemberment?

14 A. All three would be the same, medical, D&E and D&X.

15 Q. What is amniotic fluid embolism?

16 A. A condition where amniotic fluid enters into the mother's
17 blood stream and causes cardiovascular collapse and coagulation
18 abnormalities.

19 Q. And is there a risk of amniotic fluid embolism with
20 surgical abortion?

21 A. Small, but yes.

22 Q. It is a rare condition?

23 A. It is.

24 Q. Does surgical abortion carry a greater risk of amniotic
25 fluid embolism as opposed to induction abortion?

44C5NAT2 Lockwood - direct

- 1 A. That's the presumption.
2 Q. Why is that?
3 A. Because you have a greater opportunity to cause an injury
4 to the wall of the uterus with the various instruments that are
5 used and theoretically the cause of this is the exposure of a
6 uterine vein to amniotic fluid, and that sucks the amniotic
7 fluid in and that causes the, for a variety of different
8 theoretical reasons, the conditions.
9 THE COURT: Mr. Gowan, is this a convenient time to
10 take the morning break or could you have more questions in this
11 area?
12 MS. GOWAN: Your Honor, it is a convenient time.
13 THE COURT: We will take our morning recess.
14 (Recess)
15 THE COURT: Ms. Gowan, you may inquire.
16 BY MS. GOWAN:
17 Q. Doctor, before the morning break we were talking generally
18 about risk. In your view, Congress may have exaggerated some
19 of the risks to women in some of the specific findings in
20 section 14A, is that right?
21 A. Correct.
22 Q. Would you agree that the absence of data proving the
23 specific risks that Congress identified in Section 14A proved
24 the absence of harm?
25 MR. HUT: Objection. Vague and ambiguous.

1771

44C5NAT2 Lockwood - direct

- 1 THE COURT: Overruled.
2 THE WITNESS: Not that I am agreeing of course with
3 the plaintiff attorney, but could you repeat it again? I
4 think.
5 THE COURT: Madam reporter, would you reread the
6 question?
7 (Record read)
8 THE WITNESS: I think I would actually have to go line
9 by line and determine which specific finding was in question.
10 Q. Well, as a general matter, if there isn't any data showing
11 a specific harm does that, in your view, mean that a harm
12 doesn't exist?
13 A. Certainly in general I would agree with that statement.
14 Q. And you would like to have issues particularly relating to
15 the long-term risks associated by D&X study, correct?
16 A. Yes.
17 Q. And your view as to the findings in 14A that they may be
18 exaggerated doesn't affect your view that the procedure is not
19 medically necessary, is that right?
20 A. Correct.
21 Q. Do you have an opinion on the best method of abortion for
22 purposes of facilitating pathological assessment of the fetus,
23 if required, for genetic counseling?
24 A. It would depend on the condition in question.
25 Q. And, why is that?

44C5NAT2 Lockwood - direct

1 A. Well, in general, most patients prefer to have D&Es that I
2 have counseled. And unless there is a central nervous system
3 finding that I would be very eager to have evaluated, I very
4 rarely see any need for full anatomic surveillance. We are
5 pretty good at ultrasound particularly at Yale, and D&E can be
6 obtained from the fluid or placenta, as can chromosomal
7 studies.

8 And so, in general, unless there really is a specific
9 abnormality in the brain that I think is vital to the
10 diagnosis, it doesn't really matter to me what the procedure
11 is.

12 Q. And in those cases where the brain is necessary for
13 analysis, would you prefer abortion by labor induction method?

14 A. Of course.

15 Q. Is the use of D&E by dismemberment for purposes of
16 pathological assessment studied in the literature?

17 A. It has been.

18 Q. And the it's supported in the literature, is that right?

19 A. Correct.

20 Q. Doctor, you told us before that you had observed D&E
21 abortions on live fetuses, is that right?

22 A. Correct.

23 Q. And at what gestational ages have you observed that?

24 A. 16 to 20 or earlier.

25 Q. And on those occasions in which you have witnessed a D&E

1773

44C5NAT2 Lockwood - direct

1 abortion on a live fetus, have you ever seen the fetus withdraw
2 from the grasping instrument?

3 A. I have.

4 Q. And at what gestational ages have you witnessed that,
5 Doctor?

6 MR. HUT: Objection, your Honor. We are getting into
7 an area here that is not disclosed in the expert report.

8 THE COURT: Ms. Gowan, is this a factual witness as
9 well as an expert?

10 MS. GOWAN: Your Honor, the government is offering
11 Dr. Lockwood as an expert witness. Certainly he opined
12 extensively about D&E by dismemberment in his expert report and
13 he was deposed upon it. I am merely asking him to tell us an
14 observation that he had had while he was witnessing the
15 performance of a procedure in which he has opined extensively.

16 MR. HUT: Your Honor, this gets into the question, if
17 I may be heard, about fetal reflex, fetal reaction to stimuli.
18 It is completely beyond the report as I think Ms. Gowan's
19 answer to your question implicitly acknowledges.

20 THE COURT: I disagree.

21 I will allow it up to a point.

22 THE WITNESS: I have seen the foot withdraw from a
23 forcep, yes.

24 BY MS. GOWAN:

25 Q. At what gestational age?

44C5NAT2 Lockwood - direct

1 A. 16 weeks.

2 THE COURT: Let me ask you this, Doctor.

3 In your experience as an obstetrician/gynecologist and
4 with the experience you have previously described in observing
5 abortions and supervising other doctors, do you believe an
6 obstetrician should make full detailed disclosure to a woman
7 who is considering an abortion?

8 THE WITNESS: Your Honor, that's a wonderful and very
9 tough question.

10 I think that physicians have an ethical obligation to
11 disclose the risks of a procedure to the patient and the
12 options that have available to them as they relate specifically
13 to the health of the patient.

14 I think that the information about the fetal patient,
15 if you will, is relevant obviously when the interventions are
16 designed to benefit the fetus and particularly, for example,
17 should the patient have a caesarean section versus a vaginal
18 delivery.

19 The intent is to kill the fetus, then I think the
20 physician is challenged with two conflicting ethical
21 obligations, beneficence and nonmaleficence to the patient and
22 nonautonomy and from data being given to the patient to give an
23 appropriate informed consent.

24 While the latter would suggest that information about
25 the fetus should be conveyed to the patient so that you are

1775

44C5NAT2 Lockwood - direct

1 being honest and fully up front with the patient, she can
2 better make a decision about what she should do. She might
3 elect to have a medical abortion, she might elect to have
4 potassium chloride, she might elect not to have the abortion at
5 all.

6 On the other hand, potential to increase the patient's
7 guilt and feelings of stress and anxiety would likely be
8 increased by that conversation.

9 So, I would suggest that in this tortured ethical
10 argument the answer probably would be that the information
11 should be given so she can make an informed consent that's
12 fully valid and with all the information required for risks and
13 benefits. But probably graphic details about how the fetus
14 will be killed isn't ethically justifiable.

15 THE COURT: Don't you think the woman who is making
16 this very serious decision should know, say, for example, if
17 she were choosing a D&E procedure, that the limbs of her baby
18 are being torn off?

19 THE WITNESS: Well, now you know why I don't do
20 abortions, but I --

21 THE COURT: How can you call it informed consent if
22 you don't tell her exactly what she is ordering you to do?

23 THE WITNESS: Your Honor, there are two patients, and
24 your obligation, since she is obviously not going to allow the
25 other patient to survive, are to your remaining patient, and I

44C5NAT2 Lockwood - direct

1 would argue that from an ethical perspective the greater harm
2 potentially, and I have no data to support this, but my gut
3 feeling would be that the potential harm to the patient
4 long-term might be greater if one gave graphic details.
5 Now, on the other hand, I think you need to provide
6 some information and I think if the patient inquires, well,
7 what exactly do you do, you need to be incredibly honest with
8 the patient and describe it in detail. Clearly --
9 THE COURT: But if you are doing a D&X that you are
10 going to put a hole in the base of the skull.
11 THE WITNESS: I think if the patient asks, yes.
12 THE COURT: And suck the brain out?
13 THE WITNESS: I think so.
14 I mean, to be blunt, your Honor --
15 THE COURT: The mother is ordering you to do this.
16 THE WITNESS: Well, yeah. That's --
17 THE COURT: And one of the --
18 THE WITNESS: Not me, but --
19 THE COURT: And one of the options you mentioned
20 before was to carry the child to term.
21 THE WITNESS: Well, I think the --
22 THE COURT: Don't you think when you put that moral
23 obligation there with full disclosure, shouldn't it be complete
24 and detailed?
25 THE WITNESS: I will tell you what I tell the patients

1777

44C5NAT2 Lockwood - direct

1 because I am sort of, in some ways, the first contact because
2 we're often the person that makes the diagnosis. I give all
3 the information about whatever the diagnosis would be.
4 Let's say for example spina bifida, outcomes, IQs, the
5 ability to walk and so forth and lay out the different options
6 for them. Continuing the pregnancy, continuing the pregnancy
7 and vaginal delivery and c-section, what are the advantages and
8 disadvantages of those two procedures.
9 Then I would say another option would be to terminate
10 the pregnancy. I try to be very valueless in that assessment
11 and I can tell you that at least half the patients with spina
12 bifida I see elect not to terminate I think because in large
13 part it is a very objective. They meet the neuro surgeons and
14 are fully informed about the decision.
15 But I do not then give them information about the
16 types of terminations other than to mention them in general,
17 surgical versus medical and refer them to the person that's
18 doing it.
19 I would suggest also, since I don't do abortions, that
20 I probably would not be the best person to counsel a patient
21 about the procedure, not that I am trying to cop out of your
22 question, but --
23 THE COURT: We have had a lot of testimony here and a
24 lot of doctors who talk in terms of disarticulation.
25 THE WITNESS: Well --

44C5NAT2 Lockwood - direct

1 THE COURT: Do you think the average woman has a clue
2 who comes in as a patient what you are talking about when you
3 use terms like that?

4 THE WITNESS: I mean -- yeah, I think you have to
5 respect her dignity, I guess in that sense.

6 THE COURT: Do you think she understands the word?

7 THE WITNESS: No. Probably not.

8 THE COURT: Do you discuss fetal pain with patients?

9 THE WITNESS: I do in the context of procedures we do
10 to preserve the life of the fetus.

11 So, for example, when I am putting a chest tube in a
12 fetus I will, we actually pre-medicate everyone so that there
13 is no pain and I will discuss the notion of pain, I will
14 discuss the difference between having the noxious stimuli
15 transmitted to your brain and actually being sentient and
16 cognizant of what that pain means. Having done a view of these
17 procedures I think certainly after 24 weeks pain is pain for
18 the fetus, particularly if you are putting a one centimeter
19 tube in the fetus' chest.

20 So, I do fully inform the patients about that and
21 whether or not the procedure works, what are the potential
22 outcomes and so on and so forth to help her make that decision.
23 Although I have to say, when the choice is for potential fetal
24 death versus pain, most patients would rather you put the
25 procedure in and --

1779

44C5NAT2 Lockwood - direct

1 THE COURT: Would rather what?

2 THE WITNESS: Put the procedure in and save the fetus'
3 life than put the -- for example, the tube in the chest to
4 drain fluid, whatever the particular procedure might be, more
5 often it's the kidney.

6 But I do discuss pain at length with patients in that
7 sense.

8 THE COURT: Are you aware that there are have been
9 studies done on fetus pain?

10 THE WITNESS: I am.

11 THE COURT: Would you consider that a legitimate
12 factor when you talk about full disclosure?

13 THE WITNESS: Well, my bias, as I alluded to at the
14 very beginning of my testimony is that before, to crudely
15 summarize it, before 20 weeks and abortion, ought to be between
16 the physician and the patient; that after 24 weeks I don't see
17 any need for termination of pregnancy under any circumstances.

18 Termination of the pregnancy may be needed but not
19 killing the fetus intentionally in the process.

20 And I feel strongly that between 20 and 24 weeks
21 procedures and -- procedures ought to be designed to minimize
22 fetal pain either through the use of analgesia, anesthesia or
23 the actual technique.

24 And the argument really is that a purely ethical
25 argument that says that one must respect the dignity of this

44C5NAT2 Lockwood - direct

1 fetus, this potential patient, this potential human, and
2 although there is a conflict between the mother's reproductive
3 autonomy and her options and her privacy and her choice and the
4 fetus' life and dignity and opportunity to achieve that
5 potential, while it may be appropriate to terminate the
6 pregnancy before 24 weeks and while there is good evidence, I
7 would argue that the fetus is sentient after 24 weeks, 20 to 24
8 weeks is a gray area for both the concept of sentience and of
9 pain and that we should do our best to minimize any discomfort
10 to the fetus.

11 Does that answer your question?

12 THE COURT: I think it helps.

13 Ms. Gowan?

14 BY MS. GOWAN:

15 Q. Doctor, have you ever testified in any cases before
16 involving partial-birth abortion?

17 A. I have now.

18 Q. Is this the first case, is that right?

19 A. In Nebraska, yes.

20 Q. The federal law, the Partial-Birth Abortion Ban Act of
21 2003?

22 A. Correct.

23 Q. Did there come a time when you wrote a draft editorial
24 about the Partial-Birth Abortion Ban Act of 2003?

25 A. There was such a time.

1781

44C5NAT2 Lockwood - direct

1 Q. And how did it come about that you wrote that?

2 A. I'm the editor of, as I mentioned before, the editor in
3 chief of Contemporary OB/GYN, and that is a magazine that
4 provides review articles and news and opinions about the field
5 and I am required, as part of my job, to write an editorial
6 every month.

7 I am not limited as to what I could write on but I
8 thought this would be an excellent topic because it brought out
9 some fascinating legal and ethical issues that I thought ought
10 to be open to debate, certainly by 40,000 obstetricians and
11 gynecologists.

12 Q. Did you ever publish that?

13 A. I did not.

14 Q. Did your draft editorial express any views about the
15 language of the act, in particular the act that was prohibited,
16 the D&X procedure?

17 A. It did.

18 Q. And at the time you wrote the draft editorial, had you
19 reviewed any materials relating to this case?

20 A. I believe that I had reviewed some literature and I believe
21 some of the expert reports of the plaintiffs.

22 Q. Some of the declarations that have been provided by the
23 plaintiffs?

24 A. Okay.

25 Q. And had you reviewed anything that had been prepared by the

44C5NAT2 Lockwood - direct

1 government?

2 A. I do not believe I had at that time.

3 Q. And have your views about the language of the Act, as it
4 relates to the procedure that is prohibited, have they changed
5 in any way since you wrote that draft editorial?

6 A. Some have and some have not.

7 Q. And the ones that have changed, what's changed?

8 A. I think that the issues that -- I don't have the draft in
9 front of me and I haven't read it in quite a while -- but I
10 think that the things that appear to me to be relevant to that
11 opinion in that editorial are the issues of the necessity of
12 the procedure, vis-a-vis the alternatives.

13 Q. And that hasn't changed, is that right?

14 A. And that has not changed.

15 And the issue of vagueness. Would this law restrict a
16 woman's access to abortions before 24 weeks, before whatever
17 the state in question limits; and was it, is the language clear
18 enough that a reasonable attorney -- and I won't comment on
19 that adjective -- would interpret in such a way as to be able
20 to implement the law without prejudice against physicians or
21 patients -- obviously patients aren't going to be affected by
22 the law directly -- to not prosecute them for, for example a
23 D&E. Or medical termination for that matter.

24 And what I think I learned, primarily as a result of
25 the report that you prepared for the Court, was that this

1783

44C5NAT2 Lockwood - direct

1 really became a legal issue, one that revolved around the issue
2 of intent and this concept of mens rea and that, in all
3 likelihood, would represent far more of a legal issue than a
4 medical issue.

5 I think my position was that, remains that the law is
6 interpreted in a way that restricts access to abortion, not
7 that I'm a judge or have any authority. I would think it
8 should be unconstitutional.

9 If it were interpreted in a way that allowed
10 unfettered access to a D&E, medical termination up to the point
11 that it is legally available in any given state, and certainly
12 to 23 and six sevenths weeks, that the law would likely be
13 constitutional and acceptable.

14 Q. Well, you mentioned the word mens rea and intent. What is
15 your understanding about that in terms of the Act?

16 MR. HUT: Objection. Calls for legal conclusion.

17 Q. Well let me ask it this way, Doctor.

18 THE COURT: All right.

19 Q. Do you agree that a physician can make a decision to
20 perform a D&X procedure?

21 A. Yes.

22 Q. Doctor, you were asked at your deposition whether you
23 believed, in light of the declaration submitted by the
24 plaintiffs and the ACOG policy statement, whether there was a
25 "significant body of medical opinion that, in some

44C5NAT2 Lockwood - direct
1 circumstances for some women," an intact D&E may be a safer
2 method of abortion than another option.

3 Do you recall being asked that question?

4 A. I do.

5 Q. Do you recall agreeing with Mr. Hut in response to that
6 question?

7 A. I do.

8 Q. What did you understand you were being asked by Mr. Hut?

9 A. Well, in light of the expert reports that I have read from
10 the plaintiffs and knowledge that this was a procedure that was
11 viewed by those that perform abortions in the mid-to-late
12 second trimester as necessary under certain circumstances that
13 there was in fact a body, i.e., these individuals who supported
14 its use and considered it to be the safer, quote unquote,
15 procedure.

16 Q. And that body was the plaintiffs' experts?

17 A. Right.

18 MS. GOWAN: Your Honor, I have no further questions.

19 THE COURT: Mr. Hut?

20 CROSS EXAMINATION

21 BY MR. HUT:

22 Q. Good morning, Doctor.

23 A. Good morning.

24 Q. We met at your deposition in New Haven, do you recall?

25 A. I do.

1785

44C5NAT2 Lockwood - cross

1 Q. Dr. Lockwood, you are aware, aren't you, that there is a
2 debate among doctors experienced in abortion concerning whether
3 D&X may be safer than other abortion procedures for some women
4 in some circumstances?

5 A. Correct.

6 Q. You agree, don't you, that there are responsible doctors
7 who believe that intact D&X in the second trimester HAS safety
8 benefits?

9 A. Correct.

10 Q. You read the deposition in this case filed by Carolyn
11 Westhoff and Stephen Chasen, correct?

12 A. Correct.

13 Q. You agree that Dr. Westhoff and Dr. Chasen expressed the
14 view that intact D&E may be the safest way to perform a D&E?

15 A. Yes.

16 Q. You also agree that both doctors are knowledgeable in the
17 area of abortion practice, correct?

18 A. Correct.

19 Q. And both are well respected?

20 A. Correct.

21 Q. Both are accomplished?

22 A. Well, define accomplished; but certainly Carolyn is very
23 accomplished and Steve eventually will be.

24 THE COURT: Can I have that answer, please?

25 (Record read)

44C5NAT2

Lockwood - cross

- 1 THE COURT: Do you know them both?
2 THE WITNESS: I do.
3 THE COURT: Have you observed them operate?
4 THE WITNESS: No, not at all. I know only their
5 academic reputations and, to lesser extent Steve's reputation
6 as maternal fetal medicine clinician. And he is a young --
7 younger guy, needs a little bit more seasoning.
8 THE COURT: Okay, next question.
9 BY MR. HUT:
10 Q. Dr. Lockwood, the doctor that you hired to lead the
11 reproductive choice program at NYU performed intact D&Es,
12 right?
13 A. Correct.
14 Q. You are extremely confident in the clinical skills and
15 abilities of that doctor, correct?
16 A. Right.
17 Q. That doctor was knowledgeable, in your judgment, right?
18 A. Correct.
19 Q. And that doctor was accomplished, right?
20 A. Well, with the same limitations that I apply to Steve.
21 Relatively junior, still needs more academic seasoning
22 but very competent clinically.
23 Q. And intact D&Es are currently provided at NYU, correct?
24 A. Correct. Well, at Bellevue Hospital.
25 Q. And you are aware that in 1997, Dr. Lockwood, ACOG, in a

1787

44C5NAT2

Lockwood - cross

- 1 policy statement, said that an intact D&X may be the best or
2 most appropriate procedure in a particular circumstance to save
3 the life or preserve the health of the woman, correct?
4 A. Correct.
5 Q. And ACOG added that only the doctor, in consultation with
6 the patient and based on the patient's particular
7 circumstances, can make this decision, correct?
8 A. Correct.
9 Q. And you know, don't you, that the policy statement has been
10 reaffirmed twice since by ACOG?
11 A. Yes.
12 Q. So, Dr. Lockwood, yes or no; based on your review of the
13 plaintiff's declarations including those by Drs. Westhoff and
14 Chasen, your experience at NYU and your familiarity with the
15 program there, the ACOG policy statement; you agree, don't you,
16 that there is clearly a significant group of second trimester
17 abortion providers who believe that, in some circumstances for
18 some women, an intact D&X may be safer than available abortion
19 options?
20 MS. GOWAN: Objection, your Honor.
21 THE COURT: The objection?
22 MS. GOWAN: Significant group. I don't know what that
23 means. It's a vague question.
24 THE COURT: Can you rephrase it, Mr. Hut?
25 (Continued on next page)

44crnat3

Lockwood - cross

1 Q. Let me ask you exactly the question that Ms. Gowan examined
2 you about a moment ago. Based on your review of the
3 declarations, based on your experience at NYU as head of the
4 reproductive choice program there, and based on the ACOG policy
5 statement, you would agree that there is a significant body of
6 opinion among second trimester abortion providers that in some
7 circumstances for some women an intact D&X may be safer than
8 available options?

9 MS. GOWAN: Objection, your Honor. That is Mr. Hut's
10 question, and I objected to its form at the deposition, and I
11 object to it again here today.

12 THE COURT: Sustained.

13 MR. HUT: Your Honor, she elicited questions about the
14 very statement on direct.

15 THE COURT: Just rephrase it, Mr. Hut.

16 Q. Based on all of the criteria, all of the factors that I
17 identified in the previous question, you would agree, wouldn't
18 you, that there are a substantial number of second trimester
19 abortion providers who agree that in some circumstances for
20 some women an intact D&X is a safer procedure than available
21 alternatives?

22 MS. GOWAN: I object to the form of the question.

23 THE COURT: I will allow it.

24 A. Yes.

25 Q. Doctor, it is true, isn't it, that in your career you have

44crnat3

Lockwood - cross

1 never performed an abortion on a living fetus?

2 A. Correct.

3 Q. In fact, as a matter of policy, you do not perform
4 abortions, based on personal philosophical reasons?

5 A. Right.

6 Q. You have performed suction curettage procedures on patients
7 who suffered miscarriages, is that right?

8 A. Correct.

9 Q. And performed dilation in connection with that procedure?

10 A. Correct.

11 Q. But even to treat miscarriage, you have only rarely
12 performed other methods of abortion, correct?

13 A. D&E's, for example?

14 Q. For example, yes.

15 A. Correct.

16 Q. You have performed a DEA on more than one occasion on a
17 fetus that has already died following a miscarriage, true?

18 A. Correct.

19 Q. But you wouldn't claim as a result of that to have
20 expertise in performing D&E's, would you?

21 A. No.

22 Q. You have never performed an intact D&E on a demised fetus,
23 have you?

24 A. No, clearly not.

25 Q. The D&E's that you have observed, Dr. Lockwood, have

1790

44crnat3 Lockwood - cross
1 involved only dismemberment, is that right?
2 A. That's correct.
3 Q. You have never observed an intact D&E, correct?
4 A. Correct.
5 Q. You have also never performed an induction abortion where
6 spontaneous abortion or miscarriage had not already begun,
7 correct?
8 A. Correct, although I have certainly observed them.
9 Q. And you do not personally teach induced abortion on living
10 fetuses to medical students or residents at the present,
11 correct?
12 A. Correct.
13 Q. And you never have, is that right?
14 A. Not to my knowledge.
15 Q. You have never before been qualified as an expert in
16 abortion practice or procedure in any court, have you?
17 A. Correct.
18 MS. GOWAN: I object to the form of the question, your
19 Honor.
20 THE COURT: A little late. The answer was out. What
21 is your objection? It came out on direct, did it not?
22 MS. GOWAN: I don't think in the specific way that Mr.
23 Hut just elicited in his question. But I will withdraw the
24 objection, your Honor. Thank you.
25 THE COURT: It has been recognized now, for whatever

1791

44crnat3 Lockwood - cross
1 it is worth.
2 Q. Until your testimony in Nebraska this past Friday, you have
3 never given testimony in any case in any capacity on abortion,
4 have you?
5 A. That is correct.
6 Q. You can't recall, Dr. Lockwood, can you, whether you have
7 ever served as a peer reviewer on any articles concerning
8 abortion, right?
9 A. I have certainly served as a peer reviewer for articles
10 involving RU486.
11 THE COURT: Concerning what, sir?
12 THE WITNESS: Mifepristone, a medical preparation.
13 Q. Let me recast the question. You can't recall, can you,
14 serving as a peer reviewer for any article on abortion as
15 performed in the second trimester, can you?
16 A. No.
17 Q. When you prepared your expert report in this case, you
18 wrote a description of abortion practice in the first and
19 second trimesters, correct?
20 A. Correct.
21 Q. In writing that description, you got input concerning
22 abortion practice from someone else who had actually performed
23 abortions, correct?
24 A. It depends on the section that you are talking about.
25 Q. Fair. Why don't you tell me and tell the Court which

44crnat3 Lockwood - cross

1 sections you got input on, Doctor.

2 A. Clearly, I can do suction curettage, so I can describe
3 that. I'm pretty good at doing C-sections, so I can describe
4 hysterotomy pretty well. I certainly understand how to do a
5 medical termination as well as anyone in the plant, since I am
6 considered an expert on the subject of misoprostol.

7 I would say for the sections on D&E and particularly
8 for D&X, as I disclosed at my deposition, I reviewed written
9 materials, I reviewed the papers, and so forth, my own
10 up-to-date Obstetrics, and discussed with the people that had
11 extensive experience in that area.

12 Q. That included the doctor who had chaired the reproductive
13 choice program at NYU, correct?

14 A. Correct.

15 Q. You got details from that doctor concerning the D&E and
16 intact D&E procedures, correct?

17 A. Correct.

18 Q. As we have seen, that doctor in fact performed intact D&E's
19 while you chaired the department at NYU, right?

20 A. Correct, we think.

21 Q. Dr. Lockwood, you have been sued more than one time for
22 malpractice, correct?

23 A. I have.

24 Q. In one of those cases you were dropped as a defendant?

25 A. I believe I have been dropped three times. I believe I

44crnat3 Lockwood - cross

1 have been sued seven times. Three have been dropped, two of
2 them I assume are about to disappear, and there are two current
3 ones, to neither of which I was remotely related, which is sort
4 of typical for a chairman, remotely related to the actual
5 quote-unquote occurrence. But that is our tort system.

6 Q. In those that were dropped or about to disappear, do you
7 know whether those involved settlements by the hospitals or
8 other defendants?

9 A. No, none of them have.

10 THE COURT: Did any of them involve you, I assume they
11 didn't since you don't perform them, involve you performing an
12 abortion?

13 THE WITNESS: Absolutely not.

14 Q. With respect to the tort system, you would agree, Dr.
15 Lockwood, wouldn't you, that it is not uncommon for
16 obstetrician/gynecologists these days to be sued for
17 malpractice, correct?

18 A. That is the crisis.

19 Q. Because of the plethora of malpractice suits against
20 obstetricians/gynecologists, there are some states where it is
21 difficult for them to obtain malpractice insurance, correct?

22 A. Sir, you are waving red meat in front of a bull here. You
23 know how I feel about this topic. I think at some long -- and
24 I am not going to rise to it except to say I agree with
25 everything you have said much more than you can possibly

1794

44crnat3 Lockwood - cross

- 1 imagine.
2 Q. I'm on your side on this one, Doctor.
3 A. All right.
4 Q. You were chair of the OB-GYN department at NYU for seven or
5 eight years, from 1995 to 2002, correct?
6 A. Seven years, correct.
7 Q. As chair, you hired physicians who performed, taught, and
8 supervised abortions, right?
9 A. Correct.
10 Q. As we have discussed, you hired doctors to direct the NYU
11 reproductive choice program?
12 A. Correct.
13 Q. At least one of those doctors performed intact D&E
14 procedures herself, right?
15 A. Correct.
16 Q. As to the other doctor that you hired, you just don't know
17 if that doctor performed intact D&E procedures while she was
18 there, correct?
19 A. That's correct.
20 Q. You relied on these doctors, Dr. Lockwood, to exercise
21 their judgment in deciding what kinds of abortions to perform,
22 correct?
23 A. Correct.
24 Q. They were trusted colleagues of yours, correct?
25 A. Correct.

1795

44crnat3 Lockwood - cross

- 1 Q. You gave them wide latitude to do D&E's, correct?
2 A. Correct.
3 Q. And wide latitude to train residents in doing them,
4 correct?
5 A. Correct.
6 Q. You relied on them to determine what abortion procedures
7 and variations to teach, right?
8 A. Correct.
9 Q. Although you may not actually have known that the doctor
10 who performed intact D&E's was doing them, you would have
11 allowed her to do them if you had known, correct?
12 A. Correct.
13 Q. You were confident that that doctor would have provided the
14 procedures to the patients that that doctor thought safest,
15 correct?
16 A. Yes.
17 Q. In your experience, your former NYU colleague whom you
18 hired to chair the reproductive choice program provides sound
19 medical care to her patients, correct?
20 A. Yes.
21 Q. Including the intact D&E's that she performs, correct?
22 A. Correct.
23 Q. You agree that that doctor makes an assessment of what care
24 is safest for a patient given that patient's particular
25 circumstances, correct?

1796

44crnat3 Lockwood - cross

- 1 A. Correct.
2 Q. In your last year at NYU, Dr. Lockwood, approximately 900
3 abortions were performed at Bellevue, right?
4 A. Correct.
5 Q. One-third of those, approximately, were performed in the
6 second trimester, correct?
7 A. Correct.
8 Q. That is about 300 a year, correct?
9 A. Yes.
10 Q. That averages out, if I have done the arithmetic right, to
11 about 25 a month, correct?
12 A. Sounds right.
13 Q. Of those 300 or so second trimester abortions per year,
14 there was about one induction or medical abortion per month,
15 right?
16 A. Correct.
17 Q. Of the 300 second trimester abortions, approximately 25 to
18 35 percent were D&E's in which the fetus was removed intact,
19 correct?
20 A. Correct.
21 Q. Doing the arithmetic again, about 75 to 100 abortions in
22 your last year of NYU were performed using the intact D&E
23 procedure at that institution, right?
24 A. We are not exactly sure whether it was the part of the year
25 I was there or not, but I think it is a reasonable assumption

1797

44crnat3 Lockwood - cross

- 1 that during the course of the year 2002 that is what happened.
2 Q. As chair of the department, you were responsible for what
3 happened in your department, correct?
4 A. Very much so.
5 Q. You were responsible for making sure that the abortions as
6 performed at NYU were safe, right?
7 A. Correct.
8 Q. You were responsible for making sure that the best
9 gynecological care was given to your patients, right?
10 A. Correct.
11 Q. You wouldn't allow, Dr. Lockwood, would you, any unsafe
12 procedure to be performed in your department?
13 A. I wouldn't stay as chair very long if I did.
14 Q. You wouldn't allow any innovation in technique for patient
15 surgery that you thought was unsafe, would you?
16 A. Correct.
17 Q. At the time the NYU reproductive choice department was
18 performing intact D&E's under your chairmanship, there were no
19 completed peer-reviewed studies concerning its safety, were
20 there?
21 A. Correct.
22 MS. GOWAN: Objection, your Honor. I don't think
23 there is any evidence that the procedure was being performed at
24 the time that Dr. Lockwood was the chair.
25 THE COURT: I believe the witness said that there was.

44crnat3 Lockwood - cross

1 MS. GOWAN: I think, your Honor, he said that he
2 didn't know if it was performed, because he had left during the
3 year, and he wasn't sure whether it was actually performed when
4 he was there.

5 THE COURT: Doctor, do you know whether it was
6 performed while you were there?

7 THE WITNESS: No.

8 THE COURT: You don't know?

9 THE WITNESS: I am guessing that, given the volume --
10 THE COURT: No, no. Do you know of your own
11 knowledge?

12 THE WITNESS: I do not know.

13 THE COURT: Then I will sustain the objection.

14 Q. Based on your judgment concerning the volume, Dr. Lockwood,
15 do you believe that as many as 75 to 100 intact D&E procedures
16 were performed at NYU during your last year of chairmanship?

17 MS. GOWAN: Objection.

18 THE COURT: Sustained. Next question.

19 Q. You know, Dr. Lockwood, don't you, that the chair of the
20 reproductive choice program has performed D&E's, intact, within
21 the past, say, year and a half?

22 A. I don't know that, but I am assuming that she has.

23 THE COURT: Don't assume anything, Doctor. If you
24 don't know, you don't know. That's it.

25 THE WITNESS: I don't know.

44crnat3 Lockwood - cross

1 THE COURT: Next question.

2 Q. While you were at NYU, Dr. Lockwood, there were no
3 completed peer-reviewed studies concerning the safety of the
4 intact D&E procedure, were there?

5 A. Correct.

6 Q. Those at NYU-Bellevue who were performing intact D&E
7 procedures were relying on their skill, judgment, and
8 experience, right?

9 A. And training.

10 MS. GOWAN: Objection.

11 THE COURT: How does he know that?

12 MS. GOWAN: Thank you, your Honor. I'm just rising.

13 THE COURT: Sustained. Mr. Hut, you know better than
14 that.

15 Q. Dr. Lockwood, while you were at NYU, profit considerations
16 never determined the choice of the obstetric/gynecological
17 surgical option made available to patients, did it?

18 A. Not at Bellevue Hospital.

19 Q. That applies to the choice of abortion surgeries, too,
20 doesn't it, Doctor?

21 A. Not at Bellevue Hospital, that is correct.

22 Q. The doctor that you hired to head the reproductive choice
23 program at NYU never preferred D&E's because they earned her
24 more money than inductions, did she?

25 MS. GOWAN: Objection, your Honor.

1800

44crnat3

Lockwood - cross

- 1 THE COURT: Sustained. You know how to properly ask
2 the question, Mr. Hut. I assume you do. If so, do so.
3 Q. Are you aware of any evidence, Dr. Lockwood, to suggest
4 that the person who headed the reproductive choice program at
5 NYU ever made a choice among procedures based on what those
6 procedures would earn her?
7 MS. GOWAN: Objection, your Honor.
8 THE COURT: Sustained. Mr. Hut, just ask, did she
9 tell him that.
10 Q. Did she ever tell you anything like that?
11 A. The structure of Bellevue is a little unusual.
12 THE COURT: No, Doctor, please. The question is did
13 that woman tell you she made the choice based on that?
14 A. Absolutely no.
15 THE COURT: Next question.
16 Q. Never told you that she made any such choice because it
17 earned the hospital more money, did she?
18 A. Not to my knowledge.
19 Q. In fact, you thought the reproductive choice program at NYU
20 was so successful that you were planning to develop a similar
21 reproductive choice program at Yale, correct?
22 A. Correct.
23 Q. You wouldn't let unsafe procedures be performed in your
24 department now, would you?
25 A. Correct.

1801

44crnat3

Lockwood - cross

- 1 Q. That would be a violation of your professional obligations,
2 correct?
3 A. Correct.
4 Q. Doctor, it is your view, isn't it, that based on the
5 available data, the safety of D&E's and medical abortions after
6 20 weeks is comparable, right?
7 A. I think that broadly speaking, yes. But I believe that the
8 risk of common complications may be still more common with
9 medical abortions and the risk of more serious but much rarer
10 complications would be more common with surgical abortions.
11 But for purposes and the way in which you are asking, I believe
12 the answer is yes.
13 Q. Dr. Lockwood, even though the procedures are comparable in
14 terms of their safety, most abortions performed at or after 21
15 weeks are surgical D&E's, correct?
16 A. Correct.
17 Q. And not inductions, correct?
18 A. Correct.
19 Q. At NYU, Dr. Lockwood, your department performed but 12
20 inductions out of some 300 second trimester abortions in your
21 last year, right?
22 A. That is at Bellevue. The number would have been probably
23 the opposite at NYU.
24 Q. At Bellevue. One reason for more surgical abortions than
25 medical abortions as performed after 20 weeks is that patients

1802

44crnat3 Lockwood - cross
1 tend to prefer surgical terminations, right?
2 MS. GOWAN: Objection.
3 A. Correct.
4 THE COURT: Sustained.
5 Q. Have you discussed with patients and with staff at Yale and
6 NYU the subject of patient preference with respect to second
7 trimester abortions?
8 A. I have discussed with patients when they are confronting
9 the choice.
10 Q. Based on those discussions, you understand that patients in
11 fact prefer surgical abortions to medical terminations, right?
12 MS. GOWAN: Objection.
13 THE COURT: Sustained.
14 Q. One reason there are more surgical abortions chosen than
15 medical abortions is because it is unpleasant for patients to
16 be admitted to a hospital and have to go through labor for 24
17 hours instead of -- up to 24 hours, I should say, instead of
18 undergoing a 30-minute surgical procedure, right?
19 MS. GOWAN: Objection.
20 THE COURT: Sustained.
21 Q. You would agree, Dr. Lockwood, would you not, that medical
22 abortions are virtually invariably performed in a hospital or
23 hospital-level setting, right?
24 A. Generally speaking, yes.
25 Q. Hospitals may or may not be far from a patient's home,

1803

44crnat3 Lockwood - cross
1 correct?
2 A. Hopefully, yes.
3 Q. You testified on direct, did you not, that patients
4 preferred D&E to induction?
5 A. My patients do.
6 Q. Why is that?
7 A. For the reasons you have outlined.
8 Q. That includes the reason of a longer procedure?
9 A. Correct.
10 Q. Longer procedure, that is, for the medical abortion?
11 A. Correct.
12 Q. From your understanding through your patients, Doctor, is
13 another reason for the preference for surgical abortions that
14 the pain involved, unless mediated, is substantially the same
15 as labor at term?
16 MS. GOWAN: Objection.
17 THE COURT: I will sustain it. But there is pain, is
18 that correct?
19 THE WITNESS: There is, but these patients are
20 generally given epidurals, so the pain is reduced.
21 THE COURT: When you talk about your patients who
22 prefer the D&E, going back to my question we had earlier this
23 morning, do you tell them in that D&E that the legs are going
24 to be torn off the fetus?
25 THE WITNESS: No, your Honor.

44crnat3 Lockwood - cross

1 THE COURT: Do you tell them that the arms of their
2 baby are going to be torn off?
3 THE WITNESS: No, your Honor.
4 THE COURT: So it is a little hard to judge
5 preferences isn't it, when you don't tell them the real facts
6 of what is happening?
7 THE WITNESS: Well, I would restate --
8 THE COURT: Sugar-coated. They prefer no pain and
9 quickness, right?
10 THE WITNESS: That would certainly be one
11 interpretation of the current state of affairs.
12 THE COURT: Next question.
13 Q. Dr. Lockwood, you recall preparing an expert report in this
14 matter, do you not?
15 A. I do.
16 Q. Didn't you state in your expert report, Doctor, that of the
17 approximately 900 abortions performed in your last year at NYU,
18 25 to 35 percent were D&E in which the fetus was removed
19 intact?
20 A. Correct.
21 Q. In fact, is it your understanding, Dr. Lockwood, with
22 respect to pain, that the pain is worse in labor during a
23 second trimester induction than it is in spontaneous labor at
24 term?
25 A. I don't recall ever having testified to that.

44crnat3 Lockwood - cross

1 Q. I am not asking whether you testified to it, Doctor. I am
2 asking whether that is your understanding.
3 A. Not at all. I deliver a very large number of women, and I
4 can tell you that it hurts; it hurts at term, it hurts at 20
5 weeks. But I can't tell you that it hurts more at term or 20
6 weeks.
7 Q. You would agree, Dr. Lockwood, that patient preference
8 based on the length of the procedure, the availability of
9 hospitals near home, or comfort level is appropriately taken
10 into account by the woman and by her doctor in choosing the
11 best method for terminating her pregnancy, correct?
12 THE COURT: Sustained. That calls for multiple
13 assessment of the mental processes of doctors and the patients.
14 MR. HUT: Let me ask the question, your Honor, because
15 I certainly didn't intend to.
16 Q. Based on your judgment concerning how a patient should or
17 properly goes about selecting a procedure, is it your judgment,
18 Dr. Lockwood, that patient preference based on the length of
19 the procedure, the availability of hospitals, or the pain level
20 is appropriately taken into account by the patient and by the
21 patient's doctor in selecting a procedure?
22 THE COURT: Do you want to read that question?
23 MS. GOWAN: Objection, your Honor.
24 THE COURT: Sustained. Maybe it would help if you
25 read the question, please.

44crnat3

Lockwood - cross

1 (Question read)

2 THE COURT: Sustained. Try again.

3 Q. Let me try to break it down, Dr. Lockwood, for you. Based
4 on your experience and your judgment, is it appropriate for a
5 patient's doctor, in consultation with the patient, to choose a
6 procedure based on the length of the procedure?

7 A. Yes.

8 Q. Is it appropriate for a patient, in consultation with the
9 patient's doctor, to choose a procedure based on accessibility
10 to hospitals?

11 A. You got me on that one. Are you trying to ask me if it is
12 based on the need to be in a hospital or the desire not to be
13 in a hospital?

14 Q. The desire not to have to travel long distances, if that
15 were necessary, to a hospital.

16 A. Why would you assume --

17 THE COURT: You mean if there is one across the
18 street? Mr. Hut, try again. This is ridiculous.

19 A. It could be the abortion clinic is further away than the
20 hospital.

21 Q. Right. In the event that an out-patient clinic were closer
22 by to the patient and the hospital was at some remove, would it
23 be appropriate for the patient and the patient's doctor to take
24 that into consideration in evaluating choices and options?

25 A. I would answer that by saying it would depend on the

44crnat3

Lockwood - cross

1 procedure, the gestational age, and the chance and probability
2 of complications. Clearly, if it were a more advanced
3 gestational age, with a surgical procedure I think the patient
4 should be counseled that there is a finite risk, say on the
5 order of 5 per thousand, of a perforation. Should that occur,
6 let's say a 1.5 per thousand risk of a life-threatening
7 hemorrhage, should either of those events occur, the base place
8 would be a hospital.

9 Q. So it may or may not be, assuming full disclosure to the
10 patient of the matters you just identified?

11 A. Correct.

12 Q. Similarly, is it appropriate, in your medical judgment, Dr.
13 Lockwood, for a patient, in consultation with her doctor, to
14 take into account comfort level in choosing the procedure for
15 pregnancy termination?

16 MS. GOWAN: Objection to "comfort level," your Honor.

17 THE COURT: Sustained.

18 Q. Then to the level of anticipated pain?

19 MS. GOWAN: Objection, your Honor.

20 THE COURT: Sustained.

21 Q. In your judgment, a woman should be given an option to have
22 a D&E or an induction after 20 weeks, right?

23 A. I think the patient should be presented with all the
24 appropriate information, much of which you just described, and
25 the decision ought to be hers, assuming equal competence in the

1808

44crnat3 Lockwood - cross
1 procedure by the practitioner.
2 Q. The patient should be free to choose a surgical procedure
3 if she and her doctor view it as preferable for any other
4 reason?
5 A. And he is competent or she is competent to perform the
6 procedure.
7 Q. Indeed, including the particular skill set or experience of
8 the doctor, correct?
9 A. Correct.
10 THE COURT: Mr. Hut, is this a convenient time to take
11 our luncheon break?
12 MR. HUT: Sure, your Honor.
13 THE COURT: We will take our luncheon recess until 2
14 o'clock.
15 (Luncheon recess)
16
17
18
19
20
21
22
23
24
25

1809

44crnat3 Lockwood - cross
AFTERNOON SESSION
2:10 p.m.
1 CHARLES LOCKWOOD, resumed.
2
3 THE COURT: Good afternoon.
4 Mr. Hut, you may inquire.
5
6 CROSS-EXAMINATION (continued)
7 BY MR. HUT:
8 Q. Good afternoon, Doctor.
9 A. Good afternoon.
10 Q. During the time that the 75 to 100 intact D&E procedures
11 that your report indicates were performed at NYU under your
12 chairmanship were done, there were no published peer-reviewed
13 studies concerning intact D&E's at that time, were there?
14 MS. GOWAN: Objection.
15 THE COURT: Keep your voice up, Mr. Hut. This is not
16 a whispering contest.
17 Is there an objection?
18 MS. GOWAN: Yes, your Honor. I object to the form of
19 the question.
20 THE COURT: Let me hear the question.
21 (Question read)
22 MS. GOWAN: Again, your Honor, there is no foundation
23 that the witness knows that any of the procedures were
24 performed at the time that he was the chairman.
25 MR. HUT: On the contrary, your Honor. I thought we

44crnat3

Lockwood - cross

1 had established that shortly before the lunch hour. His report
2 states that in his last year at NYU, and I am reading from page
3 2, 900 abortions were performed at Bellevue Hospital, one-third
4 in the second trimester, and of those, 25 to 35 percent were
5 D&E in which the fetus was removed intact.

6 THE COURT: It seems like this is a favorite objection
7 of both sides. Why is it no foundation? What do you think
8 that means?

9 MS. GOWAN: There is no fact in evidence to support
10 the question.

11 THE COURT: Do you think there has to be a fact in
12 evidence in order for a lawyer to ask a question?

13 MS. GOWAN: This particular question counsel is asking
14 the witness to agree with, and it is not an established fact.
15 In fact, in response to a question from your Honor, the witness
16 said he did not know whether any of the procedures had been
17 performed while he was chairman.

18 THE COURT: Then I will sustain it. Next question.

19 Mr. Hut, how about breaking them down a little bit. You have
20 about 47 clauses in every question.

21 Q. Dr. Lockwood, you don't dispute that that is what you wrote
22 in your expert report, do you?

23 A. No, not at all.

24 Q. You don't dispute that that is the fact, do you?

25 THE COURT: We don't know what the fact is.

44crnat3

Lockwood - cross

1 A. The only comment I would make, if I can comment, is that in
2 the year 2002 I was only there for six months.

3 Q. During those six months you have no doubt that some intact
4 D&E's were performed while you were there, is that right?

5 THE COURT: Do you know?

6 THE WITNESS: I don't know for certain.

7 THE COURT: It is unimportant whether he doubts it or
8 not. If he knows, he know. If he doesn't, he doesn't.

9 MR. HUT: He wrote it, your Honor. That's my problem.

10 THE COURT: You can ask him what he bases it on, Mr.
11 Hut. Cross-examination isn't that hard.

12 Q. What did you base that statement in your expert report on?

13 A. We asked her for the numbers for 2002.

14 Q. What did you base the proposition that that was during your
15 last year at NYU?

16 A. I just picked year sort of at random. I could have asked
17 her for the year before. But I had to be there, so I asked her
18 for the last year I was there.

19 Q. She told you that during that last year 25 to 35 percent of
20 the 300 were intacts, rightly?

21 A. Correct.

22 Q. You have no reason to doubt that that was the case, do you,
23 Doctor?

24 A. No.

25 Q. So during the last year when those intact D&E's were

1812

44crnat3 Lockwood - cross
1 performed under your chairmanship, were there any published
2 peer-reviewed studies?

3 A. For the third or fourth time, no, there were not, to my
4 knowledge.

5 Q. The Bellevue Hospital reproductive choice program had a
6 very low complication rate, correct, Doctor?

7 A. Correct.

8 Q. An intact D&E was taught to NYU residents, was it not,
9 during your chairmanship?

10 A. Correct.

11 Q. In fact, it was taught to enhance resident training,
12 correct?

13 A. Correct.

14 Q. The choice of a surgical procedure in the second trimester
15 to terminate a pregnancy should be chosen by a physician and
16 patient in consultation with one another based in part on the
17 patient's ability and right to choose the least risky type of
18 surgical procedure, correct?

19 MS. GOWAN: Objection.

20 THE COURT: Sustained.

21 Q. Dr. Lockwood, the choice made by a patient in consultation
22 with a physician --

23 THE COURT: Do you have to add all those clauses in to
24 every question? If you did it in pieces, it would be so much
25 easier, so much better form.

1813

44crnat3 Lockwood - cross

1 Q. The choice of a surgical procedure should include the
2 ability to choose the least risky type of procedure, should it
3 in the?

4 A. Assuming comparable benefits. That is part of the elements
5 of informed consent.

6 Q. You would agree that in considering any procedure, the most
7 important consideration is the health of the woman, correct?

8 A. It depends on what the procedure is being done for. If the
9 procedure is an obstetrical procedure to maximize fetal
10 outcome, the patient may be willing to sacrifice some increased
11 risk to her for the benefit of the fetus. But I think in this
12 context you are correct.

13 Q. With respect to an abortion procedure?

14 A. Correct.

15 THE COURT: Does it depend on what period of gestation
16 it is?

17 THE WITNESS: Obviously, beyond 26 weeks it is
18 unlawful to perform abortions in New York State. So that
19 eliminates the issue. I think that prior to that time the
20 elements of informed consent include knowledge of the risks of
21 the procedure, knowledge of alternatives. The patient, having
22 been informed of those two elements in conjunction with their
23 physician, would make a decision.

24 THE COURT: Doesn't ethics require, medical ethics,
25 that it be knowledge of what the procedure is and what it

1814

44crnat3 Lockwood - cross
1 entails?

2 THE WITNESS: Yes.
3 Q. In your judgment, Doctor, the informed consent procedure
4 should be guided by a doctor's own best judgment based on his
5 or her own knowledge or understanding of the patient's
6 circumstances, right?
7 A. As long as it is not paternalistic.
8 THE COURT: It is the choice of the patient, isn't it?
9 It is not the doctor who makes the decision, is it?
10 THE WITNESS: That's correct.
11 THE COURT: That would be offensive to a lot of people
12 if a doctor were to make the decision.
13 THE WITNESS: It would be. It would be unethical. It
14 would be considered paternalistic.
15 THE COURT: And unethical?
16 THE WITNESS: And unethical, because it basically
17 violates the requirements for informed consent.
18 THE COURT: It would hardly be informed consent in
19 that case, would it?
20 THE WITNESS: It would be paternalism. It would be
21 the doctor telling the patient what to do.
22 THE COURT: Thank you. Next question.
23 Q. The question of what facts to inform the patient about are
24 typically left to the physician's own best judgment based on his
25 or her knowledge of the patient, isn't that right?

1815

44crnat3 Lockwood - cross

1 THE COURT: Keep your voice up.
2 A. There are certain elements that are required for informed
3 consent of the patient. The patient must be aware of the
4 procedures, their benefits, the alternatives, the risks for
5 each of the different alternatives. The physician obviously
6 can give his recommendation or her recommendation by saying
7 that he or she believes that this particular procedure is
8 better for the following reasons. But ultimately the patient
9 has to trust her doctor and has to believe that he or she is
10 objective and precise and accurate and looking out for her best
11 interests.
12 THE COURT: It is hardly informed consent if the
13 patient doesn't know what they are consenting to, is it?
14 THE WITNESS: By definition it is not informed
15 consent.
16 THE COURT: That is what I thought. Thank you. Next
17 question.
18 Q. In considering the choice of a selection of abortion
19 procedure, an important issue is whether the procedure under
20 consideration is riskier than other procedures used at a
21 similar gestational age, correct?
22 A. Correct.
23 Q. If the procedure is not riskier, the woman in consultation
24 with her doctor ought to be able to choose it, correct?
25 A. Correct.

1816

44crnat3

Lockwood - cross

- 1 Q. Retained placenta is a potential complication of induction,
2 correct?
- 3 A. Correct. Retained placenta is a potential complication of
4 all abortion procedures.
- 5 Q. Included amongst those is medical abortion, right?
- 6 A. Correct.
- 7 Q. In fact, with medical abortion in the second trimester,
8 there is a 10 to 30 percent chance of retained placenta, right?
- 9 A. Correct.
- 10 Q. Without having observed intact D&E, Dr. Lockwood, what is
11 your basis for saying that a retained placenta is not sometimes
12 removed intact in an intact D&E?
- 13 A. How could a retained placenta be removed intact? By
14 definition it is retained.
- 15 Q. In an intact D&E you have no reason to think that it is
16 sometimes removed intact after its retention?
- 17 A. I think with any of those procedures there is always the
18 potential for spontaneous abruption and separation of the
19 placenta, for sure. I would say that given the physical
20 parameters involved in extracting the fetus, there is no reason
21 a priori to believe that the placenta is magically going to
22 fall out of the uterus. It is either going to abrupt and
23 separate as it can in all three procedures, or it has to be
24 removed. It can be removed manually, it can be removed by
25 instruments, whatever the physician can do and thinks the

1817

44crnat3

Lockwood - cross

- 1 safest.
- 2 Q. It can be removed more intact in an intact D&E, correct?
- 3 A. Again, unless my concepts of spacetime continuum are being
4 violated here, here on earth the D&E and a D&X in terms of
5 placenta ought to be pretty identical. There is nothing about
6 removing the fetus, whether it is in pieces or intact, that
7 alters the topography of the placenta in the uterus, that
8 alters the intactness of the placenta in the uterus.
- 9 If you track on the cord, you may be more successful.
10 D&X's are done later in gestation, a higher probability it will
11 separate spontaneously. Traction on the cord may be more
12 likely to have it separate, not really spontaneously but with
13 assistance. So those are certainly theoretical advantages more
14 related to age than the procedure.
- 15 The procedure -- and I must say I don't understand
16 this, maybe I am missing some key concept here -- but the
17 procedure is a procedure on the fetus. It is to remove the
18 fetus intact. It is not a procedure on the placenta. The
19 placenta is embedded in the wall of the uterus. It may
20 separate during the course of the procedure -- it does during
21 D&E's, it does during medical abortions -- but it may not and
22 may require a suction curettage.
- 23 THE COURT: Next question.
- 24 Q. There are certain women, Doctor, for whom you would agree
25 inductions are relatively contraindicated, correct?

1818

44crnat3

Lockwood - cross

- 1 A. Correct.
2 Q. For example, you would agree that there might be an
3 advantages to performing a surgical abortion and not an
4 induction on a woman who had had a previous C-section, correct?
5 A. Correct.
6 Q. Or who had had scarring from other uterine surgery,
7 correct?
8 A. Correct.
9 Q. That is because agents frequently used in induction
10 abortions might increase the risk of rupture, right?
11 A. There is about a .5 percent risk of rupture in that
12 setting.
13 THE COURT: It is pretty small, isn't it?
14 THE WITNESS: It is comparable to the risk of
15 perforation with a surgical abortion.
16 Q. And the inducing agents are what causes or create or give
17 rise to that risk, right?
18 A. Correct.
19 Q. The risk of uterine rupture in a patient that has had a
20 previous Cesarean section is not present to the same degree in
21 a surgical abortion, is it, Doctor?
22 A. Correct.
23 Q. There are more than a million Cesarean sections performed
24 in the country each year, right?
25 A. That's correct.

1819

44crnat3

Lockwood - cross

- 1 Q. You would also agree, and I think you testified on direct,
2 Doctor, that a hysterotomy parallels a Cesarean section?
3 A. Correct.
4 Q. You agree that when a patient has a hysterotomy, because of
5 the potential risk that the uterine scar may rupture during
6 labor, such patients may need to have any future births by
7 Cesarean section, correct?
8 A. Correct.
9 Q. So induction abortions may also be less preferable for a
10 woman who had had a previous hysterotomy, correct?
11 A. Correct.
12 Q. You would also agree, Dr. Lockwood, wouldn't you, that
13 hysterotomy is a less safe option for terminating pregnancy in
14 the second trimester at the same gestational age than is D&E?
15 A. Assuming all other factors are held constant, yes.
16 THE COURT: What does that mean, Doctor?
17 THE WITNESS: There might be certain circumstances
18 where it is physically impossible to access the uterine cavity,
19 so you can't do a cervical termination by a D&E nor can you
20 effectively empty the uterine contents by labor induction. For
21 example, a fibroid can be blocking the entrance to the womb, to
22 the cervix, and under those circumstances you have no choice
23 but to do a hysterotomy.
24 THE COURT: Thank you, Doctor.
25 Q. You agree, don't you, Doctor, that there may be compelling

1820

44crnat3 Lockwood - cross
1 arguments that surgical abortion is preferable to medical
2 abortion for women with placenta previa, correct?
3 MS. GOWAN: I object to the form of the question.
4 THE COURT: What is wrong with the question?
5 MS. GOWAN: "Compelling arguments."
6 THE COURT: Rephrase.
7 MR. HUT: Let me rephrase it.
8 Q. You would agree that there are reasons why surgical
9 abortion may be preferable to medical abortion for women with
10 placenta previa, right?
11 A. You picked the one topic I have written about, so I guess I
12 would agree with it, correct.
13 Q. You used the words "compelling arguments," did you not?
14 A. I don't believe I used the words "compelling arguments" in
15 my articles, no.
16 Q. You used it in your testimony under oath in Nebraska,
17 right?
18 A. I don't remember ever using in my life "compelling
19 arguments".
20 Q. Do you remember giving trial testimony just this past
21 Friday in the Nebraska case?
22 A. If I used it, I suspect that I used it to compare some
23 phrase that one of you folks probably threw at me.
24 Q. Were you asked the following question and did you give the
25 following answer:

1821

44crnat3 Lockwood - cross
1 "Q. Is the D&E" --
2 MS. GOWAN: Page, please.
3 Q. Page 1689/line 19 to 24.
4 "Q. Is the D&E procedure ever necessary to safely terminate a
5 pregnancy for a woman who has placenta previa?
6 "A. I think that there are compelling arguments that surgical
7 abortion, which is a surgical abortion, is preferable to
8 medical abortion in that setting."
9 A. I did not realized I was so articulate, but I accept those
10 words.
11 Q. Doctor, after 21 weeks, as we have seen, most women and
12 physicians choose surgical abortions over induction abortions,
13 right?
14 A. Correct.
15 THE COURT: Could I have that read again. Once again,
16 I think you like to scoop everything up, Mr. Hut. Could we
17 have that question again.
18 (Record read)
19 MS. GOWAN: Having given me the opportunity, your
20 Honor, I object.
21 THE COURT: Sustained. Strike the question. Next
22 question.
23 Q. You would agree, Doctor, that in your view, after 20 weeks
24 LMP, induction abortions and D&E's are relatively safe
25 procedures?

1822

44crnat3 Lockwood - cross

- 1 A. I think I answered this before, that the over all rate of
2 complications for medical abortion might actually be slightly
3 higher, but the gravity of the complications might be slightly
4 less, than surgical abortions, and those complications are
5 quite rare. I have given you the numbers before. They are
6 certainly not incredibly rare.
7 Q. You have testified that they are roughly equal in terms of
8 safety, right?
9 A. More or less, right.
10 Q. And that is your testimony here today, right?
11 A. Correct.
12 Q. By the way, Doctor, are you aware of any randomized
13 controlled studies showing any greater safety advantage to
14 medical abortion over surgical abortion after 20 weeks LMP?
15 A. Not specifically after 20 weeks.
16 Q. It is your view, Doctor, that the theoretical benefit to
17 the D&X is fewer manipulations inside the uterus, correct?
18 A. Correct.
19 Q. That might reduce the risk of perforation, right?
20 A. Correct.
21 Q. By fewer manipulations, you mean fewer passes of
22 instruments in the uterus, right?
23 A. Correct.
24 Q. And fewer passes of instruments also means less risk of
25 uterine perforation, correct?

1823

44crnat3 Lockwood - cross

- 1 A. It should.
2 Q. The risk of perforation during a dismemberment D&E is not
3 insubstantial, correct?
4 A. I'm sorry?
5 MS. GOWAN: Objection.
6 A. Could you repeat that?
7 Q. The risk of perforation --
8 THE COURT: Please don't.
9 (Question read)
10 A. I think I have given you those numbers already. The
11 numbers range from 1.4 percent to 0.2 per thousand. I leave it
12 to you to decide whether or not you consider those significant
13 or not or substantial or not.
14 Q. Do you recall giving the following testimony in Nebraska at
15 page 1712/line 21:
16 "Q. What do you see, Doctor, as the potential risks of a D&X
17 procedure, both long and short term?
18 "A. Well, short term they would be identical to those of D&E.
19 I think the theoretical benefit of an intact D&E is fewer
20 manipulations, which might reduce the risks of perforation.
21 Risk of perforation is not insubstantial. It can be as high in
22 some studies as 1.4 percent, in others as low as .2 percent,
23 but it is the most feared complication. So that I think is the
24 great appeal of the procedure. So that's at least a
25 theoretical advantage, the second part of your question was."

1824

44crnat3

Lockwood - cross

1 Were you asked that question and did you give that
2 answer, Doctor?
3 A. I would answer the same way today.
4 Q. You would therefore answer that perforation is indeed the
5 most feared complication during D&E, is that correct?
6 A. Without a doubt.
7 Q. That is during a dismemberment D&E, right?
8 A. During any procedure involving intrauterine manipulation,
9 perforation is going to be the most serious complication.
10 Q. Fewer passes with instruments means less risk of cervical
11 laceration, correct?
12 A. That remains to be seen, because there are other procedures
13 related to an intact D&X that might increase the risk of
14 laceration: Greater cervical rotation, the destructive
15 procedure on the fetal calvarium in proximity to the cervix. I
16 think, again, retrospective studies would help us define
17 whether cervical lacerations are more or less common with that
18 procedure.
19 Q. Doctor, do you recall giving at your deposition on February
20 17th in New Haven the following testimony --
21 MS. GOWAN: Page, please.
22 Q. Page 105 at line 23.
23 "Q. And fewer passes would mean less risk of infection or
24 perforation or laceration, right?
25 "A. Correct."

1825

44crnat3

Lockwood - cross

1 Were you asked that question and did you give that
2 answer?
3 A. I would say --
4 THE COURT: I don't think that contradicts what he
5 said here.
6 THE WITNESS: I don't think so either.
7 THE COURT: That is what reading a prior statement is
8 all about, Mr. Hut. I'm afraid that doesn't qualify.
9 Q. You would agree, Dr. Lockwood, would you not, that fewer
10 passes with instruments also reduces the risk of infection?
11 A. True.
12 Q. Given those risks from instrument passes, you would agree
13 that in any D&E, including by dismemberment, it is medically
14 appropriate to try to make as few passes into the uterus or
15 cervix as possible, correct?
16 A. I would agree, as I did in deposition.
17 Q. In a D&X procedure, the uterus and cervix are also less
18 likely to be exposed to sharp fetal bone and skull fragments,
19 correct?
20 A. Correct.
21 Q. You would agree, Dr. Lockwood, that, all other things
22 equal, the shorter the surgical procedure, the better for a
23 patient, correct?
24 A. Always.
25 Q. All things equal, a shorter procedure carries less risk of

1826

44crnat3 Lockwood - cross

- 1 bleeding, correct?
2 A. It may. It depends on what the two procedures are, I would
3 say. But certainly I think that the operative time, if
4 operative time is reduced, you would expect less risk of
5 infection and perhaps less risk of bleeding.
6 Q. And perhaps less risk of trauma, correct?
7 A. Again, it depends on the procedures.
8 Q. A shorter exposure to anesthesia, correct?
9 A. For sure.
10 Q. You would agree, would you not, that another complication
11 of dismemberment D&E is retained fetal head in utero?
12 A. Right.
13 Q. Which you treated as a complication of dismemberment D&E,
14 correct?
15 A. Correct.
16 Q. If a woman's uterus, Dr. Lockwood, is infected because of
17 chorioamnionitis, the uterine wall may be damaged and thinned,
18 correct?
19 A. Potentially.
20 (Continued on next page)
21
22
23
24
25

1827

44C5NAT4 Lockwood - cross

- 1 BY MR. HUT:
2 Q. And there may, in this circumstance, be a theoretical
3 advantage to minimum numbers of passes when the uterine wall is
4 damaged and thin, right?
5 A. Correct.
6 Q. So there may be an advantage to D&X if a woman has
7 chorioamnionitis, correct?
8 A. Medical abortion might be an equally attractive
9 alternative, however.
10 Q. Can a woman with prior uterine scarring from c-section
11 contract chorioamnionitis?
12 A. She can.
13 Q. And induction would be relative or absolutely
14 contraindicated for such a woman, correct?
15 A. Correct.
16 Q. And a surgical abortion would therefore be preferable,
17 right?
18 A. Correct.
19 Q. And because the uterine wall may also be damaged and thin
20 from chorioamnionitis, in that circumstance D&X may be the
21 safest procedure, correct?
22 A. I don't have any data one way or the other but, logically
23 speaking, less manipulations may reduce the risk of uterine
24 rupture.
25 Q. You believe that the use of D&X or intact D&E is reasonable

1828

44C5NAT4 Lockwood - cross
1 in some circumstances don't you, Doctor?
2 A. I would say that particularly in the settings you've
3 outlined with prior uterine scars, yes.
4 Q. You acknowledge, don't you, Doctor, that there are
5 intuitive or theoretical advantages to intact D&E?
6 A. Certainly the ones you've described.
7 Q. And in fact you use a somewhat intuitive process to decide
8 when a pregnancy termination may be safest for your patients,
9 don't you?
10 A. We usually call it delivery, but yes.
11 Q. So intuition has a role to play when knowledgeable
12 physicians consider what steps might be preferable for their
13 patients, doesn't it?
14 A. Certainly part of our clinical decision making.
15 Q. Now, you have expressed the view in your direct testimony
16 this morning that converting the fetus to a breech position may
17 create some risk to the woman; you agree, would you not,
18 Dr. Lockwood, that as you understand the definition of
19 partial-birth abortion contained in the Act, that coverage of
20 the Act is not limited to situations where the fetus is
21 converted to breech?
22 A. Correct.
23 Q. You would agree, Doctor, that sometimes a fetus is already
24 presenting in breech when the doctor begins an intact D&E
25 procedure, would you not?

1829

44C5NAT4 Lockwood - cross
1 A. Correct.
2 Q. In fact, approximately one third of the fetuses present in
3 breech during the second trimester, don't they?
4 A. That is correct.
5 Q. In those instances there would be no need to convert the
6 fetus to breech in order to perform an intact D&E, correct?
7 A. Correct.
8 Q. And so, any risk that might result from conversion would
9 not be a problem in a case in which the fetus presents in
10 breech already, correct?
11 A. By definition.
12 Q. You would agree, would you not, Doctor, that the documented
13 risks of conversion relates to patients at or close to term?
14 A. Well, that -- I'm not even sure I would view these two
15 things as comparable.
16 Q. My question, Doctor, is the documented risks of conversion
17 relate to situations closer to term delivery, don't they?
18 A. Maybe I'm missing something.
19 THE COURT: Could I have that question read again?
20 I'm sure I don't understand it.
21 (Record read)
22 THE COURT: Do you understand that?
23 THE WITNESS: I do.
24 Maybe I could expound just for three seconds. The
25 significant risk to internal podalic version at term are to the

1830

44C5NAT4

Lockwood - cross

1 fetus. Our concern is that we may injure the fetus in doing
2 that and that's primarily why it's been abandoned as a
3 procedure. Obviously that's done with our hands, it's not done
4 with a sharp or potentially sharp instrument or any instrument
5 for that matter.

6 And there is some risk, presumably, of uterine rupture
7 associated with an internal podalic version at term, but our
8 focus is far more on the fetus, clearly that's not relevant
9 with an intact D&X.

10 Q. At term, Dr. Lockwood, am I right in thinking that the
11 uterine wall is much thinner than it is at the second
12 trimester?

13 A. Correct.

14 Q. So, to the extent that conversion poses risk to the uterine
15 wall at term, that risk would be reduced the second trimester,
16 correct?

17 A. Assuming all the things are held constant that one is doing
18 this with their hands, etc.; correct.

19 Q. In your expert report, Doctor, and I think again on direct
20 this morning you indicate your concern that converting the
21 fetus to breech may create risk to the woman, and by that you
22 are referring to some increased risk of amniotic fluid
23 embolism, is that right?

24 A. I don't believe I linked the internal podalic version
25 specifically to an amniotic fluid embolism.

1831

44C5NAT4

Lockwood - cross

1 I think the risk of perforation is certainly high.
2 Perhaps, again, maybe I didn't emphasize this enough, amniotic
3 fluid embolism is an incredibly rare event. It is unlikely
4 that you will see it in many hundreds or thousands of D&Es or
5 intact D&Xs. I think the likelihood of lacerating the cervix
6 and or perforating the uterus might be a far more common event.

7 Q. In your expert report, Dr. Lockwood, did you state that in
8 both the D&E and D&X the use of instruments increases the risk
9 of disruption of the blood vessels in the lower segment of the
10 uterus or cervix?

11 A. Correct.

12 Q. But you have no evidence, do you, Doctor, that the risk
13 from disruption of blood vessels is greater with the
14 dismemberment variation of D&E -- excuse me, is greater with
15 the intact variation of D&E than it is with the dismemberment
16 variation, do you?

17 A. No.

18 Q. In your expert report you also state that in order to
19 quantify the risk of amniotic fluid embolism from abortion
20 procedures from a meaningful statistical point of view you have
21 to examine between 50,000 and a hundred thousand abortion
22 procedures, correct?

23 A. At least.

24 Q. And you do not point to any study that is ever undertaken
25 to do that, do you?

1832

44C5NAT4

Lockwood - cross

- 1 A. I don't think that there will be one and I don't think,
2 frankly, given the rarity of the event, that it would be
3 needed.
4 Q. So, you can't posit any increased risk of amniotic fluid
5 embolism from use of the intact D&E procedure, can you?
6 A. No.
7 Q. Whatever risk there may be is no different than the small
8 risk of amniotic fluid embolism from dismemberment D&E as you
9 earlier stated it, correct?
10 A. Shrug -- sorry. Yes.
11 Q. You agree that there may be less use of instrumentation
12 with an intact D&E as compared with a dismemberment D&E,
13 especially if the fetus can be extracted manually, right?
14 A. Correct.
15 Q. In fact, aren't instruments used in a number of inductions
16 as well?
17 A. Well they're used after, most often after delivery of the
18 fetus.
19 Q. To remove a placenta for example?
20 A. Correct.
21 Q. And how about to deal with a failed induction where there
22 is no expulsion of the fetus?
23 A. Then essentially that would be a D&E or whatever. Whatever
24 surgical procedure you do.
25 Q. And sometimes a D&E is required in that circumstance, is it

1833

44C5NAT4

Lockwood - cross

- 1 not?
2 A. Correct.
3 Q. I think you agreed on direct, Dr. Lockwood, that the
4 statistical reports about the incidence of D&E compiled by the
5 center for disease control include intact D&E with D&E in
6 general, correct?
7 A. Correct.
8 Q. You agree, do you not, from your examination of the CDC
9 data, that complications occurring with D&E have gone down over
10 time, correct?
11 A. The answer would depend on the gestational age that you are
12 talking about.
13 I mentioned over all the mortality rate, maternal
14 mortality rate had dropped 85 percent, but if you look at
15 specifically terminations at or after 21 weeks there has been
16 approximately a 50 percent decline but it was not statistically
17 significant.
18 So, the sense is, obviously the inadequate power to
19 confirm this one way or the other -- the sense is that it is
20 probably lower but we don't have actual statistical support for
21 that statement.
22 Q. And over the same period at which there is a discernible if
23 not statistically significant decline, you would agree that
24 more doctors are performing intact D&E, correct?
25 A. That would be my gestalt, as we say.

1834

44C5NAT4

Lockwood - cross

- 1 Q. And gestalt is the equivalent for your belief?
2 A. Guess; right.
3 Q. Now, Doctor, last December before you prepared your expert
4 report you took or drafted some notes as you read materials to
5 inform your views, correct?
6 A. Correct.
7 Q. And you reviewed some materials that bore on the relative
8 safety of intact D&E and dismemberment D&E, correct?
9 A. Correct.
10 Q. And you wrote in your notes, did you not, that after 21
11 weeks D&X is probably safer than D&E?
12 A. I don't remember the exact notation but something to that
13 effect.
14 Q. And by D&X you included intact D&E, didn't you?
15 A. Correct.
16 Q. By D&E, you mentioned dismemberment D&E, didn't you?
17 A. Correct.
18 Q. When you made that statement in your notes, that after 21
19 weeks D&X is probably safer than D&E, that reflected your
20 viewpoint then, didn't it?
21 A. Well, it reflected whatever I had just read. I was taking
22 notes on my reading.
23 Q. And the basis for your belief about the safety of D&X was
24 that dismemberment D&E would involve multiple passes of the
25 forceps into the uterus, whereas D&X would involve hopefully

1835

44C5NAT4

Lockwood - cross

- 1 one pass, correct?
2 A. I don't have the deposition in front of me but if I
3 remember correctly I think I was referring to the ACOG position
4 that said under certain circumstances that it might be the
5 appropriate procedure to be done.
6 I think that these notes were in reference to the
7 policy statement by the college but now you are really testing
8 my memory.
9 Q. Dr. Lockwood, developed evidence-based medicine
10 retrospective studies usually precede prospective randomized
11 controlled studies, don't they?
12 A. Correct.
13 Q. If a particular procedure were banned, how would you
14 conduct any retrospective studies?
15 A. It would be very difficult.
16 Q. Dr. Lockwood, you agree, don't you, that administering
17 digoxin to a morbidly obese woman in order to effect fetal
18 demise before surgical extraction may be difficult to do?
19 A. I would agree that everything that one tries to do in a
20 morbidly obese patient is difficult to do.
21 Q. And so that would include, as well as digoxin, the
22 administration of KCL intracardiacy, correct?
23 A. Correct.
24 Q. And, in fact, a KCL injection requires some skill to be
25 administered to any patient, correct?

1836

44C5NAT4 Lockwood - cross

- 1 A. Intracardiac injection, correct.
2 Q. And you agree that there is difficulty with a morbidly
3 obese woman in making sure that injection of KCL or digoxin
4 ends up in its intended lobes, correct?
5 A. Correct.
6 Q. You're aware of a published peer review study titled
7 digoxin to facilitate late second trimester abortion, a
8 randomized mass placebo controlled trial, are you not?
9 A. Is this the Jackson study?
10 Q. Yes, sir.
11 A. Yes.
12 Q. Indeed, you read that study on the evening before your
13 deposition, did you not, Doctor?
14 A. I did.
15 Q. You're aware that the authors of the study conclude that
16 digoxin in second trimester abortions did not decrease
17 procedure time, difficulty or pain compared to the placebo,
18 aren't you?
19 A. Correct.
20 Q. And you're aware that the study showed increase of vomiting
21 among the women that received digoxin, correct?
22 A. Correct.
23 Q. And so you would advise any patient, based on this study,
24 about the prospect of increased nausea and vomiting, of
25 digoxin?

1837

44C5NAT4 Lockwood - cross

- 1 A. Well, we don't use digoxin so it probably won't come up in
2 our setting.
3 Q. And you don't routinely use KCL either, do you?
4 A. I don't routinely do anything, but KCL is the drug of
5 choice. Intracardiac, it is drug of choice to conduct feticide
6 at Yale, that's for sure.
7 Q. But in addition to that at Yale you don't routinely effect
8 feticide, do you?
9 A. It's not the way to do 5,000 deliveries so we would have,
10 hopefully, plenty of live births.
11 Q. I'm talking with second trimester abortions.
12 A. Important point.
13 Q. Appreciate the clarification.
14 THE COURT: It helps.
15 Q. The answer to the question is you don't routinely do that?
16 A. Yes, I agree with you.
17 Q. And but for possible application of the Partial-Birth
18 Abortion Ban Act of 2003, you would leave it to the woman to
19 choose whether to receive an injection of digoxin or undergo a
20 surgical abortion procedure without it, wouldn't you?
21 MS. GOWAN: Objection, your Honor.
22 THE COURT: Your objection?
23 MS. GOWAN: Form of the question -- but for the
24 existence of the Partial-Birth Abortion Ban Act.
25 THE COURT: All right, rephrase it, Mr. Hut.

44C5NAT4

Lockwood - cross

1 Q. If a doctor didn't have to worry about the possible
2 application of the Act, you would think it would be
3 appropriately left to the woman to choose whether to receive an
4 injection of digoxin or undergo a surgical abortion procedure
5 in the second trimester without it?

6 MS. GOWAN: Same objection, your Honor.

7 THE COURT: Sustained.

8 Q. Wouldn't you leave the choice to the woman, Dr. Lockwood,
9 whether to undergo digoxin injection or surgical abortion
10 procedure without it?

11 A. If I can answer. Yes.

12 Q. And the same is true for an injection of KCL, right?

13 A. Correct.

14 Q. And you would not advise injection of a toxic substance
15 into the uterus of a woman infected with chorioamnionitis,
16 would you?

17 A. Well, we do pretty routine amniocentesis in that setting to
18 make the diagnosis of infection. I don't think that poses any
19 significant risk.

20 Q. With respect to the brain cramp I think you indicated in
21 your direct examination, you did testify --

22 A. That is a technical term, sir.

23 Q. Medical term; you did testify under oath, didn't you, that
24 an injection of digoxin would be inadvisable for a woman
25 suffering from hepatitis, didn't you?

44C5NAT4

Lockwood - cross

1 A. For an amniocentesis, yes. For a termination, no.

2 Q. What about a woman who, by virtue of hepatitis or HIV is
3 suffering from some depression of her immune system? Would you
4 think that an injection, either intraamniotically or
5 intracardiacy -- if that's the word -- would be advisable?

6 A. No.

7 Q. And you would agree that the question whether to receive an
8 injection either of KCL or digoxin should be decided by the
9 informed choice of the woman, correct?

10 A. Well my -- as a matter, it's like asking me if I support
11 motherhood and apple pie. Yes, I would agree but it would be
12 somewhat dependent on my recommendation to consider. It would
13 be somewhat dependent on the status of this law.

14 Q. And KCL injections were recommended but not required for
15 D&Es at NYU under your chairmanship after 20 weeks LMP, right?

16 A. Yes, absolutely.

17 My -- my bias was that it was a more humane approach
18 to the fetus, we had evidence that in fact we had created on
19 fetal stress responses that endorphin and I thought that I
20 strongly encouraged people to consider doing it. And you could
21 see the results of my encouragement were not very effective
22 but, nonetheless, that was my rationale.

23 Q. And before 20 weeks' LMP there was no recommendation one
24 way or the other at NYU, was there?

25 A. No.

1840

44C5NAT4

Lockwood - cross

- 1 Q. Now, you would agree, Dr. Lockwood, wouldn't you, that
2 there are no published peer review studies that examine any
3 correlation between second trimester abortions and heightened
4 risk for cervical incompetence?
5 A. Well, actually there are several.
6 The Schneider et al., I am sure you are familiar with,
7 that one; and Kalish -- if I am saying his name correctly --
8 both looked at second trimester D&Es.
9 In the Kalish study there were 600 patients, there
10 were 171 in the Schneider paper and neither of those showed a
11 higher rate of prematurity.
12 Q. And there are no published per review studies that examine
13 such a correlation that show higher rates of prematurity, are
14 there?
15 A. Correct.
16 Q. No peer reviewed data that would support any correlation
17 showing higher rates of prematurity, correct?
18 A. For that specific group, correct.
19 Q. The French study by Henriet that you cited in your direct
20 examination did not concern second trimester abortions, did it?
21 A. We have no idea what it concerned.
22 Q. Well you are aware, aren't you, Doctor, that there really
23 is no abortion performed in France after the first trimester,
24 correct?
25 A. I am now.

1841

44C5NAT4

Lockwood - cross

- 1 Q. Well I'm not asking you to agree with me, Doctor. I'm
2 asking whether, on the basis of your examination of the study
3 or otherwise, you are aware of that fact?
4 A. I believe in the French system approval be obtained from
5 the local ethics committee for terminations after 12 weeks.
6 Their rate of termination, to my knowledge, is pretty
7 close to what ours is, so I assume that they probably mirror
8 our statistics.
9 Q. Well, doesn't the Henriet study itself disclose that 96
10 percent of all abortions in France are performed before or at
11 11 weeks' LMP?
12 A. I believe it does.
13 Q. And you don't know what portion of the 4 percent remaining
14 in France is performed after 11 weeks, do you?
15 A. Correct; or how they're performed.
16 Q. And you would assume that it is less than 4 percent,
17 wouldn't you?
18 A. Well, that doesn't mean that doesn't represent a
19 significant proportion of the preterm deliveries but it is a
20 low percentage.
21 Q. And you don't know what types of abortion procedures are
22 most common in France after the first trimester, do you?
23 A. No.
24 Q. And in surgical abortions performed in France after the
25 second trimester you don't know what techniques are used for

1842

44C5NAT4

Lockwood - cross

- 1 it, do you?
2 A. No.
3 Q. You don't know the most common dilation techniques used in
4 France, do you?
5 A. No.
6 Q. You don't know whether doctors use osmotic dilators or
7 mechanical dilators?
8 A. No.
9 Q. You don't know if they use osmotic whether they use
10 laminaria?
11 A. I think it's clear that I am not au courant with the French
12 abortion system.
13 Q. Touche.
14 You agree that laminaria are generally safer than
15 mechanical dilators, don't you?
16 A. I do.
17 Q. And you don't know what types of dilation were used with
18 women who underwent first trimester abortion evaluated in the
19 Henriet study, do you?
20 A. No.
21 Q. Henriet was a retrospective cohort study?
22 A. Yes.
23 Q. Was the Zhou Danish study you made reference to as well?
24 A. Correct.
25 Q. Now, the Zhou study of Danish women did not involve second

1843

44C5NAT4

Lockwood - cross

- 1 trimester abortions at all, did it?
2 A. If I remember correctly, about 7 percent of the patients
3 were in the second trimester, 7 percent were done by D&E.
4 Q. Correct.
5 Doesn't the study itself state that second trimester
6 abortions were excluded from the examination?
7 A. I don't recall that. It's possible, if you give me the
8 recall I can help you with it.
9 Q. Let me try to accommodate you, Doctor.
10 May I approach, your Honor?
11 THE COURT: You may.
12 Q. This is Government Trial Exhibit J-2, Doctor; have you ever
13 seen it before?
14 A. This article?
15 Q. Yes, sir.
16 A. Yes.
17 Q. What is it?
18 A. This is an article published in Obstetrics and Gynecology
19 by Zhou, et al., in 1999.
20 Q. And directing your attention to the second sentence under
21 methods in the first column of the first page of Government
22 Trial Exhibit J-2, do you see that it states: "A total of
23 15,727 women whose pregnancies were terminated by first
24 trimester induced abortions were compared with 46,026
25 pregnancies that were not terminated by induced abortions."

1844

44C5NAT4

Lockwood - cross

1 A. I'm not with you.
2 Q. This is under methods in the first column of the first
3 page.
4 A. Uh-huh.
5 Q. In the precis or abstract?
6 A. Oh. I was looking at the actual methods section.
7 Q. Do you see the sentence to which I just made reference?
8 A. I do.
9 Q. And then, directing your attention to the second column on
10 page 1, the second -- well, the last full paragraph on the
11 page, Doctor, about four lines up from that paragraph, do you
12 see the sentence that states: "Women were excluded if first
13 pregnancies were terminated later than the first trimester."
14 A. I'm sorry, yes, but I don't recall having said
15 specifically, and maybe if I did I misspeak, that either of
16 these studies were of second trimester D&Es. I don't even
17 think that I specifically stated what kind of abortion they
18 were.
19 Q. And you may not have, Doctor, I'm just trying to clarify
20 that not only because the data studied not second trimester
21 D&Es but it eliminated second trimester abortions from the
22 universe examined, right?
23 A. I understand.
24 But I also believe that my argument earlier this
25 morning, and maybe it was so long ago that you have forgotten

1845

44C5NAT4

Lockwood - cross

1 it, was that while the technique employed in first trimester
2 abortions could potentially be more traumatic to the cervix
3 because of the lack of laminaria, one could also argue the
4 opposite, that rapid -- over the course of several hours --
5 dilation by laminaria, particularly serial laminaria could be
6 equally disruptive and damaging to the cervix, and thus the
7 need for the studies that I suggested should be done.
8 Q. But you can't tell from the Zhou study, can you, what kind
9 of dilation was used for women undergoing first trimester
10 abortions in Denmark, can you?
11 A. Presumption was that it was mechanical dilatation.
12 Q. But you don't know one way or the other, do you?
13 A. Not at all.
14 Q. And if it were mechanical dilators you don't know which
15 type, do you?
16 A. I do not.
17 Q. And the study in question takes data from procedures done
18 in the very earliest years of the 1980s, doesn't it?
19 A. That's correct.
20 Q. And you regard that as a disadvantage, don't you?
21 A. That's correct. I think I've stated that several times
22 now.
23 Q. And with respect to the evacuations that are described in
24 this study, I think as you testified earlier this morning those
25 total some seven -- 7.4 percent, correct?

1846

44C5NAT4

Lockwood - cross

1 A. Correct.
2 Q. And we don't know how, if at all, evacuation practice in
3 Denmark in 1980, '81 and '82, may vary from D&E for example in
4 this country today, do we?
5 A. I'm even less au courant about the Danish abortion methods.
6 Q. Can you say that in Danish, Doctor?
7 A. I can't do that, though.
8 Q. Both D&E by dismemberment and intact D&E involve osmotic
9 dilation of the cervix, correct, Doctor?
10 A. It's very dangerous to put papers before me because I am
11 obsessive-compulsive and I need to read the entire paper now
12 and review it.
13 I'm sorry, what was your question?
14 Q. You can put the study aside unless Ms. Gowan wants to come
15 back to it.
16 Both D&E by dismemberment and intact D&E involve
17 osmotic dilation of the cervix, correct?
18 A. Correct.
19 Q. Based on conversations with doctors who head the
20 reproductive choice program at NYU, you know that at the same
21 gestational age the preparations a doctor would take to perform
22 a D&X and perform a D&E would be similar, right?
23 A. Correct.
24 Q. Adequate dilation reduces the risk of cervical tearing and
25 bleeding, right?

1847

44C5NAT4

Lockwood - cross

1 A. Correct.
2 Q. And it similarly reduces the time to empty the uterus,
3 right?
4 A. Correct.
5 Q. And at the same gestational age, the degree of dilation
6 sought to be achieved would be the same whether the D&E was
7 intact or by dismemberment, wouldn't it?
8 MS. GOWAN: Objection, your Honor.
9 THE COURT: May I have the question, please?
10 (Record read)
11 THE COURT: Your objection?
12 MS. GOWAN: Your Honor, it's overbroad.
13 THE COURT: See if you can rephrase it, Mr. Hut.
14 Q. When a physician approaches -- let me withdraw that and say
15 it again.
16 In D&E practice, the amount of dilation sought to be
17 achieved would depend on the gestational age of the fetus,
18 wouldn't it?
19 A. Well, I think you have asked actually two questions. I
20 will answer your first question.
21 The extent of cervical dilatation in fact often
22 determined whether or not the intact D&X would be used versus
23 the D&E with greater degrees of cervical dilatation encouraging
24 the physician to perform the intact D&X and lesser degrees
25 encouraging her to perform a D&E.

44C5NAT4 Lockwood - cross

1 Q. You have read the trial testimony of the plaintiffs here,
2 haven't you?
3 A. Some of them.
4 Q. Some of their deposition testimony?
5 A. Some of them.
6 Q. And on the basis of that review and on the basis of your
7 conversations with the reproductive choice head at NYU, you
8 know that the decision whether to do intact -- excuse me,
9 whether to effect intact extraction or not is made
10 intraoperatively, don't you?
11 THE COURT: Whoa, whoa, whoa. Can I have the
12 question, please?
13 (Record read)
14 MS. GOWAN: Thank you, your Honor. Objection.
15 THE COURT: Sustained.
16 BY MR. HUT:
17 Q. When a doctor approaches a D&E the doctor decides whether
18 to effect an intact extraction or dismemberment extraction at
19 the time of examination and the degree of dilation
20 intraoperatively, right?
21 MS. GOWAN: Objection, your Honor.
22 THE COURT: Sustained.
23 Q. The decision whether to effect intact extraction during a
24 D&E is made intraoperatively, isn't it?
25 MS. GOWAN: Objection.

44C5NAT4 Lockwood - cross

1 THE COURT: If you know, Doctor. Is that question
2 meaningful to you?
3 THE WITNESS: It is, more or less.
4 THE COURT: Not more or less, Doctor. Either it is or
5 it isn't. It's Mr. Hut's obligation to phrase the question.
6 THE WITNESS: It is a bit of a trick question but then
7 I think that the --
8 THE COURT: Then say it's confusing.
9 THE WITNESS: I don't think it's confusing, I think
10 it's a trick question.
11 I think the answer is that very often physicians will
12 set out to do an intact D&X for a variety of reasons, some of
13 which you have articulated earlier. Other times the setting
14 will be such that they may have intended to do a D&E but it
15 would be easier to facilitate a D&X and they pursue that.
16 So, I think that the answer is some of the time that
17 is in fact what happens but not all the time.
18 THE COURT: Would you say, Doctor, more often than
19 not, a physician knows the procedure that he intends to carry
20 out and does so?
21 THE WITNESS: I don't know if it is more often than
22 not, to be honest.
23 I think that my sense is that their goal really is to
24 minimize the number of passes and if it, they can do it with a
25 single pass, they'll do that. If it requires multiple

1850

44C5NAT4 Lockwood - cross

1 disarticulations, they'll do that.

2 But I do agree with the plaintiffs that the goal is to
3 minimize the number of passes of instruments.

4 BY MR. HUT:

5 Q. The amount of laminaria used depend on the gestational age
6 and not what variation D&E would be performed, right?

7 A. Well, both.

8 I think that, in general, the greater the gestational
9 age the greater the number of laminaria and the more serial
10 laminaria placement.

11 But, clearly, if someone's goal for anatomic purposes
12 or they have set out -- whatever their intent was to perform an
13 intact D&X, they would intend to use more aggressive laminaria
14 placement, more frequent possibly will use misoprostol on top
15 of that.

16 Q. Now, among the second trimester abortion procedures, the
17 degree of cervical dilation achieved is most dramatic in an
18 induction, is it?

19 A. It's actually pretty comparable.

20 Very often the cervix gets to four or five centimeters
21 from labor and -- but occasionally, depending on the size of
22 the fetus, it could be substantially greater than that.

23 Q. Your long-term concern about cervical dilation is a concern
24 about all induced abortions, isn't it?

25 A. Correct.

1851

44C5NAT4 Lockwood - cross

1 Q. In some cases, Doctor, even for a practitioner that does
2 not regularly perform an intact D&E, the cervix can become very
3 dilated, correct?

4 THE COURT: May I have that question read again?

5 (Record read)

6 THE WITNESS: Because of cervical ripening measures
7 taken?

8 Q. Correct.

9 A. Yes.

10 Q. If that is the case an intact extraction can result,
11 correct?

12 A. Potentially.

13 Q. And in fact, in your experience at NYU, the practitioner
14 often made the decision about whether to perform D&E or D&X
15 during the performance of the procedure to reflect the needs to
16 teach, correct?

17 A. Correct.

18 Q. Now, I think you agreed earlier Dr. Lockwood that
19 procedures that begin as induction abortions sometimes fail,
20 correct?

21 A. Correct.

22 Q. In performing a pre-viability induction it sometimes
23 happens that the fetus is partly expelled in breech
24 presentation, but before the head is delivered the woman
25 sustains excess bleeding and the procedure must be completed

1852

44C5NAT4 Lockwood - cross

1 with instruments, right?
2 A. Correct.
3 Q. And in the event that bleeding occurred and the procedure
4 was completed with instruments, one of the options available to
5 the doctor would be to compress the fetal skull with forceps
6 and facilitate the completion of the delivery, correct?
7 A. Correct.
8 Q. The fetus could have indications of life before the skull
9 was compressed, right?
10 A. Correct.
11 Q. And when a physician begins an induction, the physician may
12 know at the outset that circumstances might occur that would
13 necessitate the instrumental completion that we've just
14 discussed, correct?
15 A. It's possible.
16 Q. Dr. Lockwood, do you agree that before legislation bans a
17 procedure as less humane you would want reliable evidence that
18 the procedures left available to a doctor were in fact more
19 humane?
20 MS. GOWAN: Objection, your Honor.
21 THE COURT: What's your objection?
22 MS. GOWAN: It's a vague, ambiguous question, your
23 Honor.
24 THE COURT: Overruled.
25 THE WITNESS: Well, it's a wonderful question. I had

1853

44C5NAT4 Lockwood - cross

1 to think about it.
2 I would say that particularly between 20 and 24 weeks,
3 given what I have argued is a fetus with progressively greater
4 neurological function and the potential to perceive pain, that
5 everything that we can conceivably do to minimize discomfort
6 ought to be done, whether that's anesthesia or analgesia, or
7 identifying procedures that are, from the fetus' perspective,
8 least noxious, albeit obviously not putting the patient at
9 risk.
10 So, I guess my answer to you is, yes.
11 Q. Any of the procedures that were left available to doctors
12 in fact inflicted more pain on the fetus, that would not
13 justify a ban on a particular procedure, would it?
14 MS. GOWAN: Objection, your Honor.
15 THE COURT: What's the objection?
16 MS. GOWAN: I don't understand what the purpose of the
17 question is. A justification in whose mind for banning the
18 procedure, what kind of ban, and in the context of which
19 procedures?
20 THE COURT: All right, rephrase it, Mr. Hut.
21 Q. Doctor, in your medical judgment, if the procedures that
22 were left available to doctors by the legislature inflicted
23 more pain on the fetus than a procedure the legislature chose
24 to ban, there would be no justification for the ban, would
25 there?

44C5NAT4 Lockwood - cross

1 A. First I would suggest you take up this objection with the
2 house and the Senate and not Charlie Lockwood because I am
3 powerless to alter the Act and I didn't vote on it myself. But
4 as a rule of thumb, I think your logic is unassailable.

5 If you have banned one procedure that is less
6 humane -- I mean more humane in favor of one that is less
7 humane, you have defeated the purpose of -- presumably defeated
8 the purpose of your legislation.

9 Q. Or if you have banned the procedure but the ones available
10 are no less humane, there is no purpose for the legislation
11 either, is there?

12 MS. GOWAN: Objection, your Honor.

13 THE COURT: I think it would be easier if you put it
14 in context of the legislation you are talking about and the
15 procedures.

16 MR. HUT: Very well, your Honor.

17 Q. You know of no studies, do you, Doctor, that have inquired
18 into the question of which of intact D&E or dismemberment D&E
19 is more painful for the fetus, do you?

20 MS. GOWAN: Objection, your Honor. As to relevance.

21 THE COURT: Overruled.

22 THE WITNESS: No.

23 Q. And you have made no such assessment, have you?

24 A. I'm not sure how I could do that.

25 Q. And you know of no basis to distinguish between

44C5NAT4 Lockwood - cross

1 dismemberment D&E and D&X from the perspective of fetal pain,
2 do you?

3 A. Well, theoretically I suppose I could but I know of no
4 empirical evidence.

5 Q. And the Act does not require the administration of an
6 anesthetic to the fetus at any gestational age for a D&E that
7 would not be banned, does it, Doctor?

8 A. Not to my knowledge.

9 Q. And you are not aware of any studies comparing any pain
10 felt by a fetus during induction abortion in the second
11 trimester to pain felt from an intact D&E, are you, Doctor?

12 A. Presumably there would be no different than labor, and we
13 have evidence that certainly reasonable evidence that labor,
14 although stressful for the fetus, doesn't seem to evoke
15 enormous amounts of pain.

16 However, imagine trying to fit my head through a tight
17 space and being pushed out may be uncomfortable. We know that
18 labor is a stressful event but common sense and intuition,
19 which you seem to favor in some of your previous questions to
20 me, suggest to me that tearing a fetus to shreds with
21 instruments or sucking its brains out is likely to be less
22 painful than an induction of labor.

23 Q. But you are not aware of any studies that have made such
24 examination, are you?

25 A. No, I'm not.

44C5NAT4

Lockwood - cross

- 1 Q. And you have not made such empirical assessment yourself,
2 have you?
3 A. No.
4 Q. And you would agree, Doctor, that pain is not experienced
5 without consciousness, correct?
6 A. Consciousness is a requirement for pain.
7 Q. And there is no certainty, is there, that fetuses at 20
8 weeks LMP have the consciousness necessary to experience pain,
9 is there?
10 A. That's correct.
11 Q. And in fact, have you stated that at 20 weeks it is
12 possible that a fetus feels pain, don't you?
13 A. That's correct.
14 Q. Whereas at 24 weeks you think it is rather more likely,
15 correct?
16 A. That's correct.
17 Q. You have not made any assessment, have you, Doctor, where
18 the fetus with brain or nervous system anomalies would feel
19 pain in an abortion, have you?
20 MS. GOWAN: Objection. This is way beyond the scope
21 of the direct.
22 THE COURT: Is it beyond the doctor's report?
23 MS. GOWAN: And that too, your Honor.
24 THE WITNESS: I don't remember.
25 THE COURT: If it is not in the report it will be

44C5NAT4

Lockwood - cross

- 1 stricken.
2 MR. HUT: I will go on, your Honor.
3 BY MR. HUT:
4 Q. You testified on direct that you observed a dismemberment
5 D&E in which the fetus responded to the touch or grasp of the
6 forceps, correct?
7 A. That's correct.
8 Q. Do you know what anesthesia was used in that procedure?
9 A. General anesthesia. However, the patient was not intubated
10 and I suspect that the level of anesthesia was not equivalent
11 to that which could be achieved by endotracheal intubation.
12 Q. You would agree with me, Doctor, wouldn't you, that the
13 definition of partial birth abortion as contained in the Act is
14 not limited to the specific procedure described as an intact
15 D&X by ACOG?
16 A. Correct.
17 Q. The definition in the Act makes no specific mention of
18 deliberate dilation, does it?
19 A. Correct.
20 Q. It makes no specific mention of any requirement for
21 instrumental conversion, does it?
22 A. Correct.
23 Q. Or of any requirement for breech extraction all the way to
24 the head, correct?
25 A. I think it describes it to the navel.

1858

44C5NAT4

Lockwood - cross

1 Q. But not --
2 THE COURT: Could I have that answer read, please.
3 (Record read)
4 Q. And not to the head?
5 A. Correct.
6 Q. And it doesn't define any procedure with respect to any
7 gestational age, does it?
8 A. Correct.
9 Q. Would you agree, Dr. Lockwood, that after the use of
10 laminaria in a D&E abortion depending on the degree of cervical
11 dilation and gestational age, some additional mechanical
12 dilation may sometimes be needed?
13 A. Correct.
14 Q. You would also agree, Dr. Lockwood, would you not, that
15 there might be some circumstances where an intact D&E would
16 allow better diagnostic information than a D&E involving
17 dismemberment, correct?
18 A. It's possible.
19 Q. When medical induction is contraindicated absolutely or
20 relatively for a particular patient, it is not a safe means of
21 attaining an intact fetus for pathological assessment, is it?
22 A. It may be a less safe means.
23 Q. If the time between an injection of KCL or digoxin and
24 delivery of second trimester abortion is too long, then the use
25 of KCL or digoxin can negatively impact the ability to make a

1859

44C5NAT4

Lockwood - cross

1 pathological assessment, correct?
2 A. Potentially.
3 Q. Dr. Lockwood, you would not advocate, would you, the use of
4 nitroglycerin to facilitate the delivery in an induced abortion
5 of an aftercoming fetal head?
6 A. No.
7 Q. Would you advocate use of a Dührssen's incision to effect
8 the delivery of an aftercoming fetal head of induced abortion?
9 THE COURT: Mr. Hut, please.
10 MR. HUT: Absolutely, your Honor.
11 THE COURT: Either get closer to the microphone if you
12 insist often whispering or do something.
13 MR. HUT: I do have occasion, and I apologize to the
14 Court, to back away from the podium and I appreciate your
15 Honor's interruptions.
16 Q. Dr. Lockwood, you would not recommend, would you,
17 Dührssen's incision as a means of delivering an aftercoming
18 lodged fetal head in a second trimester induced abortion, would
19 you?
20 MS. GOWAN: Objection, your Honor. Beyond the scope
21 of the direct examination.
22 THE COURT: Well, it's cross-examination. I'm not
23 going to be so uptight.
24 You may answer the question.
25 THE WITNESS: No.

1860

44C5NAT4 Lockwood - cross

1 Q. Dr. Lockwood, I think you indicated on direct examination
2 that you were a member of the American College of Obstetricians
3 and Gynecologists, correct?
4 A. Correct.
5 Q. And I believe you said that you were a member of a number
6 of the committees of the College, correct?
7 A. Correct.
8 Q. You are a board examiner for ACOG, is that right?
9 A. Well, for the American Board of OB/GYN.
10 Q. Sorry. Is that related to the American College?
11 A. No.
12 Q. You consider the College to be a reliable source on
13 obstetrics and gynecology, don't you?
14 A. Yes.
15 Q. And are you still a member of certain ACOG committees,
16 aren't you?
17 A. Correct.
18 Q. You're familiar with the 1997 policy statement on intact
19 dilation and extraction issued by the college?
20 A. I am.
21 Q. And its executive board?
22 A. I am.
23 Q. You read the statement at or around the time it was issued,
24 did you not?
25 A. I believe I did.

1861

44C5NAT4 Lockwood - cross

1 Q. You are aware that the policy statement says, in substance,
2 that an intact D&X may be best or more appropriate procedure in
3 particular circumstances to save the life or prevent the health
4 of a woman, and only the doctor, in consultation --
5 THE COURT: Prevent the health?
6 Q. Preserve the health. Sorry, your Honor.
7 -- preserve the health, and only the doctor, in
8 consultation with the patient, based upon the woman's
9 particular circumstances can make this decision?
10 A. I have read the deposition over and you did say prevent
11 there too, a Freudian slip. But I would --
12 Q. I take this opportunity to correct myself.
13 THE COURT: Qualified by psychology and psychiatry, no
14 opinion.
15 THE WITNESS: It does indeed say that, but it also
16 says that the panel could find no circumstance where it would
17 be the only procedure that would be necessary to effect a
18 termination, or words to that effect.
19 Q. And taken in its entirety, including both observations to
20 which you have testified, you agreed with the statement when
21 ACOG made it in 1997, didn't you?
22 A. I think, as I have said in my deposition, I have no opinion
23 one way or the other when the statement was issued.
24 Q. Did you testify at your deposition and were you asked this
25 question and did you give this answer:

44C5NAT4

Lockwood - cross

1 "Q With respect to the statement that I just read,
2 did you agree with the statement at the time you first read the
3 policy statement?

4 "A I am not sure I don't agree with it, no."

5 A. And I would reiterate that yet again.

6 Q. And you are not sure that you don't agree with it today,
7 right?

8 A. The panel statement is, you can see by its nature, a
9 political statement.

10 Q. Am I right or wrong, Doctor?

11 THE COURT: Please don't, Mr. Hut. Don't interrupt
12 the witness. Allow him to finish his answer. That technique
13 is not allowed nor appreciated in this courtroom.

14 Doctor, you may finish your answer.

15 THE WITNESS: There are aspects of the policy that I
16 agree with and aspects that I am neutral about and aspects that
17 I don't agree with. But I do feel that, as a rule of thumb,
18 physicians should make decisions about their patients without
19 governmental interference.

20 THE COURT: Doctor you started to say, I thought, when
21 you were interrupted, that it's a political statement?

22 THE WITNESS: I think it is.

23 I think that policy statements by their design and
24 nature are different than the other communications that emanate
25 from the College and they do intend to address political

44C5NAT4

Lockwood - cross

1 issues. They're policy statements in fact. And this one was
2 an attempt to reconcile a fellowship which is divided on the
3 topic of abortion, divided on the topic of partial-birth
4 abortion if you will, and yet wanted to be -- wanted to have a
5 statement that could be employed by those who felt that this
6 act threatened the availability of abortions to their own end.

7 THE COURT: And does this threaten their livelihood?

8 THE WITNESS: Well, the vast majority of College
9 members don't perform abortions so it doesn't affect the bulk
10 of fellows of the American College of Obstetricians and
11 Gynecologists.

12 Would banning abortions impact the careers and or
13 salaries of those that exclusively perform abortions? The
14 answer is obviously yes.

15 Would this Act affect their income? Depends on how
16 the act is applied, it depends on the guidelines that are used
17 by the Justice Department to enforce it, depends on the murky
18 area of vagueness and intent and deliberativeness that the
19 Court will have to resolve.

20 THE COURT: Doctor, the body that makes these policy
21 statements, how many people are on that group, committee or
22 board? Whatever it is?

23 THE WITNESS: That's a very good question. It would
24 depend on the committee.

25 Committees are cross-sections of the fellowship, they

44C5NAT4 Lockwood - cross

1 include academic and nonacademics. Folks from community
2 hospitals, junior fellows in many cases.
3 The attempt is to create a cross-section of the entire
4 College. However, policies that are generated by ad hoc
5 committees don't necessary meet that sort of cross-sectional
6 orientation. I have no idea who was a member of that -- he'll
7 probably show me in a second -- but I have no idea who was a
8 member of the ad hoc committee that issued the policy
9 statement.

10 But I would say, again, that there are certainly many
11 aspects of that policy statement that I support.

12 THE COURT: But how many people? Would you have any
13 idea who are involved in it?

14 THE WITNESS: Does it say?

15 THE COURT: And does such a policy statement require
16 approval vote of the membership?

17 THE WITNESS: No. It does require approval by the
18 executive committee of the American College of Obstetricians
19 and Gynecologists.

20 THE COURT: And would there be any way of knowing how
21 many on that committee are abortionists?

22 THE WITNESS: No way that I can conceive of.

23 THE COURT: So like 20 or 30 people could speak for
24 this organization that I believe earlier in this trial I heard
25 had a membership of 40,000 or something.

44C5NAT4 Lockwood - cross

1 THE WITNESS: That's correct.

2 THE COURT: In other words, the majority of that
3 committee -- say it was 25 -- 13 could speak for the College?

4 THE WITNESS: The College prefers to make policy and
5 opinions and so forth when there is a consensus of the entire
6 group. But I don't know what happened in this particular case.

7 THE COURT: We have no way of knowing.

8 THE WITNESS: No way of knowing.

9 THE COURT: And the policy statement doesn't say, as
10 far as you know?

11 THE WITNESS: Not to my knowledge.

12 THE COURT: And it took no vote of the membership as
13 far as you know?

14 THE WITNESS: We never do.

15 THE COURT: So, in theory, a simple majority could
16 dictate an issue of policy that does not necessarily reflect
17 the thinking of the membership.

18 I believe you just said it never does.

19 THE WITNESS: We would hope that it normally does but
20 I can't guarantee that it always does.

21 THE COURT: So, theoretically, if you had 13
22 abortionists take over the committee tasked with making this
23 policy statement, they could speak for the 40,000?

24 THE WITNESS: Well, I think there is sort of a two --
25 a two-step process here. The first step was this ad hoc

1866

44C5NAT4 Lockwood - cross

1 committee and I have no idea who the members of it were, but
2 presumably there were those who were actively involved in the
3 topic and in the area of abortions and so forth.
4 But the second step would have been by the executive
5 committee, which is really a much broader cross-section of the
6 College including its elected officials both at a district
7 level and at the national offices.
8 THE COURT: How many would we be talking about?
9 THE WITNESS: I don't actually know how many serve on
10 the executive committee. Could be on the range of 12 but they
11 generally reflect a fairly broad cross-section.
12 To my knowledge there might be a maternal fetal
13 medicine specialist or two or three and several gynecologists
14 maybe a GYN oncologist and generalist, a large number of
15 generalist.
16 THE COURT: But, again, a simple majority, as far as
17 you know, could approve such a policy statement?
18 THE WITNESS: The general approach to the College is
19 to try to get complete consensus on almost all the documents
20 that it released.
21 So, if there were really a significant body of discord
22 in voices, my guess is they probably would not have issued the
23 policy statement.
24 THE COURT: But you don't know that.
25 THE WITNESS: Absolutely do not know that.

1867

44C5NAT4 Lockwood - cross

1 THE COURT: Or if you know, a simple majority could
2 control an issue.
3 THE WITNESS: I think it is theoretically possible but
4 unlikely.
5 THE COURT: But you were never consulted?
6 THE WITNESS: Definitely not.
7 THE COURT: And as far as you know, no vote of the
8 membership is normally taken on such a policy issue?
9 THE WITNESS: No, not routinely.
10 THE COURT: Next question.
11 (Continued on next page)
12
13
14
15
16
17
18
19
20
21
22
23
24
25

44crnat5

Lockwood - cross

1 MR. HUT: Your Honor, with respect to the answer of
2 the doctor that it was a political matter, I move to strike it.
3 It was not responsive to my question, and his testimony has
4 just indicated he has no foundation for it. He has not spoken
5 to members of the ad hoc committee.

6 THE COURT: All right. Since I asked it again, I will
7 allow it. Next question.

8 MR. HUT: It was not with respect to your Honor's
9 question. It was with respect to the question that he
10 responded to me.

11 THE COURT: I know. I put it in another question, so
12 it is in there anyway. Go ahead.

13 MR. HUT: I was not seeking to strike the response to
14 your question, sir.

15 THE COURT: It's quite all right.

16 BY MR. HUT:

17 Q. You never spoke to any member of the executive committee or
18 the executive board about the issuance of the statement, did
19 you?

20 A. Never.

21 Q. And you know that the executive board in fact issued the
22 policy statement based on the recommendation of the special
23 committee, right?

24 A. By definition.

25 Q. And you know that --

44crnat5

Lockwood - cross

1 THE COURT: He knows this or he has read it in that
2 statement. Obviously, I would say from his testimony he has no
3 personal knowledge of it, Mr. Hut. He has read the policy
4 statement and it says so, he knows that. But he is not on the
5 ad hoc committee, he is not on the executive committee, at
6 least that has been brought out, so I doubt he can testify to
7 all these things you want him to.

8 Q. Dr. Lockwood, when ACOG issues policy statements with which
9 a member disagrees, the member can object by writing a letter
10 to the editor of the journal, and a member or the chair of the
11 relevant committee will respond, right?

12 A. That's correct.

13 Q. You never did so, did you?

14 A. No, I have not.

15 Q. You testified earlier with respect to a study concerning
16 the impact of midtrimester dilation and evacuation on
17 subsequent pregnancy outcome by Dr. Kalish and others, correct?

18 A. Correct.

19 THE COURT: Just a minute. Mr. Hut, before we get
20 into Dr. Kalish's study, is this a convenient time for our
21 afternoon break?

22 MR. HUT: Absolutely, your Honor.

23 THE COURT: We will recess.

24 (Recess)

25 THE COURT: Mr. Hut, you may inquire.

44crnat5 Lockwood - cross

- 1 MR. HUT: Thank you, your Honor.
2 BY MR. HUT:
3 Q. Doctor, before I ask you about the Kalish study, in your
4 direct examination this morning did you make reference to a
5 French study other than the one by Henriet and Kaminski?
6 A. Yes. There were several others.
7 MR. HUT: Your Honor, those studies were not disclosed
8 to us in the expert's report as anything he relied on. I asked
9 Ms. Gowan for those prior to the lunch break, and they were not
10 supplied. I would move to strike that portion of the doctor's
11 answer that made reference to those studies. I don't know what
12 they contained. I don't know whether they helped me or hurt
13 me. I only know that I have not seen them and could not
14 prepare any examination about them.
15 THE COURT: I would have to look at the transcript,
16 which I will overnight. But I don't know that the doctor --
17 Did you say you relied on these studies in preparing
18 your testimony?
19 THE WITNESS: No. No, your Honor.
20 THE COURT: Then why should his testimony be stricken?
21 Should everything he has ever read since medical school that is
22 not produced to you be stricken?
23 MR. HUT: To the extent they informed his opinions --
24 THE COURT: He said that it didn't. He just said
25 that.

1871

44crnat5 Lockwood - cross

- 1 MR. HUT: I thought that the Court asked whether he
2 relied on them in preparing his expert report. Perhaps I
3 misunderstood the Court.
4 THE COURT: Obviously, if he said forming his
5 opinions, you would have to take everything since medical
6 school. I assume his entire medical education and experience
7 is a portion of what he relies on in his medical thinking. But
8 unless he used it to prepare his expert report, I don't see
9 that it has any relevancy. Declined. Move on. Next question.
10 BY MR. HUT:
11 Q. Doctor, with respect to the Kalish study that you mentioned
12 earlier, that was a study of second trimester D&E procedures,
13 correct?
14 A. Correct.
15 Q. As performed at Weill Cornell Medical Center, correct?
16 A. Correct.
17 Q. It was published in the American Journal of Obstetrics and
18 Gynecology, correct?
19 A. Correct.
20 Q. That is a peer-reviewed journal?
21 A. Correct.
22 Q. It examined certain D&E procedures performed during the
23 years 1996 to 2000, correct?
24 A. Correct.
25 Q. The cervical dilation in the D&E's studied there was

44crnat5 Lockwood - cross

1 achieved by laminaria, right?

2 A. Correct.

3 Q. The Kalish study concluded that second trimester D&E at
4 later gestational ages appeared to be associated with a lower
5 risk of preterm birth in subsequent pregnancies, correct?

6 A. Correct.

7 MS. GOWAN: Objection, your Honor, to counsel
8 testifying about what a document concludes or not.

9 THE COURT: Let me hear the question. I think he is
10 asking the witness. The witness can either agree with him or
11 disagree. It is cross-examination.

12 (Question read)

13 THE COURT: The witness is capable of agreeing,
14 disagreeing, asking to see the report, or whatever he wants.
15 Overruled.

16 MR. HUT: I think witness answered the question,
17 correct?

18 A. The prevalence of preterm birth is lower than I would have
19 expected. It is not an ideal study, but certainly it was
20 reassuring.

21 Q. And it was associated with a lower risk of preterm birth in
22 subsequent pregnancies, right?

23 A. Right.

24 THE COURT: What was wrong with the study, Doctor?

25 THE WITNESS: The overall preterm birth rate was 6.5

1873

44crnat5 Lockwood - cross

1 percent. That certainly was a lower number than I would have
2 anticipated it being. If they had actually had an ideal match
3 for patients, which would have probably been impossible to do,
4 that had had the same number of pregnancies without the
5 termination and had been matched for innumerable other
6 characteristics, that might have added additional weight. But
7 I certainly view it as a reassuring finding.

8 THE COURT: Next question.

9 Q. Greater preoperative cervical dilation as reported in the
10 Kalish study was positively correlated with advancing
11 gestational age at D&E, correct?

12 A. I can't recall that, but that makes sense.

13 Q. And greater preoperative cervical dilation as recorded in
14 the Kalish study was also positively correlated with greater
15 gestational age at subsequent delivery, correct?

16 A. I believe that was the conclusion.

17 Q. On direct you testified about an op-ed piece that I think
18 you said you prepared in approximately December 2003, right?

19 A. Correct.

20 Q. You authored that for your magazine Contemporary OB-GYN?

21 A. Correct.

22 Q. The fax line on the document supplied to us bore a December
23 19, 2003 date, correct?

24 A. Right.

25 Q. You drafted it on or around that time, Doctor?

1874

44crnat5

Lockwood - cross

- 1 A. Correct.
2 Q. At or around that date you sent it to Ms. Gowan, correct?
3 A. Correct.
4 Q. This was during a time you were discussing with the
5 government the possibility that you might serve as a witness
6 for the government in this matter, right?
7 A. Correct.
8 Q. The point of sending the op-ed piece was to convey to the
9 government your views about the Act and about the issues
10 surrounding the Act, right?
11 A. Correct.
12 Q. It accurately reflected your views in mid December 2003
13 when you wrote it, correct?
14 A. Correct.
15 Q. You didn't ultimately publish it, did you?
16 A. No.
17 Q. Since you wrote it, you have met periodically, either in
18 person or by phone, with government counsel, right?
19 A. Correct.
20 Q. You met with them a number of times during the preparation
21 of your expert report, right?
22 A. Correct.
23 Q. Another meeting or two before your deposition, right?
24 A. I think there were total of three.
25 Q. Did you meet with government counsel before your testimony

1875

44crnat5

Lockwood - cross

- 1 in Nebraska?
2 A. Oh, I'm sorry. That was at my deposition.
3 Q. I am moving to another question.
4 A. I see. Did I meet with them? I had breakfast with the
5 attorneys.
6 Q. And you talked about issues in the case and your testimony,
7 right?
8 A. Correct.
9 THE COURT: What was the first time you met them?
10 Breakfast the day you testified?
11 THE WITNESS: I had met them at three of the prior
12 meetings and had spoken to them on the phone. In fact,
13 actually one of the attorneys picked me up at the airport, and
14 we had a very brief conversation. Basically, it was late at
15 night in Lincoln, Nebraska, and I just wanted to go to sleep.
16 Q. Government counsel had prepared a written outline for your
17 use in preparing your expert report, right?
18 A. A written outline?
19 Q. Correct.
20 A. If they had, I haven't seen it.
21 Q. You didn't testify to that at deposition?
22 A. A written outline?
23 Q. Correct.
24 A. Oh, I'm sorry. For preparation of my expert report?
25 Q. Correct.

1876

44crnat5

Lockwood - cross

- 1 A. You have now mixed up deposition, preparing of the expert
2 report, and my testifying in Lincoln. I guess it is getting
3 late in the afternoon and I am getting progressively more
4 confused.
5 Yes, absolutely.
6 Q. Did you talk to or speak with government counsel over the
7 weekend in preparation for your testimony here?
8 A. I flew back with Sheila Gowan, so I had some opportunity to
9 speak with her then. But I did not talk until I arrived today,
10 this morning.
11 Q. You didn't speak briefly this morning?
12 A. We did.
13 Q. Some of your views have changed since you wrote the op-ed
14 piece in December 2003, right?
15 A. Correct.
16 Q. And some of the op-ed piece still accurately reflects your
17 views today, correct?
18 A. Correct.
19 Q. For example, you still agree that the Act has serious
20 flaws, as you stated in the first paragraph of the op-ed piece,
21 correct?
22 A. I would agree.
23 Q. In December you thought that any legislation that banned
24 D&E's on 14-week fetuses with lethal anomalies would improperly
25 burden women seeking abortions in the second trimester,

1877

44crnat5

Lockwood - cross

- 1 correct?
2 A. Correct.
3 Q. You still agree that legislation that bans D&E on 14-week
4 fetuses with lethal anomalies would improperly burden such
5 women, right?
6 A. If such legislation did in fact do that, yes.
7 Q. In December you found distressing the Act's imposition of
8 penalties on doctors, right?
9 A. Still do.
10 Q. You think that the imposition of criminal penalties
11 unravels the physician's social contract with his or her
12 patients, right?
13 A. Correct.
14 Q. Because part of that contract is a right of patients to
15 expect doctors to do their very best for them, right?
16 A. Correct.
17 Q. You also thought in mid December 2003 that it was entirely
18 unconscionable for Congress to incur civil suits, right?
19 A. Very much so.
20 Q. And you still do, right?
21 A. Very much so.
22 Q. In December, before you spoke with counsel for the
23 government, you believed that the Act's language was so
24 imprecise that it just doesn't prohibit intact D&E's but also
25 threatens all abortions, right?

1878

44crnat5

Lockwood - cross

1 A. Correct.
2 Q. So when you first read the Act as a practicing physician
3 without conferring with government lawyers, you believed it was
4 written in a way that threatened all abortions, right?
5 A. I wrote what I wrote.
6 Q. And you did believe that, right?
7 A. Correct. To be fair to all sides, I had reviewed the
8 expert reports of your plaintiffs, and that may have added to
9 my sense of urgency and concern.
10 Q. In fact, in your opinion, the wording of the Act is such
11 that you can certainly understand why the plaintiffs' experts
12 opined as they did concerning the Act's threat, right?
13 A. Correct.
14 Q. You still agree, don't you, Dr. Lockwood, that if the Act
15 is not interpreted the way you believe appropriate, it is not
16 only vague but worse, right?
17 A. If it is interpreted in a way that leads to a lack of
18 access to pregnancy terminations, that would be a problem.
19 Q. Did you say a minute ago, Doctor, that the reading of the
20 plaintiffs' declarations had affected your views of the Act at
21 the time you wrote the op-ed piece?
22 A. That's correct.
23 Q. Dr. Lockwood, in your trial testimony in Nebraska last
24 Friday, were you asked the following questions and did you give
25 the following answers? This is page 1730/line 1.

1879

44crnat5

Lockwood - cross

1 "Q. Doctor, at the time you wrote the draft editorial, had you
2 reviewed any materials related to the Act?
3 "A. From who?
4 "Q. From the plaintiffs.
5 "A. No.
6 "Q. You don't recall reviewing plaintiff affidavits prior to
7 writing this?
8 "A. I do not recall that, no."
9 Were you asked those questions and did you give those
10 answers?
11 A. I was wrong.
12 THE COURT: Please. Now, Doctor, you may answer the
13 question.
14 A. I was wrong when I said that. It was obviously my
15 recollection at the time. I was reminded that in fact I had
16 been granted access to and had read the plaintiffs' expert
17 reports.
18 Q. In December 2003, when you wrote your draft op-ed piece,
19 you thought that the ban should not be applied before viability
20 because the congressional findings failed to provide rigorous
21 evidence that the procedure posed greater health risks than
22 alternative abortion procedures, correct?
23 A. It sounds correct.
24 Q. You still agree that the congressional findings exaggerate
25 the risk of the D&X procedure, correct?

1880

44crnat5

Lockwood - cross

- 1 A. Correct.
2 Q. In fact, you agree with very little in the findings that
3 Congress made about the potential risks of partial-birth
4 abortion, right?
5 A. That is correct.
6 Q. You think that there might be a slightly reduced risk of
7 trauma in a D&X than in a D&E involving dismemberment, right?
8 A. Correct.
9 Q. In December 2003 you thought that a ban on abortions
10 previability should only be made when a procedure is clearly
11 dangerous to the mother's health and there were safe
12 alternatives, correct?
13 A. Yes. That was a legal interpretation based on my attempts
14 to understand Roe v. Wade and the subsequent Supreme Court
15 rulings on the topic: Specifically, Roe v. Wade's trimester
16 paradigm in which essentially first trimester terminations were
17 to be unrestricted, second term abortions would be restricted
18 in those cases to ensure maternal health, and that third
19 trimester terminations could be restricted to protect what I
20 believe Roe v. Wade called the state's obligation to protect
21 the potentiality of life with the health and life exceptions
22 that were included.
23 Q. Doctor, you are not aware of any evidence that intact D&E
24 is clearly dangerous to the mother, are you?
25 A. Certainly no evidence in terms of short-term risk to the

1881

44crnat5

Lockwood - cross

- 1 mother, no.
2 Q. You are not aware of any specific evidence of risk in the
3 long term, are you?
4 A. Not to the mother.
5 Q. In fact, you think intact D&E is comparable in safety to
6 induction and dismemberment D&E, don't you?
7 A. More or less.
8 Q. If the law were upheld, you understand that your trusted
9 colleague from NYU could be prosecuted for performing a
10 procedure that she regarded or regards as most appropriate in
11 some circumstances, don't you?
12 A. Correct.
13 Q. That is not a result that you favor, is it?
14 A. I do not favor prosecuting physicians for performing
15 medical treatments that are done, obviously, in a way that they
16 believe are maximizing patient outcomes, period.
17 Q. You think that there are compelling enough arguments as to
18 the safety of intact D&E that you would not want to prohibit
19 its use today in your institution, correct?
20 A. Not unless additional data suggested otherwise.
21 MR. HUT: Your Honor, I have no further questions of
22 the witness.
23 THE COURT: Ms. Gowan, any redirect?
24 MS. GOWAN: Just very briefly, your Honor.
25 REDIRECT EXAMINATION

44crnat5 Lockwood - redirect

1 BY MS. GOWAN:
2 Q. Dr. Lockwood, are the opinions that you are offering here
3 today your own?
4 A. They are.
5 Q. They are not the government's opinions, are they?
6 A. No, they are not.
7 Q. They are not Sheila Gowan's opinions?
8 A. I hope not.
9 Q. Doctor, I just wanted to clear something up from the
10 record. When you were answering counsel's question about the
11 pain that the fetus experiences, he was asking you about
12 induction abortion and D&E by dismemberment and D&X. I believe
13 that you said that it seems to you to suggest that the tearing
14 of a fetus to shreds with instruments or sucking its brains out
15 is likely to be less painful than induction of labor. You
16 meant the opposite, didn't you?
17 A. I meant the opposite, of course, right.
18 Q. It would be more painful, correct?
19 THE COURT: Was your answer "yes" to that, Doctor?
20 A. Yes. Clearly that would be more painful than an induction
21 D&E. But that is intuition. I don't have empirical evidence
22 to support that assumption.
23 Q. And that is that the tearing of the fetus and the sucking
24 out of the brains would be more painful than were the fetus to
25 be aborted by the labor induction method?

1883

44crnat5 Lockwood - redirect

1 A. Correct.
2 Q. I also wanted to clear up for the record when counsel was
3 asking you about when you had first seen the ACOG policy
4 statement and whether you had expressed an opinion about it at
5 the time that you first saw it. He read part of your
6 deposition testimony on that subject. I just wanted to read
7 the remainder. I am reading now from page 101 of the
8 transcript, where Mr. Hut was asking the witness about the ACOG
9 1997 statement.
10 "Q. It goes on to say, 'The potential exists that legislation
11 prohibiting specific medical practices, such as intact D&X, may
12 outlaw techniques that are critical to the lives and health of
13 American women.' Do you agree with that now as well?
14 "A. No.
15 "Q. Why not?
16 "A. Well, you know, I think --
17 "Q. Let me withdraw that. Sorry. Did you agree with it at the
18 time you first read it?
19 "A. I am quite confident I never expressed a position one way
20 or another on this topic. In fact, I can quite guarantee that
21 I never expressed an opinion one way or the other."
22 Were you asked those questions and did you give that
23 answer at your deposition in this case?
24 A. I believe that comports with what I said today as well.
25 Q. Doctor, would you agree if the intent of the physician in

44crnat5 Lockwood - redirect

1 the placement of the forceps during the procedure is to
2 disarticulate the fetus, that then it is a D&E by
3 dismemberment?

4 MR. HUT: Objection: Leading, vague, and ambiguous.

5 THE COURT: Overruled.

6 A. If the intent is to disarticulate, then clearly that is the
7 intent.

8 Q. To perform a D&E by dismemberment?

9 A. If the intent in placing the forceps on an extremity or
10 some other aspect of the fetus is to disarticulate it or remove
11 it, dismember it, what-have-you, then I believe that not many
12 people would dispute that that would be consistent with a D&E.

13 Q. Would you agree that if the intent of the placement of the
14 forceps or the hands is to perform an internal podalic version
15 with intent to partially deliver the fetus and then perform a
16 destructive procedure that results in the fetus's death and the
17 physician then performs that, that that would be an intact D&X
18 or D&X procedure?

19 A. Correct.

20 Q. Would you agree that in the event the physician did not
21 have to perform the internal podalic version because the fetus
22 was already in a breech position, if the intent of the
23 physician was to remove the fetus intact, partially deliver the
24 fetus intact, and then perform a destructive procedure that
25 would result in its death, that that would be a D&X?

44crnat5 Lockwood - redirect

1 A. Correct.

2 Q. You don't believe that there is any established scientific
3 evidence demonstrating that the D&X procedure is a safer
4 procedure than medical induction abortion or D&E by
5 dismemberment, do you?

6 A. Not definitively.

7 Q. You also believe that the procedure requires further study
8 in order to determine whether there are long-term
9 complications, specifically, preterm birth issues, right?

10 A. Correct.

11 Q. You believe that there are safe and effective alternative
12 methods for caring for maternal complications other than use of
13 the D&X procedure?

14 MR. HUT: Objection: Leading.

15 A. Right.

16 THE COURT: Sustained.

17 Q. Do you believe that labor induction abortion is a safe and
18 effective method for caring for maternal complications in
19 terminating pregnancies?

20 A. In most cases.

21 Q. Do you believe that D&E by dismemberment is a safe and
22 effective method for caring for maternal complications in
23 abortions?

24 A. Yes.

25 Q. Can you envision a circumstance in which D&X would be

44crnat5 Lockwood - redirect
1 required as opposed to D&E or medical induction abortion for
2 terminating a pregnancy?
3 A. No.
4 MS. GOWAN: I don't have any further questions, your
5 Honor. Thank you.
6 THE COURT: Any recross?
7 MR. HUT: Very briefly, Judge Casey.
8 RE CROSS EXAMINATION
9 BY MR. HUT:
10 Q. Dr. Lockwood, you were aware that the ACOG policy statement
11 was reaffirmed two times since its initial issuance in 1997,
12 correct?
13 A. Correct.
14 THE COURT: I think this is outside of the scope of
15 redirect.
16 MS. GOWAN: Yes, your Honor.
17 Q. Doctor, in an intact D&E, suction of the brain or
18 intracranial contents takes less than a minute, doesn't it?
19 A. Correct.
20 Q. How long does the evacuation take on average in an
21 induction abortion?
22 A. It depends on the method used and the medications used to
23 effect it.
24 Q. What are the parameters from the low side to the high side?
25 A. For example, using 200 micrograms of misoprostol every 12

1887

44crnat5 Lockwood - recross
1 hours, it could take a range of 24 to 30 hours. Using 400
2 micrograms every 3 to 4 hours could take in the range of 12 to
3 15 hours. Using mifepristone prior to the procedure, 36 to 48
4 hours prior to the procedure, 800 micrograms of mifepristone
5 vaginally and 400 every 4 hours either orally or vaginally, the
6 average interval I believe was 4.3 hours from initiation to
7 delivery. There was another study suggesting that number was
8 around 6 hours.
9 Q. In an induction abortion, can a fetus die by asphyxiation?
10 MS. GOWAN: Your Honor, beyond the scope of the
11 redirect.
12 MR. HUT: She asked extensively about inductions, your
13 Honor.
14 THE COURT: Let's hear the question.
15 (Question read)
16 A. Yes.
17 THE COURT: You did inquire of that.
18 MS. GOWAN: I did on direct, but not on redirect.
19 This is recross.
20 THE COURT: I'm sorry. I disagree with you. I will
21 allow the question.
22 MR. HUT: I think the witness has answered. I have no
23 further questions of the witness, your Honor.
24 THE COURT: Doctor, going back, I want to ask you a
25 little bit about this afternoon. ACOG. Did ACOG, in issuing

44crnat5 Lockwood - recross
1 its policy statements, indicate in that statement how many of
2 the executive board -- I believe that is made up of 12 people?
3 THE WITNESS: I made that number up. But in that
4 range.
5 THE COURT: Is that the correct title am I using,
6 executive board?
7 THE WITNESS: Right.
8 THE COURT: Did they identify how many of the board
9 were abortionists?
10 THE WITNESS: No.
11 THE COURT: Did they disclose how many of the ad hoc
12 committee are abortionists.
13 THE WITNESS: I don't believe that they released the
14 names of the ad hoc committee.
15 THE COURT: They made no statement as to whether or
16 not the members of the committee or the executive committee or
17 the ad hoc committee were in fact abortionists or abortion
18 providers, whatever is the preferred name?
19 THE WITNESS: If somebody gives me a copy, I can look.
20 But I don't believe I recall any names or any --
21 THE COURT: Did they identify them as such? Whether
22 you know personally some of them perform abortions, that is
23 something else.
24 THE WITNESS: Correct.
25 THE COURT: But the average obstetrician wouldn't have

1889

44crnat5 Lockwood - recross
1 or she wouldn't have any way of knowing?
2 THE WITNESS: That's correct.
3 THE COURT: All right. Doctor, thank you very much.
4 You may step down.
5 (Witness excused)
6 THE COURT: Ms. Gowan, does the government have
7 another witness?
8 MS. GOWAN: Your Honor --
9 THE COURT: I realize it is a little late.
10 MS. GOWAN: I have some good news for your Honor and
11 some bad news, which we can put off to tomorrow. The good news
12 is I think the Mets are winning 10 to nothing.
13 THE COURT: That is always appreciated.
14 MS. GOWAN: The bad news is what we were going to do
15 next was read into the record some ACOG testimony that the
16 government had designated for its affirmative case. If your
17 Honor would like, we can put that off until tomorrow, or we
18 would be prepared to proceed with that today. We do not have a
19 live witness for this afternoon.
20 THE COURT: Are you on track timewise, as far as you
21 can tell? I realize this is just the first day.
22 MS. GOWAN: We certainly are.
23 THE COURT: I realize you, I think, have perhaps a
24 couple of fewer witnesses than the plaintiffs did. But you are
25 on track. Maybe we should spare all of us until tomorrow

44crnat5

Lockwood - recross

1 morning. One of the great delights in life is hearing
2 deposition testimony. Unless somebody has some objection. As
3 long as we are on schedule, we will recess until 9:30 tomorrow
4 morning.

5 MS. PARKER: Your Honor, Ms. Parker.

6 THE COURT: Yes?

7 MS. PARKER: One brief housekeeping matter with
8 respect to the ACOG deposition. We have provided your clerk
9 today with a list of our objections to the government's
10 testimony, if you are able to rule on those before.

11 THE COURT: Sure.

12 MS. PARKER: The other issue is I just learned today
13 that the government has taken issue with some of the errata
14 designations that ACOG made several weeks ago to their
15 deposition and I think is proposing that both the original
16 deposition answers and the errata be read into the report. The
17 plaintiffs object to that and don't believe there is a basis
18 for doing that. But that might be another issue that you could
19 rule on prior to the reading.

20 THE COURT: Was that indicated on the materials you
21 submitted to my clerks?

22 MS. PARKER: I don't believe so, because we just
23 learned today that the government was planning to do that. We
24 have not made any mention of that in the materials we gave to
25 your clerk.

1891

44crnat5

Lockwood - recross

1 THE COURT: How much material are you talking about?

2 MS. PARKER: Your Honor, I am frankly not sure, since
3 I just learned today that the government was planning to take
4 issue with that errata.

5 THE COURT: Ma'am, do you know to how much you and
6 your witness objected?

7 MS. PARKER: Your Honor, I'm sorry. ACOG is not the
8 plaintiffs' witness. They made some changes to the errata --

9 THE COURT: They refused to appear, but their
10 deposition was taken.

11 MS. PARKER: Right. And these are the designations
12 that the government is presenting for ACOG in their case. I am
13 not sure how many errata that the government takes issue with.

14 THE COURT: Ms. Parker, let's try this over again.
15 When they were deposed, did you represent the person from ACOG?

16 MS. PARKER: No, we did not. ACOG was represented by
17 their own lawyer.

18 THE COURT: You know, however, how much they did in
19 the way of corrections to their answers?

20 MS. PARKER: Absolutely, your Honor. There is errata
21 noted on the errata sheet that was provided by ACOG's
22 attorneys.

23 THE COURT: Let's go back. We will do it in pieces.
24 I'm a believer in that. How much is objected to? What is the
25 volume of objections, Ms. Parker?

44crnat5

Lockwood - recross

- 1 MS. PARKER: There was approximately a page and a
2 quarter of errata at the end of the deposition that was
3 provided by ACOG's counsel.
4 THE COURT: Has that been given to us, to my clerk?
5 MS. PARKER: No. but we can give it to your clerk
6 right now and the government can provide the pages on which
7 they object to the errata.
8 THE COURT: All right. Otherwise, I don't know how I
9 am supposed to rule. Do we have the transcripts themselves?
10 MS. PARKER: Yes, we do, your Honor.
11 THE COURT: Do I have them? Do my clerks have them?
12 MS. PARKER: Your Honor, this is not the plaintiffs'
13 designations. It is the government's.
14 THE COURT: Ma'am, I am aware of that.
15 MS. PARKER: I don't know whether the government has
16 provided the transcripts to your clerk, but we can provide them
17 to him today.
18 THE COURT: I assume you know if the transcripts are
19 in our possession. You don't know that?
20 MS. PARKER: I don't know whether the government's
21 designations are in your possession.
22 THE COURT: Do we have the transcripts?
23 THE LAW CLERK: No, Judge, we don't.
24 THE COURT: We don't have the transcripts.
25 MS. PARKER: We can provide them today.

1893

44crnat5

Lockwood - recross

- 1 THE COURT: It is very hard for us to rule unless we
2 have the transcripts themselves.
3 MS. PARKER: Understood.
4 THE COURT: Thank you, Ms. Parker. I appreciate that.
5 As long as we have the transcripts and the designated portions,
6 the objections, and witness's corrections. A page and a half
7 of them makes one wonder who is testifying.
8 Is there anything else? The Court will stand in
9 recess until 9:30 tomorrow morning.
10 (Adjourned to 9:30 a.m., April 13, 2004)
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1
2
3
4
4
5
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX OF EXAMINATION

| | | |
|------------------------|-----------|------|
| Examination of: | | Page |
| CHARLES LOCKWOOD | | |
| Direct By Ms. Gowan: | | 1706 |
| Cross By Mr. Hut: | | 1784 |
| Redirect By Ms. Gowan: | | 1882 |
| Recross By Mr. Hut: | | 1886 |

DEFENDANT EXHIBITS

| | | |
|--------------|--|----------|
| Exhibit No. | | Received |
| Z6 | | 1711 |