

1 TWM 4/16/04 test test test test test test TWM 4/16/04
2 NAF v. Ashcroft.
3 (Trial resumed)
4 THE COURT: Good morning. before we get started, I
5 just received a copy of the stipulation. I don't know whether
6 to address Ms. Gowan or Ms. Wolstein, between the governmentnd
7 the New York Presbyterian Hospital, if that is the correct
8 title, counsel obviously, somebody gets paid by the word. I
9 think in my career I haven't seen a stipulation like this. Is
10 the short of it that the complication book is included in the
11 appeal?
12 MS. WOLSTEIN: Your Honor, Elizabeth Wolstein. Yes,
13 that is the intent of that, that the complications --
14 THE COURT: It might have been so phrased. But that
15 is the message that is to be conveyed to me and the Court of
16 Appeals?
17 MS. WOLSTEIN: Yes, your Honor, that the complications
18 binder will be treated like the other documents.
19 THE COURT: If and whereas and if and maybe, it breaks
20 down to it is included?
21 MS. WOLSTEIN: Yes, your Honor.
22 THE COURT: Thank you.
23 As we broke off yesterday, Ms. Sternberg, I think you
24 were at the plate.
25 MR. HUT: Actually, Ms. Sternberg is regrettably

1 delayed this morning, your Honor, so Ms. Parker will be
2 conducting the lead.
3 THE COURT: Fine. I thought she addressed it
4 yesterday.
5 MR. HUT: She did indeed.
6 THE COURT: Are you prepared to go ahead with that?
7 MR. HUT: We are, your Honor.
8 THE COURT: I take it someone will take the witness
9 stand.
10 MS. PARKER: Yes, Ms. Liu will be doing that.
11 PAEPBLG 32/HRAOEUP 14.
12 "Q. Have you, did you meet with counsel for the national
13 federation abortion prior to your deposition today to talk
14 about your deposition?
15 "A. No.
16 "Q. Did you meet with counsel for Wilmer, Cutler & Pickering?
17 "A. No.
18 "Q. Counsel for planned painter hood?
19 "A. No.
20 "Q. Counsel for the Center for Reproductive Rights?
21 "A. No.
22 "Q. Did you talk with them?
23 "A. No."
24 Page 121/line 20.
25 Q. Have you had a chance to look at what has been marked as

4/16/2004

page 3

1 Cain Deposition Exhibit 17, Dr. Cain?
2 "A. Yes.
3 "Q. Exhibit 17 is titled 'the Partial-Birth Abortion Ban Act of
4 1995' and it is subtitled 'medical assertions made in the
5 debate on HR1333. On the left-hand side of the TKAOUPLT there
6 are a number of quotations which appear to have been taken from
7 the congressional debate on the Partial-Birth Abortion Ban Act
8 of 1995 and on the right side is indicated who made the
9 assertion. Down who created this document?
10 "A. It is my understanding that staff created this document S-
11 S-
12 Q. Staff of ACOG?
13 "A. Uh-huh.
14 "Q. Did they create it specifically for the task force's
15 deliberations?
16 "A. That is my understanding.
17 "Q. Let me just say in the middle of the document there are
18 some other materials submitted by Dr. James McMahan. It's ACOG
19 0062 to 0068. I'm sorry. 0070. And then I believe there's
20 another excerpt at 0075 to 0078. I'm going to ask you about
21 the McMahan data separately, Doctor. I just want to focus on
22 the quotations from the record attributed to certain
23 individuals. Do you know specifically who prepared it?
24 "A. I think it was Kathy Bryant, but I don't know that for sure
25 sure.

page 4

1 "Q. What role did the congressional quotation portions of this
2 document play in the task force's deliberations?
3 "A. They again provided a broad range of issues that the task
4 force could then identify and reflect on.
5 "Q. Any other role you can think of that this document played
6 in the task force's deliberations?
7 A. That would be the primary role..
8 "Q. Was it considered as a source of medical information
9 regarding intact D&X, the congressional quotation portion of
10 this document?
11 "A. No. But it would have been considered as a part of the
12 broad and vague description of the procedure that had to be
13 addressed by the committee.
14 "Q. So specifically on the issue of terminology, this
15 document --
16 "A. This was an example of the confusion.
17 "Q. And my question is, is it fair to say that the statements
18 made in this document from the Congressional Record were
19 considered by the task force specifically in connection with
20 the issue of terminology? Is that a fair statement?
21 "A. That section on terminology, yes.
22 "Q. OK. And other than the section on terminology and other
23 than the identification of issues, did you, did the task force,
24 rely on or did the T-FBG force consider the information in this
25 document and in what way did it consider it or for what reason

1 did it consider it?
2 "A. Identification of issues that needed to be addressed.
3 "Q. You don't know if the board saw this document, do you?
4 "A. I do not.
5 "Q. Do you know what is sores you have this was etc. etc.?
6 "A. I believe it was a congressional debate on HR1833."
7 MS. PARKER: That concludes the designations, your
8 Honor.
9 THE COURT: Is there anything else in this transcript
10 from the defendants?
11 MR. PANTOJA: That's it, your Honor.
12 MS. GOWAN: No, your Honor.
13 THE COURT: Ms. Gowan, or whoever is next, call your
14 next witness.
15 MS. WOLSTEIN: Your Honor, Elizabeth Wolstein. The
16 government calls Dr. Curtis Cook.
17
18 CURTIS COOK,
19 called as a witness by the defendant,
20 having been duly sworn, testified as follows:
21 THE CLERK: Please state and spell your full name
22 slowly for the record.
23 THE WITNESS: Dr. Curtis Cook. Curtis is CURTIS.
24 Cook, COOK.
25 THE CLERK: Thank you, Doctor. Please be seated.

1 THE COURT: Good morning, Doctor. All right, Ms.
2 Wolstein, will you be conducting the exams?
3 MS. WOLSTEIN: Yes, your Honor.
4 THE COURT: You may proceed.
5 MS. WOLSTEIN: Thank you, your Honor.
6 DIRECT EXAMINATION S-
7
8 BY MS. WOLSTEIN:
9 Q. Good morning, Dr. Cook.
10 A. Good morning.
11 "Q. What do you do for a living?
12 A. I'm a maternal fetal medicine specialty? What is a
13 maternal fetal medicine.
14 A. Maternal fetal medicine ace subspecialty of obstetrics and
15 gynecology dealing with complicated pregnancies.
16 Q. Is that also referred to as a perinatologist?
17 A. Yes. It is referred to as perinatology or high-risk
18 obstetrics. But the official title is maternal fetal medicine.
19 Q. Where do you practice medicine?
20 A. I'm based in Grand Rapids, Michigan, but we provide consult
21 AEUF services for most of western and northern Michigan.
22 Q. Who are you employed by?
23 A. I'm employed by spectrum health, which is a large health
24 system in West Michigan.
25 Q. Is that a hospital system?

- 1 A. It is a hospital system that entails multiple hospitals.
2 Q. Are you associated with a medical school?
3 A. I am. I have an academic appointment with Michigan State
4 University College of human medicine, the department of
5 obstetrics and gynecology.
6 Q. What is your post at Michigan State University?
7 A. I am an associate clinical professor in the department of
8 obstetrics and gynecology.
9 Q. Does that mean that you teach medical students and
10 residents?
11 A. Yes. Part of my responsibilities or the predominance of my
12 responsibilities are the clinical teaching and training of
13 medical students in what is called a third-year obstetrics
14 clerkship and also some fourth year medical students doing
15 elective rotations in complicated pregnancies.
16 Q. Where did you go to medical school?
17 A. Indiana University.
18 Q. When did you graduate?
19 A. 1989.
20 Q. What did you do after that?
21 A. I entered into my residency training program in obstetrics
22 and gynecology.
23 Q. When was that?
24 A. In the summer of 1989.
25 Q. Where did you do your residency?

- 1 A. I did my residency at butter worth Hospital in Grand
2 Rapids, Michigan, which is now part of the spectrum health
3 system.
4 Q. How does a graduate of medical school become an OB-GYN?
5 A. You have to complete four years of training in an approved
6 obstetrics and gynecology training program.
7 Q. Did you begin to specialize in any area during your
8 residency?
9 A. Your specialty training usually starts after completion of
10 your residency training program. So upon completion of my four
11 years of res kensy I began my fellowship training in maternal
12 fetal medicine.
13 Q. Where did you do your fellowship training?
14 A. At the University of Louisville in connect tucky.
15 Q. What period was that?
16 A. From 1993 to 199 #-55.
17 Q. What did you do after your spell lowship?
18 A. I returned to Grand Rapids and started practicing maternal
19 fetal medicine for at that time butter worth Hospital, which
20 then subsequently became part of the spectrum health system [>
21 1995<]
22 Q. What course of instruction did you go through to become a
23 maternal fetal medicine specialist?
24 A. During my years of training, it was a two-year training
25 program. Currently it is a three-contrary training program.

1 During that time you learned to take care of all manners of
2 medical complications of pregnancy, which would include
3 maternal preexisting medical conditions, fetal conditions, and
4 developing obstetrical complications during the course of the
5 pregnancy. Generally, it also includes training in fetal
6 medicine, fetal treatment, fetal surgical procedures, and also
7 involves learning how to care for complicated pregnancies at
8 all times during the course of the pregnancy. That would also
9 include having to empty the uterus in all three trimesters of
10 pregnancy and deal with complications related to that. Then
11 there are other areas involved as far as your training and
12 learning to perform clinical research or ways I can science
13 research, courses in statistics, epidemiology, things of that
14 nature.

15 Q. When you referred to fetal medicine, what is that?

16 A. Fetal medicine is any sort of therapy that is directed
17 toward trying to improve the clinical outcome or address
18 disease or pathology that is unique to the TPAETS. It may
19 involve medical therapies, it may involve surgical therapies.

20 Q. The fetus is your SPAEURBT in that scenario?

21 A. That is correct, in that setting the fetus is our patient.

22 Q. In what settings do you teach your medical students and
23 residents?

24 A. Most often it involves clinical instruction in both normal
25 and abnormal or complicated obstetrics. We do formal lecturing

1 as well as on-the-floor clinical teaching and intraoperative
2 teaching and intrapartum or during the delivery process
3 teaching as well.

4 Q. Could you describe your practice in maternal fetal
5 medicine.

6 A. We have a large volume practice that serves as the tertiary
7 referral center for most of western and northern Michigan.

8 Most of my time is spent in the clinical management of
9 complicated pregnancies, but a portion is spent also in
10 teaching, some in research, predominantly clinical research,
11 and a small portion is also spent in administrative
12 responsibilities.

13 Q. What do you mean by a tertiary referral center?

14 A. A tertiary referral center refers to those centers that are
15 most equipped or adept at dealing with the most complicated
16 medical conditions. So smaller hospitals with less
17 subspecialized care or technological support would refer
18 complicated medical conditions to those types of centers.

19 Q. Do you receive referrals from OB-GYNs of patients with
20 complicated preg intenses?

21 A. Yes. Virtually every patient in our office is referred by
22 another physician. The vast majorities of those from other
23 obstetrician/gynecologists, but some are referred from other
24 medical specialists as well.

25 Q. What kinds of maternal conditions and pregnancy do you

1 provide care for?
2 A. Again, because of the large volume of the practice and the
3 large referral area, we see pretty much the entire spectrum of
4 both medical and fetal complications. But they include, again,
5 preexisting maternal medical conditions that involve one or
6 more organ systems, may include malignancies, blunt or
7 penetrating trauma in pregnancy, a whole host of maternal
8 conditions. The fetal conditions are likewise varied and many
9 in number but include genetic or chromosomal abnormalities,
10 structural abnormalities in the fetus. We also deal with a
11 number of obstetrical complications: Preterm labor,
12 preeclampsia, multiple gestation, cervical incompetence and the
13 list continues.
14 Q. How would you describe your patient population in terms of
15 demographics?
16 A. Again, in our practice, because of the high-risk nature of
17 the patients that we care for, approximately 50 percent of our
18 patients are indigent or on government assistance. The other
19 50 percent are quite varied in socioeconomic background. We
20 deal with patients from multiple ethnicities, multiple ages,
21 and multiple levels of complication.
22 Q. Do you deliver babies?
23 A. We do.
24 Q. Do you perform medical procedures on living fetuses?
25 A. We do.

1 Q. Could you give us an example of the kinds of procedures you
2 perform on living fetuses.
3 A. This may include medical treatments of the fetus for issues
4 like irregular fetal heart rate patterns or development of
5 fetal heart failure. It may include surgical procedures to
6 reverse life-threatening fetal anemia or obstructions to normal
7 fluid outflow from a fetus that may involve the need to drain
8 certain fetal body cavities with fetal procedures, placement of
9 certain diverting SHUPBTS into a fetus, things of that nature.
10 Q. Do you treat women for complications of abortion?
11 A. We do.
12 Q. Could you describe what your practice entails on that
13 front.
14 A. On some occasions we will take care of a patient who had a
15 complication from an attempt at a surgical abortion, most often
16 in the second trimester. At that point they would be referred
17 to our hospital, typically through our something department,
18 and we would get involved thereafter. We also see patients
19 that may develop certain infectious complications or bleeding
20 complications from attempted abortion procedures. We also see
21 patients that have had S- subsequent pregnancy complications
22 that may have been related to the earlier abortion procedures
23 and then we are caring for them with subsequent pregnancies.
24 Q. Does your practice involve pregnancy termination?
25 A. It does at times involve pregnancy termination.

1 Q. In what circumstances?
2 A. In a situation where there is some sort of conflict between
3 the mother and the fetus, meaning that the mother's medical
4 condition is worsening and not responding to therapies or
5 continuation of pregnancy significantly threatens her life or
6 health, then we would go ahead and proceed with the termination
7 of pregnancy, which usually just requires separation of the
8 fetus from the other, doesn't otherwise require destruction of
9 the fetus. And many times we can delay that to the point at
10 which the fetus has a chance of survival. Again, in the world
11 of maternal fetal medicine, our optimal goal is to try to get
12 the best outcome we can for both the mother and the fetus.
13 Q. What is the most common method of pregnancy termination you
14 use in your practice today?
15 A. In our practice it would be predominantly medical induction
16 of labor, typically using prostaglandins, occasionally using
17 other therapies. That is predominantly because of the
18 gestational ages at which some of these patients are referred
19 and also secondary to the often preexisting medical
20 complications in the mother and/or her unborn child.
21 Q. What are the gestational ages that are involved in your
22 patient population?
23 A. In our practice, most that are related to a suspected fetal
24 abnormality would be referred to our office typically sometime
25 after the 16 to 18-week period since that is when most

1 significant ultrasound evaluation can be done, and also it is
2 after the period of time that most other screening tests are
3 done for fetal abnormalities.
4 For maternal medical conditions that are worsening
5 with the pregnancy, that also typically occurs after 20 weeks'
6 gestation, and most unique obstetrical complications, meaning a
7 unique to pregnancy, such as preeclampsia, often also don't
8 present until after 20 weeks' SKWRES TAEUGS by definition.
9 Q. Is it that the induction method is most suitable for those
10 gestational ages? Is that why you use it frequently?
11 A. Yes. We feel it is the best procedure in those later
12 gestational ages for multiple reasons. It may include the need
13 to have an intact baby for evaluation in the case of suspected
14 fetal abnormalities, and that means an intact central nervous
15 system as well. It also allows us the ability to use the most
16 natural or physiologic or least stressful method of delivery on
17 a mother who may have a significant medical condition, and also
18 allows us the ability to do certain types of critical care
19 management, support, and monitoring of a mother in a hospital
20 setting.
21 Q. You mean as opposed to an out-patient setting?
22 A. Correct.
23 Q. Have you performed pregnancy terminations by methods other
24 than induction?
25 A. On rare occasions I have.

- 1 Q. In the second trimester?
- 2 A. In the TPEURDZ and the second trimester.
- 3 Q. What other methods have you used in the second trimester?
- 4 A. In the second trimester, we will on occasion have to empty
- 5 the uterus by a dismemberment procedure such as a D&E, and in
- 6 the first trimester we may have to do a termination of
- 7 pregnancy for issues like a mo largesse TAEUGS using a suction
- 8 curettage method S-
- 9 Q. Are most of your terminations on dead fetuses as opposed to
- 10 living fetuses?
- 11 A. My experiences with second trimester termination of
- 12 pregnancy by surgical techniques have been predominantly on
- 13 babies that have already expired within 24 hours of the
- 14 procedure. But my experiences with medical induction do
- 15 sometimes involve the need to induce a pregnancy where the baby
- 16 is still living at that time secondary to a worsening maternal
- 17 condition.
- 18 Q. What is the term "viable" or "viability" refer to [> what
- 19 does<]
- 20 A. Viability is generally understood as the gestational age at
- 21 which a fetus is able to survive independent of the mother's
- 22 womb, availing itself of the current medical technologies and
- 23 support systems that we utilize every day in our neonatal
- 24 intensive care units.
- 25 Q. What is the current gestational age of viability?

- 1 A. It does seem to continue to improve. In my career we have
- 2 been able to reduce it backwards a week about every five years.
- 3 But currently it is 23 weeks' gestation or about 400 grams
- 4 fetal birth weight.
- 5 Q. In the post-viability period, in what circumstances have
- 6 you performed terminations on life fetuses?
- 7 A. Most often in the situation where the mother's medical
- 8 condition is worsening, and the typical patient would be
- 9 somebody with severe preeclampsia or worsening hypertensive
- 10 disease, but also frequently involves those patients that may
- 11 have uterine infections, bleeding issues, or other threats to
- 12 maternal health that are not responding to our therapies.
- 13 Again, at that point our goal is to try to separate the
- 14 placenta and the fetus from the mother. We don't need to
- 15 destroy the fetus. We just need to deliver the fetus so the
- 16 healing and recovery process can begin for the mother.
- 17 Q. You do that mostly by induction procedure?
- 18 A. Mostly by induction procedure. If we have a fetus who also
- 19 is compromised by the medical condition, which does happen with
- 20 some medical conditions, then we would generally proceed with a
- 21 Cesarean delivery.
- 22 Q. In the previability period, in which circumstances have you
- 23 performed abortions on live fetuses?
- 24 A. Generally, in similar scenarios that are more extreme and
- 25 are not responding to our therapies but include generally

1 issues related to worsening hypertensive disease, potentially
2 worsening of her preexisting medical diseases, or infection
3 oftentimes as a result of early rupture of the membranes or
4 early breaking of the water.
5 Q. Would you ever perform a D&E on a living fetus?
6 A. In a situation where the mother's medical condition was
7 worsening and not responding to our therapies and significantly
8 threatening her life offer health, when we are in that rare
9 situation of there being a maternal and fetal conflict. At
10 that point we always consider the mother's interests first, at
11 getting the best outcome for the fetus that we can, but never
12 sacrificing the mother for a potential good fetal outcome. And
13 if we felt that D&E was the best way to empty the uterus in
14 that setting, then I would not be opposed to doing that.
15 Q. Have you performed and supervised D&E's on dead fetuses?
16 A. I have.
17 Q. At what gestational ages?
18 A. Usually somewhere in the range of 16 to 18 weeks, none
19 beyond 20 weeks that I recall.
20 Q. Approximately how many times have you done that, performed
21 or supervised D&E's on a dead fetus?
22 A. I myself have performed a small number. Somewhere on the
23 order of 3 to 5, and have either supervised or assisted in
24 approximately another 10 to 20, usually in the form of helping
25 provide the ultrasound guidance to aid in the emptying of the

1 uterus.
2 Q. When you provide ultrasound guidance in that situation, are
3 you able to observe the surgical part of the procedure?
4 A. We are.
5 Q. Are you personally able to do that?
6 A. I personally am able to observe what is going on, but I am
7 not doing the dismemberment. I am up near the patient's
8 abdomen doing the ultrasound.
9 Q. Doctor, what, if any, professional organizations do you
10 belong to?
11 A. I belong to a number of organizations, but they include the
12 American Medical Association, the American College of
13 Obstetrics and Gynecology, the Society for Maternal Fetal
14 Medicine, American institute of ultrasound and medicine,
15 several others.
16 MS. WOLSTEIN: Your Honor, may I approach?
17 THE COURT: You may.
18 Q. Dr. Cook, I have handed you what has been marked for
19 identification as Government Exhibit Z1. Do you recognize
20 that?
21 A. I do.
22 Q. What is it?
23 A. This is a copy of my curriculum vitae that was updated in
24 January of this year.
25 Q. If you turn to page 3, there is an entry that says "Health

1 intervention services." What is that?
2 A. That is a community health center in Grand Rapids that I
3 started some number of years ago to treat patients that were
4 falling between the cracks as far as medical insurance
5 coverage. So typically it was a patient who was working in a
6 job that had poor benefits for health coverage or college-age
7 students no longer carrying medical coverage, or new immigrants
8 to the country that sometimes are were registered and sometimes
9 were not.
10 "Q. What was your role in that organization?
11 A. I founded the organization with another physician, and we
12 purchased the building, started the clinic. Initially, I
13 provided general medical care. Then I provided normal
14 obstetrics care and delivery services. Currently I have
15 relinquished all administrative responsibilities and just
16 provide consul at itive care for complicated pregnancies there.
17 Q. Dr. Cook, does Exhibit Z1 accurately reflect your
18 education, training, professional experience, and publications?
19 A. I believe that it does.
20 MS. WOLSTEIN: Your Honor, the government offers in
21 evidence Government Exhibit Z1.
22 THE COURT: Any objection?
23 MS. WIGMORE: No objection, your Honor.
24 THE COURT: It will be received.
25 (Defendant's Exhibit Z1 received in evidence)

1 Q. Doctor, can you be a maternal fetal medicine specialist
2 without knowing how to end a pregnancy early?
3 A. No. I believe that part of the training of taking care of
4 complicated pregnancies is knowing how to deliver the pregnancy
5 in all three trimesters, since it is necessary frequently to
6 start the reversal of the medical conditions that are either
7 unique to the pregnancy or exacerbated by the pregnancy.
8 Q. From your testimony, I take it you are familiar with the
9 DEA method of abortion?
10 A. I am.
11 Q. What is the basis of your familiarity with D&E?
12 A. I performed D&E on nonliving fetuses. I have observed D&E
13 being performed. I have read about D&E. I have seen
14 literature about D&E. So I have a general familiarity with it.
15 Q. Could you describe a DEA procedure for us.
16 A. A classic D&E procedure involves some period of time of
17 cervical preparation prior to the surgical dismemberment of the
18 fetus. That preparation period is usually a day prior to the
19 surgical procedure on the fetus. When the surgical procedure
20 is performed on the fetus, it involves taking some sort of
21 grasping instrument and grasping whatever portion of the fetus
22 that you can grasp, pulling it down through the cervix, and
23 then starting to dismember or disarticulate whatever portions
24 of the baby that you can in order to effect complete EFRPT TEUG
25 of the uterus.

1 Following that and the delivery of the placenta, there
2 should be an accounting for all of the fetal parts so that you
3 can confirm that there is no retained fetal parts or placenta
4 following the delivery.
5 THE COURT: So the record is clear, Doctor, does
6 "disarticulation" do you mean you tear off parts of the fetus?
7 THE WITNESS: Yes. It would be taking off an
8 extremity like an arm or a leg, and the head and the chest are
9 usually the other major portions.
10 THE COURT: All right. Next question.
11 Q. Doctor, in Dr. Chasen's study that is soon to be published,
12 he states, "Using forceps, the fetal parts are grasped and the
13 fetus and the placenta are disarticulated as they are removed."
14 Would you agree with that description of the D&E?
15 A. I would.
16 Q. You stated that you are familiar with some of the medical
17 literature on D&E?
18 A. I am.
19 Q. Would you agree with the following statement in Dr.
20 Chasen's study: "All published reports of dilation and
21 evacuation have described the use of grasping forceps to remove
22 the fetus and the placenta"?
23 A. KWROEULD agree with that statement.
24 Q. In the medical literature on D&E that you are familiar
25 with, is D&E described as a TKPHEPLT procedure?

1 A. It is.
2 Q. In your practice, at what gestational ages is D&E done?
3 A. D&E would be done in our practice in the gestational age
4 range of approximately 14 to 18 weeks, but again is not
5 frequently performed by us for a number of reasons. [> yes, I
6 would agree, above<]
7 Q. Based on your understanding, can D&E typically be performed
8 up to 20 weeks?
9 A. Yes. I believe that many practitioners will perform it up
10 to 22 weeks. I don't believe it is performed often, if at all,
11 beyond 22 weeks.
12 Q. Why isn't D&E done beyond 2 weeks?
13 A. For a number of reasons. One is the baby has a 30 to 40 40
14 percent STPEUFL at 23 weeks. Also, it is technically more
15 difficult to remove the limbs or portion of a baby when you are
16 at those advanced gestational ages, because the tissues are
17 harder to disarticulate or tear apart. [> survival<] also,
18 there means needs to be a greater amount of cervical dilation
19 and potentially a greater amount of something at later
20 gestational ages which would inKWRAES the risks of bleeding and
21 infection [> manipulation<]
22 Q. You mentioned that fetal tissue is harder to tear apart at
23 the higher gestational ages. What is the basis of your
24 knowledge of fetal tissue?
25 A. My personal knowledge of fetal tissue comes from dealing

1 with fetuses throughout their life span in the predelivery
2 setting, including doing procedures on babies in the second
3 trimester and the third trimester. Also, we deliver babies
4 frequently at those gestational ages. So we have a very clear
5 understanding of how fragile that tissue is. We can even
6 sometimes date a gestation of a pregnancy just by looking at
7 the skin and how easy things like bruising occur, how
8 transparent the skin is, things of that nature.
9 Q. Does the technique for the surgical part of a D&E differ
10 when it is done on a dead versus a live fetus?
11 A. No. It is essentially the same procedure. If there has
12 been some significant length of delay from the time that the
13 baby was last living, then there may have been more
14 degeneration or softening of the tissue and it may be easier to
15 disarticulate or tear apart, but in its substance it is
16 essentially the same procedure.
17 Q. Do you have an understanding of the D&X or intact D&E
18 method of abortion?
19 A. I do.
20 Q. What is the basis for your understanding of the D&X method?
21 A. Initially, it came from reviewing detailed descriptions
22 from two high-profile providers of the procedure, and then
23 continued as I reviewed during the course of my years of
24 involvement in this issue, submitted medical histories of women
25 who had had the procedure, and testified about their

1 procedures. I also during the course of my years of being
2 involved in this issue have had to view a videotape of one of
3 the providers doing the procedure. And then my review of what
4 little medical literature is available on this topic.
5 Q. Is there medical literature available on D&X?
6 A. There is very little information available. There are
7 references to it in some more recent editions of textbooks on
8 abortion procedures, and there are some very recent studies
9 being done and some I understand soon to be published dealing
10 with this issue.
11 Q. Are you referring to Dr. Chasen's studies?
12 A. In particular, that study I am referring to as far as the
13 one soon to be published.
14 Q. You referred to two high-profile providers. Who were you
15 talking about?
16 A. That time Dr. Haskell from Ohio and the late Dr. McMahon
17 from California.
18 Q. Have you heard the D&X procedure and the intact D&E
19 procedure referred to as partial-birth abortion?
20 A. I have. The term "partial-birth abortion" is used to
21 describe essentially the same procedure that Dr. Haskell
22 referred to as a D&X, that Dr. McMahon referred to as an intact
23 D&E, and that the American College of Obstetrics and Gynecology
24 refers to as an intact D&X procedure.
25 Q. Could you describe the D&X or partial-birth abortion

1 procedure?
2 A. The procedure involves cervical preparation and massive
3 dilation of the cervix over at least a two-period preparatory
4 phase, followed by a surgical procedure that involves breaking
5 the bag of water, reaching inside the uterus, and converting
6 the fetus to a feet-first breech position if it is not already
7 in that position, then grasping that portion of the baby,
8 dragging it down through the dilated cervix to the point of the
9 after-coming head. Typically the after-coming head would then
10 lodge at the cervix at that point. Then the practitioner would
11 have the about a WEU in a position where the spine is up, make
12 a puncture into the base of the skull with a scissor or some
13 other hard-tipped aspirating devise, then suction out the
14 intracranial contents or the brain matter, thereby causing
15 collapse of the fetal skull. That would allow completion of
16 the delivery. There would need to be delivery of the placenta
17 afterwards as well.
18 Q. Similar to what you do in a D&E?
19 A. Correct.
20 Q. You mentioned a videotape by a professor of D&X. What were
21 you referring to?
22 A. I was referring to a videotape that I understand Dr.
23 Haskell had made of himself performing a partial-birth abortion
24 procedure.
25 Q. You have seen that tape?

page 26

1 A. I did review it in the course of my trial testimony in
2 federal court in the state of Missouri.
3 Q. Would you describe how the D&X done by Dr. Haskell
4 proceeded on that video.
5 MS. WIGMORE: I object, your Honor. This is hearsay.
6 This witness has not been qualified as an expert yet.
7 THE COURT: Do you wish to do the qualification, Ms.
8 Wolstein, first?
9 MS. WOLSTEIN: I can do that. It is based on his own
10 personal observation of the tape, and he is describing what he
11 saw.
12 THE COURT: I think it is factual, too. I am going to
13 allow it. Go ahead.
14 A. As I recall from viewing the tape, and it has been a number
15 of years now, I don't recall seeing anything on the tape that
16 involved the cervical preparatory phase, which again is usually
17 done over two or three days in an out-patient setting. But I
18 do recall the videotape showing him reaching inside the uterus,
19 delivering the baby's body with the exception of the baby's
20 head. You could see the baby's spine up, extremities down
21 toward the floor. Then Dr. Haskell's hand is over the back of
22 the baby's head and he is putting a scissor underneath his hand
23 in the general area of the base of the skull or back of the
24 neck. Then you see a suction as spraying device placed
25 underneath his hand, presumably into the same defect. You can

1 then see a collapsing or a reducing of the size of the fetal
2 head and then delivery of the baby thereafter.
3 Q. In what way is a D&X procedure different from a D&E
4 procedure?
5 A. There are several areas where they deliver. One, the D&X
6 procedure is designed for procedures at 20 weeks and beyond,
7 whereas D&E procedures are done more commonly less than 20
8 weeks' gestation. A D&X procedure involves more cervical
9 dilation, significantly more cervical dilation than that used
10 for a D&E procedure. It involves more days of surgical
11 preparation. It involves more extensive intrauterine
12 manipulation if the baby needs to be convert to do a feet-first
13 breech position. And it involves aspirating out or sucking out
14 the fetal brain contents.
15 Q. Do you understand that there might be some variation in the
16 precise procedures used in a partial-birth abortion or D&X
17 procedure?
18 A. Yes. I understand that some practitioners use different
19 methods of cervical preparation and that some practitioners use
20 different methods of fetal skull compression.
21 Q. In your understanding, does TK*RBGS necessarily involve
22 instrumental conversion of the fetus to a breech position?
23 MS. WIGMORE: Your Honor, I object. This witness is
24 delivering expert testimony, and he has not yet been qualified.
25 He does not do these procedures, so this could be nothing other

1 than expert testimony, which I would like the opportunity to
2 challenge.
3 THE COURT: In TAOU course I will let you challenge.
4 Overruled.
5 A. I'm sorry. Could I hear you repeat the question.
6 (Question read)
7 A. No, I don't believe it necessarily requires that. For
8 instance, if the fetus is already presenting in a breech
9 presentation, then there would be no need to convert the baby
10 to a breech presentation.
11 Q. Have you ever personally performed a D&X?
12 A. I have not.
13 Q. Why in your practice have you performed so many more
14 inductions than D&E procedures?
15 A. Again, there are several reasons. One is the later
16 gestational age at which most fetal abnormalities are referred
17 to maternal fetal medicine. The later gestational age for the
18 development of most obstetrical and medical complications that
19 would involve the need to terminate the pregnancy earlier, the
20 desire to try to have as complete and intact a fetus as
21 possible for pathologic evaluation, and the desire to try to
22 deliver oftentimes compromised motors in a manner that would be
23 the PHETS physiologic or the most natural process for entering
24 the uterus with the least strain on a system that already may
25 have limited reserve for certain types of complications.

1 Q. In your practice, if it was necessary to end a pregnancy
2 before viability, would D&E ever be an option at some point in
3 yes, sir TAEUGS?
4 A. Yes. If we felt that D&E was the best procedure and the
5 patient was in that 14 to 18-week gestational age range, and we
6 felt the maternal condition was not responding or improving to
7 our treatments or therapies, then we would proceed with the D&E
8 procedure.
9 Q. Do you refer women for D&E's in some circumstances?
10 A. I do.
11 Q. When and why?
12 A. In a situation where a fetus has an abnormality that is not
13 otherwise threatening the maternal condition or is not known to
14 be in lethal fetal anomaly, then we would refer them out to an
15 out lying provider for their D&E procedure, if we felt
16 pathology was not a necessary component for that patient's
17 care.
18 Q. Does that include referring women for D&E's on living
19 fetuses in some circumstances?
20 A. It does.
21 Q. Is that one reason why you were to understand the nature of
22 the D&E procedure?
23 A. Yes, we do have to have familiarity with whatever
24 procedures we are referring patients for. [> check the
25 question<] in addition, if there was a complication arising

1 from that procedure, we may be the ones called upon to treat or
2 care for that complication.
3 Q. We have been talking a lot about medical inductions. Could
4 you describe a medical induction procedure for a second
5 trimester termination.
6 A. Medical induction may or may not involve cervical
7 preparation ahead of time, but at some point involves the use
8 of medical agents to initiate uterine activity, and those
9 agents typically are prostaglandins but may also include
10 oxytocin or pe toe sin. And if the baby is previable at that
11 point, then we may use different dosing intervals or dosing
12 amounts. If the baby has reached the point of viability or 23
13 weeks, then we may alter certain medical treatments in order to
14 optimize the fetal outcome as well as the maternal outcome S-
15 Q. Doctor, do you perform clinical research?
16 A. I do.
17 Q. What types of clinical studies have you performed?
18 A. I have participated in all types of clinical studies:
19 Retrospective case controlled trials, randomized prospective
20 clinical trials, cohort studies, things of that nature.
21 Q. Can you explain what each of those types of studies is.
22 A. A randomized clinical trial is a prospective study done
23 where you enroll patients into typically one of two treatment
24 arms that usually vary in only one aspect. Then you collect
25 data to evaluate a previously established primary outcome.

1 Q. How about a cohort study?
2 A. A cohort study is typically following a population of
3 patients in a forward or prospective fashion and then
4 evaluating their outcomes thereafter, but doesn't involve
5 randomization to various treatment arms.
6 Q. What is a case controlled study?
7 A. That is typically a retrospective study designed for
8 outcomes that typically are either rare or there may be some
9 ethical concerns with randomizing patients in a trial or there
10 may be difficulty enrolling a patient in a trial. So we then
11 try to control for whatever our study variable is by finding a
12 similar control population to our study population that
13 hopefully differs just in the area of the variable we are
14 studying.
15 Q. What are your current clinical research interests?
16 A. Currently I am involved in multiple trials that all involve
17 some aspect of preterm labor and preterm delivery and
18 subsequent neonatal outcomes. I also have two studies that are
19 approved and we are just starting to collect data on in the
20 area of preeclampsia, one involving induction of labor issues
21 in preeclampsia, one involving the genetics of preeclampsia.
22 Q. Doctor, do you keep up to date on the medical literature in
23 the field of maternal fetal medicine?
24 A. I do my best, yes.
25 Q. Why is it important to do that, if it is?

1 A. On multiple levels it is important. One is just to make
2 sure that our clinical management is contemporary. The second
3 reason is because we do teach and train medical residents and
4 students in the management of normal and abnormal pregnancies.
5 Finally, it often helps guide some of our clinical research as
6 far as helping us to ask an informed or good clinical question
7 for subsequent study or evaluation.
8 MS. WOLSTEIN: Your Honor, pursuant to Federal Rule of
9 Evidence 702, the government tenders Dr. Cook as an expert in
10 obstetrics and gynecology with specialized expertise in
11 maternal fetal medicine.
12 MS. WIGMORE: Your Honor, I do not object to that
13 designation to the extent he is being treated as an expert in
14 abortion procedures, however, I do. And to the extent the
15 testimony touches on that, I would request an opportunity to
16 voir dire the witness.
17 THE COURT: Fine. He will be so recognized by the
18 Court. You can reserve your objection to the testimony as it
19 comes up.
20 Q. Doctor, when did you first get involved in the
21 partial-birth abortion issue?
22 A. As I can best recollect, it was in the fall of 1996.
23 Q. How did that come about?
24 A. It is not entirely clear to me all the details being, but
25 as I recall', at the time it had to do with testimony in front

1 of Congress regarding specifically the issue of anesthesia and
2 its use in this procedure and the assertion that the -- the
3 false assertion that anesthesia caused the death of the fetus.
4 At that time the president for the society of obstetrical
5 anesthesia and others were giving testimony. In the course of
6 that questioning they were asked other questions regarding the
7 medical necessity of this procedure. Some of them did not feel
8 comfortable addressing those issues and some of those questions
9 were referred to me. From that point forward I got involved in
10 various very different levels, basically answering questions
11 regarding medical necessity and potential complications of this
12 procedure.

13 Q. Did you eventually testify before Congress?

14 A. I did.

15 Q. When was the first time you did that?

16 A. As I recall, it was in March of '97.

17 Q. What is the PHACT organization, P-H-A-C-T?

18 A. As part of wanting to respond to a lot of the circulate
19 medical misinformation, several physicians that deal with
20 complicated pregnancies, most of which were academic physicians
21 dealing either with complicated pregnancies or newborns or
22 pediatric patients after complicated pregnancies, came together
23 and wanted to formulate a systematic way to try to inform the
24 public accurately about the medical truths regarding this
25 procedure. So the PHACT organization was an ad hoc committee

1 basically to address the issue and only the issue of
2 partial-birth abortion. It never commented on any other
3 abortion techniques. It never dealt with any other ethical
4 issues like stem cell research or anything of that nature. It
5 dealt solely with this procedure. At one point we had over 400
6 physicians.

7 It basically doesn't have a function now that I feel
8 we have successfully educated the public about details of this
9 procedure, so I am not really certain when the last time was
10 that we even created any sort of memo, teaching point, or press
11 release about this procedure.

12 Q. When it was functioning, who generally were the members of
13 PHACT?

14 A. Well, there were a half dozen or so founding members, and
15 then the organization view to several hundred physicians.
16 Again, as I understood them to be predominantly academic
17 physicians dealing with pregnancies, complicated pregnancies,
18 or pediatrics or pediatric subspecialists, and that group did
19 include some people that were providing abortion procedures,
20 many who were not providing abortion procedures, but all of
21 whom had some background or superTAOES in pregnancy or
22 complicated pregnancies.

23 Q. Did it include doctors who were supports of abortion
24 rights?

25 A. It did.

1 Q. So PHACT was not a pro life political organization, I take
2 it?
3 A. No. There was a number of physicians that were concerned
4 about a lot of the information at the time circulate about this
5 procedure. That included initially that the procedure was
6 never performed, later that it was rarely performed, then
7 something on the line that it was only performed in the most
8 dire medical conditions of the mother or the fetus. Then it
9 got around to the issue of anesthesia. That is what really
10 concerned many of us, because we perform operative procedures
11 all the time in pregnancy because of what we do and woe deliver
12 anesthesia all the time in the course of pregnancy because of
13 what we do. We were very concerned that patients would be
14 hearing the wrong PHEPBG that this anesthesia, which is safely
15 provided, could somehow threaten the health of their babies.
16 Then it got into other areas that needed
17 clarification, like issues of fetal pain and things of that
18 nature.
19 "Q. Those subjects you mentioned of what you called medical
20 misinformation, were those subjects you addressed in your
21 congressional testimony in 1997?
22 A. Yes, I addressed several of those issues at that time.
23 Q. One of the issues you mentioned was severe maternal and
24 fetal anomalies. What made you think the information in public
25 circulation was wrong?

1 A. At the time of the initial veto, President Clinton at that
2 time surrounded himself with about five women that had the
3 procedure and asserted that this procedure was only done in the
4 most severe medical conditions, either maternal or fetal med
5 medical conditions. In addition, a number of toes women had
6 testified before Congress and revealed their medical histories
7 and their supposed indication or need for this procedure. So
8 that ahead up the basis of my understanding that that was the
9 assertion and also the basis of my evaluation that that indeed
10 was not the case.
11 In addition, I also reviewed what data was available
12 from practitioners of this procedure, which at that time was
13 predominantly very detailed data from Dr. McMahon. There has
14 not been, to my knowledge, many other detailed records to
15 review subsequent to that.
16 Q. How did you come to learn of the medical histories of the
17 five women you referred to?
18 A. All of those women, as I recall, had testified in front of
19 Congress or submitted testimony for evaluation, and those were
20 the histories that I reviewed. In addition, when I actually
21 gave testimony in front of Congress, some women that were also
22 giving testimony at the same time described their medical
23 historiesnd and that was also used for my evaluation.
24 (Continued on next page) 4/16/04 Judge Casey take 2 of NAF versus
Ashcroft, direct of
25 male doctor on the stand, Curtis cook. By WOL Stein?

1 BY MS. WOLSTEIN:
2 THE COURT: Doctor, did the women who testify PWHR*D
3 Congress make their medical records available?
4 THE WITNESS: No. At that time they just described
5 their medical histories and I was asked by some members of
6 Congress how I could comment without reviewing their actual
7 medical records. And as I recall my response was that I would
8 be happy to review the records if they wanted me to and it
9 became clear they did not want me to do that, so I was only
10 able to comment object the data available to me.
11 THE COURT: So when you say "they" you mean the women
12 didn't want you or the Congress didn't want you to make the
13 medical records available.
14 THE WITNESS: I'm sorry, your Honor, that wasn't
15 clear.
16 The women made it clear they did not want to release
17 their medical records for my review.
18 THE COURT: All right. Next question.
19 BY MS. WOLSTEIN:
20 Q. What were the indications that you learned of in those five
21 women?
22 A. Well, again it's been a number of years, but as I recall,
23 at least one if not two involved some issue related to
24 hydrocephalous or increased fluid accumulation within the fetal
25 brain.

1 There was at least one case of excessive amniotic
2 fluid accumulation that I believe was a result of a specific
3 fetal condition.
4 I believe there was also a woman with a certain
5 genetic issue called trisomy which is an abnormal extra copy of
6 a particular chromosome.
7 Things of that nature.
8 MS. WIGMORE: Your Honor I object and move to strike.
9 Those records were not provided to us, the medical histories,
10 they were not disclosed the details of them were not disclosed
11 in the witness' report.
12 THE COURT: He didn't get the histories either.
13 MS. WIGMORE: He reviewed the histories and he is
14 testifying about what was in the histories.
15 THE COURT: He said he did not getet medical records.
16 MS. WIGMORE: I I'm sorry he did, your Honor, he got
17 his TREUZ and that is what the testimony is based on.
18 THE COURT: He was allowed to read the testimony of
19 the women before Congress.
20 MS. WIGMORE: I don't believe that's correct, your
21 Honor. I believe he received some medical history information
22 that he is now testifying about and that was not turned over to
23 AUZ as part of expert discovery.
24 THE COURT: To make it clear, Doctor, what did you
25 receive,? What TKEU review.

1 THE WITNESS: What I reviewed was the testimony that
2 the women gave before Congress where they described their
3 medical histories. In addition, two women that had procedure
4 were seated next to me during testimony and revealed their
5 history that I heard first hand from them.
6 But all of that is in the Congressional record and all
7 of that was listed in my expert report as a basis upon which I
8 drew my conclusions.
9 THE COURT: Who made the objection for the plaintiff.
10 MS. WIGMORE: That was Ms. Wigmore, your Honor.
11 THE COURT: Ms. Wigmore, perhaps some more attention
12 to the testimony will help.
13 Go ahead, Ms. Wolstein.
14 BY MS. WOLSTEIN: Thank you, your Honor.
15 Q. Doctor, was partial-birth abortion necessary for any of
16 those conditions the women described in their Congressional
17 testimony?
18 A. No.
19 Q. You also mentioned Doctor that you saw some of
20 Dr. PH*BLGman's data?
21 A. Yes, I reviewed Dr. McMahon's data.
22 Q. And what did Dr. McMahon's data show?
23 A. The data I had from Dr. McMahon was that he submitted in
24 Congressional testimony or sent to the Congressional committees
25 at that time evaluating partial-birth abortion. And

page 40

1 Dr. McMahon was very detailed in listing what he called
2 maternal indications and fetal indications for this procedure.
3 Q. What were some of his fetal indications?
4 A. Well as I recall, he used the term flawed to describe the
5 indications that were fetal in origin and stated that about 50
6 percent of his cases were done on flawed fetuses.
7 My review of those indications reveal several very
8 questionable diagnoses of things that we treat on a regular
9 basis, many of which spontaneously resolve, most of which have
10 no clinical implication for the fetus or the neonate, a few of
11 which are easily correctable with minor surgical or medical
12 therapies thereafter.
13 THE COURT: What is a neonate, Doctor?
14 THE WITNESS: A knee O Nate say term usually referred
15 to a newborn child up until 28 days of life so it is usually
16 referring to the baby in the first month of life.
17 THE COURT: Thank you.
18 Go ahead, Ms. Wolstein.
19 Q. So, Doctor, is what you are saying is that the anomalies
20 were not severe ones or they were not lethal?
21 A. There were some that were severe and some that were lethal
22 but I reviewed all the ones that he submitted and several were
23 very minor indications, if any indication at all.
24 Q. And how about you mentioned the medical indications and
25 Dr. McMahon's data, what did that data indicate to you?

1 A. I'm sorry, as medical do you mean maternal medical.
2 Q. I'm sorry maternal indications, thank you.
3 A. For maternal indications, as I recall, it was something in
4 the order of 10 percent of his cases and it included also some
5 very questionable diagnoses like a pediatric pelvis, a spouse
6 that was abusing drugs, depression and other situations that we
7 would treat differently than with an abortion procedure.
8 Q. What do you mean by questionable diagnoses in this context?
9 A. Well we would not consider many of those "indications" to
10 be a justification for terminating a pregnancy for a medical
11 condition. We would consider that an elective termination
12 of pregnancy.
13 Q. Doctor, did you agree again to appear before Congress in
14 2002?
15 A. I did.
16 Q. Why did you agree to do that?
17 A. At that time there was another committee that was looking
18 at some specific issues related to this proposed ban that did
19 involve some new language and they also were asking me to
20 comment again on issues I had commented on before, issues
21 related to medical necessity and potential complications of the
22 procedure.
23 Q. Why did you agree to serve as an expert on the government's
24 behalf in the three cases challenging the current partial-birth
25 abortion ban Act?

1 A. Well, as I have described, I have been involved for a
2 number of years in trying to clarify the medical truth about
3 this procedure and I feel this is just sort of a completion of
4 a process that I began many years ago not envisioning it would
5 require this level of effort but my general nature is to try to
6 complete that which I start.
7 Q. Doctor, do you have an opinion as to whether partial-birth
8 abortion is ever medically necessary to promote the health
9 interests of mothers facing high-risk or complicated pregnancy?
10 A. Yes.
11 MS. WIGMORE: Your Honor, I object. I think this gets
12 into expertise in abortion and intact D&E and I don't believe
13 this witness is appropriately qualified.
14 THE COURT: I will give you an opportunity to voir
15 dire but I will allow an answer to the question.
16 THE WITNESS: Yes, very an opinion on that matter.
17 Q. What is your opinion?
18 A. My opinion is that it's never medically necessary and it
19 potentially poses threats to the future health and fertility of
20 women.
21 Q. What is the basis of that opinion?
22 A. It's based upon my extensive review of how the procedure is
23 done, my immediate familiarity with aspects of the procedure
24 that we perform and others clinical situations. It is based
25 upon taking care of patients with complications related to

1 procedures similar to this.
2 It also is based upon my understanding of the medical
3 literature and my more than 10 years of taking care of
4 exclusively complicated pregnancies.
5 Q. Doctor, do you have an opinion as to whether partial-birth
6 abortion is preferable to other second trimester methods of
7 abortion?
8 MS. WIGMORE: Excuse me, your Honor.
9 THE COURT: Do you want to conduct your voir dire, go
10 right ahead.
11 MS. WIGMORE: Okay.
12 At the Court's preference I can do it either at
13 counsel table or at the podium.
14 THE COURT: Why don't you do it at the podium.
15 MS. WIGMORE: All right.
16 BY MS. WIGMORE:
17 Q. Dr. Kook, you testified that you have been practicing
18 medicine for over 10 years, is that right?
19 A. I think I testified that I season *R had been providing
20 care to complicated pregnancies exclusively for more than 10
21 years.
22 Q. And in that more than 10 years you personally performed
23 between three and five D&Es, is that correct?
24 A. That's correct.
25 Q. And none of those D&Es involved an extraction of a fetus

1 that was living at the time the procedure was done, right?
2 A. All of those performed on babies that were living within 24
3 hours of the procedure but none that I'm aware of that were
4 living at the time of the procedure.
5 Q. In those cases where you personally performed a D&E, you
6 have never inserted laminaria to dilate the patient's cervix,
7 have you?
8 A. I inserted laminaria many times for other situations of
9 induction of labor but in the cases of those D&E procedures, I
10 don't recall that I was the one that placed the laminaria.
11 Q. Is it true that you have never performed a D&E at 20 weeks
12 or greater?
13 A. None that I can recall.
14 Q. As far as you know, you have never been present for a D&E
15 that took place at 20 weeks or greater, isn't that right?
16 A. Very view of those are performed. And that is correct,
17 yes, ma'am.
18 Q. You have been present at fewer than 20 D&Es total, is that
19 right?
20 A. That is correct.
21 Q. And fewer than 10 of the D&Es at which you were present
22 involved a fetus that was living at the time of the procedure,
23 right?
24 A. That is correct.
25 Q. You do not consider yourself an expert in performing D&E at

4/16/2004

page 45

1 20 to 24 weeks, do you?
2 A. I do not consider myself an expert performing late D&E
3 procedures.
4 Q. And you believe you lack the skill to provide D&Es to your
5 patients after 18 weeks' gestation, is that right?
6 A. No, that's not right.
7 Q. Doctor, did you give a deposition in the Missouri case?
8 A. I gave a deposition in the Missouri case, yes.
9 Q. And that deposition was given on February 18th, 2000, is
10 that correct?
11 A. I don't recall the date but I accept that you do know the
12 date.
13 Q. I am going to just read an excerpt from that deposition and
14 ask you if you were asked these questions and gave these
15 answers. And I'm reading from page 29, line 10:
16 A. It would be almost impossible for me to confirm that
17 without looking at the deposition.
18 Q. I would be happy to provide you a copy.
19 MS. WIGMORE: May I approach the witness, your Honor?
20 THE COURT: You may.
21 Q. Dr. Cookie have handed you a rather large binder I apollize
22 for its bulk but it contains prior testimony and I want to
23 direct your attention to tab 5 which is the Missouri
24 deposition.
25 Do you have that?

page 46

1 A. I'm getting there. Okay, I'm there.
2 Q. And if you turn, please, to page 29?
3 A. Would that be the small page 29 or the larger page 29?
4 Q. It's the page -- small page 29.
5 Do you have that page, Dr. Cook?
6 A. Yes, it's small print so I'm trying to get it all together
7 here.
8 Q. See if you can follow along, I'm reading from line 10 on
9 page 29:
10 "Q and how does that affect your decision between D&E
11 removal and inducing labor
12 "A most D&E providers feel it takes a certainly
13 level of experience and STKPER tease to perform a D&E beyond 16
14 weeks. It's a difficult procedure.
15 "Q and you feel that you don't do enough of them to
16 have the skill to do it beyond 18 weeks?
17 "A that is correct."
18 Were you asked those questions and did you give those
19 answers?
20 A. I did, in 2000.
21 Q. And have you done many D&Es after 18 weeks since 2000,
22 Doctor?
23 A. Well I'm trying to recall when the last one was with the
24 abdominal S*EUR KHRAPBLG but I have done them and I would do
25 them if I needed to.

1 I think what I was attest to go here is that there are
2 other people that have more experience that are readily
3 available that I would say are the preferable people to do the
4 procedure. But if the need arises I know how to do the
5 technique and have done the technique.
6 Q. How many D&Es past 18 weeks' gestation have done since
7 2000, Dr. Cook?
8 A. I don't know. It is a small number but these are
9 variations on techniques that we do all the time.
10 Q. Can you remember a single one since 2000?
11 A. I would -- well the one case that we've talked about
12 extensively in deposition of the abdominal cerclage patient I
13 would have to review when that patient was, specifically, but
14 as I recall that was one of the latter ones I've had to P*ER
15 form my STKPWHREFL you refer patients to other physicss for
16 D&Es, is that your testimony earlier today?
17 A. I do.
18 Q. And in fact you can't recall anyone in your current office
19 performing a D&E in recent years, is that correct STPH-FRPBLGT
20 again, I think I clarified that that's correct because mostly
21 the gestational ages we see these patients are later
22 gestational ages.
23 Q. You yourself only perform an abortion if there is a lethal
24 fetal condition that is causing deterioration of maternal
25 condition, is that correct?

page 48

1 A. No, that's not correct.
2 Q. Could you refer, please, to the Nebraska transcript, which
3 is Tab 2 in your binder? And this is the trial in Nebraska. I
4 want to direct your attention to page 1333, and this is -- it's
5 actually page 74 on the bottom but page 133 3 of the actual
6 transcript.
7 A. Sortie, it was under seal here.
8 Q. Sorry.
9 A. Page 33 of the transcript.
10 Q. Page 74 on the bottom.
11 A. Okay, I'm at that page.
12 Q. And I want to direct your attention to line 4. There is a
13 statement from the Court that I think will give you con text so
14 I will start there and it says Mr. cop O lean joy just for your
15 background I understood the doctor does not imself perform
16 these procedures in the case of a fetal --
17 "mr. cop cop, anomaly, your Honor.
18 ."
19 THE COURT: In the case of a fetal anomaly he will
20 have one of his partners do it sand he may soup SRAOEUPZ the
21 care but another personal will do it. If I misunderstand that
22 I think it will be helpful to clarify it ."
23 Mr. cop cop. Dr. Cook
24 "A yes, my general practice is if the situation of
25 the pregnancy is complicated solely by a lethal fetal anomaly

1 with no maternal medical complications related to that, then
2 one of my partners will do the actual delivery process and I
3 will do the before and after care or management of
4 complications. If it's a lethal fetal condition where it is
5 causing some sort of deterioration of maternal condition, then
6 I will do the delivery."
7 Was that your testimony?
8 A. It was then and it is now.
9 THE COURT: All right, Ms. Wigmore, do you want to
10 renew your motion? This is cross examination 26R7B89 yes, your
11 Honor, a few more questions however if that's PROET.
12 THE WITNESS: Can I clarify, your Honor or -- my
13 understanding of the question was is the only situation that I
14 would do a termination of pregnancy in the situation of a fetal
15 lethal anomaly with maternal medical conditions. My answer to
16 that was no because I also perform terminations in situations
17 without feet anomalies where there are severe maternal medical
18 conditions.
19 So, my statement in my deposition and my response to
20 you are consistent with that management.
21 MS. WIGMORE: Thank you, Doctor. May I ask a few more
22 questions, your Honor.
23 THE COURT: Yes but keep in mind it is voir dire and
24 not cross.
25 BY MS. WIGMORE:

1 Q. Is it true, Dr. Cook, that you have never performed what
2 you are referred to as a partial-birth abortion?
3 A. That is true.
4 Q. And you have never been physically present when such a
5 procedure was performed, correct?
6 A. No. I have only observed it by videotape.
7 Q. And have you not spoken to anyone who performs
8 partial-birth abortions about the technique use in the
9 procedure, have you?
10 A. No. I have only reviewed their submitted testimonies.
11 Q. And did you testify previously in your deposition that your
12 predominant source about how a partial-birth abortion is
13 performed is the descriptions provided by Drs. Haskell and
14 McMahan.
15 A. Yes, they have been the two most forth coming about
16 details of the procedure and then subsequent reviews,
17 particularly for these trials, have enlightened me further
18 about individuals' approaches to this procedure.
19 Q. And those additions about Mr. Haskell and McMahan are eight
20 years or older at this point?
21 A. Well Dr. McMahan's last major presentation on this I
22 believe was in 1995 that I had seen, but most of that was data
23 that they had presented in the early 1990s which I don't
24 understand to be substantiatively different than anything I
25 have reviewed for these trials.

1 Q. You have never treated a woman as far AUZ know, whose had a
2 partial-birth abortion, is that right?
3 A. No. I have been contacted by an individual whose had the
4 procedure done by Dr. McMahon in the past to query me about
5 issues related to her subsequent complications but in my own
6 practice, to my knowledge, I have not cared for a patient whose
7 had this procedure done.
8 Q. You testified in the partial-birth abortion case in
9 California earlier this week, correct?
10 A. I did.
11 Q. Isn't it true that the Court in that case refused to
12 recognize you as an expert in the technique of intact D&E?
13 A. Would I have to defer to the attorneys for those details.
14 Q. You don't recall that part of the testimony?
15 A. I just answered questions, ma'am.
16 Q. Would it THOEP see the transcript? I don't want to spend
17 too much time on this your Honor but I think this is a relevant
18 detail?
19 THE COURT: It really isn't.
20 MS. WIGMORE: Okay. Well at this point I would then
21 object to the witness' testimony on intact D&E and relative
22 safety of abortion procedures based on his lack of experience.
23 THE COURT: Your objection is overruled. I think you
24 take the position that unless it is abortion provider or
25 abortionist who does the procedure nobody can be an expert on

1 it and the Court disagree with you. It may have some effect on
2 the weight but doesn't mean that the witness cannot be an
3 expert and give opinion on it.
4 MR. HUT: Your Honor, Steven Hut may I approach.
5 THE COURT: Why.
6 MR. HUT: I would like to share an observation with
7 the Court at the bench, if I may.
8 THE COURT: We only try this one at a time, Mr. Hut.
9 It's not a tag team effort.
10 MR. HUT: This is not anything to do with the witness'
11 testimony, your Honor.
12
13
14 MR. HUT: Your Honor, I am constrained to approach
15 because the Court has used the term this week frequently
16 abortionist. That term respectfully is dericive and
17 derogatory. I know that you inquired of Dr. Chasen whether
18 abortion provider and abortionist meant the same thing and said
19 they do but they do in the same sense.
20 THE COURT: Please keep your voice done.
21 MR. HUT: Sure. They do in the same sense that ethnic
22 slurs refer to ethnic members of the same groups and religious
23 PHAEBZ of the same group.
24 THE COURT: Mr. Hut.
25 MR. HUT: Your Honor, that is my submission and I

1 wanted to make it clear to the Court.
2 THE COURT: I know you do Mr. Hut and you have done
3 that.
4 Mr. Hut, I didn't know but I asked your own client the
5 question because I had no idea and he responded just as you
6 said, there is no difference.
7 MR. HUT: No he said they meant the same thing.
8 THE COURT: Exactly. That is no difference to me, the
9 same thing.
10 MR. HUT: Respectfullyfully, your Honor.
11 THE COURT: Mr. Hut you may wish to for whatever
12 reason make your objection.
13 MR. HUT: It is not an objection.
14 THE COURT: And you have made it.
15 MR. HUT: It is not an objection.
16 THE COURT: Your own client answered my question so
17 that I could be sure that he who is a provider says there is no
18 difference. I think taking your standard he knows.
19 MR. HUT: He knows that they mean the same thing, your
20 Honor. That is exactly correct.
21 THE COURT: And he did say is it.
22 MR. HUT: He did say it.
23 THE COURT: You may not likeT Mr. Hut, you may not
24 like it. But your client found no difference.
25 MR. HUT: In exactly the same way that I represented

1 to colt Court.
2 THE COURT: All right, that's how you feel and that's
3 fine. You now have it on the record and.
4 MR. HUT: Thank you, your Honor.
5 THE COURT: And we will proceed.
6 MR. HUT: Thank you, your Honor.
7 THE COURT: But your own client made the statement and
8 I would suggest perhaps he knows more than you do.
9 Thank you, Mr. Hut.
10 (Continued on next page)
11 (In open court)
12 THE COURT: All right, next question.
13 MS. WOLSTEIN: Thank you, your Honor. I'm sorry,
14 could I have the last question before the voir dire read back
15 and the answer?
16 THE COURT: Certainly.
17 .
18
19 BY MS. WOLSTEIN:
20 Q. To go back to that last question, Doctor, do you have an
21 opinion as to whether partial-birth abortion is preferable to
22 other second trimester methods of abortion?
23 A. Yes, I have an opinion on that issue.
24 Q. What is your opinion?
25 A. My opinion is that considered in total, I do not see where

1 partial-birth abortion provides any benefit or less risk than
2 other well-established methods of abortion.
3 Q. What is the basis for that opinion?
4 A. Again, it's based upon my sense of review of how the note
5 note note extensive review of how the procedure is done.
6 Elements of the procedure that I perform in other
7 clinical settings, my understanding of available literature and
8 my dealing with complications of pregnancy related potentially
9 to abortion procedures.
10 THE COURT: Ms. Wolstein, is this a convenient time to
11 take our morning break?
12 MS. WOLSTEIN: Yes, your Honor. It is a fine time.
13 THE COURT: Okay, we will take a break.
14 At this time the Court will stand in brief recess.
15 T
16 (Recess)
17 THE COURT: Please, be seated.
18 Ms. Wolstein, you may inquire.
19 MS. GOWAN: Your Honor may we approach the bench for a
20 brief side bar? This is Ms. Gowan.
21 THE COURT: It's becoming a habit, but of course.
22
23 MS. GOWAN: Your Honor, the government would like to
24 address Mr. Hut's statement to the Court concerning the use of
25 the word abortionist in these proceedings. I would like to

1 note the term abortionist appears 15 times in the Supreme
2 Court's decision in Stenberg and it appears in 10 other Supreme
3 Court cases including Roe vs. Wade. It also appears?
4 Webster's.
5 ninth new collegiate dictionary at page 45 where the term
6 abortionist is defined as someone who induces abortions.
7 Also, the government would note that it is a term that is
8 commonly used in the English language.
9 THE COURT: Well I appreciate all that information but
10 I think it's adequate for Court's purposes that a plaintiff in
11 this action said that and abortion provider and abortionist are
12 the same, therefore the use by the Court was taken to mean that
13 it was in no way a derogatory to those who perform it.
14 I think we have spent more than enough time on this
15 subject.
16 Also, would you be kind enough, both sides to check,
17 since this subject of adequacy THOEUF-the question of expert
18 witnesses, which you verify the Court's impression that
19 Dr. Johnsonner performed a D&X?
20 MS. GOWAN: Yes.
21 MR. HUT: That's absolutely true, your Honor.
22 THE COURT: And he does testify.
23 MS. GOWAN: Yes, your Honor.
24 THE COURT: And the question of weight, but not
25 prohibiting from testifying as an expert.

1 Okay, let's continue.

2 (Continued on next page)

3 (In open court)

4 THE COURT: All right, now that he with have gotten to
5 know each other, can we proceed with the interrogation please,
6 Ms. Wolstein?

7 MS. WOLSTEIN: Yes, your Honor. Wolstein.

8 Q. Doctor, as a general matter, and we will get to specifics
9 afterward, why do you believe that partial-birth abortion is
10 never medically necessary to care for women with complicated or
11 high risk pregnancies?

12 A. My belief is based upon the fact that there are many other
13 alternative procedures available with demonstrated safety and
14 that this procedure does not have demonstrated safety and
15 indeed, in my opinion, poses potential risks that are both
16 immediate and potentially delayed and can threaten the mom's
17 health and future fertility.

18 Q. Have you ever experienced a clinical situation where the
19 partial-birth abortion procedure was required?

20 A. No.

21 I have been presented many case scenarios over the
22 several years that I have been discussing this issue and have
23 never encountered or been presented with a single case that I
24 believe warrants this technique.

25 Q. Can you think of a single medical condition in a pregnant

1 woman for which partial-birth abortion is medically necessary?

2 A. I cannot.

3 Q. Doctor, is it sometimes necessary to terminate a pregnancy
4 for maternal health reasons?

5 A. Sometimes it is.

6 Q. And do you provide care to women who need to end their PREG
7 TPHAEPBs for health reasons?

8 A. Do I.

9 Q. Is that a common situation that presents to you?

10 A. It is common that we have women with worsening conditions
11 that makes it necessary for us to deliver her baby prematurely.

12 Most times we can delay that until post viability but
13 there are some instances where we have to proceed pre viability
14 to her induction of labor and delivery of her infant to
15 facilitate her recovery of her health status.

16 Q. And what are some maternal conditions that require
17 termination of pregnancy to preserve the mother's health?

18 A. Well there are a number that could arise. Certain types of
19 complicated card in that case conditions, certain types of
20 complicated hypertensive or high blood pressure or preeclampsia
21 situation. Certain types of infectious situations where there
22 is an infection in the uterus.

23 Things of that nature.

24 Q. Is infection in the uterus sometimes referred to as
25 chorioamnionitis?

1 A. Yes. That would be the medical term for infection
2 involving the lining of the uterus and the bag of water.
3 Q. Taking the condition of chorioamnionitis or uterine
4 infection, why is it necessary to terminate a pregnancy
5 prematurely when a woman has that condition?
6 A. Well there can be two primary reasons. One is that a
7 localized infection in the uterus can potentially become a
8 systemwide infection spread throughout the mother which could
9 then threaten her life.
10 In addition, the fetus doesn't thrive inside an
11 infected environment so it is generally in the best interest of
12 both parties to proceed to delivery.
13 Q. And you said something just a few seconds ago I want to
14 pick up on, but when you need to terminate a pregnancy because
15 of a maternal condition, do you approach that situation
16 differently depending on whether the fetus is pre or post
17 viable?
18 A. Yes. We do make some slight adjustments in certain
19 medications that we would use or certain cervical approaches
20 that we may make depending upon the interest of the fetus at
21 that time. The fetal interests become less significant if the
22 fetus is pre viable and the pregnancy needs to be delivered.
23 Q. So for a fetus that you've determined has a chance to
24 survive outside the mother, how do you proceed in the case of
25 chorioamnionitis?

page 60

1 A. Well we would do continual fetal monitoring during the
2 laboring process. We would still attempt to augment her labor
3 or assist her labor because women that have an infection in the
4 lining of the uterus generally are already contracting and may
5 in fact already be in labor.
6 And then we would of course use pain relief med cases
7 for the mother that would have the least risk for the fetus
8 which most often would be the use of epidural anesthesia, or
9 selected types of IV narcotics or intravenous narcotics.
10 Q. And what do you do differently for a fetus that is pre
11 viable with chorioamnionitis?
12 A. In the pre viable setting we wouldn't necessarily be doing
13 continuous fetal monitoring. We have a little bit more leeway
14 in the amount and types of pain medications that we could use.
15 Those are a few examples.
16 Q. Post viable, how would you end the pregnancy for a woman
17 with chorioamnionitis?
18 A. Post viable we would SKWRPBLly recommend induction of
19 labor.
20 Q. Why is that?
21 A. Again, most times the women are already laboring so we are
22 actually just augmenting their labor rather than inducing their
23 labor.
24 We also want to deliver her in an expeditious manner
25 with minimal risk for uterine trauma and minimal risk for

1 allowing the infection to spread to other portions of the
2 mother's system.
3 Q. Would you ever need to do a D&X in that situation?
4 A. No.
5 Q. Would it be preferable to do a surgical termination in that
6 situation?
7 A. No. We would attempt to avoid surgical procedures, if
8 possible, in that setting.
9 Q. Why is that?
10 A. Again, we want to try to utilize the most natural
11 physiologic process that we can for completing the delivery.
12 We also want to reduce the possibility of allowing
13 infection to have access to other portions of the mother's
14 system including her bloodstream and her abdominal cavity.
15 Q. Now, the condition of severe preeclampsia, is it necessary
16 to end a pregnancy for a woman who has that condition?
17 A. Well preeclampsia does come in multiple forms, but in the
18 case of severe preeclampsia that's not responding to our
19 therapies, then we frequently have to move toward premature
20 delivery, which, in some instances, even includes moving toward
21 delivery prior to the point of viability.
22 Q. And how would you end the pregnancy in that situation?
23 A. Generally in the pre viable situation we would attempt to
24 proceed with the medical induction of labor.
25 In the post viability situation we would proceed

page 62

1 similarly, as long as we felt the baby could tolerate the labor
2 process. STKPWHRU said as long as the baby could tolerate the
3 labor process?
4 A. Correct.
5 Once we are in the post viable time frame we have to
6 consider the fetal issues as well as the maternal issues. And
7 in preeclampsia specifically, babies can be not thriving inside
8 the uterus because of the nature of the disease and may not
9 tolerate even minimal uterine activity.
10 That that situation we generally to proceed with a
11 caesarean delivery.
12 Q. Would you ever need to do a D&X in that situation of severe
13 pre- eclampsia?
14 A. No.
15 Q. Would D&X ever be preferable for a woman with pre-eclampsia
16 to end her pregnancy?
17 A. No.
18 Q. Why not?
19 A. One is that there are other options available, but I'm also
20 concerned about any sort of surgical procedure, especially a
21 blind vaginal surgical procedure because these patients
22 frequently have a tendency toward bleeding with low platelets
23 and potentially other sort of clotting abnormalities that would
24 not allow them to tolerate any sort of cervical or uterine
25 trauma or perforation.

1 Q. Well, Doctor, we heard testimony from plaintiff Dr. Cassing
2 Hammond that partial-birth abortion could offer safety
3 advantages for women with bleeding disorders and specifically
4 low blood platelets and clotting problems; do you adisagree
5 with that?
6 A. Yes, I do. I deal with preeclampsia on an almost daily
7 basis an we have never seen the need for that procedure in
8 order to provide safe care for the mother and her unborn child.
9 Q. And does preeclampsia involve PWHROEDing disorders or
10 problems?
11 A. Severe preeclampsia can lead to low platelet counts and
12 platelet dysfunction such that they don't clot very well.
13 It can also lead to other types of clotting disorders.
14 Q. Would a surgical termination ever be preferable in a woman
15 with bleeding disorders or low blood platelets or clotting
16 problems?
17 A. Only in the situation of trying to obtain an optimal
18 outcome for the fetus, as well as for the mother, then a
19 controlled abdominal surgery under direct visualization may be
20 utilized, but I would never utilize a vaginal surgery, portions
21 of which would be done blindly.
22 Q. Meaning a D&E?
23 A. Including a D&E or a D&X in that situation.
24 Q. And what would be the problem with doing a D&E or a D&X in
25 that situation?

1 A. Both include some element of a blind intrauterine
2 procedure, both include the need for cervical dilation and risk
3 for cervical laceration and trauma. Both of which can lead to
4 the risk of perforation, laceration and other bleeding
5 complications for which this type patient would have a limited
6 reserve and a limited ability to tolerate.
7 Q. You earlier in your testimony mentioned that you fake care
8 of women with heart conditions during their pregnancy?
9 A. That is correct.
10 Q. I take it that covers a broad range of conditions, the term
11 heart conditions?
12 A. Yes. We see women with a number of heart conditions and
13 have a number of women with heart conditions right now that are
14 PREG TPHAEPBT as part of our practice.
15 Q. And in some of the those cases can you manage the pregnancy
16 to delivery at term?
17 A. Most of those cases we can support the mother through her
18 pregnancy and achieve late gestational ages with the pregnancy.
19 But we do see patients with all levels of complexity.
20 Q. And if it's necessary to the mother's health to terminate
21 the pregnancy in the case of a heart condition, would it be
22 necessary to use a partial-birth abortion method?
23 A. No.
24 Q. Would there be other more preferable methods?
25 A. Yes.

1 Q. Like what?

2 A. Well if it's in the first trimester we would recommend
3 doing a suction and curettage or a suction aspiration or
4 emptying of the uterus.

5 If it's in the more common situation that we would see
6 of the later gestational ages, then we would recommend a
7 medical induction of labor.

8 Q. Why would you recommend induction in that case?

9 A. For a number of reasons.

10 One is that we would be only moving toward a pre
11 viable delivery if the patient's medical situation was so
12 tenuous that she couldn't tolerate labor well. In that setting
13 we would want to avoid anything that would cause uterine
14 stimulation or uterine contractions, so we would want to
15 proceed typically with a more controlled approach rather than
16 an uncontrolled causing of potential trauma to the cervix so
17 that most controlled approach would be to proceed with a
18 medical induction under epidural anesthesia with critical care
19 support present.

20 If we thought her situation was so severe she couldn't
21 tolerate any uterine activity then we would generally recommend
22 proceeding with a caesarian delivery and often times if a
23 patient is that ill we will have discussed with them doing
24 permanent sterilization at the same time, which would mean
25 tying the tubes at the time of that caesarian delivery.

1 Q. Why would that be appropriate or necessary?

2 A. Well the types of cardiac conditions that I am referring to
3 are those that generally aren't going to improve significantly
4 in the next few years just because she's delivered of this
5 pregnancy. Any future pregnancy would equally threaten her
6 health and so it would be in her interest to not have any
7 future pregnancy if it is that rare patient with that level of
8 complex heart disease.

9 Q. We heard testimony from Dr. Hammond about cardio myopathy
10 and valve you HRAR heart disease; are you familiar with those
11 conditions?

12 A. I am.

13 Q. What is cardio myopathy?

14 A. Arrested could my open O think is a term generally used to
15 describe a heart that is poorly functioning in the area of
16 contract EULT or poor function as a pump.

17 Q. What is valve you HRAR heart disease?

18 A. Valve you HRAR heart disease means that in some of the
19 critical communication areas between the chambers of the heart
20 there is some problem with the valve. It can either be too
21 leaky or it can be too narrowed.

22 Q. And is it necessary to terminate a pregnancy for a woman
23 who has either of those conditions?

24 A. Well, we see patients with those conditions frequently and
25 I have patients in my practice right now with those conditions.

1 Most of them can be supported easily throughout the course of
2 their pregnancy, but there are levels of severity with all of
3 those diseases.
4 So, for instance, someone with a cardio myopathy who
5 is then evaluated with a heart ultrasound and found to have
6 minimal cardiac function or heart pumping capability may be an
7 indication for termination of pregnancy.
8 Or someone with a very critical, severe narrowing ing,
9 specifically of the a or theic valve, what we call a or theic
10 stenosis, if it is severe enough may also warrant termination
11 of pregnancy.
12 Q. And in either of those cases of severe cardio my opathy or
13 severe valve you HRAR heart disease that warranted pregnancy
14 termination, would D&X ever be necessary?
15 A. No.
16 Q. Would it ever be preferable?
17 A. No.
18 Q. Why not?
19 A. Well in issues related to severe cardiac disease, the
20 increased workload on the heart related to the pregnancy starts
21 in the first trimester and starts to peak by 20 weeks'
22 gestation.
23 So, if you want to do a procedure to spare the
24 mother's health, you would want to do that in the first
25 trimester preferably with a suction D&C procedure.

1 If the patient has continued the pregnancy and she's
2 beyond 20 weeks and has just continued to worsen during the
3 course of her pregnancy, then she would require a very
4 controlled delivery process trying to use a variation of normal
5 labor with critical care monitoring, potentially central line
6 placement to evaluate her fluid status and fluid shifts very
7 carefully. And again, we would want to avoid any untoward
8 abnormalities like perforations or lacerations if at all
9 possible.
10 Q. Now, Doctor, we have heard a lot of testimony about
11 induction being less preferable in the case of women with prior
12 uterine scars and we have heard about a scar from a prior
13 classical c-section; where in the uterus is that scar?
14 A. Typically it would be on the top portion or the anterior
15 surface of the uterus generally involving the majority of that
16 surface, although there are also what we call low vertical
17 inSR*EUGS cisions that just involve the lower portion of the
18 anterior surface.
19 Q. What is the worry about doing an induction where there has
20 been a classical c-section scar?
21 A. Whenever there has been a scar in the uterus it's an area
22 of potential weakness. Most times that area of weakness
23 tolerates future labors well without complication.
24 But in the sitting of a vertical or classical scar on
25 the uterus it may increase the risk for that scar giving way up

1 to five to 10 percent of the pregnancies.
2 Q. In and your opinion is all induction contraindicated where
3 the woman has a classical caesarian section scar?
4 A. No.
5 The rupture rate that I referred to of five to 10
6 percent is generally used for labors at term. In the
7 mid-trimester when the uterus is thicker and doesn't require as
8 much thinninggen TEU TP-S felt that the risk is probably less
9 than that. Soy would recommend using caution in that patient,
10 avoiding certain types of medications and having the capability
11 to proceed to a corrective surgery if a rupture is indeed
12 encountered.
13 Q. You would avoid using misoprostol in that scenario?
14 A. Yes.
15 There is a particular type of prostaglandin,
16 misoprostol, that appears to pose a greater risk for uterine
17 rupture, so I would avoid that prostaglandin in particular.
18 Q. Doctor, have you heard the term spontaneous abortion?
19 A. I have.
20 Q. What does it mean?
21 A. Spontaneous abortion is defined as a pregnancy loss that
22 occurs without outside intervention prior to 20 weeks'
23 gestation.
24 Q. Can you ever have a spontaneous abortion with a living
25 fetus?

1 A. No. The definition of spontaneous abortion would be that
2 the fetus is no longer living at that time. It may still be
3 retained within the uterus and not expelled yet, but it, by
4 definition, no longer has cardiac or heart motion.
5 Q. Doctor, do you have an opinion about the relative safety of
6 D&Xs compared to other second trimester abortion methods?
7 A. I do.
8 Q. What is your opinion?
9 A. My opinion is that in total I am concerned that the D&X
10 procedure doesn't offer any significant benefits and may indeed
11 involve greater risk than other existing procedures.
12 Q. What are the aspects of the D&X procedure that concern you?
13 A. Well, there are both short-term or immediate and long-term
14 or delayed concerns that I have with the procedure.
15 But my immediate concerns are the need for significant
16 overdilation of the cervix that can increase the risk for
17 laceration, bleeding and infection.
18 I am also concerned about the additional intrauterine
19 manipulation that's required to convert a baby to a breach
20 position in a limited uterine environment, meaning a uterus
21 that's big enough for just one child.
22 I am also concerned about delayed complications as
23 well, which would include the potential increased risk for
24 cervical weakness in pre-term delivery with subsequent
25 pregnancies.

1 Q. What is your basis for being concerned about longer term
2 problems or risks?

3 A. Well, there has been proposed for some time that the more
4 dilation involved in the cervix the greater the concern for
5 subsequent increased rate of pregnancy lost with future
6 pregnancies.

7 There also is an increasing body of evidence that
8 shows that people that have even a minimal amount of cervical
9 dilation as that, as in that required for a first trimester
10 pregnancy loss have -- or pregnancy termination -- I'm sorry.
11 Have a greater risk for preterm delivery or pregnancy loss with
12 subsequent pregnancies.

13 So I'm concerned if we see that even from first
14 trimester procedures we would see it with even a greater extent
15 with those that require more cervical manipulation and those
16 done at later gestational ages.

17 Q. And what kind of evidence are you referring to?

18 A. Well, for the first trimester pregnancy losses I did
19 include an article in my expert report from, I believe the
20 British journal of obstetrics and gynecology looking at the
21 issue of increased risks for preterm delivery with people that
22 had predominantly first trimester procedures.

23 And then in addition, I have also reviewed a pending
24 article for publication that has limited numbers and limited
25 power but shows a disturbing trend toward increased risk or

1 preterm deliveries specifically as a result of the D&X
2 procedure.

3 MS. WIGMORE: Your Honor I object and move to strike
4 the testimony about the pending publication.

5 This witness did not address it in his expert report.
6 In his deposition he was asked about it and said that he had
7 not read it and had not been told about it.

8 And moreover he did not provide a supplemental report
9 as did the other doctors, at least one of the other doctors who
10 testified about it here today or in this proceeding. Woman WOL
11 name your Honor, Dr. Cook is not offering an opinion on the
12 validity of the study or or is not critiquing the study, it is
13 something that supports his opinion on the procedure that's at
14 issue and that's about to become part of the mid call
15 literature.

16 MS. WIGMORE: Your Honor, he was expressly asked about
17 it, he did not disclose it. He said he had no read it. He did
18 provide provide a supplementation to his expert disclosures and
19 on those grounds I ask that his testimony be stricken.

20 THE COURT: He said he had eye concern about it before
21 the report and now I am going to allow it. Overruled.

22 MS. WOLSTEIN:

23 Q. Doctor are you acquire that the plaintiff doctors in this
24 case and their experts are claiming that D&X is safer than D&E
25 because an intact extraction involves fewer instrument passes

1 and fewer passes of sharp fetal fragments?
2 A. I am aware of that assertion.
3 Q. What is your view on whether the claimed fewer instrument
4 passes and fewer passes of sharp fetal fragments offer safety
5 advantages?
6 A. Well, on the surface it would make sense that the less
7 manipulation involved in the uterus the less the risk for
8 complication. However, just passing an instrument isn't
9 necessarily equivalent to having to reach inside the uterus and
10 convert a baby or turn a baby to a breech presentation, which I
11 would offer is a greater amount of intrauterine manipulation.
12 And again that doesn't take into account my concerns
13 about the delayed complications and the cervical dilation
14 issues. But intuitively you could say it would make sense to
15 presume less passes could mean less complications of that
16 narrow area of complication.
17 (continued on next page) TWM take 3 April 16<]
18 Q. Is there anything wrong with relying on intuition to draw
19 these two safety conclusions?
20 A. Intuition or understanding of related medical issues is
21 critical in the evaluation of new surgical techniques, meaning
22 that it informs us of what potential complications may arise
23 and should lead us to study those specific issues in order to
24 then demonstrate the safety of a new procedure. You cannot
25 intuitively assume the safety of a procedure without such

page 74

1 testing and then accept it as safe. So institution can inform
2 how to further study something by presuming risk, but you
3 cannot as safe in an untested situation based on your intuition
4 alone.
5 Q. To the potential intuitive safety advantages of fewer
6 instruments and fewer sharp fragments tell us anything about
7 the overall safety of partial-birth abortion? [> do<]
8 A. No. It addresses just one particular area and one
9 particular part of the procedure.
10 MS. WOLSTEIN: May I have a moment, your Honor?
11 THE COURT: You certainly may.
12 MS. WOLSTEIN: Thank you, your Honor.
13 Q. Doctor, I was asking you earlier about a lot of different
14 maternal conditions and you were explaining. Rather than go
15 over every maternal condition that we have heard about in the
16 case, let me ask you generally, is there any maternal condition
17 in the mother that you think necessitates doing a partial-birth
18 abortion to preserve the health of the mother?
19 A. No, I know of no such condition. Again, I have been
20 considering this issue for a number of years, I have talked to
21 many individuals about this issue and have taken care of
22 SHRAOUFL complicated pregnancies for more than a decade, and I
23 have yet to find a single plausible scenario where this
24 procedure would be recommended or the best procedure to proceed
25 with.

1 Q. Thinking of all the maternal conditions you know about and
2 have been presented with and can imagine from your practice and
3 your knowledge, can you think of a maternal condition in which
4 partial-birth abortion would be preferable to help in
5 preserving the health of the mother?
6 A. No, I know of no such scenarios where it would be
7 preferable over other well-established existing techniques.
8 And, again, I have been presented with many scenarios.
9 Q. Turning to fetal anomalies or abnormalities, of all the
10 fetal abnormalities you know of or you can think, is there a
11 single fetal anomaly for which you think partial-birth abortion
12 is necessary to preserve the health of the mother?
13 A. No, I know of no such situation where that would be
14 required or even recommended.
15 Q. Or even recommended anticipated my next question. Is there
16 any conceivable fetal anomaly that you can think of for which
17 partial-birth abortion would be a preferable method for
18 terminating a pregnancy to preserve the health of the mother?
19 A. No, I can think of no such condition.
20 Q. Is there any combination of maternal conditions and fetal
21 anomalies for which partial-birth abortion is necessary or
22 preferable to preserving the health of a pregnant woman?
23 A. No. Again, I have been asked this question many times in
24 many ways over the years and the examples get to be a bit more
25 bizarre each time. But I can think of no such situation where

1 that would be required.
2 Q. Doctor, what is the induction to abortion interval refer to
3 [> what does<]
4 A. That refers to the length of time from initiating a medical
5 induction of labor until delivery of the fetus.
6 Q. In your experience and your knowledge, what is the average
7 induction-to-abortion interval. The average interval is
8 approximately 12 hours, it may be shorter with newer types of
9 medications with newer dosing intervals and dosing strengths.
10 S- S-
11 Q. Where do you come up with that 12-hour figure?
12 A. It is an active area of research, continuing to try to find
13 the optimal KWEUPBGS of medications for medical inductions with
14 the least amount of complications. So it is an area where
15 there is quite a bit of literature out there. There are also
16 many textbooks that you can refer to that will tabulate such
17 data in a table form, and you can refer to any of those types
18 of standard textbooks for that information. But it does seem
19 to be continuing to improve to becoming a shorter and shorter
20 interval.
21 Q. Is that partly due to the use of misoprostol?
22 A. Misoprostol has been an advance in that area, and other
23 types of cervical preparation even prior to induction.
24 Q. Have you heard the term "failed induction"?
25 A. Yes.

1 Q. What does that refer to?

2 A. I understand that to refer to generally the situation where
3 delivery has not occurred despite the use of some sort of
4 medical regimen to attempt to induce labor.

5 Q. If you still have a failed induction after 24 hours so the
6 fetus hasn't delivered, what would you do?

7 A. We do get asked about that situation, not infrequently, and
8 generally I evaluate what the practitioner has been using for a
9 medical regimen and will make additional recommendations. Most
10 commonly it is the addition of an F series prostaglandin, which
11 in my experience has been very successful at progressing to
12 delivery typically within 6 hours of initiation after other
13 failed techniques.

14 Q. One of the things that the plaintiffs are asserting in a
15 case is a problem with induction is retained placenta [> in the
16 case<] what is your view on whether retained placenta is a
17 problem with induction?

18 A. It is a known side effect, if you will, of medical
19 induction, occurring something on the order of 5 to 10 percent
20 of the time, when the baby will be expelled but the placenta is
21 not immediately forthcoming. In that situation we would also
22 recommend trying different medical approaches first, such as an
23 injection intramuscularly of a prostaglandin. Then, if there
24 was still no delivery of the placenta, we would do a curettage
25 or surgical removal of the placenta similar to that which is

1 used in a D&E procedure.

2 Q. If you have retained placenta after an induction, you would
3 do just what you are doing with a D&E anyway, is that what you
4 are saying?

5 A. Hopefully, it would deliver spontaneously and we would
6 allow up to two hours before we would surgically remove it if
7 the patient was stable. And during that two-hour period we
8 would generally be using other medications. But in that
9 situation if we still had no placental delivery or the mother
10 was having more bleeding concerns, then we would proceed to a
11 surgical removal of the placenta that is very similar to that
12 which is done in a D&E procedure.

13 MS. WOLSTEIN: Thank you, Doctor. I have no further
14 questions.

15 THE COURT: It is 12:208 2*8, so I think we will take
16 our luncheon recess two minutes early. We will reconvene at 2
17 o'clock.

18 (Luncheon recess) TWM take 3A.

19 AFTERNOON SESSION

20 2:00 p.m.

21 CURTIS COOK, resumed.

22 THE COURT: Good afternoon. Ms. Wigmore, you may
23 inquire.

24 CROSS-EXAMINATION S-

25

1 BY MS. WIGMORE:
2 Q. Good afternoon, Dr. Cook.
3 A. Good afternoon.
4 Q. You testified on direct that you perform induction
5 abortions, correct?
6 A. That's correct.
7 Q. It is true, isn't it, that in only some of those induction
8 abortions that you perform is the fetus live at the beginning
9 of the procedure?
10 A. Yes, in only some of those situations is the fetus live.
11 Q. In that type of procedure where the fetus is alive at the
12 beginning and you perform an induction only comes up once every
13 few months in your practice, is that fair -FRPLTS I'm not sure
14 that is correct, nor am I sure I understand the question.
15 THE COURT: Then ask that it be rephrased if you don't
16 understand it. Would you rephrase it, please, Ms. Wigmore.
17 MS. WIGMORE: Sure, your Honor.
18 Q. Is it true that in your practice you perform procedures
19 where the fetus is alive at the beginning of the procedure and
20 you do an induction?
21 A. Correct.
22 Q. Is it true that that type of procedure is a procedure that
23 you perform only once every few months in your practice?
24 A. It depends. Are we talking about before viability or are
25 we talking about before and after viability?

1 Q. Viability aside, I am just asking whether the fetus is
2 actually living before the procedure commences?
3 A. Then the answer is no. We do inductions on living fetuses
4 almost weekly, if not more often.
5 Q. Doctor, could you refer, please, to your deposition in this
6 case, which can be found at tab 3 of the testimony binder
7 provided you earlier. I direct your attention to page 258 of
8 that deposition., specifically to line 21 on that page. Do you
9 have that?
10 A. Not yet.
11 Q. I am going to read that for you and ask you to follow
12 along, starting on line 21.
13 "Q. OK. Now is it still the case that in your practice you do
14 approximately 3 or fewer induction abortions per year where the
15 fetus is alive at the beginning of the induction?"
16 Mr. Cappolino: Objection.
17 "The witness: I'm not sure it's that small, but it's
18 not a large number. I just did one this week end but it
19 probably comes ums once every few months."
20 Were you asked that question and did you give that
21 answer?
22 A. I was asked that question in the setting of previability as
23 I recall the question, and indeed that is the correct response.
24 Q. Is it true that you have not written any articles about the
25 relative safety of different types of abortion procedures?

1 A. No, I have not written articles about the safety of
2 abortion procedures.
3 Q. And you have not performed any clinical studies or research
4 relating specifically to any method of abortion, have you?
5 A. Not to a method of abortion. I do research in other areas.
6 Q. In fact, abortion is not your primary research focus, is
7 it?
8 A. That's correct, it is not my primary research focus.
9 Q. You have never peer-reviewed an article method *FR
10 regarding a method of abortion, have you?
11 A. No. you are usually asked to peer review your areas of
12 primary research.
13 Q. You testified on direct that the partial-birth abortion
14 procedure is a blind procedure, is that right?
15 A. No. I think I testified that there are elements of the
16 procedure that are done in a blind fashion.
17 Q. You are referring to what you called the blind use of
18 instruments, is that right?
19 A. I would have to refer back to the exact language, but I can
20 tell you which portions of the procedure I consider to be
21 blind.
22 Q. What is that?
23 A. That would be when you are reaching up inside of the uterus
24 to try to convert a baby to a feet-first breech position.
25 Q. It is true, isn't it, that you never actually observed a

1 live procedure that you would call partial-birth abortion?
2 A. The procedure that I observed by videotape, the fetus was
3 alive when they were doing the procedure.
4 Q. Perhaps my question wasn't clear. You have never actually
5 been present when a partial-birth abortion was performed, is
6 that right?
7 A. That is correct.
8 Q. You told us you watched the video that was a video prepared
9 by Dr. Haskell, is that right?
10 A. I understood it to be a video that he had prepared. He was
11 the person doing the procedure in the video.
12 Q. You first watched that video when you were testifying in
13 Missouri, is that right?
14 A. That is correct.
15 Q. It is true, isn't it, that the video did not provide you
16 with an optimal view to make an assessment of the procedure,
17 right?
18 A. That is not true.
19 Q. Could you refer, please, to page 235 of the NAF deposition
20 transcript which is at tab 3 of your binder.
21 A. Which page again, please?
22 Q. Tab 3, page 235.
23 A. 235?
24 Q. Yes. I want to call your attention to line 1.
25 "Q. And when you watched the video of Dr. Haskell performing

1 this procedure, what portion of the fetal head or neck was
2 punctured with an instrument?
3 "A. Well, the visualization of the tape, as I recall, was not
4 optimal. It wasn't like there was a SAOPLD-in on just the baby
5 and the peroh ne um, so it is not an optimal situation THO man
6 aassessment. But it appeared to be somewhere this the back of
7 baby's head. The baby was fine up and it was underneath the
8 operator's hand.
9 Q. Were you asked that question and can you give that answer
10 in your deposition, TKRRT?
11 MS. WOLSTEIN: Objection.
12 THE COURT: Where do you find that inconsistent with
13 the testimony?
14 MS. WIGMORE: I understand, your Honor. It says the
15 visualization was not optimal.
16 THE COURT: But it had other words in there limiting
17 it.
18 Q. Was the visualization optimal in the tape that you
19 observed, Doctor?
20 A. The answer I gave is in response to the question "What
21 portion of the fetal head or neck was punctured." That portion
22 anatomy makes up an entire area of a very few centimeters. My
23 response was that you cannot make a distinction between the
24 base of the skull or the back of the neck when the operator's
25 hand is over that area based upon the videotape I reviewed. It

1 was quite clear what was going on in the videotape, which was
2 easily identified as a partial-birth abortion as described by
3 Dr. Haskell.
4 Q. Is it true, Doctor, that for teaching purposes in medicine
5 you prefer actually being present to using videotapes?
6 A. No. We use a variety of methods for teaching, and
7 videotape is one of the methods that we utilize in training
8 programs and other training programs.
9 Q. Is it true that you find videotape teaching inferior to
10 actually being present at the procedure?
11 A. No, I don't believe that I would agree with that.
12 Q. Can you please refer to your Missouri trial transcript,
13 which is at tab 4 of your binder. I direct your attention to
14 page 74. I am reading from line 16.
15 "Q. In teaching medical students, which do you find to be a
16 better aid for teaching a medical procedure, a videotape of the
17 procedure or drawings?
18 "A. Well, we use surgical textbooks to teach surgical
19 techniques, but we find those inferior to videotape teaching,
20 which we find inferior to actually being present doing the
21 procedure."
22 Were you asked that question and did you give that
23 answer?
24 A. Yes. That is the answer in response to how we teach
25 medical students. We teach medical residents in a different

1 manner.
2 Q. The Haskell videotape that you observed did not show the
3 process of inserting and removing laminaria, did it?
4 A. It did not.
5 Q. And there was no internal podalic version in the procedure
6 you observed on the Haskell video, was there?
7 A. I cannot comment on that. There was visualization of the
8 operator reaching their hand into the uterus, again a blind
9 procedure as I had talked about previously. So without
10 ultrasound guidance or visualization of the uterus, I could not
11 know exactly what was going on inside the uterus.
12 Q. So you didn't see an internal podalic version in that
13 procedure, is that right?
14 A. I did not see inside the uterus.
15 Q. So is it true that you have never actually observed an
16 internal podalic version in the context of a partial-birth
17 abortion?
18 A. That is correct, I have not observed a partial-birth
19 abortion other than the videotape.
20 Q. You testified earlier that when you and colleagues perform
21 D&E's that you provide ultrasound assistance on occasion, is
22 that correct?
23 A. Yes, mostly in the years prior to the newer prostaglandins,
24 where there was a greater incidence of a failed induction.
25 Q. When you do that, you are present at the maternal abdomen,

1 correct?
2 A. That is correct.
3 Q. From the maternal abdomen, you can't see what is happening
4 in the woman's cervix, can you?
5 A. We can see what is happening at the level of the perineal
6 opening in the vaginal opening.
7 Q. Can you see the cervix?
8 A. No. I am not looking inside the woman's cervix at that
9 point.
10 Q. Are you a member of right to life?
11 A. I am not.
12 Q. Are you a member of American Association of Pro life
13 obstetricians and gynecologists?
14 A. Yes. That is a special interest group in the American
15 College of Obstetrics and Gynecology.
16 Q. You testified on direct examination about a group called
17 PHACT, PHACT, correct?
18 A. Correct.
19 Q. PHACT was founded in the summer of 1996, correct?
20 A. I believe that's correct.
21 Q. It was formed specifically to address partial-birth
22 abortion, right?
23 A. It was formed only to address partial-birth abortion.
24 Q. In fact, supported legislation banning partial-birth
25 abortion, isn't that right?

1 A. The purpose of the group was just to provide medical
2 information. Is that the question?
3 Q. Did the group oppose -- did the group support legislation
4 banning partial-birth abortion being Doctor?
5 A. I believe it did. But the position papers were just about
6 the specifics of the medical procedure.
7 Q. PHACT did not commission or fund any studies assessing the
8 safety of the so-called partial-birth abortion procedure, did
9 it?
10 A. No. It asked several academic physicians in dealing with
11 complicated pregnancies to review the available medical
12 evidence and render their best opinion about the specifics of
13 the procedure.
14 Q. But there were no studies, right?
15 A. We did not perform any studies.
16 Q. You personally have not participated in any clinical studies
17 relating to partial-birth abortion, have you?
18 A. I can't see why I would.
19 Q. You have testified in other lawsuits in support of
20 partial-birth abortion bans, haven't you?
21 A. I have.
22 Q. You testified last week in the case in Nebraska, correct?
23 A. That is correct.
24 Q. You testified a few days ago in California, is that right?
25 A. That is correct.

1 Q. You testified in Missouri regarding a state law
2 partial-birth abortion ban, correct?
3 A. Correct.
4 Q. You also testified in the Evans v. Kelley case in Michigan,
5 didn't you?
6 A. That is correct.
7 Q. And you have submitted declarations in a few other cases,
8 is that right?
9 A. I believe that is the case, yes.
10 Q. Is it true that you will be paid \$500 per day by the
11 government for your testimony in this case?
12 A. That is my understanding.
13 Q. You are also being paid \$250 per day for any other work you
14 perform on this case, is that correct?
15 A. I haven't been paid anything yet, and I have worked on this
16 for a number of months, but they assure me they will.
17 Q. You are receiving similar reimbursement, or you hope to, in
18 your other cases, the Nebraska case and the California case,
19 correct?
20 A. Yes, I am always hopeful.
21 Q. You told us earlier that you testified twice before
22 Congress regarding partial-birth abortion, correct?
23 A. That is correct.
24 Q. Isn't it true that you had a role in drafting the
25 Partial-Birth Abortion Ban Act of 2003?

1 A. I was asked to give input, yes.
2 Q. You gave input on how the procedure could be defined, is
3 that right?
4 A. That was part of the input, yes.
5 Q. Isn't it true that you suggested a definition that would
6 limit the procedures covered to those performed after 20 weeks'
7 gestational age?
8 A. That was one of the suggestions, yes.
9 Q. The reason for that suggestion was so that there would be
10 no potential for misinterpretation that other surgical
11 procedures such as D&E were covered, correct?
12 A. No, that was not necessarily the motivation for that
13 suggestion.
14 Q. Are you saying that had no role in your suggestion?
15 A. No. I am saying I offered that because that was how the
16 procedure was defined. Both Haskell and McMahon discussed it
17 being used predominantly as a 20 weeks and later gestation
18 procedure, so it seemed reasonable to include it. And at the
19 time I had been spending a lot of time trying to address what I
20 felt were disingenuous arguments being offered that it would
21 somehow include miscarriages and first trimester spontaneous
22 abortions. So I thought the easiest way to just practically
23 dismiss that is to put a gestational age limit on it.
24 Q. Your suggestion was not followed, was it, Doctor?
25 A. I made many suggestions. Some became incorporated, some

1 did not. That was one that did not.
2 Q. You testified on your direct examination that you performed
3 fetal surgery, correct?
4 A. That is correct.
5 Q. Some of the surgeries you perform on fetuses are invasive
6 procedures, correct?
7 A. That is correct.
8 Q. Do you perform transfusions on fetuses, correct?
9 A. Correct.
10 Q. You also perform shunting procedures on STPAOETSs, is that
11 right?
12 A. That we do much less often now, but I have in the past.
13 Q. In performing those procedures, you don't directly anes
14 they advertise the fetus, do you?
15 A. That is not correct.
16 Q. Do you directly anes they advertise the fetus in all cases?
17 A. No. We do several things for the fetus. If we are going
18 to do a procedure where we need to imPHOEBLZ the fetus, then we
19 directly paralyze the fetus. If we are doing something just
20 for an invasive procedure, then we deliver medications, both
21 sedation and narcotics, through the mother in order to nes they
22 size the fetus condition does it depend on the gestational age
23 at all.
24 THE WITNESS: The doses we use are gestational
25 age-dependent. For instance, when I give a paralyzing agent, I

1 give it based upon the estimated weight and gestational age,
2 because there is a very narrow therapeutic window for a fetus
3 getting drug medication.
4 Q. But it is true that sometimes you medicate the mother with
5 the intent that some of the medication will transfer across the
6 placenta to the fetus, is that true?
7 A. That is true. If we were going to do an extensive
8 procedure on the fetus, then we would treat the fetus directly.
9 But most of the things we are doing are transfusions into the
10 umbilical cord or else we are doing a sampling of a fluid
11 cavity which would involve a 22-gauge needle, which is a
12 smaller needle than you would have your blood drawn with in a
13 laboratory and a smaller needle than you would have your IV
14 started in your arm. In those situations we do not even need
15 the size of the mother's skin.
16 Q. But there are some cases where you give anesthesia to the
17 mother with the intent that it will reach the fetus, is that
18 right?
19 A. The reason for giving medication is predominantly for
20 maternal reasons. But a secondary benefit would be that the
21 fetus would experience some of that medication exposure as
22 well.
23 Q. Now, Doctor, you told us earlier that you have on occasion
24 performed an abortion when the fetus was living before the
25 procedure started, correct?

1 A. Correct.
2 Q. You have done that in cases where the fetus was not yet
3 viable, correct?
4 A. Correct.
5 Q. In those situations the primary concern of the physician is
6 finding the safest and most expeditious manner to separate the
7 mother from the fetus and the placenta, right?
8 A. Correct.
9 Q. You said that you have never experienced a clinical
10 situation in which the partial-birth abortion procedure has
11 ever been necessary, right?
12 A. That is correct.
13 Q. You have told us that you don't perform D&E's after 20
14 weeks, correct?
15 A. No. I stated that I haven't done them, I didn't say that I
16 wouldn't do them.
17 Q. If a patient comes to you and chooses to have a D&E after
18 20 weeks, would you refer that patient to another physician?
19 A. It would depend upon her clinical stability. If it was a
20 patient where we felt that D&E was the safest and most
21 expeditious manner to deliver her and she was otherwise
22 unstable, then I would do the procedure myself.
23 Q. And in some cases you would refer the patient to another
24 doctor, correct?
25 A. Yes. In a situation that there is no maternal concerns,

1 medical concerns, meaning predominantly in the case of an
2 abnormal fetus, then we typically would refer that patient out,
3 unless we needed specific pathology information.
4 Q. You told us, Doctor, that you practice in Grand Rapids,
5 Michigan, is that right?
6 A. That is where we are based, yes.
7 Q. Is it fair to say that the population of Grand Rapids is
8 roughly 200,000 people or so?
9 A. I don't know what the population of the city limits are.
10 The city and out lying area is over a million, and our referral
11 area goes as far north as the boundary of Michigan and the
12 upper peninsula, as far west as lake Michigan, as far as east
13 as mid state, as far south as Indiana. How many millions of
14 people that entails, I'm not certain.
15 Q. Would you agree that Grand Rapids with is a smaller city
16 than New York City?
17 A. I think I can grand you that, yes.
18 Q. By many of millions of people, isn't that right?
19 A. I don't know what the population is in New York. But I no,
20 I that our referral area involves several million peoples.
21 Q. And it is true that TKPWRAPBDZ rapids is a STPHAERL city
22 than Chicago, STPHAEUT?
23 A. TKPWRAPBDZ ran I said as a city is SPHAEURL than Chicago,
24 yes.
25 Q. One of the things that you relied upon in forming your

1 opinion that partial-birth abortion is never required was a
2 query you conducted of some of your colleagues, correct?
3 A. That was a portion of my opinion, yes.
4 Q. You PHREPBGSD that inure your expert report, don't you,
5 Doctor?
6 A. I believe that I did, but I can review it to confirm.
7 Q. You talked to more than a dozen physicians in preparing
8 your SPET report, didn't you?
9 A. I talked to them in preparation for all of my many times I
10 have testified on this issue. I didn't necessarily requery a
11 number of people just for the purpose of this expert report.
12 Q. So the questionere that you mentioned in your expert
13 report, when did you conduct that?
14 A. That has been done over a number of years since I have been
15 testifying about this issue.
16 Q. You talked to more than a dozen physicians, correct?
17 A. Correct.
18 Q. To your knowledge, none of those physicians was an intact
19 D&E provider, right?
20 A. That is correct.
21 THE COURT: What is an impact D&E, Doctor?
22 MS. WIGMORE: I said intact, Doctor.
23 THE COURT: Intact, oh.
24 Q. That is another word for partial-birth abortion in your
25 view, is that right?

1 A. Yes. I understood that you were asking me do I know
2 providers that do partial-birth abortions and have I K-D them
3 about their experience.
4 Q. They were not part of your survey, correct?
5 A. They were not part of my informal survey, no.
6 Q. You said informal survey. You didn't do any kind of random
7 sampling in the survey, did you?
8 A. Surveys are never random SAFRPLGS. But I never made a
9 formal survey of any groups of patients or physicians.
10 Q. Among the physicians you talked to were three physicians
11 from grand rapid, is that right?
12 A. They were some of the number, yes.
13 Q. You also talked to two from the University of Cincinnati,
14 correct?
15 A. Two that are currently at the University of Cincinnati but
16 weren't necessarily there when I first discussed the issue with
17 them.
18 Q. You also talked to four individuals from the Louisville
19 Kentucky yea, correct?
20 A. Yes, some of which may still be there, some of which may
21 not.
22 Q. You talked to a couple of physicians from North Carolina,
23 is that right?
24 A. If you are talking about Dr. Bowes and Dr. THORP, they are
25 currently still associated with North Carolina.

1 Q. You mentioned Dr. Bowes. Dr. Watson Bowes, is that who you
2 are referring to?
3 A. I am assuming, when you say North Carolina, those would be
4 two of the individuals I would have talked to about this.
5 Another is Dr. Mike McMahon, who is not Dr. Jim McMahon in
6 California. But he is no longer currently living, unTPORBLT.
7 Q. So Dr. Watson Bowes was one of the people that you talked
8 to in undertaking this query of other physicians about
9 partial-birth abortion, right?
10 A. Yes. There are a number of people we would talk to and say
11 have you ever seen a situation where you can imagine having to
12 do a procedure like this, and universally the response was no,
13 I can't think of a situation. In fact, when I was initially
14 involved in this situation, many people approached me and said,
15 why are you doing this? This doesn't happen, does it? Where
16 is this happening? Questioning along those lines. That is
17 what oftentimes led to our conversations.
18 Q. By universally, are you referring to --
19 THE COURT: Excuse me you one minute. When you say
20 this doesn't happen, you mean partial-birth abortion?
21 THE WITNESS: Yes. When this first started coming to
22 light, many physicians that I know in academic medicine and
23 maternal fetal medicine couldn't imagine that somebody was
24 doing this. So they were under the impression that it wasn't
25 occurring. So they would ask me questions like, who's doing

1 this, where is this happening, why are they doing it? That is
2 what oftentimes led to these questions.
3 THE COURT: Next question.
4 Q. By "universal I," are you talking about the more than dozen
5 people you talked to?
6 A. Yes, that is what I am referring to, the people that I
7 actually spoke with.
8 Q. Watson Bowes, he is another individual who is designated as
9 a government expert in this case, correct?
10 A. I am not aware of all the people that were designated as
11 experts in this case.
12 Q. You don't know if he was?
13 A. I know they has given testimony in other trials. I don't
14 know if he gave testimony in this trial or not.
15 Q. Do you know if he gave testimony in California?
16 A. I believe that he did. I know that he did in Nebraska, I
17 believe. But I was not present for any of the court
18 proceedings in any of those trials except for the days that I
19 gave testimony. I haven't reviewed any transcripts.
20 Unfortunately, I have a quite busy life outside of this case,
21 so I haven't kept as KHROE a track as I am sure you have.
22 Q. Dr. Cook, in performing your query, did you talk to any
23 physicians from Columbia?
24 A. I don't believe that I did.
25 Q. Did you talk to any physicians from Cornell?

1 A. I don't believe that I did.
2 Q. You claim that partial-birth abortion is never medically
3 necessary to preserve the health of the woman, is that correct?
4 A. That is correct.
5 Q. When you say a procedure is medically unnecessary, you
6 don't mean that it is by definition unsafe, do you?
7 A. Sometimes I do.
8 Q. But not always, isn't that right?
9 A. No. When I say medically unnecessary i mean that it either
10 doesn't add anything to our ability to care for complicated
11 pregnancies: Either it doesn't make the procedure easier to
12 do, doesn't make it less risky to do, doesn't make it more
13 convenient or cheaper to do. If it doesn't meet at least one
14 of those criteria, then I consider it unnecessary.
15 Q. It is true, isn't it, that a procedure could be safe but
16 not medically necessary?
17 A. Yes, I believe that you could have a procedure that is
18 redundant or doesn't add anything new and could still be
19 potentially safe. But if it adds nothing further, then it
20 would be unnecessary.
21 Q. It is your view, isn't it, that in and of itself lack of
22 medical necessity doesn't indicate that a procedure should be
23 band?
24 A. No, it doesn't need to be band just because it is redundant
25 if a procedure is redundant. My concerns about the banning of

1 this procedure include not just the complication risk and not
2 just the inhumane treatment of the fetus, but the fact that it
3 doesn't serve any purpose and, more important, may cause harm.
4 So that is why I have always summarized that opinion as never
5 medically necessary.
6 Q. Doctor, isn't it true that reasonable physicians can
7 disagree about whether a given treatment is medically
8 necessary?
9 A. Yes.
10 MS. WOLSTEIN: Objection.
11 THE COURT: What is the objection?
12 MS. WOLSTEIN: Reasonable physicians. I am not sure
13 what we are talking about.
14 THE COURT: Why don't you rephrase the question.
15 Q. Doctor, isn't it true that physicians can disagree about
16 whether a given treatment is medically necessary?
17 A. Yes. When I have responded to your initial question, I
18 think I answered both reasonable and unreasonable physicians
19 can disagree. But yes, physicians can disagree.
20 Q. You also said that is why some patients seek second
21 opinions, didn't you?
22 A. I did say that before, yes.
23 Q. You have performed D&E, as you told us, a few times, is
24 that right?
25 A. That is correct.

1 Q. And you can't say that a D&E is ever the only option
2 available in a given scenario, can you?
3 A. I am not sure I can say it is ever the only option
4 available.
5 Q. It is true, isn't it, that there are some circumstances in
6 which D&E may be the best option?
7 A. Yes, that is possible.
8 Q. Your most common method of abortion in your practice is
9 induction, right?
10 A. That is correct.
11 Q. But you would agree, wouldn't you, that there may be
12 circumstances in which D&E would be the preferred method for
13 termination?
14 A. That is possible, yes.
15 Q. Is it true that one of the reasons you prefer induction
16 over D&E is your view that induction can be done had in a
17 controlled and monitored fashion?
18 A. There are many reasons I prefer induction in our patient
19 population.
20 Q. Is that one of them, Doctor?
21 A. That may be one of them, yes, especially in a mother with a
22 severe preexisting medical condition.
23 Q. Isn't it true, though, that D&E could also be done in a
24 controlled and monitored fashion?
25 A. If a D&E was performed in the same scenario, the same

1 hospital setting, with the same critical care support people at
2 a gestational age at which D&E is safely performed or could be
3 safely performed, then yes, it is possible it could be
4 performed in a monitored situation.
5 Q. You testified earlier today that labor in an induction
6 procedure lasts 12 hours or less on average, is that correct?
7 A. Yes. I stated that by the current methods used for
8 induction of labor, 12 hours or less is generally considered
9 the average labor to induction interval.
10 Q. Doctor, I believe you have a copy of your expert report in
11 the testimony binder in the front pack. I believe it is on the
12 right-hand side in the pocket folder.
13 A. Yes, I have it.
14 Q. I want to call your attention to page 14 of that report,
15 the second paragraph, last sentence. Didn't you say in your
16 expert report that the average induction can be completed,
17 including the time for preparation for the procedure, in 12 to
18 24 hours?
19 A. Yes, that is including not just the mean average but the
20 two standard deviations, which means liberal they are delivered
21 within 12, two standard deviations being 24 hours. So 12 to 24
22 hours I was trying to give the impression of what 95 percent of
23 the deliveries are going to be included within. I also was
24 trying to include in that cervical preparation, which may be
25 done the night before.

1 Q. So an induction can take at least up to 24 hours, is that
2 right?
3 A. The mean gestational -- the meantime from initiation of
4 induction to delivery is 12 hours or less. If you ask me to
5 include what would be the two standard deviations beyond, the the
6 outer limit thereof, which would include 95 percent of the
7 population, that would go to 24 hours.
8 Q. So there are some inductions that take 24 hours, is that
9 right?
10 A. There are some that may take 24 hours and there are some
11 that may take less than 12.
12 Q. Is it true that the process of labor can cause the fetus to
13 die within the uterus during an induction?
14 A. That is possible, yes.
15 Q. That can happen as a result of contractions, can't it?
16 A. Sometimes it is not always clear why exactly that happens.
17 It can happen because of a cord compression. It can happen
18 because of a placental separation. Or it can happen because of
19 contractions in a low-fluid environment. There are a number of
20 reasons why it can happen.
21 Q. Doctor, you have testified that inif he can is more common
22 for surgical abortions than for inductions, is that right?
23 A. I am not sure that is what my testimony was. I believe we
24 were talking about certain types of complications that we could
25 attempt to avoid in a situation of a medical induction versus a

1 surgical procedure.
2 Q. Do you believe there is any increased risk of infection in
3 a D&E versus an induction?
4 A. I believe that the more dilated the cervix is, the greater
5 the risk for infection.
6 Q. Is it true that D&E's are typically TPHEFRPLD an operating
7 room setting?
8 A. You would have to define what you mean by operating room
9 setting. Most of the ones that I am aware of take place in an
10 off site clinic with no access to anesthesia, main operating
11 Suite, critical care units, blood banking, or even antibiotics
12 by intravenous administration.
13 Q. How many clinics that perform D&E's have you visited
14 Doctor?
15 A. I am referring specifically to the clinic that I refer
16 patients to in addition to descriptions of the settings that
17 Dr. McMahon was operating in. Specifically, those were the
18 ones that we initial reviewed their data.
19 Q. Are surgical procedures typically performed under sterile
20 conditions?
21 A. Some surgical procedures are done in sterile situations, in
22 some what we call clean situations, depending upon what portion
23 of the body you are operating on.
24 Q. Induction abortions are typically performed in the labor
25 ward, is that right?

1 A. Inductions are typically performed in the labor ward, where
2 we have on-site anesthesia and critical care equipment and
3 critical care support people.
4 Q. Do you agree that placenta previa is a situation where you
5 would potentially need to avoid labor?
6 A. Yes, that would be a situation where labor would not be
7 optimal.
8 Q. The incidence of placenta previa can be as high as 5
9 percent in midtrimester pregnancies, correct?
10 A. That can be correct. However, that also includes those
11 previas that are just partial in nature and just cover a
12 portion of the cervix.
13 Q. Is it your view that a prior classical Cesarean section
14 scar, which you discussed this morning, is not an absolute
15 contraindication to an induction abortion?
16 A. Yes, it is not an absolute contraindication. It is a
17 relative contraindication.
18 Q. You agree that the prior classical Cesarean scar increases
19 the risk of uterine rupture, don't you?
20 A. It does carry auate run rupture rate as hey as 5 to 10
21 percent, yes.
22 Q. So that means if a woman has such a scar and undergoes
23 labor, she has a 5 to 10 percent of her uterus rupturing, is
24 that right?
25 A. Yes. If that would happen, then we have the operating

1 Suite on the labor and delivery unit, the anesthesia support
2 people are there. It requires basically a variant of a
3 Cesarean delivery. Rarely requires hysterectomy, usually just
4 requires oversewing of the defect in the AOUPB rin wall.
5 Q. You just sew it back up?
6 A. Yes, just like a Cesarean incision.
7 Q. Isn't it true that you have been sued for malpractice?
8 A. I have.
9 Q. Were you sued for malpractice in a case related to uterine
10 rupture, Doctor?
11 A. In a case relating to uterine rupture I was a fact witness,
12 I was not a defendant.
13 Q. Is it correct that you have seen more than one case of uate
14 run rupture in your experience as a physician?
15 A. That is correct.
16 Q. How many?
17 A. How many uterine ruptures?
18 Q. Yes.
19 A. That becomes difficult, because there is a uterine
20 dehiscence where you have just separation of the scar in the
21 uterus, and that is a common finding even at time of a repeat
22 Cesarean in an unlabored situation. So if you strictly define
23 uterine rupture to mean, as an editor of a journal would define
24 it, that you have not only a disruption in the uterine muscle
25 but extrusion of some fetal part or placenta through that

1 defect, then that is a much less common finding. But yes, I
2 have seen several cases of that.
3 Q. Uterine rupture can be fatal, can't it.
4 A. Yes, it can be. I have never seen a case of that, but it
5 certainly could be.
6 Q. Did you testify as an expert witness in a case involving a
7 woman who suffered a fatal uterine rupture?
8 A. I don't believe I testified in a case of a fatal uterine
9 rupture, no.
10 Q. You are familiar with retained placenta, aren't you?
11 A. I am.
12 Q. That occurs in at least 10 percent of induction abortions,
13 is that right?
14 A. No, I would not say that is correct. It may occur in 5 to
15 10 percent. I am not sure that it is more than that. And it
16 seems to be less than that with the newer use of
17 prostaglandins, specifically misoprostol.
18 Q. Sometimes in an induction abortion it takes up to two hours
19 for the placenta to deliver on its own, is that correct?
20 A. Yes, it can take up to two hours. That is the vast
21 minority of cases, in my experience.
22 Q. Sometimes you need to use instruments to remove the
23 retained placenta, correct?
24 A. Again, in the minority of cases, when they have failed our
25 other medical managements, then we would remove the placenta

1 just like we would in a D&E procedure.
2 Q. Is it true that on two or three occasions you have been
3 involved in an abortion where an attempt at induction failed
4 and the procedure was convert to do a D&E?
5 A. Yes. Those are cases predom inability where I was the
6 University of Louisville where other physicians had been
7 attempting to induce the labor in the era before we had the
8 newer prostaglandins that we use now, and I was asked to assist
9 with their surgical procedure to empty the uterus thereafter.
10 Most of those times involved me providing the ultras
11 ultrasoundguidance for the procedure.
12 Q. You are aware of cases in which the fetus was partially
13 expelled during the induction but the induction could not be
14 completed without instruments, correct?
15 A. I am not sure that is correct. I have been asked to assist
16 or else I have experienced myself a situation where you are
17 doing an induction and the baby is delivered with the exception
18 of the after-coming head, and I have been asked to assist in
19 completion of the deliveries thereafter. The cases where I
20 have had to use forceps on after-coming head have been cases
21 where they have been post-viable and were trying to extract the
22 about apy in a rapid fashion because of the compression on the
23 umbilical cord.
24 Q. Do you agree that in some instances the fetus's head can
25 get stuck in the cervix during an induction abortion?

page 108

1 A. Yes, that is possible.
2 Q. Is it your view that in that situation, if it is a
3 nonviable fetus, the first preference would be to simply wait?
4 A. Yes. If it is a baby where there are no fetal interests so
5 there is no need for immediate delivery because of compression
6 of the cord, then I would suggest, when asked, that you
7 immediately just wait, because the baby has the ability to
8 survive if it is still living at that point for only a few
9 minutes with complete cord compression. Oftentimes with
10 spontaneous loss of life of the fetus and continued
11 contractions, the remainder of the head will deliver
12 spontaneously, generally within an hour.
13 Q. Is it your view that if you wait for the fetus to die, that
14 the skull bones might collapse spontaneously?
15 A. Yes. One of the findings in obstetrics called a SPALDing's
16 sign is a sign of overlapping sutures, which means overlapping
17 bone fragments. That happens after a baby has no longer been
18 living.
19 Q. One of the things you might do if the fetal head gets stuck
20 in the cervix is to wait for the fetus's skull to collapse by
21 itself?
22 A. No, I am not waiting for the cull to collapse. I am
23 waiting for the baby to deliver spontaneously between the
24 contractions the mother is having and the baby no longer
25 living? That can take several minutes, can't it, Doctor.

1 A. Yes. If we felt there was a situation where a mother was
2 unstable, then we make different steps. But in a stable mother
3 we would just wait initially in order to minimize the
4 invasiveness necessary to the mother.
5 Q. It is fair to say, isn't it, that the patients you treat
6 are typically women in medically compromised situations?
7 A. No. My practice is pretty evenly divided between women
8 that have preexisting medical conditions, pregnancies where
9 there are fetal abnormalities, and pregnancies that develop
10 obstetrical complications later in the course of the pregnancy.
11 Q. Didn't you say earlier, Doctor, that you would only be
12 involved in performing the abortion if the mother was medically
13 compromised?
14 A. Well, if you are talking about a pre-viable TWAEUGS, yes, I
15 would only be doing it in a situation where the mother had some
16 medical KPROPLGS. In a post-viable situation we are doing it
17 regularly in a situation that we feel the pregnancy would be
18 better served to be ended from either a fetal indication or a
19 maternal indication.
20 Q. Let's focus on the pre-viable situation where you have
21 begun an induction but the fetus's head gets stuck in the
22 cervix. You have told us that one thing you will do is wait
23 for several minutes to see if it works out, is that right?
24 A. I would say we wait for several minutes for the uterine
25 contractions to continue and the baby to pass. It is not a

1 completely passive approach. It is allowing a natural process
2 to ensue or continue.
3 Q. Have you referred to it as benign neglect, Doctor?
4 A. It is benign neglect on my part, meaning I am not doing
5 anything to the mom directly that could potentially harm her.
6 It doesn't mean that she is not doing something or that her
7 uterus isn't TKOEUPB \{^}ing in\{^}ning}.
8 Q. Doctor, do you tell the woman before the procedure that
9 this is how you are going to proceed if the fetus's head gets
10 stuck?
11 A. We do discuss with the patient, depending upon if it is
12 pre-viable or post-viable, how we are going to manage the
13 delivery if there is any problem with the after-coming head.
14 Q. Let's focus on pre-viable again. Do you tell the mother
15 before the procedure that it is possible that while she is
16 lying there in her medically compromised state, the fetus might
17 get stuck in her cervix? Do you tell her that?
18 A. I'm not sure we tell her like that. We tell her that there
19 is a possibility if she does not completely deliver the baby or
20 the placenta, that we might have to do some additional
21 procedures to help continue that process.
22 Q. Do you tell her that one of those procedures is to wait?
23 A. No. I generally tell her that it may include doing other
24 surgical procedures, like suction removal of the placenta. She
25 already understands that she is going to be contracting,

1 because we have an extensive discussion about what it means to
2 have a medical induction. So I generally don't feel the need
3 to say that she is going to have contractions and potentially
4 more contractions.
5 Q. Do you tell her that one of the options she has is to have
6 an intact D&E?
7 A. No, we do not.
8 Q. Is it true that another thing you might do if the fetal
9 head gets stuck in the cervix is to provide nitroglycerin to
10 the woman?
11 A. Depending upon the clinical situation, that is one of the
12 many options we have.
13 Q. But nitroglycerin can cause a woman's blood pressure to
14 drop, isn't that right?
15 A. That is why you have to be a physician to administer it.
16 But we don't give it in a situation where a woman has low blood
17 pressure concerns. Most people tolerate nitroglycerin very
18 well even those with the complications we take care of.
19 Q. But you can't give it to every patient, can you, Doctor?
20 A. No, you cannot give it to every patient, particularly in
21 our practice.
22 Q. Are you familiar with Williams obstetrics?
23 A. I am.
24 Q. You agree, don't you, that Williams Obstetrics is a
25 reliable source of information in the field of obstetrics?

1 A. Having written book chapters in the past, there are
2 frequently editorial errors in textbooks, so I do consider it a
3 source. But I cannot necessarily attest to the reliability of
4 all portions of the textbook because of human error issues.
5 Q. Have you ever testified under oath, Doctor, that Williams
6 obstetrics is considered to be a reliable source of information
7 in the field of obstetrics?
8 A. I usually try to qualify when asked that it is a source,
9 and the reliability may be subject to human error because of
10 the way textbooks are created. If I --
11 Q. Are you --
12 A. I'm sorry.
13 THE COURT: Please let him finish.
14 Q. I'm sorry. I thought you were finished. Please continue.
15 A. If I don't give that longer definition of what I mean by a
16 reliable source, then I may, yes, agree to just it's a reliable
17 source.
18 Q. Are you aware that Williams obstetrics states that the
19 efficacy of nitroglycerin for fetal head entrapment has not
20 been substantiated?
21 A. No, I am not aware of that.
22 Q. Another option that you would consider when the fetal head
23 is trapped in the cervix would be to cut the cervix, is that
24 right?
25 A. Yes. But going back to Williams obstetrics, we do use

1 nitroglycerin in that setting as described in other research
2 trials in other clinical series. So this is a modality that we
3 did not come up with on our own and is evidently used in lots
4 of places besides our location. But I would be happy to review
5 the Williams obstetrics reference to try to put it into
6 clinical context, if that is desired by counsel.
7 Now you can put the other question.
8 THE COURT: Would you like to show it to the witness?
9 MS. WIGMORE: Perhaps his own counsel will give him
10 the opportunity to do that, your Honor.
11 THE COURT: You are using it. I thought maybe you
12 would like to have the witness complain.
13 MS. WIGMORE: If you would like me to, I would be
14 happy to.
15 THE COURT: I didn't say that. I thought perhaps you
16 might wish to.
17 MS. WIGMORE: Let's do that. May I approach, your
18 Honor?
19 THE COURT: You may.
20 Q. Doctor, I have handed you what is the cover page and an
21 excerpt from Williams obstetrics, and I want to direct your
22 attention to page 525. Do you see the heading "Entrapment of
23 the after-coming head"?
24 THE COURT: What is the date on this volume of the
25 text?

1 MS. WIGMORE: 21st edition --
2 THE WITNESS: 2001.
3 MS. WIGMORE: The 2001. Thank you, Dr. Cook.
4 (Continued on next page) 4/16/04 Judge Casey take 2 cross
examination of cook by
5 Wigmore.
6 BY MS. WIGMORE:
7 Q. Do you have the section I referred you to, Doctor?
8 A. I do.
9 Q. Let me refer you to the second paragraph of that section.
10 If you could just read that, please?
11 A. The second paragraph?
12 Q. Yes.
13 A. Some advocate the use of intravenous nitroglycerin in
14 doses of 50 to 100 micrograms to effect rapid uterine
15 relaxation. Its use has been reported to be of benefit for
16 intrapartum external version of a second twin replacement of
17 uterine inversion and removal of a retained PHRAGS TA.
18 And then there are several references of how it
19 relaxes the uterus and allows you to do those procedures.
20 It then says. Its use for a trapped aftercoming fetal
21 head with breech delivery has been suggested by Meyer and
22 weeks, and then there is a reference, by robe inand associates,
23 and then there is a reference.
24 And then it says, nitroglycerin, however, directly
25 relaxes smooth muscle which constitutes only 15 percent of the

1 cervix. Then they give a reference for their 15 percent of the
2 cervix. It goes its efficacy for fetal head entrapment has not
3 been substantiated.
4 Q. Thank you, doctor?
5 A. Would you like interpretation of that what THAOEPBZ?
6 Q. KUBG proceed that on re?
7 THE COURT: If you want to finish your answer, Doctor,
8 go right ahead.
9 THE WITNESS: What it means is there is reasonable
10 clinical evidence as cited by other studies to suggest how it's
11 effective in relaxing the uterus and allowing delivery to occur
12 in other situations where we need to maintain an open cervix
13 such as removing a placenta or replacing what we call uterine
14 inversion where the uterus falls out through the cervix and you
15 have to keep the cervix relaxed and open so you can
16 push the
17 uterus back up inside and through the cervix.
18 What it states is its suggestion, for those reasons,
19 have also been made by at least two sets of investigators for
20 relaxation of the fetal head with a breech delivery.
21 They then make their point that they think there is a
22 limited amount of smooth muscle in the cervix and they list a
23 single reference.
24 Then they say its efI can a is I has not been
25 substance SHAEULTed which SKWEPBLLy means they have not been

1 prove in a clinical trial or any randomized settling but there
2 certainly is extensive medical support for at least considering
3 its use.
4 Q. Doctor, is it fair to say that you make use of medical
5 procedures whose effectiveness has not been substantiated in
6 clinical trials?
7 A. Well its efficacy has not been substantiated. I don't see
8 anything about its safety -BLG questioned.
9 Q. Now you were talking about another method you might use
10 when the fetal head is stuck in the cervix, and that is KWUTing
11 the cervix, is that right?
12 A. Yes. This same reference refers to TK*UR son incisions in
13 that same context, I believe just the paragraph above, it goes,
14 if on occasion in small preterm fetuses the incompletely
15 dilated cervix will not allow delivery of the aftercoming head,
16 then with gentle traction on the fetal body the cervix or at
17 times the back of the baby's head can be manually slipped over
18 and then they talk about a maneuver, I Brock maneuver may be
19 tried.
20 It goes if these action maze not be successful then
21 TK*URson incisions can be made knit cervix.
22 And then after that they discuss the KPWRUS of night
23 RO glycerin.
24 Q. Dr. Cook, isn't it true that the TK*UR son incision that
25 the trauma to the mother may be appreciable?

1 A. No, that's not true.
2 Q. May I approach the witness, your Honor?
3 THE COURT: You may.
4 Q. What I have handed you Doctor is yet another excerpt from
5 the 21st edition of Williams obstetrics and I would like to
6 call your attention to page 513 and specifically to the first
7 full paragraph on the reasoned column and in that paragraph
8 I want to direct your attention to the -- actually let's just
9 read a paragraph.
10 Q. Could you read that paragraph that I just referred you to,
11 Doctor?
12 A. Beginning with the phrase with a preterm fetus?
13 Q. Yes. And if you could just read up to the word 27 weeks
14 when you get there.
15 A. Okay.
16 "with a preterm fetus, the disparity between the size
17 of the head, meaning the fetal head, and buttocks is even
18 greater than with a larger fetus. At times, the buttocks and
19 lower extremities of a preterm fetus will pass through the
20 cervix and be delivered and yet the cervix will not dilate
21 adequately for the head to escape without trauma.
22 And they give a reference.
23 Then they said: "in this SAEURBG stance, TK*UR SO*PBZ
24 incisions of the cervix may be attempted.
25 Then it says, even so -- meaning despite those

1 incisions -- trauma to the fetus and mother may be appreciable
2 and fetal hypoxia may prove harmful. Then they give a
3 reference -- I'm sorry, then they end that sentence.
4 Then it says Robertson and colleagues observed no
5 significant difference in the incidence of head entrapment by
6 mode of delivery for breech infants at 28 do 36 or 24 to 27
7 weeks.
8 Q. Thank you, Doctor?
9 A. So there is nothing in that paragraph about TK*UR son
10 incisions causing appreciable trauma.
11 Q. Excuse me, Doctor, but if you could refer back to the
12 sentence beginning in this circumstance?
13 A. You're simply misreading the paragraph, it goes --
14 Q. Doctor?
15 THE COURT: Let please let the witness finish don't
16 argue where him.
17 THE WITNESS: It is a simple misreading of the
18 paragraph.
19 It says in this circumstance, TK*UR son incisions of
20 the cervix may be attempted.
21 Then it goes, even so, meaning so, despite that effort
22 ebb, trauma to the fetus and mother may be appreciable and
23 fetal hypoxia may prove harmful. This is all in relation to
24 the trapped aftercoming head. That by no means is making a
25 comment on did you remember son's incisions.

1 It is saying even if you do those incisions trauma to
2 the fetus and mother may be appreciable.
3 If it wasn't saying that then how is a TK*UR son
4 incision causing trauma to a fetus.
5 Q. Thank you, Doctor.
6 Now, it's true, isn't it that these discussions of
7 TK*UR son's incisions in Williams obstetrics are referring to
8 live births? WOL KWOL name objection.
9 THE WITNESS: My understanding is the --
10 THE COURT: Just a minute, what is the objection.
11 MS. WOLSTEIN: She has several pages here, if we can
12 read enough to have him determine that then that's feign.
13 MS. WIGMORE: Your Honor, the witness seems adept at
14 interpreting.
15 THE COURT: Please, ladies.
16 Read the whole section, Doctor, then we went have this
17 dispute.
18 THE WITNESS: Well what I was going to say is.
19 MR. HUT: I.
20 MS. WIGMORE: I will withdraw the question.
21 THE COURT: Let him finish it, you started it. You
22 can't pick and choose, Ms. Wigmore.
23 MS. WIGMORE: I'm hammy to have him answer, your
24 Honor.
25 THE COURT: I'm sure you.

1 A. In the section I was asked to review it is only preferenced
2 as preterm fetus it doesn't say if the fetus is alive or not
3 alive.
4 BY MS. WIGMORE:
5 Q. Let's talk about a TK*UR sons incision and what it is. It
6 is true that this is an incision in the cervix, correct?
7 A. It is a surgical incision in the lower portion of the
8 cervix.
9 Q. And it can involve one to three incisions in the woman's
10 cervix, right?
11 A. It can.
12 In my clinical situations that I have encountered I
13 have never TPOUS it necessary to do more than one and it is
14 very rare that we have to use it at all.
15 Q. You said before that you make from one to three incisions?
16 A. I was describing how the procedure it done.
17 Q. Now, an incision in a TK*UR son's incision can be up to two
18 centimeters in length, is that right?
19 A. Generally it just involves the very lower portion of the
20 cervix and that's generally a son 0 meter incision, could be
21 more depending on how much relaxation you need.
22 Q. And you cut all the way through the completely effaced
23 cervix, don't you?
24 A. Well that's -- that is not exactly correct in that the
25 completely effaced cervix means just the lower portion of the

1 cervix.
2 Had a cervix is eface it had means when it is thinned
3 out completely WH-FPLT a cervix then returns to its normal
4 usual uneffaced position, then it is not the full length of the
5 cervix, it's just the lower portion of the cervix.
6 Q. And you usually use scissors to cut the cervix, don't you,
7 doctor?
8 A. Y, we usually use scissors that so that we don't risk
9 trauma to the baby's head when making the incision.
10 Q. Well I'm talking to you about an abortion, Doctor. In that
11 situation there is no risk of trauma to the baby's head, is
12 there?
13 A. I'm talking about preterm deliveries of babies where their
14 heads are stuck and some of those situations we are decidedly
15 trying to preserve the fetus as well.
16 Q. Which is a reason you might want to did a TK*UR son's
17 incision, right?
18 A. No. A TK*UR sons incision is in order to complete the
19 delivery.
20 The manner in which I do a TK*UR son's incision may
21 have to take into consideration the fetus.
22 Q. And, the TK*UR sons incision can be made with scissors, do
23 you disagree with that?
24 A. No, I do not.
25 Q. And do you tell the woman before you perform an induction

1 abortion that you may end up slicing into her cervix with
2 scissors?
3 A. No. We tell a woman when we are having to do a procedure
4 like that what the procedure entails and what we are going to
5 do.
6 In some something that occurs so rarely we don't
7 usually discuss that in all induction as head of time.
8 If the issue comes up, which it does rarely, at that
9 point we discuss that a TK*UR son incision may be required and
10 what that means.
11 Q. When you say when it comes up, do you mean as she is lying
12 there way fetus stuck in her cervix, that's what you tell her?
13 THE FOREPERSON: That's the situation that's exactly
14 when we tell her.
15 A did you remember son's incision is nor more an
16 extensive incision that we make with a normal epeas KWROLTY
17 which we also don't normally discuss unless we are in a
18 situation where we have to do abepeas KWROT my because most
19 times with rible to STKPA.
20 Q. So you don't tell the woman before the induction that you
21 might cut her cervix, right?
22 A. Generally, no.
23 Q. And do you always tell her at the time at which you are
24 going to start doing it during the induction? Do you tell her
25 before you do?

1 A. Yes, we do.
2 Q. And you tell her you are going to cut one to two cents
3 meters into her SER EUFPL?
4 A. I say we are going to make a small incision are around the
5 baby's head where the SER sheriff is make Holding the baby's
6 helped you to allow completion of the deliver.
7 Q. Do you use the word incision?
8 A. I use the word cut or incision or whatever we generally
9 what say what we are talking about that. We say make an
10 incision with scissors.
11 Q. And do you tell her that another option at that point would
12 be an intact D&E?
13 A. I do not.
14 It generally wouldn't be well received by my patients
15 in that setting to say we are going to suck out the baby's
16 brains.
17 Q. Well, how do you know whether it's well testified if you
18 tell her you are going to slice up her cervix, Doctor. Because
19 we generally had extensive discussions prior to this about her
20 desire to maintain pregnancy or her concerns for her baby.
21 Part of that process, which is an extensive process flew our
22 office, is having them meet with a perinatal social worker and
23 aggrieving a briefment person which is mandatory for those
24 types of patients.
25 In that process they are told that there will be every

1 effort made to be able to deliver an intact baby to them and,
2 if possible, to deliver a live born baby to them even if the
3 baby won't survive, so they can optimize their ability to bond
4 and hold their baby and if we sucked out the babe it's's brains
5 and collapsed their skull and the face of the baby, I don't
6 think that would be well received after we promised then the
7 opportunity to be abled to bond with their child.
8 Q. Doctor, have you ever seen a fetus that was subject to an
9 intact D&E?
10 A. I have seen children whose skull bones are collapsed many
11 times.
12 Q. Thank you, but my question was, have you ever seen a fetus
13 that was subject to an intact D&E?
14 A. Fortunately I have not.
15 Q. And it's a fact that before you perform an induction you do
16 not tell the woman that you might cut her cervix, is that
17 right?
18 A. We discuss with them all of the portions of the induction
19 that are critical elements to performing the induction.
20 We also discuss with her those which are the most
21 common complications that they may encounter.
22 We don't generally discuss with them every possible
23 complication that's possibly conceivable, especially those in
24 which they are very rare in occurrence and especially those
25 that can be treated with variations of the normal delivery

1 process.
2 In a normal delivery process the cervix often times
3 experience a small laceration to allow delivery of the baby's
4 head and it's basically a SREURiation on what frequently occurs
5 as a result of many normal deliveries.
6 Q. In cases where labor is in addition visable, would you
7 recommend a hister rot O my over an intact D&E?
8 A. I would have to know the specific cases you are referring
9 to, but if it's a situation where labor is intolerable because
10 of a severe medical condition, then I would strongly recommend
11 consideration for a controlled surgical procedure over doing
12 something as untested and dangerous as an intact D&E procedure,
13 particularly in a patient who already has medical compromise.
14 Q. Is it your view that a his rot my is safer than D&E between
15 22 and 24 weeks?
16 A. If you are asking me in the situation of a patient with a
17 complex medical condition that does not allow her to undergo a
18 child labor, then I am testifying here that a hysterotomy or
19 caesarian delivery and controlled abdominal surgery is a safer
20 procedure for her than an unproven, untested potentially
21 dangerous procedure like D&X.
22 Q. Let's just talk about D&E, Doctor.
23 In a 22 to 24 week abortion which you view hister rot
24 my as a safer approach than D&E?
25 A. I made no such statement.

1 MS. WOLSTEIN: Objection.
2 Q. You don't ma UFRPBLGTS I don't believe I made the statement
3 that hysterotomy between 22 and 24 in universally applied
4 situations taking all comers is a safer procedure than D&E. P-
5 If you would like to call TPAOEU to a sub population
6 then I would be happy to answer that.
7 Q. Okay, let's take a woman with placenta previa.
8 A. Okay.
9 Q. In that situation, would you recommend hysterotomy over
10 D&E?
11 A. Absolutely, without question.
12 Q. Isn't it true that the mortality rates for hysterotomy are
13 much higher than those for D&E?
14 A. That is not a blankly true statement. You have to look at
15 comparing similar case scenarios.
16 If you used hysterotomy as your primary source of
17 abortion in otherwise healthy mothers and healthy babies then
18 you would have a higher complication risk are hysterotomy.
19 If you applied hysterotomy to the situation where
20 vaginal delivery is contraindicated, meaning absolutely
21 contraindicated like placenta previa, then there would be no
22 question in any reasonable physician's mind that I know of that
23 hysterotomy would be safer.
24 Q. So if the physician disagreed with that he would be
25 unreasonable, Doctor?

1 A. He would be in contra distinction to everything I have ever
2 seen published or written on the subject of dealing with a
3 placenta previa and effectively emptying the uterus.
4 It is considered something that is taught at the most
5 basic levels of medical education that a placenta previa,
6 complete placenta previa is a contraindication to vaginal
7 delivery. And in fact is considered a contraindication to even
8 doing a vaginal exam. And in fact is taught as a
9 contraindication to even putting a speculum into a woman's
10 vagina.
11 Q. Doctor, hysterotomy is a more complicated procedure than
12 D&E after 18 weeks, isn't it?
13 A. I'm not sure what you mean by complicated.
14 Q. Have you ever said that hysterotomy is more complicated
15 than D&E after 18 weeks?
16 A. Well, again I would be happy to review where I stated that
17 and put it into context, but your context lacks the background
18 for me to answer that.
19 Q. You have described hysterotomy as a last resort option for
20 abortion, haven't you?
21 THE COURT: Do you have that statement?
22 MS. WIGMORE: Sure. Just trying to speed thing along
23 your Honor but I would be happy to pre provide it.
24 Referring to your Missouri deposition, pages 42, Tab
25 5. And I'm starting at line 5

1 "Q after and note note note note and after 18 weeks
2 how do you compare hysterotomy to D&E? Do you think it's more
3 complicated?
4 "A it's difficult to say for certain. I think
5 they're both complicated procedures at that gestational age but
6 probably a hysterotomy is the more complicated procedure."
7 Were you asked that question and did you give that
8 answer?
9 A. I was asked the question, as I understood it, in the
10 context of all comers, not subsets of otherwise clinically
11 medically compromised mothers in the setting of a healthy
12 mother and a healthy baby then I say that that statement is
13 very accurate.
14 Q. And hysterotomy is a last resort option for abortion, isn't
15 it?
16 A. I think I've stated here that it would never be your first
17 choice in a healthy baby and a healthy mother.
18 Unfortunately those aren't the patients that I care
19 for and often times in our situations, particularly with a
20 situation like a placenta previa or even more so of placenta
21 accreta, hysterotomy is not only the preferred option, it is
22 the only option.
23 Q. Have you referred to it as a last resort?
24 A. I may have referred to it as an option that is not our
25 first option and it is an option that is used based upon the

1 clinical situation in the setting of placenta previa it is not
2 the last resort, it is the first option.
3 Q. Hysterotomy is, it involves abdominal surgery, doesn't it,
4 Doctor?
5 A. Yes. Hysterotomy is basically a caesarian delivery.
6 Q. But in distinction from a SO*EUS at full term the uterus is
7 in a different condition in a midtrimester hysterotomy, isn't
8 it?
9 A. I rarely perform a caesarean delivery at term because of
10 what I do.
11 Most of the caesareans we do are performed
12 prematurely.
13 Q. And I am focusing your attention for the moment on a
14 midtrimester abortion by hysterotomy. Would you agree that if
15 a hysterotomy is performed at midtrimester the uterus would be
16 in a different condition than it would be at a full-term?
17 A. It would be in the same condition of most of the uteruses
18 that I operate on. Most of the deliveries that we have to do
19 caesarean deliveries done are done on premature babies, meaning
20 that the uterus is thicker walled, less thinned out, frequently
21 does not allow a low transversion PWAUPZ, the low he segment is
22 not thinned out enough.
23 So HEUFT rot Mt. Is almost very similar if not almost
24 the same as many of the caesarean delievery that I and many
25 other maternal fetal medicine people have to perform.

1 Q. My question though Doctor was taking a full term caesarian
2 section, taking the uterus at that stage versus the uterus at
3 the stage of a midtrimester hysterotomy, you would agree that
4 there are differences, wouldn't you?
5 A. The differences are minor and not substantive.
6 Q. Well it's true, isn't it, that with a hysterotomy at
7 midtrimester you would most likely have to perform a vertical
8 incision, right?
9 A. That is correct.
10 Q. And would you agree that a vertical incision has
11 implications for the woman's ability to have vaginal deliveries
12 in the future, wouldn't you?
13 A. As does a low transverse, that's why I said it doesn't
14 differ substantively. It is still an incision in the anterior
15 wall of the uterus, it still involves delivery of a baby
16 through the anterior wall of the uterus, it still involves an
17 abdominal incision on the PHORBLG. Still involves the same
18 risk for bleeding an infection. Still involves the same
19 recovery risk.
20 Q. You don't think there is any difference in risk in a
21 subsequent labor for a vertical incision versus a tranverse
22 incision?
23 A. I don't believe I ever stated that. I said I --
24 Q. I'm asking you now do you think there is a difference?
25 A. There is a difference in what would be a subsequent risk

1 for uterine rupture in a low transverse incision versus say
2 vertical incision, yes.
3 Q. And it's true, isn't it that the vertical incision has a
4 much higher risk of uterine invicinities than the transSHER
5 in?
6 A. Less than 10 percent but it is higher than that for a low
7 transverse incision.
8 Q. Now you claim that partial-birth abortion involves the use
9 of an excessive number of laminaria, is that right?
10 A. Laminaria or dilators. I can't remember what I've stated.
11 Q. But you told us that you've never been present at a
12 partial-birth abortion, right?
13 A. That is correct. That is based upon the testimonies that I
14 reviewed of people who describe their procedures in great
15 detail.
16 Q. That's Dr. Haas KET and Dr. McMahon?
17 A. That is correct.
18 Q. And those are from 1992 and 1995?
19 A. I'm not certain of the dates of the two but I'm sure that's
20 about when it was, early to mid-'the 0s.
21 Q. When you watched the Haskell video, that video didn't
22 address the preparation of the cervix, did it?
23 A. At least the portion that I reviewed, I don't believe that
24 it did.
25 Q. You are not aware of any studies, are you, assessing

1 whether the use of osmotic dilators in the second trimester
2 abortion increases the risk of cervical incompetence in
3 subsequent pregnancies?
4 A. Actually this has been suggested for decades as a concern
5 and there are some smaller data sets that do suggest that those
6 people that have second trimester terminations with greater
7 amount of cervical TKHRAEUBGS are at higher risk for preterm
8 delivery. That's even been attested to by people who are well
9 known researchers in the area of abortion.
10 Q. Doctor, are you aware of any study of the impact of osmotic
11 dilators in second trimester abortions?
12 A. I believe that they exist, yes.
13 Q. Could you refer, please, to tab 2, your testimony from the
14 Nebraska trial? Page 1442?
15 A. I'm sorry which tab is that?
16 Q. Tab 2.
17 A. And the page number one more time?
18 Q. 1442 and let me get you the number, there are numbers on
19 the bottom, I will get that for you as well. It goes from page
20 172 to 173 on the bottom.
21 And I want to direct your attention to line 18:
22 "Q I don't remember what question it was in response
23 to, is that your belief that there is no study of the impact of
24 osmotic dilators in second trimester abortions, Doctor?
25 "A I don't know that there is any study specifically

1 looking at the issue of osmotic dilators. There are studies
2 looking at the issue of having had a procedure or not having
3 had a procedure, having had multiple procedures and having had
4 later procedures."
5 Were you asked that question and did you give that
6 answer, Doctor?
7 MS. WOLSTEIN: Objection.
8 THE COURT: What's the objection?
9 MS. WOLSTEIN: I don't think it's proper impeachment,
10 it's not.
11 MS. WIGMORE: It's totally inconsistent with what he
12 said, your Honor, he said there is no --
13 THE COURT: Please. I will allow the answer to the
14 question.
15 THE WITNESS: I don't know if I can state that the
16 studies that I'm aware of specifically limit the subject
17 population to only those dilated by osmotic dilators. I was
18 trying to be very precise in that response. I understood your
19 question to imply the idea of the cervical dilation and
20 overdilation as was the preference to the questions.
21 If you are asking me not looking at the issue of
22 dilation but only looking at how they dilated, there may be
23 studies about that, there may not be. I cannot directly give
24 you a study where I know that every subject was dilated only by
25 osmotic dilators.

1 I know that that is still the commonly used method so
2 I would say there probably do exist studies that have looked at
3 the issue of having second trimester terminations in increased
4 risk for later poor pregnancy outcomes, meaning preterm
5 delivery, where either osmotic dilators were used completely,
6 solely or at least predominantly.
7 But I don't don't know that was ever the subject
8 matter only of any study that I reviewed, meaning osmotic
9 dilator ORZ being the subject matter.
10 I was looking more at the issue of cervical dilation
11 and overdilation being my area of concern.
12 Q. Thank you, Doctor.
13 Isn't true that other things being equal, you would
14 need more cervical dilation to perform an induction abortion
15 than you would need to perform what you would call a
16 partial-birth abortion?
17 A. Well, again, the issue is not just how much dilation you
18 eventually achieve, it's also how you get to that amount of
19 dilation.
20 If that dilation occurs as a result of normal uterine
21 activity, then you achieve more dilation when you deliver a
22 normal baby at term than you do with any of these procedures.
23 However, that's not my concern.
24 My concern is doing something artificially to the SER
25 SR*EUFL SREUFL that requires five to six centimeters of

1 dilation more than I have known people to use for a regular D&E
2 procedure, certainly more than is used for a first trimester
3 pregnancy termination procedure.
4 It is that issue that concerns me and there is data to
5 support that that is a reasonable concern.
6 MS. WIGMORE: Your Honor, I would request that the
7 witness be instructed to answer the questions put to him.
8 This was done with witnesses for our side, he did not
9 answer my question. He has been giving speeches I know you
10 don't want me to interrupt him but there has to be some level
11 of control here and I ask that he be so instructed.
12 THE COURT: Ma'am, overruled. And your analysis is
13 not accurate. You've asked and you got your answer you just,
14 whenever you don't like the answer you decide it's not
15 responsive to you.
16 MS. WIGMORE: Well I respectfully disagree, your
17 Honor.
18 THE COURT: Next question.
19 BY MS. WIGMORE:
20 Q. Well let me just ask this question because I don't believe
21 I got an answer, sir.
22 Isn't it true that all things being equal, you would
23 need more cervical dilation to perform an induction abortion
24 than a partial-birth abortion? Yes or no?
25 A. No.

1 Q. In a partial-birth abortion there is a step of reducing the
2 size of the fetal head, right?
3 A. Correct.
4 Q. And that's not typically done in an induction, is it?
5 A. Correct.
6 Q. And it's your opinion that induction involves dilation of
7 the cervix through a natural and physiological process, is that
8 right?
9 A. That is correct. It uses uterine contractions to cause
10 cervical change.
11 Q. But isn't it true, Doctor, that you typically use
12 prostaglandins to help dilate the cervix for an induction?
13 A. No. We use PROZ prostaglandin to induce uterine
14 contractions which then subsequently dilate the cervix.
15 Q. So the prostaglandins initiate the process whereby the
16 contractions occur, is that correct?
17 A. That is correct.
18 Q. And the uterus doesn't simply contract on its own before
19 the prostaglandins are at it, is that right?
20 A. Generally, no.
21 Q. And that's why it's called an induction, right?
22 A. You are catching on.
23 Q. Thank you, sir.
24 Now external chemical agents are used as part of the
25 induction process, correct?

1 A. External chemical agents?
2 Q. Yes.
3 A. I'm not sure what you mean by that terminology.
4 Q. The prostaglandins, are they chemical agents?
5 A. I guess we consider them pharmaceutical agents.
6 Q. They don't come from the mother, they come perfect a farm
7 soot KWAL company, right?
8 A. Yes. They're exogenous agents ORLG agents outside the
9 mother.
10 Q. Isn't it true that you have never personally cared for a
11 patient with cervical incompetence that you believed was
12 directly caused by a partial-birth abortion?
13 A. I have not provided direct care to such a patient. I have
14 been contacted by such a patient.
15 Q. You have seen numerous patients with cervical incompetence,
16 haven't you?
17 A. I have.
18 Q. And it's true, isn't it, that there is no way of knowing
19 for sure what is the cause of cervical incompetence?
20 A. No, that is not true.
21 Q. For women who experienced cervical incompetence after
22 mid-trimester abortions, is there any way of knowing what the
23 cause of the cervical incompetence was for sure?
24 A. Well if I'm understanding your question, there are known
25 risk factors for cervical incompetence. Part of our treatment

1 of a patient with a history of possible cervical incompetence
2 is to see if we can elicit a his rethat would be consistent
3 with that.
4 The reason that is is important is because it has to
5 do with how we treat her with subsequent pregnancy. Not
6 everyone who deliers prematurely had cervical incompetence.
7 Some had premature onset of labor.
8 So, if we place a stitch in a cervix and a patient
9 only had preterm labor, it won't be beneficial.
10 So it's apparent that we -- or it's imperative that we
11 find out why I patient had a pregnancy loss and to the best we
12 can throughout if it seems STKPWHREUFRPBLGTS figure out if it
13 seems to be related to known risk factors. Pregnancy
14 terminations are known risk factors.
15 If we had a patient who had a history of prior
16 pregnancy loss that had been preceded by pregnancy
17 terminations, typically two or more first trimester procedures
18 or one or more second trimester procedures, we would be much
19 more likely to want to place a cervical cerclage in that
20 patient to prevent preterm delivery with subsequent pregnancy.
21 Q. Would you agree, Doctor, that if a patient had premature
22 rupture of membranes in one pregnancy that patient would be at
23 a greater risk to have premature delivery in a subsequent
24 pregnancy?
25 A. Generally that's the case, however it depends why they had

1 premature ruptured membranes.
2 We have patients frequently who they're -- who
3 experience early ruptured membranes because they had vaginal
4 bleeding from something called a sub chorionic hematoma, or a
5 blood clot underneath the bag of water. That's felt not to be
6 a recurring risk factor.
7 We have people that have water that breaks prematurely
8 because of external abdominal trauma, like in a car accident.
9 That hopefully is also a non-recurring risk factor.
10 But if we KW-PB identify the risk factor, that
11 population probably had a a higher risk with subsequent
12 populations.
13 Q. Is it true that you have seen cases of cervical
14 incompetence where you cannot identify a preexisting risk
15 factor?
16 A. That is true.
17 Q. Now, in forming your opinion you cited two articles in your
18 expert report examining the risks of cervical incompetence, is
19 that correct?
20 A. That's not correct.
21 Q. You did not list two articles in your report about cervical
22 incompetence?
23 A. I listed three articles and I listed those articles in
24 support of the whole expert report. I don't remember
25 stipulating that I felt these articles were in support of

page 140

1 cervical incompetence alone.
2 Q. Now, one of the articles that you cite in your report is an
3 article by ah due, did I pro PHOUPBS that correctly?
4 THE COURT: Do you accept that, ma'am? Are you
5 misreading what the report says.
6 MS. WIGMORE: I think there was a misenergyings I
7 wasn't suggesting there were only two.
8 THE COURT: Sure sounded like it to me.
9 MS. WIGMORE: There are three articles cited in your
10 report --
11 THE COURT: And you also limited it to the ones
12 subject. I hope you wouldn't mislead.
13 MS. WIGMORE: I don't believe I was.
14 THE COURT: Sure SHOUPBDed like it.
15 A. It the third article in your report, Doctor, does that
16 relate specifically to the risks of cervical incompetence from
17 abortions?
18 And let me give you a precise cite?
19 A. I don't recall which order I listsd articles.
20 THE COURT: Show him the roar and ask him.
21 MS. WIGMORE: I'm doing that, your Honor.
22 If you will look at the report, it is page 15?
23 A. I have it.
24 Q. Page SA, beginning at number 5 there are three articles
25 listed, the first is an article by Henryet, correct?

1 A. Yes beings number article number 5.
2 Q. Yes?
3 A. The first SARL by Henry AET.
4 Q. And the second is ah due how do you pronounce that?
5 A. That's my understanding STKPWH-FPLT.
6 Q. And then the third article is by KHA*EUPB?
7 A. KH*EZ.
8 Q. That article, my understanding at HROEFT but correct me if
9 I am wrong, that SARL doesn't address the specific issue of
10 cervical incompetence caused by or allegedly caused by
11 abortion, am I wrong about that, Doctor?
12 A. You are not WROBG wrong about that but none of the articles
13 were supporting cervical incompetence, they were supposed to
14 support increased risk for preterm delivery with subsequent
15 pregnancy.
16 Q. And is it true that with respect to that issue you relied
17 on articles five and six in forming your opinion?
18 A. Well what I did was list articles that I thought were
19 supportive and also consistent with that testimony.
20 Q. Let's talk about the article by A*U due.
21 Isn't it true that that article does not stand for the
22 proposition that you originally thought it did?
23 A. Yes, that is true.
24 Q. The ah due article defines midtrimester abortions to
25 include any delivery before 24 weeks of pregnancy, correct?

1 A. Yes. The article includes a smaller number of induced
2 abortions than I had originally KWRRD since they kept using
3 terminology mid-term or mid-trimester abortion to include also
4 spontaneous losses.
5 So, in the further review of that article, it doesn't
6 shed a lot more light on THEURB but it certainly doesn't
7 contraindicate anything in the expert report.
8 Q. Now it's true that only seven of the 141 women in the adew
9 study had induced abortions of any kind, is that right?
10 A. Yes. I believe I stated it was a very small number and
11 essentially was not informative for the purposes of this
12 report.
13 Q. And those abortions were D&C abortions, correct?
14 A. That is my understanding, yes.
15 Q. So that article doesn't tell us anything about the
16 relationship between partial-birth abortion and subsequent
17 problems in pregnancy, right?
18 A. Yes. I believe I have stated now several times I consider
19 it now noninformative but not contradictory to anything I have
20 stated in the report.
21 Q. Let's talk about the --
22 THE COURT: Is this a convenient file, Ms. Wigmore, to
23 take our afternoon break?
24 MS. WIGMORE: Certainly, your Honor.
25 (recess).

1 THE COURT: You may inquire, counsel.
2 MS. WIGMORE: Thank you, your Honor.
3 Dr. KWAOBG, we were talking before the break about
4 some of the articles referenced in your expert report. You
5 mentioned that the Henry AET STUT KWREUDZ was another one you
6 relied on in forming your opinions, is that correct?
7 A. That is correct.
8 Q. And that article, isn't it true that the data set that
9 formed the basis for that study included predominantly first
10 trimester pregnancy terminations?
11 A. Yes.
12 Q. Are you familiar with mechanical dilation?
13 A. Yes.
14 Q. Is mechanical dilation used in the first try most STPHER?
15 A. Yes.
16 Q. Are you familiar with a study by Robb in Kalish and others
17 entitled impact of midtrimester dilation and evacuation on
18 subsequent pregnancy outcome?
19 A. I believe I have seen it, yes.
20 Q. You were shown that article during your testimony in
21 Nebraska, is that right?
22 A. I don't recall. I may have been.
23 Q. And the objective that study was to eSRAPL indicate
24 obstetric follow up from second trimester D&E procedures,
25 correct?

page 144

1 A. I wouldn't be able to answer very accurately without seeing
2 the article in front of me as you ask me questions if that's
3 possible.
4 MS. WIGMORE: Your Honor, may I approach.
5 THE COURT: Show the witness the article.
6 THE WITNESS: Thank you.
7 Q. Dr. Cook I have marked -- I have handed but what's been
8 marked Plaintiff's Exhibit 55, have you seen this document
9 before?
10 A. I believe that very, yes.
11 Q. Is this the Kalish study we were just speaking about about?
12 A. Yes.
13 Q. And if you could refer, please, to the reasoned column on
14 the first page, second paragraph, that paragraph reads. P few
15 studies have specifically addressed future obstetric outcomes
16 after midtrimester abortions by D&E. The objective of the
17 current study is to evaluate obstetric followup from second
18 trimester D&E procedures performed at the New York
19 Weill-Cornell medical center.
20 Does that refresh your memory about what this study
21 addressed, Doctor?
22 A. Yes.
23 Q. Doesn't this study conclude that there was a significant
24 negative correlation between pre-operative cervical dilation
25 and spontaneous preterm birth?

1 A. No, I don't recall that being the primary objective of the
2 study.
3 I understood the objective of the study was to see
4 what the risk factor was for subsequent pregnancy loss.
5 Q. Was that a finding that the authors made, Doctor?
6 A. Can you refer me to where you are talking in this article?
7 Q. Certainly. If you could refer to what's marked as page
8 884, I believe it's the third page of the article in the first
9 column, second paragraph, would you just read that paragraph
10 for us, please?
11 A. Starting gestational age?
12 Q. Yes.
13 A. Gestational age at D&E and pre-operative cervical were
14 significantly correlated.
15 Patients at more advanced gestational ages at the time
16 of D&E had greater dilation achieved pre-operatively there was
17 significant negative correlation between pre-operative cervical
18 dilation and spontaneous pre TP&RPL birth. In patients who had
19 subsequent preterm delivery there was a trend toward less
20 pre-operative cervical dilation compared with patients
21 delivered after 37 weeks.
22 Q. Thank you, Doctor.
23 And did the authors of this study also find that
24 second trimester D&E at later gestational ages appears to be
25 associated with a low are risk of preterm birth and subsequent

page 146

1 pregnancies compared with D&E per TP-RPLD at earlier
2 gestational ages?
3 THE COURT: May I have that questioned read again,
4 please?
5 (record read).
6 THE WITNESS: No, I don't believe it has established
7 that with this article.
8 Q. Can I refer you, Doctor, to the same page we were just
9 looking at, 884, and to the right hand column, second full
10 paragraph beginning, "an unexpected," could you read that
11 sentence for us, please?
12 A. Sure.
13 "an unexpected finding was that second trimester D&E
14 at later gestational ages appears to be associated with a lower
15 risk of preterm birth in subsequent pregnancy compared with D&E
16 performed at earlier gestational ages."
17 Q. Thank you.
18 Dr. Cock, in the induction abortion procedures you
19 have performed, have you ever used digoxin or KCL to induce
20 fetal demise?
21 A. Very not.
22 Q. And you have always considered that unnecessary, haven't
23 you?
24 A. Yes, I have considered that unnecessary.
25 MS. WIGMORE: Thank you, I have no further questions

1 at this time.
2 THE COURT: Counsel, any redirect?
3 MS. WOLSTEIN: No, your Honor.
4 THE COURT: Pardon?
5 MS. WOLSTEIN: No redirect, your Honor.
6 THE COURT: No redirect.
7 All right, Doctor, you may step down.
8 THE WITNESS: Thank you.
9 THE COURT: Ms. Gowan, do you have any other
10 witnesses?
11 MS. GOWAN: No, your Honor. At this time we would
12 like to move into evidence certain of the exhibits that the
13 government has marked in this case.
14 THE COURT: All right.
15 MS. GOWAN: Your Honor, almost all of these exhibits,
16 the admissibility has been agreed to by the other side side but
17 there are a few that has not.
18 What I would like to do is just go through the
19 exhibits in order and then as we have reached the ones that
20 have been objected to we will state our basis for an admission
21 and I will note the objection by counsel.
22 The government moves the admission of Exhibit E 2,
23 which is a document entitled the role of receive no centesis in
24 modern obstetrics, Stephen Chasen is an author of the article.
25 Plaintiffs have objected to the admissibility on the ground of

page 148

1 relevance.
2 The government submits that the article sin deed
3 relevant, it deals with hydrocephalous and the importance of
4 cephalo centesis which is a proper procedure to drain fluid
5 from the head, head RO cephalous certainly has been much
6 discussed here at trial, as has receiveal although centesis?
7 THE COURT: Do the plaintiffs wish to argue this or
8 just note their objection and move on.
9 MR. HUT: We will note our objection, your Honor, and
10 note that there is much in the article if the government wants
11 to proffer certain portions of it that's fine, but to admit the
12 whole thing goes beyond what is fairly relevant.
13 THE COURT: I think being a non-jury situation I don't
14 have a problem with that.
15 It will be received.
16 (Government's Exhibit
17 MS. GOWAN: The government moves the admission of
18 Exhibit J-8, which is a clinical training curriculum in
19 abortion practice copyrighted by the national abortion
20 federation 1995.
21 Plaintiffs object to this on the grounds of hearsay
22 and relevance.
23 The government asserts that this is an admission
24 pursuant to Rule 801 D 2A and B by plaintiff National Abortion
25 Federation.

1 THE COURT: Mr. Hut?
2 MR. HUT: We have the same relevance objection, your
3 Honor. It is also hearsay. The fact that it was copyrighted
4 by NAF is without probative value. The authors are people who
5 are not NAF.
6 MS. GOWAN: If I just may add.
7 THE COURT: It will be received.
8 (Government's Exhibit
9 MS. GOWAN: What I would like to do is just read, very
10 briefly, a few facts that appear in Government Exhibit J-8 in
11 evidence.
12 THE COURT: Very well.
13 MS. GOWAN: On page 73 under the section D&E
14 procedure, item B, "many clinicians believe that late D&E
15 (greater than 20 weeks) is more easily and safely performed by
16 inducing fetal demise one or two days before the procedure.
17 This may be done with ultrasound guided injection of intrafetal
18 digoxin or potassium chloride."
19 Reading from page 85 of Government Exhibit in evidence
20 J-8 the page is titled management of abortion complications,
21 Second 1RBGS immediate complications less than 24 hours from
22 beginning of abortion. A, bleeding. 2 -- that's item 2,
23 incidence: 0.3-1 percent.
24 Reading from page 91 of Government Exhibit J-8 in
25 evidence under the section delayed complications (24 hours to

page 150

1 four weeks) A2. "retained products of conception A, incidence
2 equal 0.5 to 1 percent."
3 The government moves the admission of.
4 MR. HUT: Excuse me, your Honor. Had we known, had we
5 known that which specific exhibits were going to be offered
6 over objection we would have reviewed the specific exhibits in
7 advance of today's trial session in order to designate possibly
8 specific components that need to be read into the record in the
9 interest of completeness pursuant to Rule 106. We did not have
10 the opportunity, I would like to reserve that right.
11 THE COURT: Whoa, whoa, whoa. WRAEUPLT.
12 You knew these were marked as exhibits, were going to
13 be offered by the government. You noted your objection.
14 Presumably some place you note you had your objections.
15 MR. HUT: We have noted our objections, your Honor.
16 THE COURT: We aren't doing this a second time, so.
17 MR. HUT: The question is completeness, your Honor .
18 We did not -- there are 273 exhibits marked by the government.
19 They're not all going to be offered here and I don't think it
20 is incumbent on the plaintiffs to have reviewed all 273.
21 THE COURT: You don't?
22 MR. HUT: I do not, to be prepared to designate.
23 THE COURT: Well I don't know where you tried your
24 cases before but if they're listed as exhibits I sure as heck
25 would have looked at the exhibits.

1 And if you looked at them sufficiently to object to
2 them then you must have had a reason.
3 MR. HUT: We do have reasons and we have stated the
4 reasons, your Honor. We did not counter designate completeness
5 portion you but we women do it since they are going to be
6 admitted ewill could it on our rebuttal case.
7 THE COURT: We will see if there is a rebuttal case.
8 You will TE tell me what it is that you wish to offer and we
9 will see if it qualifies as rebut we will decide that.
10 I hope you let me know soon if you desire to do such a
11 thing.
12 MR. HUT: We reserved the right last Friday, your
13 Honor.
14 THE COURT: I know you reserved it, Mr. Hut.
15 MR. HUT: We are certainly going to let you know this
16 afternoon as soon as Ms. Gowan finishes there are housekeeping
17 matters the plaintiffs wish to take up. I think the government
18 does as well.
19 THE COURT: Fine.
20 MS. GOWAN: The government moves moves the admission
21 of Government Exhibit K-4, National Abortion Federation
22 clinical policy guidelines for 1997. No objection interposed
23 by plaintiffs.
24 MR. HUT: No objection asserted here.
25 MS. GOWAN: Your Honor, should I move O on, is it

page 152

1 received.
2 THE COURT: Yes, it is received. There is no
3 objection, it is received. If you want to hear the words, yes,
4 it is received.
5 (Government's
6 Exhibit
7 MS. GOWAN: Government Exhibit K-National Abortion
8 Federation clin cam policy guidelines 1998 no objection
9 pretrial order.
10 MR. HUT: No objection.
11 THE COURT: Received.
12 (Government's Exhibit
13 MS. GOWAN: Government moves admission of clinical
14 policy guidelines for NAF 1999 Government Exhibit K-6 no
15 objection in the retrial order.
16 THE COURT: It will be received.
17 MS. GOWAN: Government moves admission of NAF clinical
18 policy TKPWHRAOEUPBZ 2000, Government Exhibit K-7, no objection
19 in the pretrial order.
20 THE COURT: It will be received.
21 (Government's Exhibit
22 BY MS
23 MS. GOWAN: Government moves the admission of Avenue
24 clinical policy TKPWHRAOEUPBZ 2001 Exhibit K-8 no objection in
25 the pretrial order.

1 THE COURT: It will be received.
2 MS. GOWAN: Government PHOFRBZ the admission of NAF
3 clinical policy guidelines 2002 Government Exhibit 11, no
4 objection in the pretrial order.
5 MR. HUT: I'm sorry, what number?
6 MS. GOWAN: L.
7 MR. HUT: You said 11, L.
8 MS. GOWAN: Government Exhibit L.
9 THE COURT: It will be received.
10 (Government's Exhibit
11 MS. GOWAN: Government move the admission of National
12 Abortion Federation 2003 clinical policy guidelines, Government
13 Exhibit L-1. No objection in the pretrial order.
14 THE COURT: It will be received. Government Exhibit
15 Government Exhibit.
16 MS. GOWAN: I would like to very briefly read R* read
17 a few items into the record from Government Exhibit L-1 in
18 evidence.
19 THE COURT: Go right ahead.
20 THE COURT: How many exhibits are you putting in this
21 afternoon, offering in evidence?
22 MS. GOWAN: I think your Honor I just have a couple
23 more.
24 THE COURT: Fine. I just wanted to have a general
25 idea.

1 MS. GOWAN: Reading from page 3 little I, National
2 Abortion Federation 2003 clinical policy guidelines
3 introduction. "like its pre cursors the 2003 edition of NAF's
4 clinical policy guidelines establishes clinical policy
5 guidelines which are developed by consensus based on rigorous
6 review of the relevant medical literature and known patient
7 outcomes.
8 These guidelines are intended to provide a bases for
9 ongoing quality assurance, help reduce unnecessary care and
10 costs, help protect providers in malpractice suits, provide
11 ongoing medical education and encouraged research."
12 Referring to --
13 MR. HUT: Excuse me, your Honor. If Ms. Gowan is
14 moving on here there is a portion that I would like to read
15 from the same page as a matter of completeness under Rule 106.
16 THE COURT: Go right ahead.
17 MR. HUT: Thank you. There are at the bottom of the
18 page the following definitions enumerated 1. Standards are
19 intended to be applied rigidly. They must be followed in
20 virtually all cases. Exceptions will be rare and difficult to
21 justify.
22 2. Recommendations are steering in nature. They do
23 not have the force of the standards but when not adhered to
24 there should be documented rational clinical justification.
25 They allow some latitude in kin call management.

1 3. Options are neutral with respect to treatment
2 choice. They nearly note the different interventions are
3 available and different people make different choices. They
4 may contribute to the educational process and they require no
5 justification.
6 MS. GOWAN: Page 15 which is entitled second trimester
7 abortion by D&E, page 16, which is the second trimester
8 abortion by D&E section.
9 "option. 0.02. "in second trimester abortions
10 intraamniotic or intrafetal injection may be given." footnote
11 nine "digoxin 0.5-2 MG, see reference one, KCL 0.5 CCs of 10
12 percent solution to a maximum of 2 CCs. See reference four or
13 hypersome O HRAR ewe RAOEa. See reference six."
14 Page 19, the title is second trimester abortion by
15 medical induction. "policy statement. Medical induction is a
16 safe and effective method for termination of pregnancies beyond
17 the first trimester. Footnot. For the purposes of these
18 TKPWHRAEUPBs second trimester begins at 15 weeks' LMP with
19 citation."
20 Reading back to the text. In appropriate clinical
21 settings by trained clinicians as gestational ages increase,
22 complication and risks increase.."
23 Reading from page 21, which is still.
24 MR. HUT: Your Honor, may I in the interest of
25 completeness, read some portions in from that page?

1 THE COURT: Go right ahead.
2 MR. HUT: Option 0.01. A patient with low lying
3 placenta confirmed by sonogram and prior uterine scarring may
4 be evaluated for placenta previa. See reference 3. Standard,
5 3. Patient comfort level during the abortion procedure must be
6 considered.
7 MS. GOWAN: Note for the record that that does not
8 complete in any way -- withdrawn.
9 THE COURT: If you want to complete something go right
10 ahead. The exhibit is in evidence.
11 MS. GOWAN: That's fine, your Honor.
12 THE COURT: Go right ahead. I will hear from it later
13 anyway but go ahead if you want to complete it in this oral
14 presentation.
15 MS. GOWAN: I shall move on, your Honor, thank you.
16 THE COURT: No, seriously. I mean, go ahead. It's
17 Friday afternoon I am amenable to such things. That Grown you
18 heard before was Barney and not myself.
19 MS. GOWAN: Page 20, option 0.02. "in second
20 trimester abortions intraam flee OTic or intrafetal injection
21 may be given" footnote 13. Intraamniotic digoxin 0.5 to 2.0 M
22 G see reference 1, intraamniotic hyper so molar urea, see 7 or
23 fetal intracardiac KCL 0.05 C Cs of 10 percent solution to a
24 maximum of 2 C Cs. See reference 5, are safe, effective
25 regimens.

1 In addition to achieving fetal demise feticidal agent
2 induce softening of fetal core tickle bones and decrease
3 induction to abortion intervals if administered 12 to 24 hours
4 prior to delivery. See reference 2.
5 MR. HUT: Your Honor, from the same page, standard 10,
6 a licensed clinician capable of performing a timely curettage
7 must be available until post abortion discharge.
8 And then there is reference 14, the incidence of
9 retained placenta with midtrimester induction abortion has been
10 reported in 10 to 40 percent of all cases. See reference 2.
11 MS. GOWAN: The government moves the admission of P-6,
12 National Abortion Federation fact sheet on the safety of
13 abortion. Plaintiffs have objected on relevance grounds.
14 Certainly your Honor the safety of abortion procedures has been
15 much at issue in this case. This particular document has a
16 section detailing complications from legal abortion and putting
17 forth rates for infection and perforation and bleeding, all of
18 which have been subjects at this trial.
19 THE COURT: Mr. Hut, do you know this objection?
20 MS. GOWAN: Relevance.
21 THE COURT: It will be received.
22 MR. HUT: We are going to withdraw the objection in
23 any event anyway.
24 THE COURT: Oh you were. I would say it would be a
25 tough one to argue but, in any event, go aheads.

1 It will be received.
2 (Government's Exhibit
3 MS. GOWAN: Thank you, your Honor. I would like to
4 read briefly from the section on complications from legal
5 abortion, which appears on page 1.
6 THE COURT: Go had a head.
7 MS. GOWAN: Although rare, possible complications from
8 a surgical abortion procedure include blood clots accumulating
9 in the uterus requiring another suctioning procedure which
10 occur in less than one percent of cases. Infections, most of
11 which are easily identified and treated if the woman carefully
12 observes followup instructions which occur in less than three
13 percent of cases. A tear in the cervix which may be repaired
14 with stitches which occurs in less than 1 percent of cases.
15 Perforation, a puncture or tear of the wall of the uterus and
16 or other organs which may heal itself or may require surgical
17 repair or rarely hysterotomy which occurs in less than one half
18 of one percent cases.
19 (continued on next page) Take 5.
20 In missed abortion which does not end the pregnancy
21 and requires the abortion to be repeated, which occurs less
22 than of 1 percent of cases.
23 Incomplete abortion, in which tissue from the
24 pregnancy remains in the uterus and requires the abortion to be
25 repeated, which occurs in less than 1 percent of cases;

1 Excessive bleeding caused by failure of the uterus to
2 contract and which may require a blood transfusion, which
3 occurs in less than 1 percent of the cases." SP-P.
4 MR. HUT: From the same page, your Honor, if I may.
5 THE COURT: Yes.
6 MR. HUT: In the first column, "Generally, the earlier
7 the abortion, the less complicated and safer it is."
8 MS. GOWAN: My colleague corrects me. When I was
9 reading about the complications with the need to perforation, I
10 said hysterotomy. The word in the text is "hysterectomy."
11 THE COURT: All right. Would you correct that in the
12 record, Mr. Reporter, please. If that is what it says.
13 Reporter reporter yes, your Honor.
14 THE COURT: So it appears in the correct spot and not
15 any many sentences later.
16 MS. GOWAN: Just to complete Mr. Hut's statement, on
17 page 2 the text reads, "After 16 weeks the different methods
18 carry about the same complication rates."
19 MR. HUT: No, I did not read that. I was reading from
20 the first page. But now in the interests of KHRAOEGS the
21 previous sentence there reads, "Between 13 and 16 weeks the
22 dilation and evacuation D&E procedure is significantly safer
23 and more effective than other second trimester abortion
24 methods."
25 MS. GOWAN: Your Honor, we had marked as exhibits the

1 CD room of the Congressional Record that we had previously
2 submitted to the Court. We had also marked as exhibits the
3 specific portions of the legislative history that we had
4 identified on our Rule 26(a)(1) disclosures previously in this
5 case. Mr. Hut, as he mentioned, has prepared for the Court
6 some binders of the legislative history, and we are still in
7 the process of looking at that, and Mr. Hut and I are in the
8 process of confirming our stipulation for the submission of the
9 records. With the Court's permission, I would like to defer
10 until Monday.
11 THE COURT: Sure. Go ahead.
12 MS. GOWAN: T-B only thing I wanted to bring to the
13 Court's attention was that we had, as your Honor will recall,
14 played an excerpt from Government Exhibit E, which is a tape,
15 and we had played the Haskell presentation for the Court two
16 days ago. I had discussed with plaintiffs' counsel that we
17 would supplies that tape and create a new Government Exhibit E,
18 which just reflects the portions of the tape that were played
19 not Court, which mainly is the introductory statement.
20 THE COURT: Do you want to substitute that, just the
21 part that was played for the Court? Gowan that is exactly
22 right. I did give Mr. Brantley that supplied tape I believe
23 it was yesterday.
24 THE COURT: Fine. I assume you afforded the
25 plaintiffs the opportunity to hear it.

1 MS. GOWAN: I did. I provided them with a copy of the
2 tape as well.
3 MR. HUT: We have no objection, your Honor, provided
4 that the record reflects what is the true and I hope
5 noncontroversial fact that Exhibit E is an excerpt from a tape
6 originally offered called "Second trimester techniques D&E 14
7 to 19 weeks, 20 weeks and beyond, NAF 2 September 13-14, 1992,
8 Dallas,, Texas," which constitutes a set of presentations made
9 at the National Abortion Federation's risk management
10 conference on those dates and which includes presentations by a
11 number of other individuals and other discussions among
12 attendees.
13 THE COURT: I didn't hear any offer of that
14 previously.
15 MR. HUT: Indeed, your Honor, that was the basis in
16 significant part for our objection that this was hearsay, that
17 this was neither --
18 THE COURT: No, no. I ruled on that part of it, if
19 that is what you are talking about.
20 MR. HUT: I understand you did, your Honor. We are
21 not offering this. I just want the record to reflect this so
22 that should it ever be necessary to challenge the ruling on
23 hearsay, the full context of the tape that your Honor initially
24 ruled admissible is clear.
25 THE COURT: Thank you, Mr. Hut.

1 MS. GOWAN: Your Honor, I neglected to move the
2 admission of Government Exhibit L8, which is the National
3 Abortion Federation principles of abortion care curriculum for
4 physicians, assistants, and advanced practice nurses. Again,
5 this is a copyrighted document by NAF [> physicians'
6 assistants?<] and the document reflects that the training
7 modules that make up the document were adapted from NAF's
8 clinical training curriculum abortion practice, which is
9 Government Exhibit J8 in evidence. Plaintiffs had objected to
10 the admission of this document on hearsay and relevance
11 grounds.
12 MR. HUT: We withdraw the hearsay objection, your
13 Honor, because this is a NAF document consistent with the
14 Court's rulings, but we think there are significant parts of
15 this that are not relevant to any claim or defense in this
16 action.
17 THE COURT: I think the Court can deal with it. I
18 will receive it in evide
19 (Plaintiff's Exhibit something received in evidence)
20 MS. GOWAN: As we mentioned a couple of days, your
21 Honor, we will be appearing in the United States Court of
22 Appeals for the Second Circuit on Tuesday for argument on New
23 York Presbyterian's stay application and mandamus petition. In
24 light of that, we would respectfully request that the
25 government not be required to rest at this time and that the

1 record of these proceedings remain open.
2 THE COURT: Do you have a witness for Monday?
3 MS. GOWAN: No, we do not, your Honor. We have no
4 further witnesses to call.
5 THE COURT: I thought Dr. Bowes was coming Monday. I
6 misunderstood.
7 MS. GOWAN: Your Honor, we are not calling Dr. Bowes
8 to testify on our case. I understand that the plaintiffs may
9 seek to introduce some of his deposition testimony on Monday.
10 MR. HUT: That is correct, your Honor. We do so
11 pursuant to what I understand is agreement with the identified
12 designated portions.
13 THE COURT: This would be as rebuttal?
14 MR. HUT: Precisely so, your Honor, yes. And in part
15 because when we initially -- it is completely appropriate
16 rebuttal because it refutes --
17 THE COURT: I will determine that.
18 MR. HUT: Well, that is my proffer.
19 THE COURT: If you will lend me a few prerogatives in
20 this courtroom. You will offer it as rebuttal.
21 MR. HUT: That is our intention. What I was going to
22 also state, however, your Honor, is that the Court may recall
23 that we also designated it as a portion of our case in chief.
24 The government then moved to preclude it along with the
25 designated deposition testimony of Drs. Sprang, I believe, and

1 Dr. Cook. We said in response -- excuse me, and Dr. Lockwood.
2 We said in response, based on the government's assurance that
3 these witnesses were going to testify, that it would be
4 sufficient for us to cross-examine and we need not proffer the
5 deposition testimony. On that basis the Court ruled by
6 memorandum or order endorsed that at this time the defendant's
7 motion to preclude would be denied as moot based on our
8 acceptance of the government's assurances.
9 THE COURT: I remember that.
10 MR. HUT: Now we don't have the witness, and we would
11 like to offer it in rebuttal.
12 THE COURT: You made some mention earlier that you
13 might have some rebuttal case. Is this it?
14 MR. HUT: No. There is perhaps one and perhaps two
15 additional portions. I earlier today -- earlier, we had
16 advised the government that we might call Dr. Jackson in
17 rebuttal, designated as a witness. Earlier today, bearsd on
18 where I thought we stood, I advised Mr. Lane and Ms. Gowan that
19 we did not think we would be calling Dr. Jackson. We now in
20 all candor, your Honor, want to evaluate some of the material
21 in the exhibits that Ms. Gowan has introduced in evidence today
22 to determine finally whether we would wish in fact to call Dr.
23 Jackson. I would not anticipate that the testimony would be
24 long, but we do want to reserve that option to ourselves now.
25 THE COURT: I want to know a proffer of whether or not

1 she qualifies. Is this a lady?
2 MR. HUT: It is.
3 THE COURT: Whether or not she qualifies as rebuttal,
4 legitimate rebuttal. So I would expect, should you decide to
5 call her, you will submit to me by Monday morning a proffer of
6 what she is being offered for.
7 MR. HUT: Very well.
8 THE COURT: I will be happy to reserve on that and we
9 will go from there.
10 MR. HUT: I should add one other potential witness is
11 Dr. Ho well, also designated in the pretrial order. We will
12 likewise make a similar proffer with respect to Dr. Ho well
13 should we elect to want to call him by way of rebuttal.
14 THE COURT: That sounds fine. Half have it to me
15 first thing Monday morning, 9: 30 Monday morning.
16 MR. HUT: Yes, your Honor.
17 THE COURT: I think unfortunately we had no control of
18 this, but our friends across the street will rule on that. Is
19 your appearance Tuesday morning?
20 MS. GOWAN: 10 o'clock, your Honor.
21 THE COURT: I don't know what else to do except why
22 don't we tentatively set to reconvene at 2 o'clock on Tuesday
23 afternoon, and we will see what we know at that time and face
24 whatever the situation is. There is no way any of us can
25 forecast what that is going to be, and I will deal with

1 whatever problems arise depending on what our friends across
2 the street decide.
3 MR. HUT: Your Honor, we had hoped that if the proffer
4 were satisfactory to the Court, to present our rebuttal
5 witnesses on Monday. That was our plan.
6 MS. GOWAN: We don't have any objection to that.
7 THE COURT: All right. Then we have to have some time
8 to review what you have. As far as you know, this will be a
9 transcript and possibly two live witnesses?
10 MR. HUT: Yes, both with testimony that I anticipate,
11 if we present at all, would be very short.
12 THE COURT: With cross-examination, you would believe
13 that -- I realize it is a guesstimate -- you think we would
14 finish Monday afternoon?
15 MR. HUT: Absolutely. I would anticipate each shorter
16 than an hour with cross-examination.
17 THE COURT: Then why don't you submit this Monday
18 morning and I will need some time to review it. Why don't we
19 plan on reconvening at 2 o'clock Monday afternoon, if you think
20 we can do that in that time.
21 MR. HUT: I think we can, your Honor.
22 THE COURT: Why don't we plan on that. Get me the
23 materials, the proffers, and your decision whether you want to
24 call them. You may decide against it. We will see what
25 happens.

1 MR. HUT: Sure.
2 THE COURT: Is there anything else?
3 MR. HUT: There are two other matters, your Honor,
4 that plaintiffs have. It is implicit in your Honor's deferral
5 of proceedings following the rebuttal case to Tuesday
6 afternoon, am I correct in thinking that at that time you will
7 identify the Court's preferences with respect to the timing of
8 the closing arguments?
9 THE COURT: Yes. I just have no idea what the Court
10 of Appeals is going to say. But yes, when I know what the
11 Court of Appeals says, then I will schedule. If, for instance,
12 they say no, I would anticipate we would try and have closing
13 arguments later in the week. You can indicate to me, if you
14 would like, maybe you want to think about it over the weekend,
15 and send that to me Monday morning, too: (a) how much time you
16 think you would need. I assume by now you realize that I think
17 economy of words is important, not to deny you full opportunity
18 to explore your arguments but repetition has absolutely no
19 weight with me at all. I will give you the opportunity to
20 think about how much time you want and whether you would like a
21 day. If they denied records and we went ahead, I would not
22 think we would want to delay more than a day to have the
23 closing arguments.
24 MR. HUT: I my in that event, your Honor, without
25 anticipating anything, beg the Court's indulgence and ask for a

page 168

1 deferral until Thursday. But I certainly would understand if
2 the Court wanted to have it sooner.
3 There is one last item.
4 THE COURT: I am always in every case encourage
5 people, you are much more effective when you do a punchy
6 closing argument than going on forever.
7 MR. HUT: One last matter, your Honor, and that is
8 sometime last week I think it was government counsel raised the
9 question with plaintiffs of perhaps some additional time
10 following the conclusion of closing arguments for submission of
11 proposed findings and conclusions. We are also interested in
12 that possibility. So I rise to raise with the Court the
13 question.
14 THE COURT: I would be amenable to that. Do you want
15 a couple of weeks?
16 MR. HUT: We had thought to request 30 days.
17 THE COURT: How much time are you thinking? Then I
18 will tell you whether you are interthe ballpark or not.
19 MR. HUT: Both government counsel and I thought to ask
20 *R at least ask the Court for 30 days.
21 THE COURT: Is that under the old philosophy ask for
22 30, you will get 15?
23 MR. HUT: I hope not, your Honor. We are very much
24 hoping for 30.
25 THE COURT: Tell you what. I will give you that

1 answer Monday, too. I am liable to become Solomones being over
2 the weekend. It all depends on whether the red skins trade up
3 and steal our draft pick from the Oakland raiders. If they do
4 that, you aren't going to get squat, Mr. Hut, I tell you that
5 right now, not didly. S-
6 MR. HUT: Your Honor, I am a born and bred fan of the
7 New York Giants -FRPLTS I attended every home game.
8 THE COURT: A recent convert.
9 MR. HUT: No, not a convert. I am a born and bred
10 giant fan. I attended every home TKPWA*EUPL game I confess
11 from the age of 8 to age of 17, including, I'm not happy to
12 say, the Sunday following the Kennedy assassination.
13 THE COURT: Not all of your youth was misspent them [>
14 strike all that<] I will give you an answer on that Monday. I
15 certainly will give you some time. You are both on the same
16 page and think you would like 30?
17 MR. HUT: Indeed.
18 MS. GOWAN: That's right, your Honor.
19 THE COURT: I don't want to kill you with it. It is a
20 lot of material. It isn't an easy task. Believe me i am not
21 unappreciative of the task you have with the record you have.
22 I will give you that answer Monday W. that PH-PLD in mind we
23 will see what you deliver to me. I will try to do that
24 quickly. If I think it is appropriate, we will go ahead Monday
25 afternoon. Then we are in the and of our friends across the

page 170

1 street, and we will reconvene, as I mentioned earlier, we will
2 still plan to be together on Tuesday afternoon, and we will
3 decide our schedule then, depending on what we know then.
4 Anything else, good people?
5 MR. HUT: Nothing for the plaintiffs, your Honor.
6 THE COURT: Have a wonderful weekend. Thank you very
7 much.
8 (Adjourned to 2:p.m., April 19, 2004)