

43urnat1

1 UNITED STATES DISTRICT COURT  
1 SOUTHERN DISTRICT OF NEW YORK

2 -----x

2

3 NATIONAL ABORTION FEDERATION,  
3 MARK I. EVANS, M.D.,  
4 CAROLINE WESTHOFF, MD, MSC;  
4 CASSING HAMMOND, MD,  
5 MARC HELLER, MD,  
5 TIMOTHY R.B. JOHNSON, MD,  
6 STEPHEN CHASEN, MD,  
6 GERSON WEISS, MD,  
7 on behalf of themselves and  
7 their patients,

8

8 Plaintiffs,

9 v. 03 Civ. 8695 (RCC)

9

10 JOHN ASHCROFT, in his official  
10 capacity as Attorney General Trial  
11 of the U.S., along with his  
11 officers, agents, servant,  
12 employees, and successors  
12 in office,

13 Defendants.

13 -----x

14 New York, N.Y.

14 March 30, 2004

15 10:00 a.m.

15 Before:

16

16 HON. RICHARD CONWAY CASEY

17 District Judge

17

18

18 APPEARANCES

19

19

20 AMERICAN CIVIL LIBERTIES UNION FOUNDATION

20 Attorneys for Plaintiffs

21 125 Broad Street, 18th floor

21 New York, New York 10004-2400

22 (212) 549-2600

22 BY: JENNIFER DALVEN, ESQ.

23 DIANA KASDAN, ESQ.

23 JULIE STERNBERG, ESQ.

24

25

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat1

1 APPEARANCES (continued)  
2  
3 THE ROGER BALDWIN FOUNDATION OF THE ACLU, INC.  
4 Attorneys for Plaintiffs  
5 180 N. Michigan Avenue, Suite 2300  
6 Chicago, Illinois 60601  
7 (312) 201-9740  
8 BY: LORIE A. CHAITEN, ESQ.  
9  
10  
11 WILMER CUTLER PICKERING LLP  
12 Attorneys for Plaintiffs  
13 2445 M Street, N.W.  
14 Washington, D.C., 20037-14 20  
15 (202) 663-6235  
16 BY: A. STEPHEN HUT, JR.  
17 AMY WIGMORE, ESQ.  
18 KIMBERLY A. PARKER, ESQ.  
19  
20  
21 SHEILA M. GOWAN, ESQ.  
22 Attorneys for Defendants  
23 U.S. Department of Justice  
24 86 Chambers Street, 3rd floor  
25 New York, New York 10007  
(212) 637-2800

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat1

1 (Trial resumed)

2 THE COURT: Good morning. Please be seated.

3 Counsel, before we get started, I am informed that

4 some papers have been served on the Court from an individual I

5 believe whose name is Robert Finnegan, apparently on the face

6 of it seeking to intervene. In any event, if he is in the

7 courtroom, I direct that he serve copies of the papers on all

8 parties. I will try and look at the papers later and see what

9 course of action the Court will take.

10 Other than that, Mr. Hut, you may call your next

11 witness.

12 MS. WIGMORE: Your Honor, this is Ms. Wigmore.

13 THE COURT: Excuse me. I didn't know who would be

14 handling the next witness. Ms. Wigmore, please proceed.

15 MS. WIGMORE: Our next witness will be Dr. Amos

16 Grunebaum.

17 AMOS GRUNEBAUM,

18 called as a witness by the plaintiffs,

19 having been duly sworn, testified as follows:

20 THE CLERK: Please state and spell your full name

21 slowly for the record.

22 THE WITNESS: My full name is Amos Grunebaum.

23 THE CLERK: Please spell it.

24 THE WITNESS: A-M-O-S is my first name, and Grunebaum

25 is my last name, G-R-U-N-E-B-A-U-M.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1

1 THE CLERK: Thank you. Please be seated.

2 MS. WIGMORE: Your Honor, we would propose to proceed  
3 in the same way we did yesterday, by providing exhibit binders  
4 to the witness, to the Court, and to opposing counsel at the  
5 beginning of the testimony. We will then offer exhibits in  
6 evidence as they come about in the course of the testimony. Is  
7 that acceptable?

8 THE COURT: I take it there is no objection again  
9 today from the government, is that correct, Ms. Gowan?

10 MS. GOWAN: Yes, your Honor.

11 THE COURT: We will proceed. That's fine. Will you  
12 hand my copy to one of the law clerks, please.

13 DIRECT EXAMINATION

14 BY MS. WIGMORE:

15 Q. Dr. Grunebaum, what is your occupation?

16 A. I'm an obstetrician and gynecologist and a specialist in  
17 maternal fetal medicine.

18 Q. Where are you currently employed?

19 A. I am currently employed by Weill Cornell Medical College in  
20 Manhattan.

21 Q. Is that affiliated with Cornell University?

22 A. That is correct.

23 Q. Is Weill Cornell Medical College affiliated with any  
24 hospital?

25 A. Yes, it is.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat1 Grunebaum - direct

- 1 Q. Which hospital?  
2 A. New York-Presbyterian Hospital.  
3 Q. Do you practice at New York-Presbyterian Hospital?  
4 A. Yes, I do.  
5 Q. What are your responsibilities at New York-Presbyterian  
6 Hospital?  
7 A. I am the director of clinical maternal fetal medicine.  
8 Q. What is clinical maternal fetal medicine?  
9 A. Maternal fetal medicine is a subspecialty of obstetrics and  
10 gynecology and deals mostly with high-risk pregnancies.  
11 Q. What are your responsibilities as the director of clinical  
12 maternal fetal medicine at New York Presbyterian?  
13 A. My responsibilities are to take care of patients in the  
14 clinic, on the labor delivery floor, and the operating rooms.  
15 I also have responsibilities of teaching other physicians,  
16 attendings, residents, and medical students.  
17 Q. Do you have any administrative responsibilities?  
18 A. Yes, I do.  
19 Q. Could you describe those for us, please.  
20 A. Yes. I develop policies within the department of  
21 obstetrics and gynecology, and I attend meetings, including  
22 patient care committee meetings and patient safety committee  
23 meetings, for example.  
24 Q. What is the patient safety committee?  
25 A. The patient safety committee of the department of

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

- 1 obstetrics and gynecology deals with issues surrounding safety  
2 in our specialty. What they do is they review and make sure  
3 that whatever we do in the department, on the floor, on the  
4 operating room, is safe.  
5 Q. Are you a plaintiff in this case, Dr. Grunebaum?  
6 A. No, I am not.  
7 Q. Do you plan to offer expert opinions in this case?  
8 A. Yes, I do.  
9 Q. Before we get into your opinions, let's learn a little bit  
10 more about you. From where did you obtain your medical degree?  
11 A. I graduated from the University of Cologne in Germany.  
12 Q. In what year?  
13 A. In 1975.  
14 Q. Where were you born?  
15 A. I was born in Haifa, Israel.  
16 Q. Did you reside in Germany at some point?  
17 A. Yes, I did.  
18 Q. During what period of time?  
19 A. From 1953 to 1977.  
20 Q. When did you move to the United States?  
21 A. In 1977.  
22 Q. Have you lived in the United States since then?  
23 A. Yes.  
24 Q. Aside from your medical degree, did you receive any other  
25 medical training?

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat1 Grunebaum - direct

1 A. Yes, I did.

2 Q. Could you please describe that training for us.

3 A. Yes, I can. After I finished medical school, I did a  
4 one-year residency in anatomical pathology in Germany, which  
5 was followed by another year in an anesthesia residency, also  
6 in Germany. And in 1977 I came to New York, where I did  
7 another year of residency in anesthesia in Brooklyn.

8 From 1978 to 1982, I then did a four-year residency in  
9 obstetrics and gynecology, which was immediately followed by a  
10 two-year fellowship in maternal fetal medicine.

11 Q. What is anatomical pathology?

12 A. Anatomical pathology deals with the specialty of tissue and  
13 organs, examining tissue, examining organs, and also performing  
14 autopsies on people who died.

15 Q. You have used the phrase "obstetrics and gynecology." What  
16 does that refer to?

17 A. Obstetrics and gynecology is a specialty which deals  
18 overall with caring for women and specific diseases that are  
19 related to women, including gynecologic diseases, diseases of  
20 the uterus, of the vagina, of the fallopian tube, of the  
21 ovaries. And obstetrics is a specialty which deals with all  
22 issues surrounding pregnancy.

23 Q. Do you currently practice medicine?

24 A. Yes, I do.

25 Q. Do you have any specialties in that practice?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 A. Yes.

2 Q. What are they?

3 A. It is obstetrics and gynecology as well as maternal fetal  
4 medicine.

5 Q. Have you obtained any board certifications?

6 A. Yes, I do.

7 Q. In what areas?

8 A. In obstetrics and gynecology first, and then a subspecialty  
9 board certification in maternal fetal medicine.

10 Q. Do your board certifications in those areas remain current?

11 A. Yes, they are.

12 Q. Do you currently treat patients?

13 A. Yes, I do.

14 Q. In what setting or settings do you treat patients?

15 A. I treat patients in our clinic, which is a suite where we  
16 receive private patients. I also see patients in clinics next  
17 to the hospital, where we see patients, where I see usually  
18 patients who are pregnant.

19 THE COURT: What do you mean by clinic patients?

20 THE WITNESS: Clinic patients as compared to private  
21 patients.

22 THE COURT: What does that mean?

23 THE WITNESS: We have a setting of private patients  
24 where I am the only doctor for that patient, whereas in the  
25 clinic there are several doctors assigned to the same patient.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 Q. Do you see patients in the hospital setting?

2 A. Yes, I do. I see patients on the labor and delivery  
3 floors, I see patients on the antepartum and the post-partum  
4 floors, and I also see patients in the operating rooms.

5 Q. What does "antepartum" mean?

6 A. Antepartum is the period prior to delivery after pregnancy  
7 is diagnosed.

8 Q. What is post-partum?

9 A. Post-partum is the period after delivery.

10 Q. Approximately what percentage of your work involves caring  
11 for patients?

12 A. I would say about 80 percent of the time I purely take care  
13 of patients, and the remainder of the time I do administrative  
14 work.

15 Q. What types of patient care to you provide?

16 A. I provide mostly all care of obstetrics and all levels of  
17 obstetrics and gynecology. Women who are not pregnant, women  
18 who are trying to get pregnant, well women who are already  
19 pregnant, I provide prenatal care for those women. When those  
20 women have complications, they come to the hospital, I see them  
21 in the hospital. And then when they are in labor or they need  
22 surgery for pregnancy-related issues, I perform those  
23 surgeries. I also perform surgeries in the general operating  
24 rooms on women who are either not pregnant or who are in the  
25 early stages of pregnancy.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 Q. What types of surgeries do you perform?

2 A. I perform cone biopsies, I perform D&C's, I perform  
3 hysterectomies, surgeries on the fallopian tubes and the  
4 ovaries. I perform abortions, I perform surgeries called  
5 cerclage, C-E-R-C-L-A-G-E, which is the operative closure of  
6 the cervix in early pregnancy. And I also perform Cesarean  
7 sections, for example.

8 Q. You used the term cone biopsy. What does that refer to?

9 A. The cone biopsy is the excision of part of the cervix in  
10 case it is diseased.

11 Q. You used the term "D&C." What does that refer to?

12 A. A D&C is a procedure which is also called dilatation and  
13 curettage, where the cervix is dilated and the contents of the  
14 uterus are being curetted out.

15 Q. You used the term "hysterectomy." What does that refer to?

16 A. The hysterectomy is the operative removal of the uterus.

17 Q. You mentioned, Doctor, that you have --

18 THE COURT: Just a moment. When you say in the D&C  
19 you remove the contents, what are the contents?

20 THE WITNESS: If a woman is not pregnant, the contents  
21 of the uterus is the lining of the uterus usually. Or if there  
22 is a polyp, you remove the polyp. If the woman is pregnant,  
23 the contents then become different; it is the fetus and the  
24 placenta and the amniotic fluid and also the lining of the  
25 uterus.

43urnat1 Grunebaum - direct

1 THE COURT: If she is not pregnant, what is it that  
2 you remove?

3 THE WITNESS: The lining of the uterus or any diseased  
4 portion inside the uterus.

5 Q. Does that include fibroids?

6 A. I do not do operative removal of fibroids, but they can  
7 clearly be done in a similar procedure, yes.

8 Q. Approximately how many abortions have you performed  
9 throughout your career?

10 A. I started with my medical school in 1968, and attended  
11 abortions throughout my medical school and after I finished  
12 medical school. So it's about 36 years that I have been doing  
13 them, probably about a thousand abortions just as a ballpark.  
14 But it is difficult to be very precise.

15 Q. Of the abortions that you perform in your current practice,  
16 approximately what percentage of those are abortions regarding  
17 wanted pregnancies?

18 A. The majority of the abortions that I perform in my practice  
19 over the last three years are wanted pregnancies, probably well  
20 over 95 percent.

21 Q. What types of abortions have you performed?

22 A. I have performed different kinds of abortions. We just  
23 described a dilatation and curettage, which is the form of  
24 abortion done in the first trimester. That procedure becomes  
25 more dangerous as pregnancy progresses, usually after 12 weeks.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

- 1 At that time the options for abortions at that point are  
2 usually induction abortions or an abortion called D&E,  
3 dilatation and evacuation.  
4 Q. What is an induction abortion?  
5 A. An induction abortion is a type of abortion where labor is  
6 being induced, and after the woman has gone through a period of  
7 labor the cervix dilates and the fetus and the placenta are  
8 being expelled.  
9 Q. Doctor, you have used the term "die-latation,"  
10 "di-latation." What does that refer to?  
11 A. "Dilatation" stands for opening. In the case of the  
12 cervix, it is the opening of the cervix by different means.  
13 Q. Is that ever referred to as "dilation"?  
14 A. Yes.  
15 Q. You mentioned that you perform dilation and evacuation, or  
16 D&E's. What are those procedures?  
17 A. A D&E is a form of abortion which is usually done in the  
18 second trimester of the pregnancy, which usually begins around  
19 13 to 14 weeks of the pregnancy. In a D&E, as the first word  
20 refers, the cervix is being dilated. What we do now days is we  
21 dilate the cervix with small laminaria, we call them, small  
22 sticks about a couple of millimeters thick and probably an inch  
23 or so long, which we insert into the cervix and leave in the  
24 cervix overnight. And sometimes we repeat that procedure the  
25 next day.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat1 Grunebaum - direct

- 1 Q. Are you familiar with the term "intact D&E"?
- 2 A. Yes, I am.
- 3 Q. What is an intact D&E?
- 4 A. As I explained before, D&E is a form of a termination of a  
5 pregnancy, an abortion, in the second trimester. The intact  
6 D&E is one version how to perform a D&E.
- 7 Q. How does that version proceed?
- 8 A. In an intact D&E the fetus is removed intact, as the word  
9 says. As compared to the other version of the D&E, where the  
10 fetus is removed in portions, and the medical term for that is  
11 "dismemberment".
- 12 Q. Dr. Grunebaum, have you performed intact D&E's?
- 13 A. Yes, I did.
- 14 Q. How did you learn to perform intact D&E's?
- 15 A. I saw my first intact D&E's shortly after I arrived at New  
16 York Hospital, I believe in the year 2001, when I first saw  
17 them. And I learned them in the year 2002.
- 18 Q. Is New York Hospital affiliated with New York-Presbyterian  
19 Hospital?
- 20 A. It is one hospital system, but there are two hospitals that  
21 have merged several years back. One is called Presbyterian,  
22 the other one is called New York Hospital.
- 23 Q. Is New York Hospital affiliated with any medical school?
- 24 A. Yes, it is.
- 25 Q. With what medical school?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 A. Cornell.

2 Q. Did you receive training in the intact D&E procedure while  
3 you were working at Cornell?

4 A. Yes, I did.

5 Q. Approximately how many D&Es have you performed since  
6 joining the faculty at New York Presbyterian hospital?

7 A. I have performed or performed myself approximately 15 to 20  
8 D&E's.

9 Q. 15 to 20 intact?

10 A. Intact D&E's, correct.

11 Q. How many D&E's of all types have you performed?

12 A. Approximately 100.

13 Q. Have you provided training to others in abortion?

14 A. Yes, I did.

15 Q. Who have you provided training to?

16 A. I have provided training to medical students, to obstetric  
17 and gynecology residents.

18 Q. What type of abortion training have you provided to those  
19 groups of people?

20 A. All the different kinds of abortions we just described,  
21 including D&C's in the first trimester of the pregnancy, D&E's  
22 in the second trimester of the pregnancy, and induction  
23 termination of pregnancies.

24 Q. Have you provided training on intact D&E?

25 A. Yes, I did.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

- 1 Q. Where have you provided that training?  
2 A. Also at Cornell.  
3 Q. Doctor, you described for us an induction. Are there any  
4 different types of induction abortions?  
5 A. Yes, there are.  
6 Q. What are they?  
7 A. There are historically some kinds of inductions and some  
8 that we do nowadays more often.  
9 Q. What are the historical types?  
10 A. The historical types were saline inductions, where we  
11 injected a high dose of saline into the uterus, the amniotic  
12 fluid, and then the woman went into labor shortly thereafter,  
13 usually within 24 hours. That is the main type of abortion I  
14 performed during my residency training and shortly thereafter.  
15 Q. Do you currently perform saline induction abortions?  
16 A. No, I do not.  
17 Q. Why not?  
18 A. Because I feel they are less safe to the patient.  
19 Q. Are there other types of abortion?  
20 A. Yes, there are.  
21 Q. Of induction abortions, excuse me.  
22 A. Yes. You can inject other forms of agents into the  
23 amniotic fluid. One of them I know of is called urea, but I  
24 have never done that. Urea, U-R-E-A. They are different  
25 chemicals.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat1 Grunebaum - direct

1 Q. Are you familiar with a prostaglandin induction abortion?

2 A. Yes, I am.

3 Q. What is that?

4 A. A prostaglandin abortion, contrary to the saline  
5 abortion -- in the saline abortion you inject something into  
6 the uterus. With the prostaglandin abortion, you take  
7 suppositories which contain prostaglandin and insert them into  
8 the woman's vagina over a period of time, usually every four to  
9 six hours.

10 Q. What is prostaglandin?

11 A. Prostaglandin is a chemical which induces contractions.

12 Q. Are there different types of prostaglandins?

13 A. Yes, there are.

14 Q. Can you provide us with some examples, please.

15 A. There is prostaglandin E1 and E2. The other word for the  
16 first, E1, is Misoprostol. And the other one is Prostin E2.

17 Q. Could you currently perform prostaglandin abortions?

18 A. Yes, I do.

19 Q. Approximately how many prostaglandin abortions have you  
20 performed, Dr. Grunebaum?

21 A. That is difficult to say, because I have been doing those  
22 for several decades. But most likely I would say several  
23 hundred.

24 Q. Are you a member of any professional associations?

25 A. Yes, I am.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

- 1 Q. Which ones?  
2 A. I am a member of the American College of Obstetricians and  
3 Gynecologists, and the Society of Maternal Fetal Medicine.  
4 Q. Have you authored any publications?  
5 A. Yes, I did.  
6 Q. On what subjects?  
7 A. Usually on obstetrics, gynecology, and women's care issues.  
8 Q. Have you authored any books?  
9 A. Yes, I did.  
10 Q. On what subject?  
11 A. I authored, I co-authored actually a book Dr. Ruth's  
12 Pregnancy Guide for Couples.  
13 THE COURT: What is that again?  
14 THE WITNESS: Dr. Ruth's Pregnancy Guide for Couples.  
15 Q. Dr. Ruth?  
16 A. Yes.  
17 Q. Is that Dr. Ruth Westheimer?  
18 A. Correct, that is my co-author.  
19 Q. What is the subject of that book?  
20 A. The subject of the book is exactly what it says, a guide  
21 for couples who are trying to get pregnant and for couples who  
22 are pregnant.  
23 THE COURT: She is the woman on television, isn't she?  
24 THE WITNESS: Yes, she is on television, correct. She  
25 had a radio show and then she had a TV show.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

- 1 THE COURT: A talk show?  
2 THE WITNESS: Yes, in the early eighties.  
3 Q. Dr. Grunebaum, if you could refer, please, to tab 94 in  
4 your exhibit binder. I want to ask you if you recognize  
5 Plaintiffs' Exhibit 94?  
6 A. Yes, I do.  
7 Q. What is it?  
8 A. It is my curriculum vitae.  
9 Q. That is your curriculum vitae?  
10 A. Yes, it is.  
11 Q. Is that an accurate summary of your education and  
12 experience?  
13 A. Yes. There are only two changes there. It says that I am  
14 from '82 to now assistant professor of clinical OB-GYN at  
15 Columbia, and I was a professor at Columbia until I changed in  
16 the year 2001. Now I have a similar position at Cornell. And  
17 also I was recently appointed director of the patient safety  
18 committee at Cornell.  
19 Q. With those changes, is that document an accurate summary of  
20 your experience?  
21 A. It is a short version of it, yes, but it is generally  
22 accurate.  
23 MS. WIGMORE: At this time, your Honor, we offer  
24 Plaintiff's Exhibit 94 in evidence.  
25 THE COURT: Any objection?  
SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat1 Grunebaum - direct

1 MS. GOWAN: No, your Honor.

2 THE COURT: It will be received.

3 (Plaintiff's Exhibit 94 received in evidence)

4 Q. Dr. Grunebaum, what steps, if any, do you take to remain  
5 current on developments with your medical specialties?

6 A. There are several steps we usually take. We attend  
7 continuing medical education courses, which can have different  
8 forms. Some of those will be the grand rounds at Cornell,  
9 which are every week. Another one is our regular morbidity and  
10 mortality conference, which is also once a week. I also go to  
11 lectures which are given either in the hospitals or outside the  
12 hospital, and I go to conferences.

13 In addition to this, I read regularly several of our  
14 journals and educate myself with those. I read on the  
15 Internet. There are recently many different sites where you  
16 can educate yourself on the Internet.

17 Q. Doctor, you mentioned grand rounds. What are grand rounds?

18 A. Grand round is a weekly presentation by a professor who has  
19 been invited to give a talk.

20 Q. You also mentioned in your answer mortality and morbidity  
21 conferences. What are those?

22 A. Mortality and morbidity conferences are conferences where a  
23 case or several cases are being presented and discussed.

24 MS. WIGMORE: Your Honor, at this point we tender Dr.  
25 Grunebaum as an expert in obstetrics and gynecology, maternal

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 fetal medicine, and abortion practice and procedure pursuant to  
2 Federal Rule of Evidence 702.

3 THE COURT: Any objection?

4 MS. GOWAN: No, your Honor.

5 THE COURT: The Court will so recognize the doctor.

6 Q. Dr. Grunebaum, are you receiving any monetary compensation  
7 for your work in this matter?

8 A. No, I don't.

9 Q. Doctor, are you familiar with the term "gestational age" in  
10 the context of pregnancy?

11 A. Yes, I am.

12 Q. What is gestational age?

13 A. Gestational age counts the amount of time a pregnancy has  
14 progressed, and it is usually counted from the first day of the  
15 last menstrual period. Most people count it in weeks.

16 Q. Have you heard the abbreviation LMP?

17 A. Yes, I did.

18 Q. What does that refer to?

19 A. LMP stands for last menstrual period, and it is the first  
20 day when a woman had her last period. Usually she ovulates  
21 about an average 14 days afterwards, and that is when  
22 fertilization takes place.

23 Q. Are you familiar with the term "second trimester" in the  
24 context of pregnancy.

25 A. Yes.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 Q. What does that refer to?

2 A. The pregnancy is usually divided in thirds or in  
3 trimesters. If you divide 40 by 3, you arrive at 13, 26, and  
4 then 26 and beyond to 40 weeks. 40 weeks is when the due date  
5 is.

6 Q. What is the second trimester?

7 A. The second trimester is usually the time after the 13th  
8 week until approximately the 26th week.

9 Q. Are you familiar with methods of abortion that are used in  
10 the second trimester of pregnancy?

11 A. Yes, I am.

12 Q. What are they?

13 A. They are usually dilatation and evacuation, short D&E, and  
14 induction termination abortions.

15 Q. Are there any other methods of abortion used in the second  
16 trimester?

17 A. Yes, but they are rarely, if ever, used. They would  
18 include taking out the uterus, which is total abdominal  
19 hysterectomy, or hysterotomy, which is similar to what we do  
20 with a Cesarean section, where we open up the mother's abdomen,  
21 we make an incision into the uterus, and remove, if it is done  
22 as a termination of the pregnancy, the fetus and the placenta.

23 Q. Doctor, you told us that the hysterectomy and hysterotomy  
24 methods of abortion are rarely, if ever, used in the second  
25 trimester. Why is that the case?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 A. The complications of hysterectomy are big. The major  
2 complication, obviously, is a woman is infertile after you take  
3 out the uterus. You only revert to this procedure if all  
4 others have failed or if there is nothing else you can do. So  
5 that is a very dramatic way to terminate pregnancy.

6 A hysterotomy, on the other hand, you still preserve  
7 the uterus, but you make a big incision into the mother's  
8 abdomen and then an incision into her uterus. That is also a  
9 big procedure with its own complications, both at the time you  
10 perform it as well as it has implications for future  
11 pregnancies.

12 Q. What is the most common method of second trimester  
13 abortion?

14 A. It is called dilatation and evacuation, D&E.

15 Q. Do you have an opinion regarding the safety of D&E's?

16 A. Yes, I do.

17 Q. What is your opinion?

18 A. It is a safe procedure.

19 Q. You mentioned induction. Can you tell us how common  
20 inductions are as a method of second trimester abortion.

21 A. Inductions are another option of performing an abortion, a  
22 common option besides the D&E, but they are less common  
23 nowadays, since we have been trained in D&E's and more and more  
24 doctors are doing them. Clearly, doctors feel that when they  
25 do a D&E, it is more advantageous than the induction form of

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 termination of pregnancy.

2 Q. Could you describe for us, please, how an induction  
3 abortion typically proceeds.

4 A. Yes, I can. With a typical induction abortion where you  
5 use prostaglandins, the woman is admitted to the hospital, and  
6 in many hospitals she is usually located on the labor delivery  
7 floor. She can be somewhere else, but the labor delivery floor  
8 is deemed to be the safest by most hospitals to perform the  
9 induction procedure.

10 The woman is then in her bed and an IV is started.  
11 After she signs an informed consent, a suppository containing  
12 the prostaglandin agents is then inserted into the vagina and  
13 then reinserted with new ones over a certain time period until  
14 the abortion is completed.

15 What usually happens is the woman goes into labor, the  
16 cervix dilates over time with contractions, and then the fetus  
17 is being expelled. Then eventually the placenta either is  
18 expelled or the woman has to go to the operating room to remove  
19 the placenta.

20 Q. How long based on your experience does the labor portion of  
21 the induction typically last?

22 A. The labor portion of the induction typically lasts between  
23 12 and 24 hours, but I have seen it last for several days.

24 Q. You mentioned one of the steps in the induction abortion is  
25 delivery of the placenta, is that correct?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 A. That is correct.

2 Q. What is the placenta?

3 A. The placenta, another word for placenta is the afterbirth,  
4 and that is an organ, actually it is a very important organ --  
5 most people sort of underestimate its importance -- which is  
6 where the fetus is attached to and which essentially exchanges  
7 nutrients between the fetus and the mother and is attached to  
8 the uterus. It also creates its own hormones to support the  
9 pregnancy.

10 Q. Doctor, have you ever performed an induction that required  
11 surgical removal of the placenta?

12 A. Yes.

13 Q. How frequently has that occurred in your practice?

14 A. I would say it happens probably one to two to three times  
15 in about ten inductions, in my own experience.

16 Q. Two to three times --

17 A. Between 10 and 30 percent of my inductions, yes.

18 Q. Do you have an opinion regarding the safety of induction  
19 procedures?

20 A. Induction procedures are considered safe. Nevertheless,  
21 there are certain circumstances where an induction procedure is  
22 considered contraindicated, and the word "contraindicated"  
23 means that you really should not use it in order to induce the  
24 abortion.

25 Q. Doctor, do you have an opinion as to whether D&E offers any

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 safety advantages over induction?

2 A. Yes: It does.

3 Q. What are those advantages?

4 A. Let me first start with contraindications for induction  
5 termination of pregnancy. The contraindications for induction  
6 termination of pregnancy include a prior scar in the uterus,  
7 specifically if the scar is from what we call a classical  
8 Cesarean section, is if a woman in a pregnancy has had a  
9 classical Cesarean section.

10 It is called "classical" because that is the first  
11 form of Cesarean section, which we started to do approximately  
12 150 years ago. That is an incision in the uterus which is  
13 vertical in the top of the uterus. The reason why we cannot do  
14 an induction in those women is because a vertical uterine  
15 incision is more likely to rupture when a woman goes into  
16 labor. And if it ruptures, she can die, bleed out.

17 THE COURT: Hemorrhage.

18 A. Hemorrhage, exactly. So the woman can hemorrhage when the  
19 uterus ruptures, and she usually dies.

20 THE COURT: You can't stop the hemorrhage?

21 THE WITNESS: You have to do emergency operation.  
22 Most of the time you can save the woman. Still, until you get  
23 to do the emergency surgery, she can bleed a lot.

24 THE COURT: Most of the time you can save her?

25 THE WITNESS: You can save her, but the woman will end

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 up with a surgery. You have to open up the abdomen. She can  
2 be very sick, because what happens is when the scar opens up,  
3 the contents of the uterus, which is the fetus and the  
4 placenta, are spilled into the abdomen, and that can infect and  
5 lead to peritonitis. Sometimes I have seen women who had a  
6 ruptured uterus that ended up with a hysterectomy in order to  
7 save her life, and obviously she is infertile after that. So  
8 that is one of our most scariest moments in obstetrics.

9 Q. Doctor, you used the term "peritonitis." What is that?

10 A. Peritonitis, the peritoneum is the lining of the abdomen.  
11 All of the abdomen is lined with a very thin layer, including  
12 the bowels, including the stomach, including the uterus. When  
13 the uterus opens up, the peritoneum can become infected.

14 Q. Doctor, you mentioned a classical Cesarean scar as a  
15 contraindication for induction. Are there any other  
16 contraindications for induction?

17 A. Yes. The other form of Cesarean section is called a low  
18 segment transverse Cesarean section. Compared to the classical  
19 Cesarean section, the low segment Cesarean section is done in  
20 the lower portion of the uterus, and it is done in a horizontal  
21 fashion. That is how we usually do the vast majority of  
22 Cesarean sections. But there are some women who do have a  
23 classical one. So that is another contraindication for a woman  
24 to go into labor in future pregnancy. The risk of uterine  
25 rupture in a low segment transverse Cesarean section is lower

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 than in a classical one, but it is still present.

2 Q. Doctor, are there any other particular patient conditions  
3 for which D&E offers advantages over induction?

4 A. Yes. There are certain other circumstances. We talked  
5 about the uterine scar. The general gist is that a woman  
6 should not go into labor in a future pregnancy when she has a  
7 uterine scar. There are other conditions where labor per se  
8 can be dangerous to a woman.

9 For example, if the fetus is what we call a transverse  
10 lie, that means that the fetus has the head on one side and the  
11 feet on the other side, that can be dangerous to a woman if she  
12 goes into labor. Normally, the fetus is in one of two  
13 positions. Normally, the fetus is either with the head down or  
14 with the butt or the feet down. That it is called a breech  
15 presentation. The other presentation is called the vertex.

16 Then there is a third presentation, called a  
17 transverse lie. In a transverse lie, the spine of the fetus  
18 lies across the uterus. If a woman goes into labor, there is  
19 really no presenting part, like the head or the breech, which  
20 can dilate the cervix, and there is also increased risk that  
21 the uterus will rupture. Transverse fetal lie is a risk factor  
22 for uterine rupture.

23 Q. Can you perform a D&E in a situation involving a transverse  
24 lie?

25 A. Yes, absolutely.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat1 Grunebaum - direct

1 Q. Does it present the same difficulties as it does in the  
2 induction. Does a transverse lie present the same  
3 difficulties?

4 A. Yes.

5 MS. GOWAN: Objection.

6 THE COURT: What is the objection?

7 MS. GOWAN: Leading, your Honor.

8 THE COURT: Ms. Wigmore, that happens to be true.

9 Would you please try and avoid it and let the doctor do the  
10 testifying.

11 Q. Go ahead, doctor.

12 A. I lost the question.

13 THE COURT: Rephrase it.

14 Q. Can you tell us how the risk of performing an abortion in a  
15 case involving a transverse lie in an induction situation  
16 compared to the risk in a D&E situation?

17 A. The major difference is labor. The dangerous portion of  
18 the transverse lie is that the woman goes into labor. Her  
19 uterus contracts, and it is the contractions with the  
20 transverse lie that lead to the uterine rupture.

21 In a D&E, dilatation and evacuation, the fetus and the  
22 placenta are not expelled through labor. Specifically, they  
23 are not expelled through long-term labor, because the longer  
24 labor happens, the more dangerous it can become. The fetus and  
25 the placenta are removed through a surgical procedure, and that

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 is considered safer in those circumstances.

2 Q. Are there any other patient conditions for which D&E offers  
3 advantages over induction?

4 A. Absolutely. Another condition that I can think of is  
5 conjoined twins.

6 Q. What are conjoined twins?

7 A. Conjoined twins are twins -- well, I would say the common  
8 name for those in this country is Siamese twins. Those are  
9 twins that are stuck together, which failed to separate early  
10 on in pregnancy. When you have Siamese twins, you have two  
11 fetuses that are together, and you really cannot pass or it is  
12 very difficult to pass spontaneously conjoined twins through  
13 the vagina. You can't really deliver them through the vagina,  
14 through the cervix spontaneously after you go through labor.

15 Q. Why does D&E present an advantage in that circumstance?

16 A. We talked about two different forms of D&E. The  
17 dismemberment form of D&E provides that smaller portions of the  
18 fetuses can be removed, and that is making it much safer to  
19 perform the procedure.

20 Q. Doctor, are there any other conditions for which D&E offers  
21 advantages over induction?

22 A. Yes. There is an abnormal implantation of the placenta  
23 which is called placenta previa. A placenta previa --  
24 normally, the placenta is attached to the top of the fundus, so  
25 when the fetus passes through the cervix, it doesn't really

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 pass by the placenta and there is nothing in the way between  
2 the fetus and the cervix. In a placenta previa, the placenta  
3 actually blocks the exit for the fetus. Then, for example, if  
4 you are at term, you need to do a Cesarean section to perform a  
5 delivery for a placenta previa.

6 In a termination of pregnancy, a woman, again, cannot  
7 go through labor hours and hours when she has placenta previa,  
8 because, as the cervix dilates specifically under the influence  
9 of contractions, the placenta detaches and the woman again can  
10 hemorrhage to death.

11 Q. Doctor, you used the word "fundus" in your previous answer.  
12 What is that?

13 A. Fundus is the top of the uterus. The uterus has  
14 essentially two portions. One is the lower portion, which is  
15 also called cervix, cervix uteri, that is the Latin word. Then  
16 the fundus, fundus uteri, which is the top portion of the  
17 uterus. That is the part which expands with pregnancy.

18 Q. Are there any other conditions for which D&E offers  
19 advantages over induction?

20 A. Yes. There are certain circumstances where women have  
21 medical conditions where they are not supposed to go through  
22 labor. Some women have heart disease. Some women have lung  
23 disease. In those circumstances, to go through a long period  
24 of labor which can be associated sometimes with stress and  
25 pain -- there are also woman who can't stand pain. So in those

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 circumstances the D&E is advantageous to the induction

2 termination of pregnancy.

3 Q. You have given us a list of conditions. Are there any  
4 other conditions in which D&E offers advantages over induction?

5 A. I can tell you the general advantages of a D&E over  
6 induction. In a D&E you use instruments, which you don't  
7 really use in induction. Specifically, in an intact D&E you  
8 don't use instruments, whereas in an induction D&E you may end  
9 up in the operating room and removing the placenta.

10 Q. Are there any other general advantages of D&E over  
11 induction?

12 A. Yes.

13 Q. What are they?

14 A. A D&E is much shorter. The average D&E probably lasts much  
15 less than half an hour. It is done in the operating room under  
16 what we call controlled conditions. For a physician,  
17 controlled conditions means that somebody is with the woman all  
18 the time. Usually there are other doctors in the room, so any  
19 complications are treatable right away. It is done usually  
20 under general anesthesia so that pain is removed for the woman.  
21 And it is done usually as an out-patient procedure. An  
22 out-patient procedure means the patient comes to the hospital  
23 in the morning and goes home at noon or shortly thereafter.

24 Q. Doctor, let's take those one by one. You mentioned a  
25 shorter procedure. Why is that an advantage of D&E over

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 induction?

2 A. In general, the shorter procedure is safer than longer  
3 procedure. The hospital, as I explained to my patients, is not  
4 a place for any person to be for a long period of time, because  
5 there are a lot of what we call bag/box organisms, antibiotic-  
6 resistant organisms that grow in a hospital. So the longer you  
7 stay in a hospital, the more likely you are to become infected.  
8 So time from that point of view becomes important.

9 In an induction termination, the woman is on the  
10 delivery floor. Most, if not all, of my patients, I'd say most  
11 of my patients, have the termination of pregnancy done even  
12 though they really, really, really want to do have a baby. So  
13 for them to have to go to a hospital and be on the labor and  
14 delivery floor and to go through labor for a long period of  
15 time and then finally not end up with what they really wanted,  
16 they really wanted a healthy baby, can be extremely traumatic.

17 When I sit down with a couple and describe to them  
18 both procedures as options, if there are no contraindications  
19 to the induction termination of pregnancy, I would say nearly  
20 all patients prefer the D&E procedure over the induction.

21 Q. Doctor, do you have any opinion regarding the safety of  
22 intact D&E's?

23 A. The intact D&E is just one variation of the D&E per se. As  
24 we said before or as I said before, there is an intact and a  
25 dismemberment D&E. The intact D&E being one version of the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 dismemberment, it is probably safer than the dismemberment D&E.

2 Q. When you say it is a variation, what do you mean?

3 A. If you compare what a D&E is about, let me simplify it as  
4 much as possible. In a D&E, which is usually done in the  
5 second trimester, before the 24th week, a woman is brought to  
6 my office. After I explain everything, after I describe  
7 everything, she is placed on the examination table in what we  
8 call a dorsal lithotomy position, where her legs are spread and  
9 exposed to me.

10 After we prepare the vagina, we use a speculum to open  
11 it up, and we place what we call laminaria in the cervix. The  
12 cervix then opens up over a time period, either one day or two  
13 days, very slowly. That procedure is the same in an intact or  
14 dismemberment D&E.

15 Q. Doctor, if I could interrupt for a moment, where is the  
16 woman located during the time in which the laminaria are  
17 dilating the cervix?

18 A. She is home. After I see her in my office, after I perform  
19 the procedure, she is being sent home.

20 Q. Then what happens?

21 A. Then she comes home, she is home. And if the pregnancy was  
22 usually less than 18 weeks, the next day she comes to the  
23 operating room for the final termination of the pregnancy. If  
24 her pregnancy is more advanced, the cervix needs to open up a  
25 little bit more, only because the fetus and the placenta and

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 the amniotic fluid, there is more of it present. So those  
2 woman with more advanced gestation ages, and also women  
3 sometimes in early ages, where the cervix has to open up -- for  
4 example, women with twins, the cervix needs to open up more --  
5 so in those cases we on the second day have the woman come back  
6 to the office, remove the now widened laminaria, and put more  
7 laminaria into the cervix, so that after another 24 hours the  
8 cervix is dilated further and sufficiently for us to perform  
9 the procedure.

10 Those are the same in intact and dismemberment.

11 Q. Is there any difference in the way you prepare a cervix for  
12 a dismemberment D&E versus an intact D&E?

13 A. I bring every patient into the operating room with a plan  
14 to perform a dilatation and evacuation. I don't know ahead of  
15 time which variant of the D&E I am about to perform. I can't  
16 really plan for that consciously all the time.

17 Sometimes women tell me that they would like an intact  
18 fetus or there are medical reasons to have an intact fetus. In  
19 those cases, I will tell the woman that, yes, we can try to do  
20 an intact version of the D&E where the fetus is delivered fully  
21 intact in order to facilitate that diagnosis. But I cannot  
22 always plan it.

23 What I usually do is I bring the woman to the  
24 operating room, and at that moment, after I remove the  
25 laminaria I perform what I consider the safest possible method

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 of D&E.

2 Q. Could you tell us, Doctor, how a dismemberment D&E  
3 proceeds.

4 A. Yes. In a dismemberment D&E, again, the woman is brought  
5 to the operating room, general anesthesia is begun. I remove  
6 the laminaria from her cervix, and I look at the cervix and  
7 examine the cervix with my hands and my fingers, usually my  
8 index and my middle finger, and assess how wide the cervix is  
9 dilated. Again, the cervix is dilated at that point from the  
10 laminaria.

11 At that moment I put an instrument on the cervix and  
12 pull the cervix forward in order to straighten out the uterus.  
13 The uterus is not an organ usually that is straight. It is  
14 usually bent. That is called a flexed uterus. That is normal  
15 for most women. It can be flexed towards her back or it can be  
16 flexed towards the anterior portion of her abdomen. That is  
17 different from one woman to the other.

18 By pulling forward the cervix, I straighten it out.  
19 Straightening out the uterus decreases the risk of the most  
20 dangerous complication of the D&E. The most dangerous  
21 complication of a D&E is a perforation of the uterus, and we  
22 find that this may help them.

23 So everything we do, everything we do from this moment  
24 on after we straighten out the uterus is intended to decrease  
25 the risk of uterine perforation, to make it as safe as

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 possible.

2 Q. How does the dismemberment D&E proceed from the point you  
3 just described to us?

4 A. At that moment what I do with a dismemberment D&E, I  
5 rupture the membranes surrounding the fetus, and I use an  
6 instrument, a forceps, special instruments made for this  
7 procedure, which I place inside the uterus. At the same time,  
8 I have my other hand on the mother's abdomen to be sure I  
9 understand where the instrument is going to and to understand  
10 what I am doing.

11 I then try to find a part of the fetus that I can  
12 easily grasp, and then I pull on my forceps and pull that part  
13 out. Sometimes during that portion of the D&E I am able to  
14 pull forward either a foot or a leg or an arm of the fetus. If  
15 I pull at that moment forward the leg of the fetus, I try to  
16 deliver the fetus intact, because delivering a fetus intact has  
17 several advantages over delivering not intact.

18 Q. What are those advantages, Doctor?

19 A. The major advantage that you really don't have to use much  
20 instruments. Every time you place an instrument into the  
21 uterus, you increase the risk of perforating the uterus. It is  
22 always easier in most or in many medical procedures to remove  
23 something you want to remove in an intact way.

24 My medical students sometimes ask me, why do you want  
25 to remove something intact? What I usually explain to them is

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 to look at it from a totally different specialty. I think it  
2 is something that my medical students understand better,  
3 because they don't have the specialty yet. A dentist, for  
4 example. A dentist tries to remove a tooth complete. Once you  
5 break off the tooth, it is much more difficult to remove the  
6 root of the tooth.

7 Q. Are there any other advantages of intact D&E over  
8 dismemberment D&E besides the reduction of instrument usage?

9 A. The intact D&E, as I described before, if you deliver an  
10 intact fetus, you are able to send the fetus as a whole to a  
11 pathologic exam. As I mentioned before, most of the patients I  
12 deliver, I perform a D&E on, do have fetal malformations.  
13 Those fetal malformations are usually diagnosed on ultrasound,  
14 especially what we call the structural fetal malformation,  
15 where something is wrong with the fetal head or the abdomen or  
16 the chest. It could be many different things.

17 What we like to do is we would like to, first of all,  
18 compare what the fetus really looks like after the termination  
19 of pregnancy with what we saw on ultrasound to make sure that  
20 what we really see is what was on the ultrasound.

21 In addition to this, there are certain malformations  
22 of the fetus that we cannot see on ultrasound and which can be  
23 helpful after the termination of pregnancy to make additional,  
24 other correct diagnoses.

25 Q. Doctor, are you familiar with the term "fetal anomaly"?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 A. Yes, I am.

2 Q. What does that refer to?

3 A. It is a general term of something that can go wrong with a  
4 fetus or with somebody. For example, fetal anomalies we have  
5 can be structural, which is something wrong with any organ or  
6 body or hand or arm, or it could be chromosomal. A chromosomal  
7 anomaly is something wrong with the chromosomes.

8 Q. Doctor, does intact D&E offer any advantages over D&E with  
9 respect to the diagnosis of fetal anomalies?

10 A. Yes, it does. It helps us frequently improve our  
11 diagnoses, and it also helps the couple, the woman and her  
12 husband, if she is married, to get counseled about future  
13 pregnancies. As I told you, most of these pregnancies, they  
14 desire the babies.

15 The number one question that I usually get from women,  
16 couples, who couple the see me, how likely is what happened  
17 now, is it going to happen again. That is a major, major  
18 question. Unfortunately, I counsel many couples who had  
19 termination of pregnancies or who had miscarriages where the  
20 fetus was not completely examined. In those circumstances,  
21 unfortunately, I am left with saying something is missing in  
22 evaluating what has happened.

23 When you perform the D&E as an intact D&E, you can  
24 always tell the woman, we got it completely, we will send to it  
25 a pathologist, who then can examine the fetus and provide

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 additional information.

2 Q. Doctor, do you recall mentioning ultrasound in an earlier  
3 answer?

4 A. Yes, I did.

5 Q. What is ultrasound?

6 A. Ultrasound is a diagnostic modality in obstetrics and  
7 gynecology which uses sound waves which then can create  
8 pictures to visualize as much as possible what is happening  
9 inside the uterus, in obstetrics, for example.

10 Q. Do you have experience using ultrasound?

11 A. Yes, I do.

12 Q. Can ultrasound be used to diagnose fetal anomalies?

13 A. Yes. They are used all the time to diagnose fetal  
14 anomalies.

15 Q. Based on your experience, does having an intact fetus  
16 offer any advantages over the use of ultrasound alone for  
17 purposes of diagnosing structure anomalies?

18 A. Absolutely.

19 Q. Why is that?

20 A. Because you have the complete intact fetus, you have arms,  
21 legs, and feet attached to the fetus, and that makes it much  
22 easier to see relationships between the different extremities  
23 and different portions of the body as compared to a  
24 dismemberment D&E.

25 Q. In terms of the use of ultrasound, can you see all of those

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 things on the ultrasound?

2 A. It is actually funny that you mention it. Most people  
3 believe that you can see everything on ultrasound. You can't.  
4 It is a myth that ultrasound can diagnose all fetal  
5 malformations. When you look at many different studies, you  
6 see a detection rate between 50, 80, 90 percent of all  
7 anomalies. So still a good percentage of anomalies are not  
8 seen on ultrasound. Unfortunately, sometimes when a baby is  
9 born at term, you detect an anomaly that wasn't seen on  
10 ultrasound and couldn't have been seen.

11 Q. Are you familiar with amniocentesis?

12 A. Yes, I am.

13 Q. What is amniocentesis?

14 A. The word "amniocentesis" is composed of two separate words,  
15 "amnio" and "centesis." Amnio, or amniotic, fluid is the fluid  
16 surrounding the fetus. Centesis means you make an incision or  
17 you insert a needle into something. So amniocentesis is  
18 inserting a needle into the amniotic sac, and usually it is  
19 done to remove fluid. It can be done for different purposes.

20 We talked about an induction termination of pregnancy  
21 where you inject fluid. That is also done in amniocentesis.  
22 But in this specific circumstance I suppose you are talking  
23 about an amniocentesis as a diagnostic procedure.

24 Q. Have you used amniocentesis for diagnostic purposes?

25 A. All the time.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 Q. How many times have you performed amniocentesis?

2 A. Probably 3,000 over the last 20 years or so.

3 Q. When in the pregnancy is amniocentesis performed?

4 A. The earliest you usually can perform an amniocentesis is  
5 around 16 weeks, and that is because at that time there is  
6 enough amniotic fluid to diagnose whatever you want to  
7 diagnose. You take out approximately 10cc's of fluid at that  
8 time. So 16 weeks is the earliest. But you can perform  
9 amniocentesis at any time throughout the pregnancy until  
10 delivery.

11 THE COURT: After what?

12 THE WITNESS: Until delivery, until 40 weeks.

13 Amniocentesis can be done any time.

14 Q. Any time after 16 weeks?

15 A. After 16 weeks, when there is enough amniotic fluid if you  
16 do it for diagnostic purposes.

17 Q. How long does it usually take between the time  
18 amniocentesis is performed and the time at which the patient  
19 receives the results?

20 A. It depends which results you are looking for. If you are  
21 doing amniocentesis for detection of chromosomal anomalies, the  
22 final results usually take about 10 to 14 days.

23 Q. Does amniocentesis present any potential risks to the woman  
24 undergoing the procedure?

25 A. Yes, they do.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 Q. What are the risks?

2 A. Amniocentesis is considered an invasive procedure.

3 Invasive procedure means you have to go through tissues or

4 organs in order to arrive to where you want to go to. So

5 potential risks of amniocentesis include injuries to any organs

6 close to where the needle is inserted.

7 If amniocentesis done at 16 weeks, the organs close to

8 are usually the bowel, the bladder, and sometimes even a blood

9 vessel, because it is more or less a blind procedure in terms

10 of blood vessels and you can rupture a blood vessel and the

11 woman can bleed.

12 Q. Doctor, you mentioned earlier that you attend mortality and

13 morbidity conferences. Do you recall that?

14 A. Yes.

15 Q. Have you at those conferences heard discussion of

16 complications from amniocentesis?

17 A. Yes, I did.

18 Q. Can you describe what types of complications you have

19 learned through those conferences.

20 A. The complications we usually tell our patients about and

21 some of those I have seen is an infection can develop. Don't

22 forget, you are using a needle. Even though you are using it

23 in a very clean way, there is a chance that the needle will

24 induce an infection. So infection is one major course.

25 If an infection happens, it can either affect the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 pregnancy, leading to a miscarriage; the infection can lead to  
2 rupture of the fetal membranes, which is also usually a  
3 sequence of an infection, and that is an infection that happens  
4 inside the uterus.

5 Sometimes this infection inside the uterus can become  
6 worse. It can lead to infection of the uterus itself and the  
7 fallopian tubes, and that can lead to the woman becoming  
8 sterile, infertile, because infection of the uterus and  
9 fallopian tubes can lead to sterility.

10 Then, to make it even worse, sometimes it can lead to  
11 what we call peritonitis. We talked about the peritoneum. You  
12 have to go through the peritoneum in order to get to the uterus  
13 with a needle, and that can make a woman really sick. She can  
14 develop lung problems. She can develop a condition called DIC.

15 THE COURT: Keep your voice up, please, Doctor. You  
16 call it?

17 THE WITNESS: I'm sorry. DIC, disseminated  
18 intravascular coagulation is one of the most dangerous and most  
19 fearful conditions in pregnancy. That can happen after an  
20 infection has occurred. That can also lead to the mother  
21 dying.

22 Q. Doctor, can amniocentesis be used to diagnose structural  
23 fetal anomalies?

24 A. It is usually not used to make the diagnosis. But if you  
25 see a structural fetal anomaly, you would like to see if

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 anything else is going on. One way to find that out is by  
2 doing amniocentesis and examine the chromosomes. A lot of  
3 fetuses with chromosomal anomalies will also have structural  
4 anomalies, and that is usually the first thing we actually see  
5 before we make a diagnosis of chromosomal anomaly.

6 Q. In a case where amniocentesis indicates chromosomal  
7 abnormalities, does having an intact fetus have any value in  
8 terms of diagnosing an anomaly.

9 A. If it is a purely chromosomal abnormality with no other  
10 structural abnormality, in ultrasound it is helpful to know  
11 what else is going on. It is not as significant to an intact  
12 fetus with a purely chromosomal anomaly as it is in a fetus  
13 with no chromosomal anomaly. But it is helpful for us to look  
14 and see, gee, it wasn't just a chromosomal anomaly, there was  
15 something else also going on.

16 Q. Does amniocentesis diagnose a purely structural anomaly?

17 A. No.

18 Q. Doctor, have any of your patients ever asked that you  
19 perform a procedure resulting in an intact fetus?

20 A. Yes.

21 Q. What reasons have they given for that?

22 A. There are several reasons. One is a religious reason.  
23 There are some patients who, as I told you before, really,  
24 really, really wanted that pregnancy, and they have to make a  
25 decision to terminate the pregnancy for different reasons,

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 something went horribly, horribly wrong. They would like to  
2 have the fetus buried and arrange for a burial.

3 If you have an intact fetus, you can imagine that a  
4 burial of an intact fetus is much more accommodating to a  
5 couple and it decreases the grieving process. Many of our  
6 couples, the women are deeply upset about having a fetus like  
7 this. So for them anything I can do to decrease their pain  
8 when they have to undergo this procedure is helpful.

9 So yes, couples have asked me, if possible, can I  
10 perform it as an intact D&E. In some couples I was able to do  
11 it. In other couples, and I usually explain it to them, I say  
12 I will try to do it, but under the circumstances I will perform  
13 the safest possible way to complete the D&E. And sometimes an  
14 intact D&E is not the safest possible way.

15 Q. Are there cases in which intact D&E is the safest  
16 procedure?

17 A. Yes.

18 Q. Doctor, do you present the intact fetus to patients who  
19 request it?

20 A. It is the same as any baby dying. Couples want to hold the  
21 fetus after its death. In fact, it is part of our training as  
22 obstetricians and gynecologists to offer a couple to see a dead  
23 fetus or dead baby.

24 When I started my training, we used to hush a dead  
25 baby or fetus away from the couple, saying you don't want to

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat1 Grunebaum - direct

1 look at it. Then research has come out in the late seventies  
2 and early eighties that shows that if you can offer the dead  
3 fetus or baby to a couple, their bereavement process, their  
4 pain, is going to be less.

5 (Continued on next page)

6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

43U5NAT2 Grunebaum - direct

1 BY MS. WIGMORE:

2 Q. Doctor, what is the condition of the fetus after the intact  
3 D&E?

4 A. After intact D&E, part of the intact D&E is to do what we  
5 call craniocentesis. And I'm sorry, I'm using a technical word  
6 here. The craniocentesis is a small incision we make in the  
7 back of a head and remove part of the brain.

8 Other than that an intact D&E the fetus is completely  
9 intact as compared to, for example, in an induction termination  
10 of pregnancy where the fetus looks totally different.

11 Q. How is the intact fetus presented to the parent?

12 A. Well, it's not routinely presented but there are some  
13 parents who want it.

14 What we usually do is we wrap it up very nicely, we  
15 put a hat on the fetus as we usually would do with any baby.  
16 And some parents want pictures to be taken.

17 And as they say, usually something -- this has, again,  
18 developed over the last two decades, what you see, as painful  
19 as it can be, what you see is rarely as bad as something you  
20 can imagine in your head.

21 So, couples who have not seen it or who didn't have a  
22 chance to see a fetus imagine a lot of things, some horrible  
23 things how the fetus looks like and they have nightmares where  
24 in some couples we have found that seeing the fetus after the  
25 procedure, it helps them in their bereavement process.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 Q. Doctor, we were talking about the advantages of intact D&E  
2 over dismemberment D&E and you have told us about the  
3 introduction of reduction of instrument passes and the  
4 advantage of having intact fetus; are there any other  
5 advantages of intact D&E over dismemberment D&E?

6 A. Yes.

7 MS. GOWAN: Objection, your Honor. The counsel is  
8 characterizing the witness' testimony as well as leading.

9 THE COURT: Let me hear the question, please.

10 Ms. Reporter.

11 (Record read)

12 THE COURT: It's a little bit long but I will allow  
13 it.

14 Do you understand the question, Doctor? Would you  
15 like it rephrased?

16 THE WITNESS: I agree with you it was long but I  
17 understand it.

18 THE COURT: Rephrase.

19 MS. WIGMORE: Thank you, your Honor.

20 THE COURT: You can break it down a little. It helps.

21 THE WITNESS: Thank you so much.

22 BY MS. WIGMORE:

23 Q. Doctor, are there any other advantages of intact D&E over  
24 D&E involving dismemberment?

25 A. Thank you.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43U5NAT2 Grunebaum - direct

1 Yes, there are advantages.

2 In dismemberment D&E what you do is you pull off  
3 different portions of the fetus and at that moment the fetus  
4 has already developed bones so you -- bones, the fetus has  
5 developed bones. So, those bones need to be pulled through the  
6 cervix to the outside. And there is a risk that those bones  
7 will break off in a very sharp fashion and that can increase  
8 the damage to the uterus and the cervix.

9 Q. Does the intact D&E offer any advantage over dismemberment  
10 D&E in that?

11 A. There is no sharp tissue, no sharp bones involved with an  
12 intact D&E; you don't break anything off, it's intact.

13 Q. Are there any other advantages of intact D&E over  
14 dismemberment D&E?

15 A. Yes. When you break off portions of the fetus you need to  
16 ensure that at the end of the procedure all portions have been  
17 removed from the uterus.

18 The reason why that is important is that if you leave  
19 anything behind it increases the risk of an infection. You  
20 want to ensure at the end of the procedure one of the most  
21 important things you do at the end of a, as a dismemberment D&E  
22 proceeds and that's actually the part which takes the longest,  
23 is to ensure that even the small portions are being removed.

24 So, you need to go in again and again and again with  
25 instruments to make sure that all of these portions are removed

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 to decrease the risk of infection and of potential risk of  
2 bleeding because retained fetal portions can lead to infection  
3 and can lead to bleeding. And, at its worse, they can lead to  
4 a woman's infertility.

5 So that actually, that portion of ensuring  
6 completeness with the dismemberment D&E takes up a good portion  
7 of the procedure.

8 And then, after you finish with that part you need to  
9 remove the placenta too.

10 Q. Doctor, in a dismemberment D&E can ultrasound be used to  
11 ensure that all fetal parts have been removed?

12 A. Absolutely.

13 But again, the use of ultrasound depends on the  
14 experience of the person performing the D&E. There are some  
15 physicians who are not as experienced as others and some feel  
16 that using an ultrasound might decrease the risk of portions to  
17 be left.

18 Now, what I have seen is I have seen despite using  
19 ultrasound you can, at the end of the procedure, have portions  
20 left over. And the reason for that is ultrasound can only  
21 visualize certain parts of the fetus, they cannot visualize  
22 every single part. For example, soft tissue portions cannot be  
23 usually visualized on ultrasound so if you leave that in large  
24 bones you can see it but not soft tissue.

25 Q. Doctor, are there any differences between intact D&E and

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 dismemberment D&E in terms of procedure time?

2 A. Yes.

3 With an intact D&E the procedure, in the operating  
4 room removing the fetus usually takes several minutes whereas  
5 with a dismemberment D&E, the removal of the fetus can take  
6 much longer.

7 Don't forget that you still have to remove the  
8 placenta in both cases, but that portion of the D&E where the  
9 fetus is being removed in intact D&E is usually shorter.

10 Q. And how, if at all, does that difference in procedure time  
11 inform your opinion concerning the relative safety of intact  
12 D&E and dismemberment D&E?

13 A. Given that everything else is similar, a shorter operative  
14 time is safer to a patient than a long operative time.

15 Q. Doctor, does intact D&E provide any advantage over  
16 dismemberment D&E for patients with certain medical conditions?

17 A. Again, as we said before, as I said before, the length of  
18 the operative time, the longer it takes to do the surgery the  
19 more stress there is on the body. Surgeries that can take  
20 hours, one to two hours, 45 minutes, are more stressful than  
21 surgeries that take 10, 15 minutes.

22 So, in certain individuals who do have medical  
23 conditions you really want to get in and out of the operating  
24 room in the safest possible time, that usually means a short  
25 time period.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43U5NAT2 Grunebaum - direct

1 You want to do the procedure safely and quickly and  
2 get out of there.

3 Q. What specific medical conditions are you talking to,  
4 Doctor?

5 A. There are many medical conditions. I'm sorry if my list is  
6 not complete.

7 For example, if a individual has an immuno compromise,  
8 they're more likely to develop an infection. If you can do the  
9 procedure to decrease the risk of an infection you will help  
10 them and improve their health. You will make sure that the  
11 risk of that happening to their health is less.

12 So, individuals with immuno compromise --

13 THE COURT: What is that, Doctor?

14 THE WITNESS: Thank you, I was going to --

15 You have an immune system and your immune system is  
16 actually a very important part of your daily life. You are  
17 exposed to quite a lot of bad stuff. I'm sorry, I can't use  
18 anything, I will use medical stuff, medical information.

19 You are exposed to bacteria that do, that you are  
20 exposed to every single day and our immune system is  
21 responsible for developing defense systems against this  
22 bacteria.

23 There are certain medical conditions where your immune  
24 system is depleted, and I will say the most typical one we all  
25 know about is patients who are on chemotherapy. Those patients

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 really should be kept away from bad bugs. And sometimes we do  
2 perform those procedures, a D&E, in the operating room on a  
3 woman who was just recently diagnosed with cancer.

4 Cancer is another immuno suppressive condition or  
5 people who receive chemotherapy which further suppress the  
6 immune.

7 In addition to this there is hepatic conditions, like  
8 Leukemia, will affect your immune system.

9 And then HIV, individuals who are infected with HIV.

10 Q. You described for us a number of immune conditions; does  
11 intact D&E offer advantages over D&E involving dismemberment  
12 for those conditions?

13 A. Well, again, are you decreasing your risk of complications.  
14 You are decreasing your chances that you pass the instruments  
15 into the uterus. You are decreasing your risk of perforating  
16 the uterus. You are reducing the time period that you are in  
17 the operating room so that if one of those complications  
18 occurred -- and they do occur occasionally -- you are more  
19 successful in treating it with a woman with a intact D&E and it  
20 is also less likely to happen.

21 Q. Dr. Grunebaum, are there any other conditions, patient  
22 conditions for which intact D&E offers advantages over  
23 dismemberment D&E?

24 A. Any medical condition where a individual is at risk to  
25 develop worsening of their condition, that, for example, would

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 include a patient with heart disease, with lung disease.

2 The longer somebody is in the operating room the more  
3 likely that -- asthma, for example, is one of the most common  
4 conditions.

5 Now, we can take care of those conditions in the  
6 operating room but we would rather not have them. So, our  
7 first goal is preventive. We would like to prevent that  
8 complication from happening in the first place.

9 Q. And why does intact D&E offer an advantage in those  
10 situations?

11 A. In those situations, again, if you have a complication you  
12 are more likely to -- you are less likely to have a  
13 complication when you do an intact D&E and you also are  
14 performing the procedure in a much shorter time period.

15 In addition to this most, many D&Es, at least my D&Es  
16 are done under general anesthesia.

17 General anesthesia involves many different steps to  
18 make sure that the anesthesia works. And as anesthesia is  
19 prolonged, more drugs are necessary to continue with  
20 anesthesia.

21 You would like to keep the general anesthesia at the  
22 shortest possible time period because especially in individuals  
23 with medical conditions, the longer the anesthesia the more  
24 complications there are.

25 We are pretty good today with anesthesia and I feel

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 very comfortable to be in an operating room with a patient with  
2 medical condition, but the less anesthesia I give the safer it  
3 is for the individual.

4 Q. And aside from the shorter procedure time and reduced  
5 anesthesia, does intact D&E offer any other advantages for  
6 compromised patients over dismemberment D&E?

7 MS. GOWAN: Objection. Asked and answered.

8 THE COURT: Sustained.

9 Q. Doctor, aside from what you have already told us about, are  
10 there any other advantages of intact D&E over dismemberment  
11 D&E?

12 MS. GOWAN: Objection, asked and answered.

13 THE COURT: I believe it has been, Ms. Wigmore.

14 Q. Doctor, are you familiar with the term fetocidal agent?

15 A. Yes.

16 Q. What is a fetocidal agent?

17 A. Here we have another one of our Latin words which will be  
18 easy to translate into English.

19 "Fetus" is very clear to everybody and "cidal" means  
20 to kill something.

21 Q. Can you give me some examples of fetocidal agents?

22 A. Yes. There is digoxin which can be used, and there is  
23 potassium chloride which can be used.

24 THE COURT: Keep your voice up, please?

25 THE WITNESS: Potassium chloride and digoxin.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 THE COURT: Ms. Wigmore, is this a convenient time for  
2 us to take our morning break? Or do you have a few more  
3 questions on this subject?

4 MS. WIGMORE: I just have a few more on this subject,  
5 your Honor.

6 THE COURT: Go ahead.

7 MS. WIGMORE: Thank you.

8 Q. Is there chemical abbreviation for potassium chloride?

9 A. Yes, there is.

10 Q. What is it?

11 A. KCL. K for potassium, CL for chloride.

12 Q. Have you ever injected a fetocidal agent to affect fetal  
13 demise before performing a D&E?

14 A. No, I have not.

15 Q. Why haven't you?

16 A. I wasn't trained in that procedure.

17 Q. Why haven't you received training in that procedure?

18 A. Because --

19 THE COURT: Is there an objection?

20 How can the Doctor possibly tell, unless somebody told  
21 him, why he wasn't trained?

22 MS. GOWAN: Thank you, your Honor. The Government  
23 objects.

24 THE COURT: Sustained.

25 Q. Doctor, have you sought out training in the use of

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 fetocidal agents?

2 A. Well, the word training is a very general term.

3 You can get trained by reading a book, discussing it,

4 you can get trained by attending lectures or you can get

5 trained by actually performing a procedure.

6 But most doctors start their training, the actual

7 training by not doing it but by hearing about it or reading

8 about it.

9 So, while I have not done the procedure, I was trained

10 on the procedure itself.

11 Q. Have you read about the procedure?

12 A. Yes, I have.

13 Q. Are there any risks associated with injecting a fetocidal

14 agent into the woman?

15 A. Yes, there is.

16 Q. What are they?

17 A. Well, first of all you are injecting something. Any time

18 you are injecting something that's potentially dangerous it can

19 affect an individual.

20 In this specific circumstance you inject it to kill

21 the fetus so it can also adversely affect the person, the

22 person who is pregnant.

23 Q. Thank you, Doctor.

24 MS. WIGMORE: Your Honor, this would be a good time to

25 take our morning break.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 THE COURT: We will take our morning break.

2 (Recess)

3 THE COURT: Ms. Wigmore, you may continue.

4 MS. WIGMORE: Thank you, your Honor.

5 Q. Dr. Grunebaum, I believe you testified earlier that you  
6 were a member of the American College of Obstetricians and  
7 Gynecologists, is that correct?

8 A. That is correct.

9 Q. Is that organization also known as ACOG?

10 A. That's the abbreviation for it, yes.

11 Q. What is ACOG?

12 A. ACOG is the professional organization for obstetricians and  
13 gynecologists.

14 Q. For how long have you been a member of ACOG?

15 A. Probably for about 25 years or so.

16 Q. Has ACOG taken any public position regarding intact D&E?

17 A. Yes, they do.

18 Q. As a member of ACOG, are you familiar with ACOG's position  
19 regarding intact D&E?

20 A. Yes, I am.

21 Q. What is that position?

22 A. They consider it safe and they feel it should be offered to  
23 every woman.

24 Q. At this point could you please refer, Dr. Grunebaum, to Tab  
25 5 in your exhibit binder?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 A. Yes.

2 Q. Have you seen this document before?

3 A. Yes, I did.

4 Q. What is it?

5 A. It is the ACOG statement of policy as issued by the ACOG  
6 executive board and its abortion policy.

7 Q. As a member of ACOG, do you receive copies of ACOG policy?

8 A. Yes, I do.

9 MS. WIGMORE: Your Honor, at this point we offer in  
10 evidence Plaintiff's Exhibit 5 which was actually in  
11 conjunction with the Cain deposition yesterday as well.

12 THE COURT: Any objection?

13 MS. GOWAN: Yes, your Honor. And as you know, the  
14 parties have submitted briefing on the admissibility of this  
15 particular exhibit and this is not most certainly an  
16 appropriate witness through which to offer this into evidence.

17 MS. WIGMORE: We disagree with that, your Honor. This  
18 is a member of ACOG, he is familiar with their policies.

19 THE COURT: I don't know that I agree with you on  
20 that, but the only thing I can let in for at the moment is  
21 that he is a member, as a member he received it. Beyond that I  
22 don't know that he is in a capacity to testify to ACOG's  
23 policy.

24 And I will try and rule on that for you this afternoon  
25 as far as the previous offer of that exhibit through the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 deposition yesterday.

2 But let me ask you this, Ms. Gowan. There is also  
3 reference that -- well, I will just leave it at that.

4 But at this point in time I will allow it in, only for  
5 the purpose that the witness, as a member, he received it, for  
6 him to testify as to the policy, and on behalf of the  
7 organization under the foundation that's been laid,  
8 Ms. Wigmore. Otherwise I will deny it on that basis.

9 MS. WIGMORE: Your Honor, for clarification, will it  
10 be permissible to use the document as a vehicle to ask  
11 questions of the witness and to refer to specific language in  
12 the document at this point?

13 THE COURT: You can show a witness anything and ask  
14 him whether or not -- I don't know how you are going to use it,  
15 in what context, but you are not offering it in and this is not  
16 the witness to offer it into evidence, an officer or member of  
17 the board, etc. for ACOG.

18 It is something he has, you can ask him whether he  
19 believes it is correct or not correct or whatever but not on  
20 behalf of the organization.

21 MS. WIGMORE: Thank you, your Honor.

22 THE COURT: So, in other words, it's sustained except  
23 for that limited basis.

24 You don't even need the document to ask the question.

25 MS. WIGMORE: I understand.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 THE COURT: You can ask him whether or not he believes  
2 something is correct or incorrect.

3 BY MS. WIGMORE::

4 Q. Dr. Grunebaum do you agree with the position ACOG has taken  
5 regarding intact D&E?

6 A. Yes, I do.

7 THE COURT: Now you just used that in a fashion that I  
8 told you no. Don't try it again.

9 MS. WIGMORE: I'm sorry, your Honor.

10 THE COURT: The question is stricken. You are saying  
11 ACOG and saying something as established fact, Ms. Wigmore, and  
12 I don't appreciate cute practice.

13 MS. WIGMORE: I apologize, your Honor.

14 BY MS. WIGMORE:

15 Q. Dr. Grunebaum, do you have an opinion as to whether intact  
16 D&E is a safe procedure that should be allowed?

17 MS. GOWAN: Objection. Asked and answered.

18 THE COURT: Sustained.

19 Q. Dr. Grunebaum, are you familiar with the phrase intact D&X?

20 A. Yes, I am.

21 Q. What is intact D&X?

22 A. Intact D&X is another word or description for an intact  
23 dilatation and extraction.

24 Q. And as a member of ACOG, can you tell us whether or not  
25 intact D&X is a term that has been used by ACOG?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 MS. GOWAN: Objection, your Honor.

2 THE COURT: Sustained.

3 Q. Is there any difference in your opinion, Doctor, between  
4 the term intact D&X and the term intact D&E?

5 A. Not in my understanding.

6 Q. Dr. Grunebaum, when you perform an intact D&E, do you use  
7 instruments to convert the fetus to footling breech?

8 MS. GOWAN: Objection, leading.

9 THE COURT: I will allow it.

10 You can answer the question.

11 THE WITNESS: Usually not. But it's my understanding  
12 that some physicians do.

13 Q. Dr. Grunebaum, is intact D&E the only method available for  
14 performing abortions in any given circumstance?

15 A. Absolutely not.

16 Q. And given your opinion that is not the only method  
17 available, does that affect, in any way, your view as to the  
18 safety of intact D&E and whether it should be allowed?

19 A. No, it does not.

20 Q. Why not?

21 A. Because in medicine, you know, being a physician you learn  
22 about different procedures and you learn about different  
23 options. And each option or variation of an option under the  
24 different circumstances is the most appropriate one.

25 We have to decide, sometimes in a millisecond, which

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 procedure to do next.

2 So that because one procedure is not the only one and  
3 you sometimes have other choices, what you would like to do as  
4 a physician, and I hope as other medical personnel do, is that  
5 you can decide in a specific moment which is the most  
6 appropriate and safest under the condition.

7 Q. Can you give us an example of a situation in which a  
8 procedure that is not the only available option might be the  
9 safest?

10 A. Well, let me go out of my speciality.

11 As a man, something I'm going to be faced with soon is  
12 with the danger of prostate cancer. If I were to be diagnosed  
13 with prostate cancer I would have several options of treatment.  
14 This has recently received a lot of attention over the last  
15 couple of years when some famous New Yorkers were diagnosed  
16 with prostate cancer and their decision-making process of which  
17 options they had were explored.

18 When you have prostate cancer you can choose to do  
19 nothing -- believe it or not -- you can choose to have surgery  
20 done, and you can choose to be irradiated. Surgery is not the  
21 only way to treat prostate cancer.

22 THE COURT: They aren't options that you have to make  
23 instantaneously in the example you gave.

24 THE WITNESS: Right. You do have time to decide which  
25 option you do. That's correct.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 THE COURT: A lot of times.

2 THE WITNESS: A lot of times that's right.

3 And in termination of pregnancy you sometimes, not as  
4 a physician but as a patient, you do have a lot of time to make  
5 those options.

6 When I sit down -- usually it is a couple -- but when  
7 I sit down with a woman who is about to have termination of  
8 pregnancy I explain to her all the different options exactly  
9 the way you just described.

10 THE COURT: You also, when you do that, Doctor, do you  
11 also describe those options such as the D&E with the  
12 dismemberment and that you take the parts of the fetus off?

13 THE WITNESS: Absolutely. It's part of my --

14 THE COURT: Oh.

15 THE WITNESS: Sorry for interrupting.

16 Go ahead.

17 THE COURT: Do you also describe the other option  
18 which you described which where the terms the D&X where the  
19 fetus is partially delivered and you place the scissors at the  
20 back of the skull of the child and insert those and then apply  
21 a suction device to suck the brain out?

22 Do you describe all of that to them --

23 THE WITNESS: Yes, I do.

24 THE COURT: -- in your practice?

25 THE WITNESS: In my practice I tell all. In fact, I

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 go a step further. I tell a patient that one very big  
2 complication of the procedure is that she can die. That's  
3 actually a much worse outcome than whatever you just described.

4 And I think it is important that as part of your  
5 disclosure there is informed consent given. That's part of the  
6 informed consent.

7 THE COURT: And you find this a vital factor?

8 THE WITNESS: Absolutely.

9 THE COURT: In your professional dealings with your  
10 patients?

11 THE WITNESS: Yeah. I mean, I am in charge of the  
12 patient's safety committee and the questions that you just  
13 brought up come up all the time. How much do you tell a  
14 patient about what you are about to be doing -- all the time on  
15 labor and delivery.

16 And we actually continuously develop new guidelines  
17 how much to tell somebody. And I think specifically --  
18 specifically -- with a termination of pregnancy it is important  
19 that my patient gets all the options, not just the surgical  
20 options, not just the induction option, but also the option of  
21 not doing anything, not having a termination of pregnancy.

22 And I can tell you of patients who, who after I talk  
23 to them said, you know, we didn't know what was involved, let  
24 us think about it. They left my office and never came back.  
25 And think that's absolutely a valid choice. It's a choice that

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 a woman and whoever she makes her decision with should make  
2 under non-pressured circumstances.

3 THE COURT: Thank you, Doctor.

4 Ms. Wigmore.

5 BY MS. WIGMORE:

6 Q. Doctor, does informed consent, in your mind, require the  
7 doctor to disclose to the patient each and every detail of what  
8 will transpire during a surgical procedure?

9 A. Well, most doctors will not discuss each and every detail.  
10 You will not say how much stitches you put in, you will not say  
11 exactly where you make an incision.

12 But with a termination of pregnancy it is relatively  
13 simple. There are more or less 10 steps involved. More or  
14 less 10, couple of more, couple less, and it's straightforward  
15 to explain those procedures.

16 Q. What, if any role, does the physician's judgment play in  
17 determining what should be disclosed to the patient in terms of  
18 informed consent?

19 A. Well, it's the experience of the physician and the  
20 judgment.

21 When you look at me -- when I look at myself early on  
22 in my training my feeling was to tell a patient less. And as I  
23 have evolved with experience it is important to be able to  
24 decide how much and when to tell certain things.

25 Q. Do you agree that it's up to the individual physician to

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 determine how much detail to describe?

2 A. It's not only up to the individual physician and different  
3 physicians disclose certain things, what is even more important  
4 is how much a patient wants to know.

5 You cannot force any information onto a patient. You  
6 can give basic information but some patients ask questions and  
7 you tell them more, and some patients ask questions and you  
8 tell them slightly less. As long as you give them, overall, an  
9 idea of what is going on.

10 THE COURT: Do you have these standards of your ethics  
11 committee memorialized in writing?

12 THE WITNESS: No, I don't.

13 THE COURT: Do you publish them through your committee  
14 or group?

15 THE WITNESS: It is actually an interesting question  
16 because we have discussed it in our hospital and ethics is,  
17 especially in my speciality, is something that is fluctuant, it  
18 changes from week to week to week, so things do change over  
19 time.

20 THE COURT: But what good are they if you don't know  
21 the -- if you don't let the rest of those in your speciality  
22 know? Does it have any value if you just sit around and talk  
23 about it in a vacuum without disseminating your thoughts?

24 THE WITNESS: It is being disseminated. There are  
25 some basic ethical --

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 THE COURT: So you do put it in writing and  
2 disseminate it?

3 THE WITNESS: It is. When you say do you put it, I  
4 didn't.

5 THE COURT: I don't mean you personally.

6 THE WITNESS: I misunderstood you. It is being put in  
7 writing and there are ethical --

8 THE COURT: That's what I meant.

9 THE WITNESS: I'm sorry. I misunderstood you.

10 THE COURT: I mean the committee.

11 THE WITNESS: I apologize.

12 Yes.

13 BY MS. WIGMORE:

14 Q. Doctor, are you aware of any studies comparing the relative  
15 safety of intact D&E with dismemberment D&E?

16 A. Yes.

17 Q. What study or studies are you aware of?

18 A. Well, the studies that I know of is a study that was  
19 published a couple of years ago in one of the journals where  
20 they looked at long-term outcome of D&Es, and I believe most  
21 recently there is a study that is about to be published.

22 Q. What is that study?

23 MS. GOWAN: Objection, your Honor.

24 The government has a pending in limine motion in  
25 connection with the study that's about to be published by

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 Dr. Stephen Chasen and we would request that plaintiffs not be  
2 permitted to inquire of plaintiffs other witnesses concerning  
3 that study.

4 As your Honor knows, that involves the issue of  
5 medical records, it involves the issue that's currently pending  
6 before the Second Circuit.

7 THE COURT: I would defer questioning on that and the  
8 witness can be recalled for that area, but I think Ms. Gowan  
9 has a point.

10 MS. WIGMORE: Your Honor, may I be heard on that  
11 point?

12 THE COURT: Sure.

13 MS. WIGMORE: This witness has already testified at  
14 his deposition, he has relied on this study, it is part of his  
15 expert opinion. Under the rules of evidence he is entitled to  
16 rely on evidence and describe it whether or not its admissible.

17 For those reasons I think it is appropriate that he be  
18 able to testify about the general nature of this study. It is  
19 part of the opinion.

20 THE COURT: Well you are putting --

21 Doctor, have you read this report of Dr. Chasen?

22 THE WITNESS: Yes, I did.

23 THE COURT: But it's not published.

24 THE WITNESS: It is accepted for publication. In our  
25 Speciality that comes as close to being published as you can in

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

1 fact.

2 Many papers that have been accepted for publication  
3 can be pre-publicized sometimes and it happens all the time  
4 that something that is really important people know about.

5 THE COURT: This witness is going to go over into the  
6 afternoon so I am going to restrict you at the moment but you  
7 can go ahead with the rest.

8 MS. WIGMORE: Sorry. I need clarification, your  
9 Honor. May I ask him about the study at all?

10 THE COURT: No, not now. He is going to continue into  
11 this afternoon. I will let you know whether or not I will  
12 allow questions about it after lunch.

13 MS. WIGMORE: One more point of clarification. I  
14 believe Ms. Gowan's motion does not address precluding all  
15 testimony generally about the article but rather precluding  
16 expert testimony of Dr. Stephen Chasen.

17 I will move on to another subject.

18 THE COURT: I'm not sure what you just said but go  
19 right ahead. Move on.

20 BY MS. WIGMORE:

21 Q. Dr. Grunebaum, could you please refer to Plaintiff's  
22 Exhibit 69 in your exhibit binder?

23 A. Yes.

24 Q. Do you see the word Section I, short title, on the first  
25 page of this exhibit?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2

Grunebaum - direct

1 A. Yes, I do.

2 Q. Will you please read the sentence under that heading?

3 A. This Act may be cited as (Partial-Birth Abortion Ban Act of  
4 2003.)

5 Q. Dr. Grunebaum, have you read this Act in its entirety?

6 A. Yes, I did.

7 Q. Could you please refer to Section II of the Act? What is  
8 the heading of that section?

9 A. Findings.

10 Q. Have you reviewed this section of the Act?

11 A. Yes, I did.

12 Q. If you could refer, please to Section 14A of the findings,  
13 which appears on the fourth page of this Act?

14 A. I have it.

15 Q. I want to direct your attention to the following language  
16 at the beginning of the paragraph which I will just read for  
17 you and ask you to follow along:

18 "Partial-birth abortion poses serious risks to the  
19 health of a woman undergoing a procedure. Those risks include,  
20 among other things, an increase in a woman's risk of suffering  
21 from cervical incompetence, a result of cervical dilation  
22 making it difficult or impossible for a woman to successfully  
23 carry a subsequent pregnancy to term."

24 Did I read that correctly?

25 A. Yes, you did.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 Q. Are you familiar, Doctor, with cervical incompetence?

2 A. Yes, I am.

3 Q. What is it?

4 A. Cervical incompetence is a weakening of the cervix.

5 Q. Let's assume for a moment that the partial-birth abortion,

6 the term used in this finding, is intact D&E. Based on that

7 assumption, do you agree that an intact D&E increases the

8 woman's risk of suffering from cervical incompetence?

9 A. From an intact D&E?

10 Q. Yes.

11 A. No.

12 Q. Why not?

13 A. There is no evidence for it whatsoever. And in addition to

14 this, if you compare an intact D&E with a dismemberment D&E,

15 it's very clear to everybody who understands the procedures

16 that if at all any risks -- and there are no risks increased in

17 a dismemberment D&E -- but even in a dismemberment D&E there is

18 no evidence whatsoever from the procedure itself that there is

19 increased risk of cervical incompetence, especially if it is

20 done correctly by a trained person.

21 Q. Doctor, have you ever treated a woman with cervical

22 incompetence?

23 A. All the time.

24 Q. Have you ever treated a woman with cervical incompetence

25 who had no prior history of intact D&E?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 A. All the time.

2 In fact, it happens more often than not in women who  
3 have never been pregnant before, so it's a condition whose  
4 origin more often than not we don't know about.

5 Q. Doctor, I want you just to assume for a moment that there  
6 were some evidence showing a risk of cervical incompetence from  
7 an intact D&E; if that were true would affecting fetal demise  
8 prior to the surgical removal of the fetus eliminate the risk  
9 of cervical incompetence?

10 A. I don't see how.

11 Q. Why not?

12 A. Because it has nothing to do with dilating or entering the  
13 cervix at that moment.

14 Q. Dr. Grunebaum, if you could refer back to section 14A of  
15 the Act, I want to follow on from where we left off.

16 Do you see the words increased risk of uterine  
17 rupture, abruption?

18 A. Yes.

19 Q. Embolus amniotic fluid?

20 A. Yes.

21 Q. What is uterine rupture?

22 A. Uterine rupture, as I mentioned before, is a hole in the  
23 uterus. The uterus is either opened up through artificial  
24 means or through labor.

25 Q. Do you agree that there is an increased risk of uterine

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 rupture from an intact D&E?

2 A. Well I have a problem with the word increased risk because

3 I--

4 THE COURT: Could you say that again, Doctor?

5 THE WITNESS: I have a problem with the word increased

6 risk in this specific circumstance because I don't know what it

7 compares it with. Increased risk to what?

8 Q. Let's assume that increased risk refers to intact D&E in

9 comparison to other methods of second trimester abortion.

10 MS. GOWAN: Objection. No foundation.

11 THE COURT: I think the doctor is capable of answering  
12 the question.

13 THE WITNESS: Well, as I testified before, in a  
14 induction termination of pregnancy with a scar on the uterus  
15 there is clearly, without any doubt, an increased risk of  
16 rupturing the uterus if the woman goes into labor.

17 So, if you compare the intact D&E with an induction  
18 D&E, specifically in a woman who has a prior classic incision,  
19 there is no doubt that the induction D&E has a much, much  
20 higher risk of rupture. In fact, it is so high that it's  
21 contraindicated.

22 The intact D&E in fact is the preferred method of  
23 terminating the pregnancy over the induction termination of  
24 pregnancy when there is a scar on the uterus.

25 THE COURT: How about when there is no scar?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 THE WITNESS: When there is no scar, labor in and of  
2 by itself can also rupture the uterus but that is much, much  
3 lower risk.

4 And the risk in the dismemberment D&E is that you can  
5 rupture the uterus. There is no question about it. I  
6 testified to that previously. That's one of the risks in a  
7 dismemberment D&E.

8 In the intact D&E that risk is lessened because you do  
9 not use the instruments.

10 I testified that the time of uterine rupture happens  
11 in a dismemberment D&E when you introduce the instruments.  
12 It's the thing we are most frightened about and anything we can  
13 do to lessen the introduction of instruments, multiple passes  
14 of instruments will, by definition, decrease the risk of  
15 uterine rupture.

16 BY MS. WIGMORE:

17 Q. Dr. Grunebaum, what is abruption?

18 A. Abruption is the detachment of the placenta.

19 Q. Based on your experience, do you agree that there is an  
20 increased risk of abruption from an intact D&E?

21 A. I need to say ahead of time that, again, I have to compare  
22 it to increased to what? I'm assuming you are comparing it in  
23 future pregnancies, is there a risk, and I am just  
24 editorializing; in future pregnancies of having an abruption  
25 after you do an intact D&E, and I would say that's total and

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

1 absolute nonsense.

2 Q. Why is that?

3 A. Because an abruption, detachment of the placenta usually  
4 happens in the third trimester of the pregnancy, it happens  
5 when a woman still carries the fetus inside the uterus. And it  
6 usually happens -- one of the risk factors, if not the major  
7 risk factor -- is a woman having high blood pressure,  
8 hypertension, pre-eclampsia can lead to abruption of the  
9 placenta.

10 So, if I follow the steps here that if the intact D&E  
11 leads to the abruption it would also lead to hypertension in  
12 the next pregnancy and that's nonsense.

13 There is nothing that relates an intact D&E to an  
14 abruption specifically compared to a dismemberment D&E. It's  
15 much safer to do it specifically as it relates to the lining of  
16 the uterus.

17 Q. Doctor, what is amniotic fluid embolus?

18 A. Amniotic fluid embolus is one of the most dangerous life  
19 threatening conditions in pregnancy and if it happens it kills  
20 a good percentage of women. It happens. Fortunately, it is  
21 such a rare condition that most obstetricians have never seen  
22 it.

23 What happens is that the amniotic fluid is pushed into  
24 the blood vessels and in the uterus there are big blood  
25 vessels. And then it travels along the blood vessel into the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 lung and it blocks the lungs and then the woman has difficulty  
2 breathing. It is similar to a pulmonary embolus.

3 In pulmonary embolus you have a blood clot and the  
4 pulmonary fluids, the blood clot blocks the lung.

5 Q. Doctor, do you agree there is increased risk of amniotic  
6 fluid embolus from intact D&E?

7 A. There is decreased risk --

8 Q. Why is that?

9 A. -- as compared to the induction termination of pregnancy.

10 In an induction termination of pregnancy it is  
11 logical. You give medication, you increase the pressure inside  
12 the uterus. It is like a balloon.

13 So, during an induction termination of pregnancy there  
14 are continuous contractions and as the balloon is being  
15 pressed, the amniotic sac, you increase the risk of that fluid  
16 being pressed into the blood vessel and creating a amniotic  
17 fluid embolus.

18 In a D&E, both dismemberment and intact D&E, you break  
19 the water immediately at the beginning of the procedure and  
20 most fluid is going to -- we know that with intact membranes  
21 the risk is higher than with ruptured membranes.

22 So, to say that the intact D&E has an increase of  
23 pulmonary fluid embolus is nonsense.

24 Q. Doctor, I would like to refer you back to Plaintiff's  
25 Exhibit 69, the Act, and to the next phrase in Section 14A

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

1 which reads:

2 "And the trauma to the uterus as a result of  
3 converting the child to a footling breech position, a procedure  
4 which, according to a leading obstetrics textbook 'there are  
5 very few, if any indications for' -- and then there is ellipses  
6 -- 'other than for delivery of a second twin.'"

7 Did I read that correctly?

8 A. Yes.

9 Q. First of all, what is converting the child to a footling  
10 breech position?

11 A. Converting a child to a footling breech position is when  
12 you turn the child around 180 degrees.

13 There are two positions -- three positions but two  
14 where the fetus is in longitudinal position as I explained  
15 earlier, it was either vertex or breech.

16 So, conversion to footling breech can be done from a  
17 vertex which is 180 degrees, or it can be done from a  
18 transverse line, which is 90 degrees.

19 Q. How often does that occur in the intact D&Es you perform?

20 A. I don't perform a conversion from vertex to breech in  
21 intact D&Es.

22 Q. Doctor, do you agree with the statement I just read  
23 regarding trauma to the uterus as a result of converting the  
24 child to a footling breech, a procedure which, according to a  
25 leading obstetrics textbook, there are very few, if any

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 indications for, other than for delivery of a second twin?

2 A. Yes.

3 Q. Do you agree with that statement?

4 A. No.

5 Q. Why not?

6 A. Because -- first of all, let me say that I do perform  
7 conversions from vertex to breech and vice versa fairly  
8 frequently, and I usually do it at the time of caesarean  
9 sections but I don't if indicated. So, I do perform those  
10 procedures.

11 In this specific instance the leading obstetrics  
12 textbook is Williams Obstetrics which is a text. The sentence  
13 mentioned here is taken more or less verbatim from that  
14 textbook. And the section --

15 THE COURT: Have you compared it, is it verbatim?

16 THE WITNESS: Yes.

17 THE COURT: It is verbatim. Not more or less, it is  
18 verbatim.

19 THE WITNESS: Well, actually you cannot -- there are  
20 three stars here in this sentence so in Williams those stars  
21 are missing.

22 MS. WIGMORE: There is an ellipses, your Honor, just  
23 for clarification.

24 THE COURT: All right.

25 BY MS. WIGMORE:

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

1 Q. Go ahead.

2 A. That section in Williams relates to doing a version in live  
3 fetuses where we are concerned about the health and well-being  
4 of the fetus.

5 For example, a mother goes into labor and we are about  
6 to deliver the baby. The textbook says we really shouldn't do  
7 it or should do only a few times because under those  
8 circumstances a caesarean section is more appropriate. It does  
9 not at all apply to an abortion procedure.

10 Q. Are there any differences in the risks of converting to a  
11 footling breech position in a live birth versus converting to a  
12 footling breech position in an intact D&E?

13 A. Absolutely.

14 In a live term gestation there are several things to  
15 consider. The first one is the well-being of the baby. We are  
16 very concerned that the baby is being delivered in the safest  
17 possible way.

18 In an abortion procedure we are not concerned about  
19 the well-being of the fetus but we are very concerned about the  
20 well-being of the mother. That's one reason why it's  
21 different.

22 The other reason it is different is that in an  
23 abortion procedure the fetus is much, much smaller, sometimes  
24 up to 10 times smaller than a term fetus so that damage that's  
25 possibly being done by a big baby is much larger than the small

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

1 one.

2 In addition to this, the uterus in a term pregnancy is  
3 much, much thinner than it is in a second trimester pregnancy  
4 so that also further increases the risk of uterine damage.

5 Q. Doctor, I want to refer your attention back to Section 14A  
6 of the Act and I want to refer you specifically to about seven  
7 lines from the bottom, do you see the word "lacerations?"

8 A. Where are you again? I'm sorry.

9 Q. The phrase is "a risk of lacerations," it is about --

10 A. 14A?

11 Q. Yes, 14A.

12 A. Yes, I see it.

13 Q. And I am going to read that phrase for you.

14 "A risk of lacerations and secondary hemorrhaging due  
15 to the doctor blindly forcing a sharp instrument into the base  
16 of the unborn child's skull, while he or she is lodged in the  
17 birth canal and an action which would result in severe  
18 bleeding."

19 Did I read that correctly?

20 A. Yes.

21 Q. Do you agree that intact D&E involves blindly forcing a  
22 sharp instrument into the base of the unborn child's skull?

23 A. It includes forcing an instrument into the skull but more  
24 often than not that is either done with direct vision either  
25 with my eyes, I can see it happening, or with my hands, I can

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 feel where it goes into. That is different than a blind  
2 insertion with a dismemberment D&E where I go into the uterus  
3 and I feel nothing.

4 So, insertion of the instrument into the fetal skull,  
5 more often than not with my procedure, involves that I can see  
6 it, I can feel and I can see it.

7 Q. When you say more often than not you can see it, how is the  
8 fetus positioned?

9 A. Well, what you do during this procedure is you pull down  
10 the body of the fetus and the cervix is being pulled down and  
11 the vaginal walls are opened up by your assistant so you can  
12 actually see where you enter.

13 You never, if ever, enter the fetal skull without  
14 seeing where you are going to.

15 Q. Doctor, if you could refer back to the first page of the  
16 act I want to direct you to Section 2 of the findings.

17 Do you have that page?

18 A. Yes.

19 Q. And I want you to refer to the first sentence of paragraph  
20 2 which reads:

21 "Rather than being an abortion procedure that is  
22 embraced by the medical community, particularly among  
23 physicians who routinely perform other abortion procedures,  
24 partial-birth abortion remains a disfavored procedure that is  
25 not only unnecessary to preserve the health of the mother but

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 in fact poses serious risks to the long-term health of the  
2 woman and in some circumstances their lives.

3 Assuming for the moment that the partial birth  
4 abortion referred to in that section is intact D&E, do you  
5 agree that intact D&E has not been embraced by the medical  
6 community and remains a disfavored procedure?

7 A. No.

8 Q. Why not?

9 A. Because it is a procedure which is embraced by my medical  
10 community which is the American College of OB/GYN. I am a  
11 member of the American College of OB/GYN. I work together with  
12 I don't know how many members right now, over 40,000, and we  
13 support that procedure.

14 So, to say it's not embraced is contrary to the  
15 reality.

16 THE COURT: Wait, Doctor. Have you circulated all  
17 40,000 to see if they agree with you?

18 THE WITNESS: No, I did not circulate and I expect  
19 that not all 40,000 do embrace it.

20 THE COURT: So that might be an overstatement.

21 THE WITNESS: I'm sorry?

22 THE COURT: It might be an overstatement to say all  
23 40,000 --

24 THE WITNESS: Yes.

25 THE COURT: -- agree with you, is that correct?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 THE WITNESS: Yes.

2 THE COURT: Okay.

3 Next question, Ms. Wigmore.

4 BY MS. WIGMORE:

5 Q. Dr. Grunebaum, could you please refer to Section 13 of the  
6 findings which appears on the third page of the Act, and let me  
7 know when you have found that section?

8 A. Yes.

9 Q. Could you just take a moment -- let me just read that  
10 section for you so we have it on the record.

11 There exists a substantial -- there exist substantial  
12 record evidence upon which Congress has reached its conclusion  
13 that a ban on partial-birth abortion is not required to contain  
14 a health exception because the facts indicate that a  
15 partial-birth abortion is never necessary to preserve the  
16 health of a woman, poses serious risks to a woman's health and  
17 lies outside the standard of medical care.

18 That's the first sentence of Section 13; do you agree  
19 with that sentence?

20 MS. GOWAN: Objection, your Honor. There is no  
21 foundation that this witness has any idea what's in the record  
22 evidence underlying the Partial-Birth Abortion Ban Act.

23 MS. WIGMORE: I will rephrase, your Honor.

24 Q. Dr. Grunebaum, do you agree that partial-birth abortion is  
25 never necessary to preserve the health of a woman?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2

Grunebaum - direct

1 A. No.

2 Q. Do you agree that intact D&E poses serious risks to a  
3 woman's health?4 A. As compared to induction or other forms of termination of  
5 pregnancy? No.6 Q. Do you agree that intact D&E lies outside the standard of  
7 medical care?8 A. Absolutely not. If it's embraced by my professional  
9 organization how can it be outside the standard of medical  
10 care?11 MS. GOWAN: Objection. Move to strike the part of the  
12 answer that refers to his professional --

13 THE COURT: Sustained.

14 THE WITNESS: It is not outside the standard of  
15 medical care.

16 BY MS. WIGMORE:

17 Q. And putting aside any statement about any medical  
18 organization, why do you believe that's the case?19 A. Because it is being done at our hospital, it is being done  
20 at other hospitals around the country, and if something is  
21 outside the standard of medical care, believe me, very quickly  
22 those individual hospitals would forbid that procedure.23 Q. Doctor, could you please refer to Section 14B of the  
24 findings which is on the next page?

25 A. Yes.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT2 Grunebaum - direct

1 Q. And I want to direct your attention to the last sentence of  
2 that section which reads:

3 "Indeed, unlike other more commonly used abortion  
4 procedures there are currently no medical schools that provide  
5 instruction on abortions that include the instruction in  
6 partial-birth abortions in their curriculum.

7 Doctor, do you agree that there are currently no  
8 medical schools that provide instruction on intact D&E?

9 A. No.

10 (Continued on next page)

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

43urnat3 Grunebaum - direct

1 Q. Why not?

2 A. Because it is being taught at Cornell, and I know it's  
3 being taught at Columbia. I also know that other physicians in  
4 other places, and I have heard of them, do teach it at medical  
5 schools. But at the very least, there are at least those two  
6 medical schools in Manhattan who teach it on a routine basis to  
7 medical students and to residents.

8 MS. WIGMORE: Your Honor, I am at the end of a line of  
9 questioning. I don't know what your preference is for lunch,  
10 but I wanted to let you know that.

11 THE COURT: We will take our luncheon break. We were  
12 going to have to take it in five minutes anyway. We will take  
13 our luncheon recess at this time and reconvene at 2:00 p.m.  
14 Court will stand in recess.

15 (Luncheon recess)

16

17

18

19

20

21

22

23

24

25

43urnat3 Grunebaum - direct

1 AFTERNOON SESSION

2 2:15 p.m.

3 AMOS GRUNEBAUM, resumed.

4 THE COURT: Good afternoon. Before we continue with  
5 the testimony, there are a few housekeeping problems. There  
6 are a few open motions, I believe all by the government.

7 One we had this morning with regard to the inquiry of  
8 Dr. Grunebaum concerning Dr. Chasen's report. I have read the  
9 government's submissions and heard argument. Although I  
10 considered the result, unless something has changed in the  
11 Court of Appeals that I am not aware of, it results in a  
12 grossly unfair situation where the government cannot obtain  
13 records to inquire of witnesses.

14 On the other side, albeit the records are in the  
15 custody of third parties and are the records of the hospital,  
16 the government has given me no authority for precluding the  
17 testimony in this type situation where a third party has  
18 refused to turn them over, and in the case of the Seventh  
19 Circuit they have been upheld. We don't know about the Second  
20 Circuit. And the Court in Philadelphia has precluded records.

21 I am going to allow the plaintiffs to inquire as to  
22 the Chasen records, however with the caveat that I may order  
23 Dr. Grunebaum back to the stand if records are turned over and  
24 the government sees fit to inquire. I am going to not require  
25 the plaintiffs to defer Dr. Hammond any longer in that, for the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 same reasons, the government has given me no reason to preclude  
2 his testimony, albeit in the Court's opinion the government  
3 should have the right to inquire as to the records underlying  
4 the doctor's clinical experience that I presume he is going to  
5 testify about.

6 With regard to the exhibits yesterday -- is Ms. Parker  
7 in the courtroom?

8 MS. PARKER: Yes, your Honor yes, your Honor.

9 THE COURT: I believe they are exhibits 5, 6, and 8,  
10 is that correct?

11 MS. PARKER: That's correct, your Honor.

12 THE COURT: I am going to refuse to admit them into  
13 evidence at this time. Although, I ask the government to  
14 consider, based on the foundation laid by the plaintiffs using,  
15 how many times have I said this, the ill-advised procedure to  
16 use deposition testimony, I don't think the foundation was  
17 laid.

18 However, I believe the government has listed the  
19 Congressional Record as their exhibit, and there has been some  
20 mention that these exhibits are part of the Congressional  
21 Record, which you are all free to remind me of. They will come  
22 in at that time in any event if that is the case. But based on  
23 the foundation laid yesterday, I will deny their offer into  
24 evidence based on the foundation laid in the deposition.

25 Ms. Wigmore, we can continue. Excuse me one minute.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 Ms. Gowan, is there any news from the appellate world?

2 MS. GOWAN: No, your Honor, other than I believe the  
3 government's papers were either filed within the hour or will  
4 be shortly.

5 THE COURT: But there is no change as of this moment?

6 MS. GOWAN: That is correct.

7 THE COURT: And no other approaches have been taken  
8 that you need to tell me about at this point?

9 MS. GOWAN: No, your Honor. Thank you.

10 THE COURT: Ms. Wigmore, you may proceed.

11 MS. WIGMORE: Thank you.

12 DIRECT EXAMINATION (continued)

13 BY MS. WIGMORE:

14 Q. Dr. Grunebaum, are you aware of any studies comparing the  
15 relative safety of intact D&E with dismemberment D&E?

16 A. Yes, I am.

17 Q. Which study are you aware of?

18 A. There is a study about to be published with Dr. Chasen as  
19 the lead author, I believe.

20 Q. Could you please describe for us that study generally.

21 A. That study in general looked retrospectively at women who  
22 underwent dilatation and evacuation for termination of  
23 pregnancy. It then compared the dismemberment variant to the  
24 intact D&E variant.

25 Q. When you say the study looked retrospectively, what do you

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3

Grunebaum - direct

1 mean?

2 A. Retrospectively is you identify people who undergo a  
3 certain procedure or who are under certain situations. Then  
4 you abstract information from those medical records and then  
5 you compare them with each other.

6 Q. In the course of your medical practice, have you relied on  
7 retrospective studies?

8 A. All the time.

9 Q. What were the results of Dr. Chasen's study regarding the  
10 comparison of intact D&E and dismemberment D&E?

11 A. I can give you the general gist. My understanding from the  
12 study is that both forms of D&E are safe.

13 Q. What, if any, impact does Dr. Chasen's study have on your  
14 opinion concerning the relative safety of intact D&E and D&E  
15 involving dismemberment?

16 A. It confirms what I said previously, that the intact D&E is  
17 as safe or even safer than the dismemberment D&E.

18 Q. Did Dr. Chasen's study conclude that there was any  
19 difference in complication rates for D&E versus intact D&E?

20 A. Not that I can recall.

21 Q. What is your basis for concluding that intact D&E offers  
22 safety advantages over dismemberment D&E?

23 A. The study shows that both dismemberment D&E and intact D&E  
24 have similar outcomes. But the groups that have been compared  
25 in that study are slightly different groups and they differ

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 mostly as to the gestational age of the pregnancies. The  
2 average gestation age of the patients in the intact D&E group  
3 were slightly more advanced.

4 THE COURT: Slightly more what?

5 A. More advanced in pregnancy. I believe they were 23 weeks  
6 on average as compared to 21 weeks in the dismemberment D&E.

7 Q. Do the risks of abortion change at all with the advancement  
8 of gestational age?

9 A. Absolutely. They increase as the gestational age advances.  
10 For example, an abortion done at 20 weeks is less risky than  
11 that done at 21 weeks, 22 weeks. The reason for that is as we  
12 explained before. The fetus is bigger, the placenta is bigger,  
13 and the uterus is more distended, so you would expect at a more  
14 advanced gestation age more complications.

15 Q. Doctor, could you please refer back to Plaintiffs' Exhibit  
16 69, which is the Act.

17 A. I have it in front of me.

18 Q. I want to direct your attention to section 1531 of the Act,  
19 which appears on page S3-6.

20 A. I do have it in front of me.

21 Q. What is the title of section 1531?

22 A. "Partial-Birth Abortions Prohibited."

23 Q. Could you please read for us the first sentence under  
24 section 1531(a).

25 A. "Any physician who, in or affecting interstate or foreign

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 commerce, knowingly performs a partial-birth abortion and  
2 thereby kills a human fetus, shall be fined under this title or  
3 imprisoned not more than 2 years or both. This subsection does  
4 not apply to a partial-birth abortion that is necessary to save  
5 the life of a mother whose life is endangered by physical  
6 disorder, physical illness, or physical injury, including a  
7 life-endangering physical condition caused by or arising from  
8 pregnancy itself."

9 Q. Thank you.

10 THE COURT: By what is the last phrase? Caused by  
11 what?

12 THE WITNESS: "caused by or arising from the pregnancy  
13 itself."

14 THE COURT: Thank you.

15 Q. Doctor, is "partial-birth abortion" a medical term?

16 A. No, it is not.

17 Q. Is there a commonly understood meaning of "partial-birth  
18 abortion" in the medical community?

19 A. No, there isn't.

20 Q. If you could please turn your attention to section  
21 1531(b)(1). Could you please read that section for us,  
22 sections 1531(b)(1)(A) and (B).

23 A. "As used in this section, the term 'partial-birth abortion'  
24 means an abortion in which the person performing the abortion  
25 (a) deliberately and intentionally vaginally delivers a living

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

- 1 fetus until in the case of a head-first presentation the entire  
2 fetal head is out of the body of the mother, or in the case of  
3 breech presentation any part of the fetal trunk past the navel  
4 is outside the body of the mother, for the purpose of  
5 performing an overt act that the person knows will kill the  
6 partially delivered living fetus and (b) performs the overt act  
7 other than completion of delivery that kills the partially  
8 delivered living fetus."  
9 Q. Thank you, Doctor. You can stop there for the moment.  
10 Does the term "vaginally delivers" have a commonly understood  
11 meaning in the medical community?  
12 A. Yes, it does.  
13 Q. What does that term mean?  
14 A. A vaginal delivery is the passage of a fetus through the  
15 cervix into the vagina and then outside of the woman's body.  
16 Q. Does the term "vaginally delivers" cover an instance in  
17 which only part of the fetus is delivered?  
18 A. Yes, it does.  
19 Q. Does the phrase "living fetus" have a commonly understood  
20 meaning in the medical community?  
21 A. Yes, it does.  
22 Q. What does that phrase mean?  
23 A. Usually, it means that there is a fetal heart beat and/or  
24 fetal movements or the fetus is breathing, breathing movements.  
25 Q. Are you familiar with the term "viable" in the context of a

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3

Grunebaum - direct

1 fetus?

2 A. Yes, I am.

3 Q. In the medical profession, is there any distinction between  
4 the meaning of the term "living" and the meaning of the term  
5 "viable"?

6 A. Unfortunately, sometimes the word "viable" is misunderstood  
7 as meaning the same thing as "living." However, in the medical  
8 context the word "viable" means that the fetus has a reasonable  
9 chance to survive outside the uterus.

10 Q. Within the medical community, is there a commonly  
11 understood meaning of the phrase "breech presentation"?

12 A. Yes, there is.

13 Q. What does that phrase mean?

14 A. A breech presentation is when the fetus presents to the  
15 vagina or the cervix either with one or two feet or the butt.

16 Q. Dr. Grunebaum, in the definition you just read for us, do  
17 you see the phrase "any part of the fetal trunk past the  
18 navel"?

19 A. That is correct.

20 Q. Based on your experience as a physician, do you have an  
21 understanding of what that phrase means?

22 A. It is not very clear. I assume, I think, it is anything  
23 other than the head or the legs or the feet. But my problem is  
24 that I don't know what "past the navel" means, because the word  
25 "navel" in this context is not a medical term. The fetus does

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 not have a navel.

2 Q. Do you have an understanding of what "past the navel"  
3 means?

4 A. No, I don't. Because depending on from where you look, if  
5 I look from myself down, it could be part of my behind; or if  
6 somebody looks from my feet up, it could be part of my chest.  
7 So it is not clear what "past the navel" means.

8 Q. Doctor, I would like to focus your attention for a few  
9 moments on the intact D&E. We have discussed the medical  
10 meaning of a number of terms in the definition of  
11 "partial-birth abortion." Do you have an opinion as to whether  
12 that definition encompasses intact D&E?

13 A. Yes: It does.

14 MS. GOWAN: Objection to the form of the question.

15 THE COURT: Let me hear it again.

16 (Question read)

17 A. Yes, it does, for the following reason. In an intact --

18 THE COURT: Doctor, I didn't rule on it yet.

19 THE WITNESS: I'm sorry. I apologize.

20 THE COURT: Rephrase the question, please.

21 Q. Doctor, do you have an opinion as to whether the definition  
22 of "partial-birth abortion" you just read encompasses intact  
23 D&E?

24 MS. GOWAN: Objection: Calls for a legal conclusion.

25 THE COURT: Sustained.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 Q. Doctor, I direct your attention to the phrase "vaginally  
2 delivers a living fetus." Can you tell us whether or not  
3 intact D&E involves vaginal delivery?

4 A. Yes, it does.

5 Q. When you perform an intact D&E, is the fetus living when  
6 you commence with a vaginal delivery?

7 A. It usually is.

8 Q. In your experience, what percentage of intact D&E's involve  
9 a fetus in a breech presentation?

10 A. In my case, the vast majority.

11 Q. When you perform an intact D&E on a fetus in a breech  
12 presentation, do you vaginally deliver a fetus at which any  
13 part of the trunk past the navel is outside the body of the  
14 mother?

15 MS. GOWAN: Objection to the form of the question.

16 THE COURT: Let me hear it.

17 (Question read)

18 MS. GOWAN: And leading, your Honor.

19 THE COURT: No. I will allow it.

20 A. Yes, I do.

21 Q. Can you please explain how that occurs.

22 THE COURT: Ms. Gowan, the question is yes or no. It  
23 is not leading. In any event, go ahead. Next question.

24 Q. Could you explain, please, how that occurs, Dr. Grunebaum.

25 A. In an intact D&E, the legs or the feet of the fetus are

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 pulled forward through the cervix until the head lodges in the  
2 cervix, so at that moment you have delivered most parts of the  
3 fetus except the fetal head.

4 Q. Dr. Grunebaum, does an intact D&E involve an overt act that  
5 you know will kill the fetus?

6 A. The whole abortion involves that, so with all abortions  
7 they involve it. That is the idea of an abortion, to kill the  
8 fetus.

9 Q. Dr. Grunebaum, in an intact D&E do you typically compress  
10 the skull?

11 A. Typically, yes.

12 Q. Is that an act that you know will kill the fetus?

13 A. Not necessarily. It is the whole procedure. As you pull  
14 the fetus down, it will kill a previable fetus. I know the  
15 fetus will not survive outside the uterus at that moment. So  
16 there are several things that may potentially kill the fetus,  
17 but one of them could be the compression of the skull.

18 Q. Have you ever performed an intact D&E during which you have  
19 compressed the umbilical cord while the fetus was still living?

20 A. Not intentionally. But then the umbilical cord is being  
21 compressed as you pull the fetus down.

22 Q. Is the compression of the umbilical cord an act that will  
23 kill the fetus?

24 A. Not necessarily. Not all the time. It could be an act,  
25 but not necessarily.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat3 Grunebaum - direct

1 Q. At what point in the procedure would you perform the act of  
2 cutting the umbilical cord?

3 A. After I have compressed the fetal skull and delivered the  
4 body.

5 Q. At that point is any portion of the fetus outside the  
6 mother's body?

7 A. Yes, nearly all of the fetus is outside the mother's body  
8 at that point.

9 Q. When you perform an intact D&E, are any of the steps you  
10 take that you have described for us deliberate and intentional?

11 A. Yes.

12 Q. Which ones?

13 A. All of them, I hope.

14 Q. Doctor, assuming the acts and their effects, do you have  
15 any fear of prosecution should you perform an intact D&E?

16 A. That is why I am here today, to explain my fears of being  
17 prosecuted and ending up in prison. I have been a doctor now  
18 for, what, 30 years, and I have never been really threatened by  
19 law with going to prison by doing a procedure that I consider  
20 safe for my patients.

21 Q. Dr. Grunebaum, I want to focus your attention now on D&E's  
22 involving dismemberment. Do you have any fear of prosecution  
23 under the Act if you were to perform a D&E involving  
24 dismemberment?

25 A. Yes, I have.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat3 Grunebaum - direct

1 Q. Why is that the case?

2 A. Because in a dismemberment procedure, more or less the same  
3 as is described under what this Act calls a partial-birth  
4 abortion, and I can only read again, "The term 'partial-birth  
5 abortion' means an abortion in which a person deliberately  
6 performing the abortion deliberately and intentionally  
7 vaginally delivers a living fetus." That is what I do in a  
8 partial-birth abortion, in a dismemberment D&E also.

9 Q. In a dismemberment D&E do you ever deliver a portion of the  
10 fetal trunk past the navel outside the woman's body?

11 A. It definitely can happen. I don't do it all the time, but  
12 it is definitely part of the possibility of doing a  
13 dismemberment D&E.

14 Q. Can you give us an example of when that might occur.

15 A. At the moment when I have removed the laminaria and I have  
16 ruptured the membranes, let's suppose the fetus is in vertex  
17 presentation and I decided to do a dismemberment D&E.

18 Q. If I could stop you there. Could you tell us what vertex  
19 presentation is.

20 A. Vertex presentation is with the head down. As I explained  
21 before, I prefer to do the intact D&E when the fetus is in  
22 breech presentation. So in a vertex presentation I usually  
23 initiate the procedure by inserting an instrument into the  
24 vagina through the cervix and remove portions of the fetus. At  
25 that moment the fetus is alive, and at that moment, as I pull

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

- 1 out portions of the fetus, I deliver part of the fetus.  
2 Q. Is it ever the case that you deliver a portion of the fetus  
3 past the navel outside the woman's body in a dismemberment D&E?  
4 A. Yes.  
5 Q. Could you provide an example of how that might occur.  
6 A. I may deliver initially a leg or an arm of the fetus. Both  
7 of them are past the navel, depending on where you look.  
8 Q. After you had delivered that portion, is the fetus still  
9 living?  
10 A. It could be.  
11 Q. If you have dismembered a leg, can the fetus still be  
12 living?  
13 A. Absolutely.  
14 Q. And after you have done that, what would the next step be  
15 in the procedure?  
16 A. The next step would be to remove other portions of the  
17 fetus or to deliver the remainder of the fetus. At that moment  
18 I can either deliver additional portions of the fetus or, if  
19 with the next step I get a portion of the fetus that is still  
20 more or less intact even though I am doing a dismemberment D&E,  
21 I potentially could deliver the fetus intact with whichever  
22 portion I have removed before.  
23 Q. Let's take those situations one by one. In the case where  
24 you continue to deliver the fetus by continued dismemberment,  
25 is that an act that would kill the fetus?

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat3 Grunebaum - direct

1 A. Eventually it will, yes.

2 Q. Let's take the other example you gave, where you may  
3 deliver the fetus intact. What happens next?

4 A. It depends how I deliver it intact. Let's say the fetus at  
5 that moment has turned from a vertex to a breech -- it can  
6 happen -- and at that moment I have already delivered part of  
7 the fetus. I can then deliver the fetus with the instrument  
8 and then sometimes proceed similar to the intact D&E where the  
9 head is lodged in the uterus. And I then have to perform a  
10 craniocentesis to decrease --

11 THE COURT: What is that?

12 THE WITNESS: A craniocentesis is a procedure whereby  
13 you make an incision in the back of the fetal head and you then  
14 remove portions of the fetal contents of the skull to reduce  
15 the size of the skull.

16 THE COURT: Is that where with a suction device you  
17 suck the brains out?

18 THE WITNESS: You can either do it with the suction  
19 device or do it without.

20 THE COURT: How do you do that?

21 THE WITNESS: If you make an incision, sometimes the  
22 brain tissue comes out without suctioning it, because it is  
23 soft tissue and then the skull collapses. The idea is to make  
24 the skull collapse, because it is a very wide portion of the  
25 skull.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat3 Grunebaum - direct

1 THE COURT: Does it do it on its own or do you have to  
2 do something to crush it and compress it?

3 THE WITNESS: That is a good question actually.

4 Sometimes you have to crush it. But sometimes, for example, in  
5 a medical condition called hydrocephalus -- hydrocephalus is a  
6 medical condition and it is a frequent condition for doing  
7 termination of pregnancy -- all you need to do is make a small  
8 incision in the back of the skull anywhere, whichever is  
9 easiest accessible. Then the water inside the skull will come  
10 rushing out without suction and the skull collapses. The idea  
11 is to decrease the size of the skull so that you can deliver  
12 the remainder of the fetus.

13 THE COURT: Sometimes it does it on its own and  
14 sometimes you have to crush it?

15 THE WITNESS: Absolutely.

16 Q. Doctor, I just want to make sure I understand the example  
17 you have given us. Is the first step that you remove a portion  
18 of the of fetus outside the body of the mother?

19 A. Yes, it is.

20 Q. Then what is the next step after that piece has been  
21 removed?

22 A. Then, with the dismemberment D&E, I remove remainders of  
23 the fetus piece by piece.

24 Q. Is that an act you know will kill the fetus?

25 A. Absolutely.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 Q. In the other example you gave us, you have removed a  
2 portion of the fetus, is that correct?

3 A. Correct.

4 Q. Then do you deliver the rest of the fetus up to the head?

5 A. Yes, I do.

6 Q. At that point is the fetus still living?

7 A. It could still be living, that is certainly possible,  
8 because just removing an arm or a leg does not at that moment  
9 necessarily kill the fetus.

10 Q. At that point do you compress the skull?

11 A. I may have to compress the skull if it is easily  
12 accessible. I will be lucky from a safety point of view to be  
13 able to offer this to the patient, because if I cannot safely  
14 compress the skull at that moment, I would have to use  
15 additional instruments to crush the skull. And every time I  
16 enter with those additional instruments into the uterus, I  
17 increase the risk to the mother.

18 Q. Can compressing the skull kill the fetus?

19 A. Yes.

20 Q. Doctor, I want to turn your attention now to the induction  
21 abortion. Do you have any fear of prosecution under the Act if  
22 you perform an induction abortion?

23 A. Absolutely.

24 Q. Why is that the case?

25 A. Again, I deliberately and intentionally vaginally deliver a

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 living fetus in some instances. With an induction abortion,  
2 sometimes it can take 12 hours, sometimes it can take 8 hours.  
3 But at the time the fetus has been expelled, there is still a  
4 possibility that the fetus is living. The induction abortion  
5 does not always kill the fetus.

6 Q. Doctor, in an induction abortion, do you remove the fetus;  
7 do you vaginally deliver the fetus to a point at which a  
8 portion of the fetal trunk past the navel is outside the  
9 woman's body?

10 MS. GOWAN: Objection: Leading.

11 THE COURT: No, I will allow it.

12 A. Yes. Same, similar to a dismemberment or intact D&E. As  
13 the fetus passes by through the cervix, it can be intact or  
14 not.

15 Q. What do you do after that?

16 A. What I usually do is I guide with my hands to the fetus and  
17 I try to remove the remainder of the fetus from the cervix. If  
18 it is stuck at that moment in the cervix, if the cervix is less  
19 dilated than the head and the head is stuck, that can be very  
20 dangerous to the woman, because the uterus contracts in an  
21 induction termination of pregnancy and can rupture much more  
22 easily because it is trying to expel the fetal head.

23 Q. Have you uncountered circumstances in an induction where  
24 the head lodges in the cervix?

25 A. Absolutely.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat3 Grunebaum - direct

1 Q. What do you do in those circumstances?

2 A. I have several options. I can wait longer and wait for the  
3 delivery to be complete, and sometimes it takes hours. Or at  
4 that moment if I feel there is too much hemorrhage, for  
5 example, because the procedure has already begun, I may have to  
6 take the patient to the operating room and then decompress the  
7 skull at that moment.

8 Q. Is decompressing the skull an act that can kill the fetus?

9 A. If the fetus was still alive at that point, absolutely.

10 Q. Are there any cases in which the fetus is still living when  
11 you have vaginally delivered it up to the point where the head  
12 is lodged in the cervix?

13 A. It is absolutely possible. You perform the induction  
14 termination of pregnancy to deliver the fetus, not necessarily  
15 to kill it prior to delivery.

16 Q. Doctor, you mentioned another option of waiting to see if  
17 the fetus will be delivered in its entirety, is that right?

18 A. Yes.

19 Q. Are there any risks associated with that approach?

20 A. Yes.

21 Q. What are they?

22 A. What happens is at that moment the fetus eventually will be  
23 delivered through contraction activity of the uterus. If the  
24 head, which is larger than the diameter of the cervix, is  
25 continuously pushed through the cervix, the cervix can get

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 injured, and that can be a very dangerous situation.

2 Q. Doctor, are you familiar with the term "Duhrssen's  
3 incision"?

4 A. I am familiar with it, yes.

5 Q. What is a Duhrssen's incision?

6 A. A Duhrssen's incision is an incision that was developed a  
7 long time back by I believe a German physician who performed  
8 the incision in order to decrease the pressure on the fetus's  
9 head and facilitate a vaginal delivery when the head is lodged  
10 in the cervix.

11 Q. Is that a method that you would recommend for freeing the  
12 fetal head?

13 A. Let's say one thing: I've never done it. Because I was  
14 told and I read it everywhere that when you do an incision into  
15 the cervix, it can be threatening, life threatening to the  
16 mother. It can go up beyond the cervix into the uterus, and  
17 when that happens severe hemorrhage can happen. I have never  
18 done it, and I haven't heard of any physician who has done it.

19 Q. Dr. Grunebaum, you have described steps in an induction.  
20 Are any of those steps taken deliberately and intentionally?

21 A. I hope so. Yes, they are.

22 Q. Which ones?

23 A. Inserting prostaglandin suppositories is done deliberately  
24 and intentionally. Or if you do it by saline, but they don't  
25 do that anymore, they do it deliberately and intentionally.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

- 1 But that you do on a regular basis, sure.
- 2 Q. In the case where you vaginally deliver the fetus up past
- 3 the navel, is that deliberate and intentional?
- 4 A. Yes, it is.
- 5 Q. In the case of an induction where the head becomes lodged
- 6 and you compress it, is that a deliberate and intentional step?
- 7 A. Yes, it is.
- 8 Q. Doctor, you described for us earlier an example of a
- 9 dismemberment D&E where you removed a portion of the leg. Do
- 10 you recall that example?
- 11 A. Yes, I do.
- 12 Q. Are any of the steps that you take in that procedure
- 13 deliberate and intentional?
- 14 A. Yes, they are.
- 15 Q. Which ones?
- 16 A. All of them.
- 17 Q. Doctor, are you familiar with the term "spontaneous
- 18 abortion"?
- 19 A. Yes. The other word for spontaneous abortion is also a
- 20 "miscarriage."
- 21 Q. What is a miscarriage?
- 22 A. A miscarriage is when a woman loses her pregnancy without
- 23 any act of intervention by anyone.
- 24 Q. Do physicians have any role in treating miscarriages?
- 25 A. That is one of our major jobs, treating women who have a

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 miscarriage.

2 Q. Doctor, do you have any fear of prosecution under the Act  
3 associated with your treatment of miscarriages?

4 A. Yes, I do.

5 Q. Why is that the case?

6 A. Because, again, in a miscarriage I deliberately and  
7 intentionally potentially vaginally deliver a living infant.  
8 What happens in a miscarriage, specifically in the second  
9 trimester, a woman can present to the emergency room or to  
10 wherever she has been examined with the cervix already opened.  
11 We talked before about an incompetent cervix. Typically, in an  
12 incompetent cervix the cervix is opened. As part of the  
13 miscarriage, the fetus can be partially expelled through that  
14 cervix. Again, the feet and head can get stuck spontaneously.

15 Q. In the case of treating a miscarriage, is it ever the case  
16 that the fetus is living when you commence with a vaginal  
17 delivery?

18 A. Absolutely.

19 Q. In the treatment of a miscarriage, is it ever the case that  
20 you vaginally deliver the living fetus until the point at which  
21 part of the fetal trunk past the navel is outside the body of  
22 the mother?

23 A. This can happen, sure.

24 Q. Does it ever happen in the treatment of a miscarriage that  
25 the head becomes lodged in the cervix?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 A. It happens many times.

2 Q. What do you do in those circumstances?

3 A. Again, I can wait until the rest of the fetus is  
4 spontaneously delivered. But it can also happen that the fetal  
5 head is stuck so much in the cervix that I have to then move on  
6 and decompress the fetal head. Because if it is stuck in the  
7 cervix at that moment, and the mother can, for example,  
8 hemorrhage, I can't allow that to go on for too long of a time.

9 Q. In those circumstances, is decompressing the fetal head an  
10 act that can kill the fetus?

11 A. Yes.

12 Q. Are any of the steps you just described in managing a  
13 spontaneous abortion or miscarriage deliberate and intentional?

14 A. The steps to deliver the fetus, yes.

15 Q. Are there any other steps that are deliberate and  
16 intentional?

17 A. Up to the point when the woman comes to the emergency room,  
18 nothing happens really deliberate or intentionally. But from  
19 the time she is admitted, yes.

20 Q. Doctor, based on your experience as an abortion provider,  
21 what impact, if any, will the ban on partial-birth abortions  
22 have on physicians who provide abortions?

23 MS. GOWAN: Objection.

24 THE COURT: What is your objection?

25 MS. GOWAN: I don't know how this witness can possibly

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 testify to the impact that it is going to have on all  
2 physicians.

3 THE COURT: May I have the question read back.

4 (Question read)

5 THE COURT: Sustained.

6 Q. Dr. Grunebaum, based on your experience as an abortion  
7 provider, what impact, if any, will the ban on partial-birth  
8 abortions have on your practice?

9 A. It already had impact. I'm afraid to provide a late,  
10 second trimester abortion, because I'm afraid that on a day-by-  
11 day basis I may get prosecuted, because whatever I do might  
12 fall under this ban.

13 Q. Doctor, based on your experience in treating patients, what  
14 impact, if any, will the ban on partial-birth abortions have on  
15 women seeking abortions?

16 MS. GOWAN: Objection, your Honor.

17 THE COURT: Sustained.

18 Q. Dr. Grunebaum, what impact, if any, will the ban on  
19 partial- birth abortions have on your patients?

20 MS. GOWAN: Objection, your Honor.

21 THE COURT: Sustained. How can he testify unless you  
22 are talking about a particular person and what they have told  
23 him? You are asking him to testify about the operation of some  
24 unspecified woman's mind. It can't be done. Next question.

25 Q. Dr. Grunebaum, you described for us a case involving the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 treatment of a spontaneous miscarriage. Have you encountered  
2 any patients who have come in for that type of treatment?

3 A. Yes.

4 Q. You described for us an example involving --

5 THE COURT: Excuse me. I thought the very word

6 "spontaneous," they don't come for treatment, do they?

7 Something has already happened to them. Am I incorrect?

8 Doctor, perhaps you could tell me. I don't think people come

9 looking for spontaneous abortions, do they?

10 THE WITNESS: They come to see me --

11 THE COURT: Because it has already happened.

12 THE WITNESS: No.

13 THE COURT: Or at least the process has started.

14 THE WITNESS: Yes. But the process does not involve

15 necessarily the passage of the fetus from inside the uterus to

16 the outside. You can --

17 THE COURT: But the process of having a spontaneous

18 abortion has commenced already when they come to see you,

19 correct?

20 THE WITNESS: Yes. But there are different ways it

21 can commence.

22 THE COURT: Yes, I understand that. But it is not

23 something that you come in and perform on them?

24 THE WITNESS: The initial phase, no, correct.

25 THE COURT: Next question.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - direct

1 Q. Doctor, in terms of the patients whom you have seen were  
2 having spontaneous abortions, do any of them come in when the  
3 fetus is still living?

4 A. Yes.

5 Q. Have you ever had to perform any medical procedures to  
6 manage that process?

7 A. Yes.

8 Q. Doctor, do women come to you seeking D&E abortions?

9 A. Yes.

10 MS. WIGMORE: Thank you. I have no further questions  
11 at this time.

12 THE COURT: Ms. Gowan, are you going to conduct the  
13 cross-examination?

14 MS. GOWAN: Yes, your Honor.

15 THE COURT: You may inquire.

16 CROSS-EXAMINATION

17 BY MS. GOWAN:

18 Q. Dr. Grunebaum, you have a website where you offer medical  
19 advice, right?

20 A. Yes, I have.

21 Q. That is called OBGY.net, right?

22 A. How do you spell that, please?

23 Q. OBGY.net?

24 A. No.

25 Q. What is it called?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - cross

- 1 A. I work for a company called --  
2 THE COURT: Keep your voice up, Doctor.  
3 A. I work for a company called WebMD, and the website is  
4 WebMD.com.  
5 Q. If I were to put in the key OBGY.net, would I not find you  
6 listed, Dr. Grunebaum?  
7 A. I wouldn't know. But I do not have a website with that  
8 name.  
9 Q. But are you listed on a website?  
10 A. Called?  
11 Q. OBGY.net.  
12 A. I don't know. I don't know what you are talking about.  
13 THE COURT: Is it your testimony you have never heard  
14 that you are listed there?  
15 THE WITNESS: No.  
16 MS. GOWAN: May I approach, your Honor?  
17 THE COURT: You may.  
18 MS. WIGMORE: May I see a copy, please?  
19 MS. GOWAN: I don't have a copy. I will show it to  
20 you.  
21 Q. Mr. Grunebaum, I am showing you a printout from the  
22 OBGYN.net website.  
23 A. OK.  
24 Q. Would you take a look at that, please.  
25 A. Yes.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat3

Grunebaum - cross

1 Q. Does your name appear on that printout?

2 A. It does.

3 Q. How is it that your name appears on that printout?

4 A. I don't know.

5 Q. If you take a look, there is a copy of your curriculum

6 vitae attached to that printout. Do you see that?

7 A. Yes, I do.

8 Q. There is a line on the top that shows that you are

9 curriculum vitae is published on OBGY.net, is that right?

10 A. Yes.

11 MS. WIGMORE: Objection, your Honor. At this point I

12 don't have a copy of this exhibit. There is also a hearsay

13 issue to the extent she is reading from the document.

14 THE COURT: Do you want to come up and look over his

15 shoulder? Or what do you want?

16 MS. WIGMORE: I would like a copy of the document.

17 THE COURT: Well, you are going to get that.

18 Obviously, I wish it was available. But if you have some

19 problem, come up and stand next to the doctor.

20 MS. WIGMORE: Thank you, your Honor.

21 THE COURT: Where you can talk to him, of course.

22 A. Actually, the spelling is incorrect. It is OBGYN.net.

23 Q. Oh. Does that refresh your recollection now as to your bio

24 being posted on a website?

25 A. I believe it is my bio, but I don't know who posted it.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3

Grunebaum - cross

1 Q. Is that your curriculum vitae attached to it?

2 A. That is part of it, yes

3 Q. And is that your photograph there?

4 THE COURT: Part of it? Is there something missing?

5 THE WITNESS: Yes. It is an old curriculum vitae. It  
6 is an old one.

7 THE COURT: It is an old one, but as far as it goes,  
8 it is correct?

9 THE WITNESS: I believe so.

10 THE COURT: OK. Next question.

11 Q. Doctor, take a look at your curriculum vitae. It  
12 identifies you as having a faculty appointment as an associate  
13 professor of clinical obstetrics and gynecology at Columbia  
14 University?

15 A. Yes.

16 Q. That is a printout from the website today, isn't that,  
17 Doctor?

18 MS. WIGMORE: I object: Foundation.

19 THE COURT: What is the foundation? She is asking a  
20 question, ma'am. Please.

21 A. It is a printout from today, correct. The printout is  
22 correct. But also I must say I am not married anymore. It  
23 says I am married, and I'm not. I have not been married for  
24 many years now. Just because it is a printout from today  
25 doesn't mean I am still married.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - cross

1 Q. And you haven't been a professor at Columbia University for  
2 several years, have you, doctor?

3 A. No. I haven't been married, correct.

4 Q. That is just like the curriculum vitae which you submitted  
5 to the government with your expert disclosure in this case,  
6 isn't it, Doctor?

7 A. It is not the same, no.

8 Q. Doctor, didn't you submit a curriculum vitae to the  
9 government in this case with your expert disclosure identifying  
10 you as being a professor of OB-GYN at Columbia University?

11 A. No. That is not what my CV says.

12 THE COURT: It isn't the question, Doctor. The  
13 question is, did you submit it to the government a curriculum  
14 vitae that said that?

15 THE WITNESS: That I was a professor at Columbia, no.

16 Q. Doctor, showing you what was marked as Government Exhibit  
17 24A at your deposition in this case, it is an expert report of  
18 Dr. Grunebaum with your curriculum vitae attached.

19 A. And it says here assistant professor. That is different  
20 than a professor. They are different levels of being a  
21 professor. You can be assistant professor, you can be an  
22 assistant professor of OB-GYN, you can be an associate  
23 professor, you can be an associate professor of clinical  
24 OB-GYN, you can be an associate professor of OB-GYN, you can be  
25 a clinical associate professor. So all of those things are

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - cross

1 different.

2 Q. At the time that you submitted that to the government in  
3 this case, you were not an assistant professor of OB-GYN at  
4 Columbia University, were you?

5 MS. WIGMORE: Objection, your Honor. I ask that the  
6 witness be examined from behind the podium.

7 THE COURT: All right. Fine. We know it is up here.  
8 Ms. Gowan, please, except when you have to come up with an  
9 exhibit, stand by the podium. Counsel is correct on that.

10 MS. GOWAN: Yes, your Honor.

11 A. At the time that I was examined by you, I was a clinical  
12 professor of clinical OB-GYN at Cornell, correct. And proud of  
13 it because it is --

14 THE COURT: Please, doctor. Don't argue with counsel.  
15 Just answer the question.

16 THE WITNESS: I apologize.

17 Q. You were not an assistant professor of clinical OB-GYN at  
18 Columbia University, were you?

19 A. Anymore, correct.

20 Q. That's correct. And your curriculum vitae says 1987 to  
21 now, isn't that right, Doctor?

22 A. That is correct.

23 Q. Of the 16 peer-reviewed articles that you have published,  
24 only three of those have been within the last ten years, isn't  
25 that right, Doctor?

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat3 Grunebaum - cross

- 1 A. Absolutely.  
2 Q. None of those articles relates to abortion, do they?  
3 A. That's correct.  
4 Q. You are not engaged in any research projects at this time,  
5 are you?  
6 A. No.  
7 Q. But you found time over the past few years to appear on  
8 news programs, isn't that right?  
9 A. That's true.  
10 Q. And you have participated in a nationwide television,  
11 radio, and bookstore publicity campaign as Dr. Ruth  
12 Westheimer's co-author of Pregnancy Guide for Couples, right?  
13 A. Yes.  
14 Q. And you even appeared Marisa Tomei's obstetrician in Ron  
15 Howard's movie The Paper, right?  
16 A. Yes, I did.  
17 Q. The plaintiff Steve Chasen is a colleague of yours at  
18 Cornell, isn't that right?  
19 A. That is correct.  
20 Q. He was the person who approached you about becoming an  
21 expert witness in this case, isn't that right?  
22 A. That's correct.  
23 Q. You told us just a few moments ago that you are in fear of  
24 prosecution for performing D&E abortions because of the Act,  
25 you are afraid that you might go to prison, right?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - cross

1 A. That's correct.

2 Q. That was a fear that first came to you or that you first  
3 realized after you had agreed to be an expert in this case,  
4 isn't that right, Doctor?

5 A. After I first read the Act, correct.

6 Q. That was after someone explained to you, after you had been  
7 approached to be an expert in this case, what the law meant,  
8 isn't that right, Doctor?

9 A. That's correct.

10 Q. Is it fair to say that the number of abortion services that  
11 you provide to your private patients is a small percentage of  
12 your private practice?

13 A. Yes, it is.

14 Q. About less than 5 percent?

15 A. Correct.

16 Q. Prior to going to New York-Cornell, you were at St. Luke's,  
17 right?

18 A. Correct.

19 Q. You only performed first trimester abortions on an  
20 irregular basis at St. Luke's, didn't you?

21 A. No.

22 Q. Directing your attention to page 19/line 25 of your  
23 deposition taken in this case:

24 "Q. What about" --

25 MS. WIGMORE: Objection, your Honor. I do not have  
SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat3 Grunebaum - cross

1 the transcript.

2 THE COURT: Wait. Wait until the transcript is before  
3 everyone, please, Ms. Gowan.

4 MS. GOWAN: Would you like me to provide the witness a  
5 copy?

6 THE COURT: It is not necessary, unless you wish to.  
7 But counsel must have an opportunity to see where you are  
8 reading from.

9 MS. GOWAN: Are you ready, counsel?

10 MS. WIGMORE: Yes. May we have the line number,  
11 please?

12 MS. GOWAN: Page 19/line 25.

13 "Q. What about when you were at St. Luke's? Did you do any  
14 abortions at St. Luke's?

15 "A. On a very, very irregular basis."

16 A. Your question was first trimester abortion. This question  
17 is about abortions per se. So you did not --

18 Q. Doctor --

19 A. Your question was different. Sorry.

20 Q. "Q. Doctor, what type of services did you provide at St.  
21 Luke's?

22 "A. I did first trimester abortions on my own private patients  
23 if they desired and they wanted it to be done at St. Luke's-  
24 Roosevelt."

25 Were you asked those questions and did you give those

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - cross

1 answers at your deposition?

2 A. Yes, I did, but there is no work "irregularly" associated  
3 with that question and answer.

4 Q. You also perform abortions using the labor induction  
5 method, correct?

6 A. Yes, I do.

7 Q. And within the last six months or so you performed a labor  
8 induction abortion for a woman carrying a fetus with abnormal  
9 chromosomes, isn't that right?

10 A. That is correct.

11 Q. As best you can recall, the gestational age of the fetus  
12 was between 16 and 20 weeks, wasn't it?

13 A. Correct.

14 Q. The selection of that method was based on patient choice,  
15 wasn't it?

16 A. That is correct.

17 Q. It was a perfectly safe choice in your view, wasn't it?

18 A. Yes, it was.

19 Q. You wouldn't have done it otherwise, would you ever,  
20 Doctor?

21 A. I gave the patient several options, and she chose to do the  
22 induction method. I would have done the D&E if that is what  
23 she had chosen.

24 Q. You testified this morning that D&E is as safe or safer  
25 than induction, right?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3

Grunebaum - cross

1 A. Correct.

2 Q. You can't identify any specific study in the literature  
3 that supports your belief that D&E is safer than induction, can  
4 you, Doctor?

5 A. It is based on my experience.

6 THE COURT: Could I hear your answer, Doctor?

7 THE WITNESS: It is based on my experience over about  
8 20 years.

9 Q. You can't identify any specific study in the medical  
10 literature that supports your view, can you, Doctor?

11 A. There are a lot of studies that show that D&E's are safe,  
12 correct.

13 Q. You cannot identify any specific study in the literature  
14 that supports your belief that D&E is safer than induction, can  
15 you, Doctor?

16 A. I don't recall any.

17 Q. You testified that inductions have complications, like  
18 increased bleeding, retained placental tissue, that require D&E  
19 and that some patients develop infections. You can give  
20 patients undergoing labor induction abortion antibiotics  
21 prophylactically to ward off the possibility of infection,  
22 isn't that right, Doctor?

23 A. Yes.

24 Q. And you do that yourself sometimes in the case of a  
25 diagnosis of infection at the time of the abortion, don't you,

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3

Grunebaum - cross

1 Doctor?

2 A. Yes, I do.

3 Q. Sometimes even prior to the induction, isn't that right,

4 Doctor?

5 A. As a prophylactic measure, yes.

6 Q. You are suggesting that induction abortions should never be

7 used because there is a risk of ruptured membranes and

8 infection, aren't you, Doctor?

9 A. I don't recall having said that.

10 Q. Ah. So you are not suggesting that, is that right?

11 A. I'm not suggesting what?

12 Q. That induction abortions should not be used because there

13 is a risk of ruptured membranes and infection.

14 A. I'm not suggesting that it should not be used unless there

15 are certain contraindications.

16 Q. OK. Now, you expressed the view that D&amp;E abortion, without

17 distinguishing between D&amp;E by dismemberment and intact D&amp;E, is

18 preferable over saline or prostaglandin induction abortion,

19 right?

20 A. Yes.

21 Q. You don't do saline abortion anymore, do you?

22 A. Correct.

23 Q. Nobody does, do they?

24 A. I don't know what others do, but I don't do it anymore. I

25 have not seen anybody doing it recently.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3

Grunebaum - cross

1 Q. And it is not your view that D&E is always preferable over  
2 induction abortion by oxytocin, isn't it?

3 A. Where does oxytocin come from.

4 Q. Isn't oxytocin sometime used in induction labor abortion,  
5 Doctor?

6 A. Not in my practice.

7 Q. You don't have any view, then, on whether D&E would be  
8 preferable over induction abortion by oxytocin, do you?

9 A. My experience has been that using oxytocin is not  
10 successful in an early second trimester abortion procedure.

11 Q. Is it your view or not, Doctor, that D&E would be  
12 preferable over induction abortion by oxytocin?

13 A. Yes, it is my view.

14 Q. It is your view. And that that would be only in  
15 circumstances in which the cervix wasn't sufficiently dilated,  
16 is that right?

17 A. I don't really use oxytocin induction abortions, so I don't  
18 know where this comes from.

19 Q. And you have no opinion as to whether D&E would be  
20 preferable to misoprostol-induced abortions, isn't that right?

21 A. Yes, I do.

22 Q. Directing your attention to page 136/line 15 of your  
23 deposition transcript in this case:

24 "Q. What about the use of prostol? Do you think that D&E is  
25 preferable to induced abortion through the use of misoprostol?"

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - cross

1 "A. I have no experience with misoprostol-induced abortions."

2 Were you asked those questions and did you give that  
3 answer at your deposition in this case?

4 A. Yes, I did.

5 THE COURT: Would you be please be kind enough to  
6 explain what misoprostol is.

7 THE WITNESS: Absolutely. Misoprostol is a kind of  
8 prostaglandin which, when given, can soften the cervix and  
9 induce labor and lead to a termination, to an abortion.

10 However, misoprostol can also be used together with a D&E. So  
11 yes, I have used misoprostol together with preparing the cervix  
12 for the D&E, but it by itself did not lead to the abortion; it  
13 is just part of preparing the cervix for the abortion.

14 Q. You perform D&E by dismemberment between 14 to 23 weeks  
15 gestational age, isn't that right?

16 A. Correct.

17 Q. You sometimes use ultrasound guidance in connection with  
18 performing the procedure, right?

19 A. Correct.

20 Q. You usually perform ultrasound at the end of the completed  
21 procedure to make sure that you have removed all the fetal  
22 tissue, isn't that right?

23 A. That's partially correct.

24 Q. That is good medical practice, right?

25 A. It is partially correct. May I explain?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3

Grunebaum - cross

- 1 THE COURT: Doctor, just answer the questions.  
2 Counsel who called you will have an opportunity to question you  
3 further if they decide it is necessary.  
4 THE WITNESS: Thank you, your Honor.  
5 Q. You testified that cervical preparation is the same for D&E  
6 by dismemberment as it is for intact D&E when you perform it,  
7 correct?  
8 A. Correct.  
9 Q. The amounts of the preparation depends on the gestational  
10 age of the fetus, doesn't it?  
11 A. Correct.  
12 Q. On the first day of the preparation, on average, you insert  
13 about 5 or 6 laminaria sticks in a woman's cervix if she can  
14 tolerate that, right?  
15 A. Correct.  
16 Q. If it is not too painful, right?  
17 A. Correct.  
18 Q. As the cervix dilates, the laminaria expands, right?  
19 A. Correct.  
20 Q. Then when you remove the laminaria sticks the next day, you  
21 put all those sticks together and you measure them across the  
22 top, so you know the degree of dilation that you have achieved,  
23 right?  
24 A. No.  
25 Q. No?

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat3

Grunebaum - cross

1 A. No.

2 Q. Directing your attention to page 70/line 12 of your  
3 deposition in this case:4 "Q. What degree of dilation is achieved with the insertion of 5  
5 or 6 laminaria at 18 weeks?6 "A. It is impossible to know. I only know the degree of  
7 dilation when I bring the mother in the next day of the  
8 operating room. I remove the laminaria. I can put all of them  
9 together and I can measure them across. Or what's even more  
10 important is that I do an examination with my finger, with my  
11 hand, to observe the dilation of the cervix."12 A. Exactly. I measure the cervical dilatation with the  
13 finger, not by measuring the laminaria.14 Q. Excuse me, Doctor. "Sometimes when the laminaria while  
15 there in the cervix, dilation can be more when you find after  
16 you remove them. So it is impossible to know.17 "Q. Do you do that, do you put them all together and measure  
18 them across?

19 "A. I do, yes."

20 Were you asked those questions and did you give those  
21 answers at your deposition?

22 A. Yes, I did.

23 Q. If the fetus is 20 weeks or more, you do a two-day cervical  
24 preparation, right?

25 A. Usually.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - cross

1 Q. On the second day of the cervical preparation, you take out  
2 the 5 or 6 sticks that you put in the first day and you may  
3 insert up to 20 more sticks on the second day, correct?

4 A. Correct.

5 Q. But sometimes the laminaria doesn't dilate the cervix  
6 sufficiently to perform the abortion, isn't that right?

7 A. On the next day.

8 Q. Correct.

9 A. Yes.

10 Q. When that happens, you use metal dilators in the operating  
11 room to increase the degree of dilation, don't you, Doctor?

12 A. I personally have never had to do it, but I know that some  
13 doctors do.

14 Q. Directing your attention to page 76/line 13 of your  
15 deposition in this case:

16 "Q. Have you used metal dilators on occasion to further dilate  
17 the cervix once you are in the operating room?

18 "A. Yes, I did.

19 "Q. And what kind of metal dilators did you use?

20 "A. They're usually called Hagar, called Hagar dilators. But  
21 there could be different dilators, depending on what the -- on  
22 the operative set."

23 Were you asked those questions and did you give those  
24 answers at your deposition in this case?

25 A. Yes, I did.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat3

Grunebaum - cross

1 Q. The use of a metal dilator presents a risk of injury to the  
2 cervix, correct?

3 A. The inappropriate use, yes.

4 THE COURT: Pardon me, Doctor? Could I have the  
5 answer read back.

6 (Answer read)

7 THE COURT: Could you keep your voice up, Doctor. You  
8 have a habit of dropping your voice.

9 THE WITNESS: Usually people have the opposite, but  
10 I'm sorry. I promise to speak up of the.

11 THE COURT: I understand.

12 THE WITNESS: If it is too much now, please let me  
13 know.

14 THE COURT: It is just fine.

15 Q. You can tear the cervix, correct?

16 A. I can tear the cervix with any instruments, correct.

17 Q. You can tear the cervix with the metal dilator that you use  
18 to increase dilation, correct?

19 A. If you don't do it correctly, you can do that, correct.

20 Q. Then you record the degree of dilation in your operative  
21 note, isn't that right?

22 A. That is correct.

23 Q. And you try to dilate to 2 to 3 centimeters at 20 weeks,  
24 correct?

25 A. That is correct.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - cross

1 Q. That is because in a D&E by dismemberment, 2 to 3  
2 centimeters will be sufficient to insert your instruments,  
3 isn't that right?

4 A. For both D&E's, that is correct, not just the  
5 dismemberment.

6 Q. When you perform the D&E by dismemberment procedure, you  
7 dismember the fetus by pulling on the fetal parts with a  
8 special forceps, right?

9 A. Correct.

10 Q. Or you can use a rotating motion to disarticulate the  
11 portion of the fetus that you grasp with your forceps, right?

12 A. That is another method, correct.

13 THE COURT: What does that mean, Doctor?

14 THE WITNESS: I beg your pardon?

15 THE COURT: What does that mean, what counsel just  
16 asked you?

17 THE WITNESS: What you usually do is you take the  
18 forceps, you grasp part of the fetus, and you can either pull  
19 it down directly or, after you grasp the portion of the fetus,  
20 you can do a rotating motion, either clockwise or  
21 counterclockwise, to pull off the portion of the fetus.

22 THE COURT: Does that pull it away from the body?

23 THE WITNESS: At the same time that you do the  
24 rotating motion, you pull it away.

25 THE COURT: That pulls it, dismembers it from the body

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat3 Grunebaum - cross

1 of the fetus?

2 THE WITNESS: Correct. It makes it easier sometimes.

3 THE COURT: On whom?

4 Q. It tears sometimes, right, Doctor?

5 A. Yes.

6 Q. You agree that the idea of D&E by dismemberment is to  
7 disarticulate the fetus, correct?

8 A. The idea of is to terminate the pregnancy.

9 Q. And with a dismemberment D&E you terminate the pregnancy by  
10 dismembering the fetus?

11 THE COURT: Ms. Gowan, I have asked everyone to use as  
12 simple terms as possible for the record. I am just a country  
13 lawyer. "Disarticulate" may be something you use every day,  
14 and the doctor understands, But I wish you would cooperate in  
15 the attempt to try and make this record as clear as possible.

16 MS. GOWAN: Yes, your Honor, I will. Thank you.

17 THE COURT: I don't mean to interrupt. But if you  
18 would try as well, not just the technical witnesses.

19 (Continued on next page)

20

21

22

23

24

25

43U5NAT4 Grunebaum - cross

1 BY MS. GOWAN:

2 Q. I would like to focus now on the intact D&E procedure. You  
3 have also called that procedure complete intact D&E dilation  
4 and evacuation, right?

5 A. Correct.

6 Q. And you have heard it called dilation and complete  
7 evacuation, right?

8 A. I have heard many different words, yes.

9 Q. And complete intact D&E, you have heard it called that?

10 A. I have heard that too.

11 Q. And as you understand it, intact D&E, which we will call it  
12 for the purposes of the examination, is an abortion where there  
13 is no real removal of body parts, is that right?

14 A. The whole fetus is removed intact so, yes, you remove all  
15 body parts intact.

16 Q. It's an abortion where the entire intact fetus, head to  
17 toe, is removed from the woman's body, right?

18 A. Correct.

19 Q. Now, the first time that you saw this procedure, this  
20 abortion procedure performed, was in New York Cornell at some  
21 point in early 2002, isn't that right?

22 A. That is correct.

23 Q. Possibly by Dr. Chasen, right?

24 A. Probably.

25 Q. And you scrubbed-in to the operating room to observe the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4 Grunebaum - cross

1 abortion of a fetus at 20 weeks, right?

2 A. I don't know exactly what gestational age it was but it was  
3 probably between 20 and 23 weeks.

4 Q. And you understood from the physicians in the operating  
5 room that the fetus was in a breech position and that they  
6 would be able to remove it intact from the woman's body, right?

7 A. I understood at that time when it was happening, yes.

8 Q. Yes.

9 And that's different than the D&E by dismemberment  
10 procedure that we were talking about a moment ago, right?

11 A. That's step is different. Everything else up to that step  
12 is the same.

13 Q. And you had never seen that before, had you? You had never  
14 seen an intact fetus removed from the body of a woman before,  
15 right?

16 A. It happens all the time when I do a normal delivery.

17 Q. In an abortion, doctor; you had never seen an abortion  
18 before that day in which an intact fetus was removed from the  
19 body of the woman, isn't that right?

20 A. Under those circumstances, correct. I have seen  
21 spontaneous abortions where it happens but I have not seen D&Es  
22 done as intact version, correct.

23 Q. And you have never seen that even though at the time you  
24 had been practicing medicine, including performing abortions,  
25 for at least 20 years, right?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4

Grunebaum - cross

- 1 A. Correct.
- 2 Q. And you thought that what you had witnessed, the complete  
3 removal of a fetus from the woman's body, was a miracle, didn't  
4 you, Doctor?
- 5 A. Correct.
- 6 Q. And you said, wow, this is fantastic; right?
- 7 A. Correct.
- 8 Q. And you understood at the time that the doctor was  
9 specifically trying to remove the fetus intact from the woman,  
10 right?
- 11 A. At the time it was done and the time we do it we are trying  
12 to do what's in the safest interest of the mother. And if that  
13 at that moment required the intact removal of the fetus,  
14 absolutely.
- 15 Q. And you understood at that time that the doctor was  
16 specifically trying to remove the fetus intact from the woman,  
17 didn't you?
- 18 A. That's what the intact D&E is about.
- 19 Q. And you understood that that wasn't the first time that  
20 that doctor had performed an abortion that particular way,  
21 right?
- 22 A. I suspect that's true.
- 23 Q. You don't have any recollection at all about why that  
24 abortion was performed, do you?
- 25 A. The abortions I have watched at that time were mostly done

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4 Grunebaum - cross

1 for fetal malformations. And I know Dr. Chasen and I don't  
2 know the specifics, but he gets usually referrals when there is  
3 a malformed fetus.

4 Q. Doctor, I'm asking you about the the very first time that  
5 you saw this procedure performed at Cornell in early 2002, the  
6 very first time you saw it. Recollecting back to that day, you  
7 have no recollection about why the abortion was performed, do  
8 you?

9 A. Yes; for fetal malformation.

10 Q. Directing your attention to page 91 of your deposition  
11 transcript to this case, line 17:

12 "Q When was it that you first saw this?

13 "A I believe it, it must be 2002, sometime early on.

14 "Q Do you remember why the termination was being  
15 performed?

16 "A No.

17 "Q Do you know whether there was a fetal anomaly?

18 "A Usually there was when I observed them when I did  
19 them, yes.

20 "Q Well, I'm asking about the first time you saw  
21 this. If you don't have a specific recollection just tell me  
22 that, but I don't want you to tell me what usually there was.  
23 Do you have a recollection whether at the time that you first  
24 saw this procedure performed the fetus was suffering from  
25 anomaly?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4 Grunebaum - cross

- 1 "A No.  
2 "Q No, you don't remember?  
3 "A No, I don't remember."  
4 Were you asked those questions and did you give those  
5 answers at your deposition in this case?  
6 A. Yes.  
7 Q. And you don't know whether there was a maternal medical  
8 complication for the woman who was aborted that day either, do  
9 you?  
10 A. Correct.  
11 Q. And the fetus wasn't dead before the doctor reached in the  
12 woman and pulled it out, was it?  
13 A. Correct; that's part of a D&E.  
14 Q. At the time you didn't know how the fetus died when this  
15 procedure was performed, did you?  
16 A. At which moment?  
17 Q. Pardon me?  
18 A. At which point didn't I know when the fetus died?  
19 Q. The day you first saw it, at that time you didn't know how  
20 the fetus died, did you?  
21 A. When I first saw the patient the fetus wasn't dead yet.  
22 Q. I understand that. But you didn't know at the time how the  
23 death of the fetus was going to be affected during the course  
24 of the procedure, did you?  
25 A. Prior to the procedure or after the procedure?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4 Grunebaum - cross

1 Q. When you were there.

2 A. I pretty much knew how the fetus died. It died by doing a  
3 craniocentesis and removing part of the brain and collapsing  
4 the skull. That's usually how those fetuses die.

5 THE COURT: Not usually, Doctor, that day. Listen to  
6 the question and answer the question.

7 THE WITNESS: This was -- can I answer, your Honor?

8 THE COURT: Answer the question you're asked.

9 Q. Doctor, directing your attention to page 95 of your  
10 deposition transcript in this case, line 8:

11 "Q Was the fetus dead before the doctor reached in  
12 and pulled the entire fetus out?

13 "A I don't believe.

14 "Q How did the fetus die?

15 "A I don't know.

16 "Q You don't have a recollection?

17 "A At that moment I didn't know. I eventually  
18 learned how fetuses die but at that moment I didn't."

19 Were you asked those questions and did you give those  
20 answers at your deposition in this case?

21 A. Yes, I did.

22 Q. You eventually learned how the fetus dies during the course  
23 of the procedure, did you not, Doctor?

24 A. Yes, I did.

25 Q. And what you learned was that the fetus dies when the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4 Grunebaum - cross

1 doctor sucks out the fetuses brains, right?

2 A. When you do that procedure and you insert a canula into the  
3 brain; correct.

4 THE COURT: Insert a what, Doctor?

5 THE WITNESS: You insert a canula.

6 A canula is a hollow tube that is inserted and when  
7 suction is applied, you can suction something through.

8 THE COURT: So, it's a suction device.

9 THE WITNESS: Suction device or a vacuum, in a sense.

10 THE COURT: Go ahead, Ms. Gowan.

11 BY MS. GOWAN:

12 Q. Sucking out the brains, right?

13 A. Correct.

14 Q. That's when the fetus dies?

15 A. Yes.

16 THE COURT: Ms. Gowan, is this a convenient time to  
17 take our afternoon break?

18 MS. GOWAN: Yes, your Honor.

19 THE COURT: If you have a few more questions on this  
20 or if it's a convenient breaking point, if it's convenient.

21 MS. GOWAN: It is convenient, your Honor.

22 THE COURT: Okay, take our afternoon recess.

23 (Recess)

24 THE COURT: Ms. Gowan, you may inquire.

25 BY MS. GOWAN:

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4 Grunebaum - cross

1 Q. Dr. Grunebaum, I understand that you have performed about  
2 10 or 15 intact D&Es, right?

3 A. I think I testified 15 to 20 but in that range about.

4 Q. Directing your attention to your deposition testimony in  
5 this case, page 47, line 21:

6 "Q Of the percentage that were intact D&Es, how many  
7 of those did you actually perform yourself?

8 "A I would say between 10 and 15."

9 Were you asked that question and did you give that  
10 answer at your deposition in this case?

11 A. Yes, I did.

12 Q. Now, Doctor, you make the decision about whether you are  
13 going to perform an intact D&E when you are in the operating  
14 room, right?

15 A. As compared to the dismemberment D&E, correct.

16 Q. And you look to see if the cervical dilation is sufficient  
17 enough for you to put your fingers in the woman's cervix?

18 A. That's one of the criteria, correct.

19 Q. And if it is it's at that moment that you choose either to  
20 do an intact D&E or a D&E by dismemberment, right?

21 A. Well there are several other things that, besides the  
22 dilatation of the cervix and that is the presentation of the  
23 fetus.

24 Q. Correct, and if it's in the right presentation and the  
25 cervical dilation is sufficient, that's when you choose to

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4

Grunebaum - cross

- 1 perform the intact D&E as opposed to the D&E by dismemberment,  
2 right?
- 3 A. Right. It's actually not performed, it's attempted because  
4 sometimes I'm attempting to do an intact D&E and I can't do it  
5 and then I continue with a dismemberment D&E.
- 6 Q. But you make your choice, correct?
- 7 A. Yes.
- 8 Q. And then you put your left hand on the woman's body at the  
9 point where her uterus is so you know what the right direction  
10 is to enter her with your fingers, right?
- 11 A. Correct.
- 12 Q. Then you enter her with your fingers of your right hand,  
13 right?
- 14 A. Correct.
- 15 Q. And you feel for the fetus' feet or legs, don't you,  
16 Doctor?
- 17 A. Correct.
- 18 Q. And then you grab them with your fingers?
- 19 A. Correct.
- 20 Q. You clamp the legs or the feet between your fingers, right?
- 21 A. Sometimes I do, sometimes I don't have to.
- 22 Q. When you grab them or clamp the feet you then ease them  
23 through the cervix, right, Doctor?
- 24 A. Correct.
- 25 Q. And then when they come, when the fetus' feet or legs come

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4 Grunebaum - cross

1 a bit more through the cervix you use your thumb, index and  
2 middle finger to slowly pull both the feet through the cervix,  
3 right?

4 A. Correct.

5 Q. Now, the woman's under general anesthesia, right?

6 A. Usually, correct.

7 Q. And you do that because there is more relaxation and you  
8 can manipulate the woman's uterus and vagina more easily during  
9 the procedure, right?

10 A. Wrong.

11 Q. Directing your attention to page 117 of your deposition,  
12 line 18:

13 "Q Why do you use general anesthesia?

14 "A Because I feel that the procedure can be done  
15 easier if the pain threshold for the mother is much better, the  
16 patient tolerates the procedure well.

17 "Q Why do you think that the procedure can be done  
18 more easily under general anesthesia?

19 "A There is more relaxation and I can manipulate the  
20 uterus and her vagina much easily without the patient moving."

21 Were you asked those questions, did you give those  
22 answers at your deposition?

23 A. Absolutely. Absolutely.

24 Q. Now, as you use your thumb and index and middle finger to  
25 slowly pull both of the feet of the fetus through the cervix,

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4 Grunebaum - cross

- 1 you are doing this in a very gentle way, isn't that right,  
2 Doctor?  
3 A. Correct.  
4 Q. And once you can see the fetus, you have drawn it through  
5 sufficiently so that you can see it, you don't have to put your  
6 right hand into the woman's uterus any more, do you?  
7 A. Correct.  
8 Q. And you're free to use your left hand as well, you don't  
9 need it on top of the woman's abdomen, right?  
10 A. Correct.  
11 Q. So at that point sometimes you use an instrument to hold  
12 the fetus' feet in place, right?  
13 A. I don't, but I do it with my hands.  
14 Q. With your left hand, right?  
15 A. Right or left hand, correct.  
16 Q. And then you ease the rest of the fetus' body further  
17 throughs the cervix.  
18 A. At that point it is called breech extraction. This is a  
19 medical procedure which we have been doing for centuries,  
20 actually.  
21 So all you need to say is a breech extraction,  
22 complete breech extraction. That's the name of that procedure  
23 which has been done for centuries.  
24 Q. Well, Doctor --  
25 A. Nothing unusual about it.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43U5NAT4 Grunebaum - cross

1 Q. There are parts, there are maneuvers, there are steps that  
2 comprise a breech extraction, isn't that right?

3 A. Absolutely.

4 Q. And that's what we are talking about, isn't it?

5 A. Absolutely; talking about a complete breech extraction.

6 Q. And we are describing the specific steps that you use, that  
7 you yourself use when you are performing a complete breech  
8 extraction?

9 A. Which many other people use too, not just me.

10 Q. And we were talking about the fact that once you're able to  
11 see the fetus that you have sufficiently drawn it through the  
12 cervix, then you make an effort, you ease the rest of the  
13 fetus' body through the cervix, don't you, Doctor?

14 A. Correct.

15 Q. And at that moment you are able to deliver the whole body,  
16 the legs, the trunk and the arms, right?

17 A. Correct.

18 Q. And the only part of the fetus left is the head, which is  
19 usually stuck in the uterus in the internal cervical os?

20 A. Behind the the internal cervical os.

21 Q. And what is the internal cervical os?

22 A. It's part of the cervix, there is an external os and  
23 internal os.

24 THE COURT: What is the word os?

25 THE WITNESS: Os, O-S, mouth. It stands for mouth.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4 Grunebaum - cross

1 And the cervix is like an inverted bottle with a short  
2 neck and if you -- if I may say so, the neck of the bottle is  
3 the cervix and the rest of the body of the bottle is the  
4 uterus, the body of the uterus.

5 So, the internal os, if you invert a bottle, is at the  
6 juncture between the cervix and the body of the uterus.

7 BY MS. GOWAN:

8 Q. And at the point at which we are describing, the fetus'  
9 head is stuck at the point of the internal cervical os?

10 A. Correct.

11 Q. And the body of the fetus, the rest of the body of the  
12 fetus is outside the cervix, right?

13 A. Correct.

14 Q. But sometimes the body of the fetus is still in the vagina,  
15 not completely out of the body of the woman, right?

16 A. Sometimes it could happen, yes.

17 Q. And when that happens that could interfere with your  
18 ability to see the place where you need to insert the scissors,  
19 isn't that right?

20 A. It could be.

21 Q. So sometimes what you need to do is pull the cervix down a  
22 bit more with your instruments, right?

23 A. Maybe.

24 Q. Once you pull the cervix down more parts of the fetus are  
25 outside of the body of the woman, right?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

1 A. Correct.

2 Q. And the instrument that you use to pull the cervix down is  
3 called a tenaculum, right?

4 A. It depends what instrument you use.

5 Some people use a tenaculum, other people use sponge  
6 forceps. I personally don't like a tenaculum because it is  
7 very sharp and increases risk. But it really depends on the  
8 individual physician.

9 Q. That's right, some physicians do use a tenaculum to your  
10 knowledge, correct?

11 A. Yes.

12 Q. And there is a risk of cervical laceration with the use of  
13 a tenaculum, isn't there, Doctor?

14 A. There is actual risk with the whole procedure, not just the  
15 tenaculum. The whole procedure is a risky procedure.

16 Q. Now, Doctor, you don't have any specific recollection as to  
17 whether any one of the 10 or 15 intact D&E abortions that you  
18 have performed were on a woman who had a medical complication  
19 that required termination of her pregnancy, do you?

20 A. Correct.

21 Q. Similarly, you have no specific recollection whether in any  
22 of those 10 or 15 cases the fetus had an anomaly, isn't that  
23 right, Doctor?

24 A. I only did those cases that were indicated.

25 Q. You don't have any specific recollection in one of those 10

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4 Grunebaum - cross

1 or 15 cases that the fetus had an anomaly, isn't that right,  
2 Doctor?

3 A. The vast majority were done for anomalies.

4 THE COURT: Doctor, could you keep your voice up? I  
5 didn't hear your answer.

6 Ms. Reporter, could you repeat it?

7 THE WITNESS: The vast majority were done for  
8 anomalies.

9 I do recall patients specifically if they don't have  
10 an anomaly. I don't recall a specific patient with no  
11 indication.

12 BY MS. GOWAN:

13 Q. You don't recall whether one of those 10 to 15 was a fetus  
14 with an anomaly, is that right?

15 A. I don't recall whether one of those was a patient with no  
16 indication.

17 Q. And you don't recall what the indication was for any one of  
18 those patients either, do you, Doctor?

19 A. I can tell you what the usual indications are. If you want  
20 me to tell you I can.

21 Q. No, Doctor. Specifically the 10 or 15 intact D&Es you have  
22 performed, you don't have a recollection, do you?

23 A. Not specifically on a specific day for a specific patient,  
24 no. I would have to go back and look at my records.

25 THE COURT: Would your records reflect the anomaly?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43U5NAT4 Grunebaum - cross

1 THE WITNESS: All records always reflect an anomaly,  
2 absolutely.

3 THE COURT: Okay, thank you.

4 Next question.

5 THE WITNESS: Not necessarily all anomalies, but they  
6 reflect the reason for the termination of pregnancy, sure.

7 BY MS. GOWAN:

8 Q. Now, you testified earlier this morning that labor  
9 induction abortion is contraindicated for a woman with a  
10 classical uterine incision, right?

11 A. Correct.

12 Q. Now, you didn't mention this specific condition in your  
13 expert report that you disclosed to the government in this  
14 case, did you?

15 A. I don't recall.

16 (Continued on next page)

17

18

19

20

21

22

23

24

25

43urnat5 Grunebaum - cross

1 Q. Do you still have your expert report in front of you there,  
2 Doctor?

3 A. I don't think so.

4 Q. Doctor, showing you again what was marked as Government  
5 Exhibit 24A, which was the expert --

6 MS. WIGMORE: May I see it, counsel?

7 MS. GOWAN: It is the expert report.

8 MS. WIGMORE: I would like to see it before you give  
9 it to him, please.

10 MS. GOWAN: Do you not have a copy of your witness's  
11 expert report, counsel? I don't know that I have an extra  
12 copy.

13 MS. WIGMORE: I would like to see that what he is  
14 being handed is in fact that expert report. I have my own  
15 copy.

16 THE COURT: All right, Ms. Gowan, show it to Ms.  
17 Wigmore. Are you questioning that that is the report?

18 MS. GOWAN: Yes. Is this the report, Ms. Wigmore?

19 Q. Doctor, would you take a moment to look at that and see if  
20 that refreshes your recollection --

21 A. That's my report. It is my report, yes.

22 Q. Does that refresh your recollection as to whether you  
23 disclosed in that report the circumstances of a woman who has  
24 had a medical condition of a prior classical C-section?

25 A. In which context shall I look for that?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 Q. Did you mention it, Doctor?

2 THE COURT: Do we have a date on this report?

3 MS. GOWAN: Your Honor --

4 A. I did not describe in my expert report induction  
5 termination of pregnancy at all, so there is no reason for me  
6 to mention the prior classical Cesarean section.

7 MS. GOWAN: Your Honor, January 13, 2004.

8 THE COURT: Thank you.

9 Q. This particular condition, a woman with the circumstances  
10 of a prior classical C-section, was something that you offered  
11 unbidden at your deposition in this case, right?

12 A. I offered? I beg your pardon?

13 Q. Unbidden.

14 A. I don't know that word.

15 Q. That you offered voluntarily without being questioned upon  
16 it, right?

17 A. Offered when?

18 Q. During your deposition in this case.

19 A. I don't know what you are talking about. I'm sorry. I  
20 don't understand your question.

21 Q. You didn't disclose it in your expert report in this case,  
22 and --

23 A. As I said, I didn't mention induction termination of  
24 pregnancy.

25 Q. Right. You didn't mention induction at all.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5

Grunebaum - cross

1 A. Correct.

2 Q. You mentioned some medical complications, but you didn't  
3 mention this particular medical complication, did you?

4 A. I don't think I mentioned absolute contraindications to  
5 inductions of terminations of pregnancy. I don't think I went  
6 into that.

7 Q. Doctor, you would agree, wouldn't you, that you can safely  
8 perform a D&E by dismemberment in cases where there is a prior  
9 classical C-section scar?

10 A. Correct.

11 Q. And you haven't seen anything in the literature comparing  
12 the risks in cases of prior classical C-section as between  
13 intact D&E and D&E by dismemberment, have you?

14 A. Correct.

15 Q. This morning you talked generally about lung and heart  
16 diseases and various conditions, medical conditions, where you  
17 opined that an intact D&E would be preferable over a labor  
18 induction abortion, isn't that right?

19 A. That is correct.

20 Q. You have never performed an abortion for a woman with lung  
21 cancer, have you, Doctor?

22 A. Lung cancer would not be among the cancers you would  
23 usually see in women who are pregnant.

24 Q. And you have never performed an abortion on a woman with  
25 lung cancer, have you?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 A. I don't recall.

2 Q. Directing your attention to page 172/line 1 of your  
3 deposition in this case:

4 "Q. Have you ever provided an abortion to a woman with lung  
5 cancer?"

6 "A. No."

7 Were you asked that question and did you give that  
8 answer at your deposition in this case?

9 A. Yes. Yes, I did, and I confirm it here.

10 Q. And you have never performed an abortion on a woman with a  
11 collapsed lung either, have you?

12 A. No.

13 Q. You mentioned cancer this morning. You have never  
14 performed an abortion on a woman with various cancers, have  
15 you?

16 A. I don't recall. But I have taken care of women with  
17 various cancers.

18 Q. Page 172/line 10:

19 "Q. And what about various metastases?"

20 "A. No."

21 Were you asked that question and did you give that  
22 answer in your deposition in this case?

23 A. There is a difference between cancer and metastasis. One  
24 is a metastasis, which is the spread of cancer, and the other  
25 one is just cancer per se.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat5 Grunebaum - cross

1 Q. You have never provided an abortion to a woman with a  
2 pulmonary embolus, have you?

3 A. No.

4 Q. And you would agree, wouldn't you, Doctor, that it is rare  
5 that pregnancies are terminated because a woman is experiencing  
6 a serious medical condition?

7 A. Fortunately, it is rare, correct.

8 Q. In those cases where you have provided abortion to women  
9 who are carrying fetal anomalies, you weren't the physician who  
10 made the initial diagnosis of the anomaly, were you?

11 A. Usually not, correct.

12 Q. The patient was referred to you for the abortion, right?

13 A. Not necessarily. She could have also found me through  
14 other means. Referral from other physicians is just one means  
15 of patients coming to me.

16 Q. In all of these cases relating to fetal anomaly, the  
17 identification of the abnormality was the reason for the  
18 abortion, right?

19 A. No.

20 Q. Directing your attention to page 179/line 16 of your  
21 deposition, where you were being questioned on terminations in  
22 cases of abnormalities:

23 "Q. I was just trying to follow what you just told us a few  
24 minutes ago. So you would do the sonogram, which would then  
25 lead you to the amniocentesis, which would then lead you to the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 termination decision?

2 "A. With or without chromosomal abnormality."

3 Were you asked that question and did you give that

4 answer at your deposition?

5 MS. WIGMORE: I object, your Honor: Improper

6 impeachment.

7 A. I don't know how --

8 THE COURT: Wait. Whoa. There is an objection.

9 Wait. What is improper, ma'am?

10 MS. WIGMORE: The question and answer that was just

11 read were unrelated to the question and answer the witness was

12 just asked. It is not proper impeachment.

13 THE COURT: Overruled.

14 Q. The advice that you give to a woman about the method of the

15 abortion depends on the type of the fetal malformation, doesn't

16 it?

17 A. It plays a role, yes.

18 Q. Your advice depends on the time at which the diagnosis of

19 the fetal malformation is made, right?

20 A. No. It depends on the gestation age at which I first see

21 the patient. The diagnosis could have been made a month or two

22 ago. When I first see the patient, that is when I decide on

23 the type of abortion procedure.

24 Q. Isn't it true that in cases where the fetus has a

25 chromosomal abnormality, the abortion is usually going to be

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 done before 20 weeks because you can detect those abnormalities  
2 at earlier gestational ages?

3 A. The decision to do the abortion is made by the woman who is  
4 pregnant. I do not make the decision. Nor is a decision made  
5 because there is a fetal anomaly. The woman who chooses to  
6 have an abortion makes solely the decision based on her own  
7 experience. One of those reasons could be that there is a  
8 fetal anomaly.

9 THE COURT: Keep your voice up, Doctor. A few of  
10 those reasons are what?

11 A. One of the reasons to perform an abortion is because there  
12 is a fetal anomaly. A fetal anomaly is not the reason to do  
13 the abortion. The reason to do the abortion is because the  
14 woman chooses to have the abortion done.

15 Q. Doctor, isn't it true that the identification of the  
16 malformation is usually the predicate for the D&E abortions  
17 that you perform?

18 A. It is the initiator of the woman considering to have an  
19 abortion done, that is correct. But the decision to do the  
20 abortion per se is for the woman to make.

21 Q. The policy at New York-Cornell is to send all aborted  
22 tissue for pathological assessment, whether additional testing  
23 is required or not, isn't that right?

24 A. A pathologic exam is additional testing. So yes, you send  
25 it for additional testing to pathology.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5

Grunebaum - cross

- 1 Q. Doctor, whether you want additional testing or not, the  
2 policy at Cornell is that all fetal tissue goes to pathology,  
3 isn't that right?  
4 A. That is correct.  
5 Q. If you don't make a specific request for an analysis, you  
6 don't have any understanding as to what the pathology  
7 department does with the fetus, right?  
8 A. I have a pretty good understanding of what they do.  
9 Q. Pardon me?  
10 A. I have a pretty good understanding of what they do. I have  
11 done those exams myself.  
12 Q. Directing your attention to page 181/line 6 of your  
13 deposition in this case:  
14 "Q. Before we get to that, if you don't request a specific  
15 analysis, do you have an understanding of what the pathology  
16 department does with the tissue it receives?  
17 "A. I don't specifically know what they do. You would have to  
18 ask a pathologist that question."  
19 Were you asked that question and did you give that  
20 answer at your deposition in this case?  
21 A. Yes, I did give that answer.  
22 Q. Sometimes you do make the specific requests asking for your  
23 diagnoses to be confirmed, right?  
24 A. Correct.  
25 Q. Other times you ask for evaluation because you feel that

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 you need more information about the diagnosis that was made  
2 from the ultrasound or amniocentesis, right?

3 A. Correct.

4 Q. Sometimes you send the fetus for an autopsy, don't you?

5 A. That really depends on the mother's wishes. In order to do  
6 an autopsy, the woman who was pregnant who has the abortion  
7 needs to sign a specific consent. I discuss with her prior to  
8 performing the procedure whether an autopsy should be done or  
9 not.

10 Q. It is your understanding that you can get a good pathologic  
11 assessment from fetal parts by doing an autopsy of a fetus  
12 aborted by D&E dismemberment, isn't that right, Doctor?

13 A. You sometimes can. It depends really on the circumstances.  
14 So it is an individual kind of thing.

15 Q. Of all of the D&E's by dismemberment that you have  
16 performed, you can only think of one example where you did not  
17 believe that you were able to give appropriate genetic  
18 counseling to your patient because the fetus wasn't intact,  
19 isn't that right, Doctor?

20 A. I don't specifically recall.

21 Q. Directing your attention to page 187/line 4 of your  
22 deposition in this case:

23 "Q. Can you give me some examples of when you thought you were  
24 not able to give the appropriate genetic counseling to your  
25 patients because the fetus had not been aborted through the use

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 of the intact D&E procedure?

2 "A. This is one example where you don't see amniotic fluid, and  
3 the one that sticks in my mind most."

4 Were you asked that question and did you give that  
5 answer at your deposition in this case?

6 A. Yes, if you say so.

7 Q. Aside from --

8 THE COURT: Wait. Doctor --

9 A. Yes, I did.

10 Q. Aside from your maternal fetal training in genetics, you  
11 don't have any special expertise in genetics, do you?

12 A. It is part of my OB-GYN residency training, which began in  
13 '78, and I get continuously trained on genetic issues  
14 throughout my career.

15 Q. Other than being part of your maternal fetal training, you  
16 don't have any special expertise in genetics, do you?

17 A. That's pretty good enough, right? Two years of maternal  
18 fetal medicine fellowship is what people usually do.

19 Q. Doctor, are you aware of the literature reporting on the  
20 use of D&E by dismemberment for purposes of pathological  
21 assessment?

22 A. Not specifically.

23 Q. You testified this morning about various advantages that  
24 you believed intact D&E had over D&E by dismemberment. You  
25 talked about less procedure time, and you opined that intact

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5

Grunebaum - cross

1 D&E is safer than D&E by dismemberment because it takes less  
2 time to perform the procedure, isn't that right?

3 A. Correct.

4 Q. Your opinion is based on the cases that you have performed,  
5 right?

6 A. Not only.

7 Q. Or watched, right?

8 A. Not solely.

9 Q. Directing your attention to page 138/line 8 of your  
10 deposition in this case:

11 "Q. Let's focus on the operative time which I think you  
12 delineate more specifically in paragraph 12 of your report.

13 What's the basis of your statement that intact D&E takes  
14 significantly less time than D&E by dismemberment?

15 "A. It's the observation of cases I've seen and cases I've  
16 done."

17 Were you asked that question and did you give that  
18 answer at your deposition in this case?

19 A. I did.

20 Q. You can't tell us how much faster, can you?

21 A. I can tell you about my experience.

22 Q. You can't give us time, you can't quantify the time, can  
23 you?

24 A. What do you mean by I can't give you? I can count minutes.

25 Q. You can't tell us if it is 10 minutes faster, 20 minutes

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 faster, can you?

2 A. I can tell you what it takes me on average to do an intact  
3 D&E, and I can tell you what it takes me on average to do a  
4 dismemberment D&E. And I can tell you the time specifically as  
5 it relates to removal of the fetus. Because after the fetus  
6 has been removed, there is still additional operative time  
7 involved.

8 Q. Directing your attention to page 138/line 15 of your  
9 deposition, where you were asked about the time that you have  
10 observed in the cases that you have seen and done:

11 "Q. And what have you noticed?

12 "A. I've noticed that if you can do a D&E safely as an intact  
13 D&E, the procedure to deliver the fetus is faster than it is  
14 with a dismemberment D&E.

15 "Q. How much faster?

16 "A. Significantly faster.

17 "Q. What does that mean?

18 "A. Maybe twice as fast, half as fast.

19 "Q. Give me some minutes, some time. 10 minutes, 20 minutes?

20 "A. Difficult to say. I can't pinpoint it down. Because it's  
21 difficult to do with intact D&E, then it will be more difficult  
22 to do when it's normal D&E."

23 Were you asked those questions and did you give those  
24 answers at your deposition in this case?

25 A. Yes, I did.

SOUTHERN DISTRICT REPORTERS, P.C.  
(212) 805-0300

43urnat5 Grunebaum - cross

1 Q. You have never quantified the time difference, have you?

2 A. That is actually a normal thing in medicine. We don't  
3 quantify a lot of things we do.

4 THE COURT: Doctor, just answer the question.

5 A. No.

6 Q. And you have never studied it, either, have you?

7 A. No, I did not.

8 Q. Aside from telling us that you have seen some intact D&E's  
9 where the fetus was delivered in a couple of minutes, 2, 3, or  
10 4 minutes, and that you have rarely seen a dismemberment D&E in  
11 less than 5 minutes, you can't really give us any specifics,  
12 can you, Doctor?

13 A. You can't say better. It is exactly what I agree with.

14 Q. In your view, Doctor, part of the reason that the D&E  
15 dismemberment procedure takes longer is because after you have  
16 removed the fetal parts, you put them on a separate table and  
17 count them and assemble them, isn't that right?

18 A. That adds to the operative time.

19 Q. But that process has nothing to do with inserting  
20 instruments in a woman, does it?

21 A. That process does have something to do, because if you  
22 don't assemble all the parts as you think they should be there,  
23 you have to add more instruments into the uterus and remove  
24 more parts. So it is probably the most crucial aspect of the  
25 dismemberment D&E, which decides how many more times you have

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 to insert instruments into the uterus.

2 Q. But you are not inserting instruments while you are  
3 counting up the fetal parts, are you?

4 A. No. But it decides how much more times I have to do it,  
5 correct.

6 Q. And it takes time to count up the fetal parts, right?

7 A. It takes time, yes, it does.

8 Q. That adds to the procedure time, right?

9 A. Absolutely.

10 Q. In your opinion, intact D&E is safer because it decreases  
11 the amount of anesthesia that a woman is exposed to, is that  
12 right?

13 A. Yes.

14 Q. That opinion is based on your opinion relating to procedure  
15 time, right?

16 A. Correct.

17 Q. None of your patients who have had D&E by dismemberment  
18 have ever had a complication from anesthesia, isn't that right,  
19 Doctor?

20 A. Yes.

21 Q. So it would be fair to say in your view, as far as  
22 anesthesia is concerned, that intact D&E presents a  
23 hypothetical benefit, right, Doctor?

24 A. And I'm glad for my patients that at this point it's  
25 hypothetical, because that is what medicine is about. Just

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 because you don't have a complication doesn't mean that you  
2 cannot have one.

3 Q. You have never measured this hypothetical benefit in any  
4 way, have you, doctor?

5 A. No, I did not.

6 Q. And you are not aware of any studies measuring it either,  
7 are you?

8 A. There are studies that show that less anesthesia is safer  
9 for patients, sure.

10 Q. But not in comparing intact D&E and D&E by dismemberment,  
11 right, Doctor?

12 A. I don't know about those studies.

13 THE COURT: Do you know, do any exist?

14 THE WITNESS: On general anesthesia? Absolutely.

15 THE COURT: No. In the context of the question that  
16 was posed to you.

17 THE WITNESS: I don't know, your Honor. I don't know.

18 THE COURT: You don't know of any?

19 THE WITNESS: I don't know of any.

20 THE COURT: OK. Next question.

21 Q. You told us that in your view intact D&E decreases the  
22 potential amount of blood loss by the woman as opposed to D&E  
23 by dismemberment, right?

24 A. Correct.

25 Q. In your view, that is because with intact D&E there is less

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

- 1 use of instruments, thus less chance of injuring the uterus and  
2 causing blood loss, right?  
3 A. That's one of the reasons.  
4 Q. But you have never quantified in any way the amount of  
5 blood loss as between your patients who had intact D&E and your  
6 patients who have D&E by dismemberment, right?  
7 A. Correct.  
8 Q. You never recorded that anywhere, right?  
9 A. I believe we do record blood loss. But fortunately in my  
10 patients blood loss or hemorrhage was never excessive to be  
11 concerned about it.  
12 Q. You would agree, wouldn't you, Doctor, that there is a risk  
13 to the woman from the scissors that puncture the back of the  
14 fetus's skull?  
15 A. A potential risk, correct.  
16 Q. You would agree that there isn't any risk of injury to the  
17 cervix or uterus from instruments with induction abortion,  
18 right?  
19 A. No. I said before that in some induction abortions women  
20 do end up in the operating room where you do have to insert  
21 instruments: If, for example, the fetus or the placenta are  
22 retained.  
23 Q. But the risk from instruments does not normally present in  
24 cases of induction abortions, does it, Doctor?  
25 A. Not at the time when the fetus is being expelled.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

- 1 Q. Directing your attention to page 155/line 23 of your  
2 deposition transcript:  
3 "Q. I understand you're comparing intact D&E versus D&E by  
4 dismemberment in that paragraph, and so I'm saying what about  
5 the comparison with induction method of abortion?  
6 "A. Induction method of abortion, you do not normally use  
7 instruments, so that risk is not present with induction."  
8 Were you asked that question and did you give that  
9 answer at your deposition in this case?  
10 A. Yes, I did.  
11 Q. You can't compare the amount of blood loss between intact  
12 D&E and prostaglandin induction abortion, can you?  
13 A. It is difficult.  
14 Q. You claim that another known complication of D&E by  
15 dismemberment is that fetal parts may be left behind in the  
16 uterus, right?  
17 A. Correct.  
18 Q. That is one of the reasons why you opine that intact D&E  
19 has advantages?  
20 A. Correct.  
21 Q. You don't know if that complication is specifically  
22 reported in the medical literature, do you?  
23 A. I have seen it many times myself.  
24 Q. Aside from your observation, you don't know whether that  
25 complication has been reported in the medical literature, do

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5

Grunebaum - cross

1 you?

2 A. I'm sure it is reported.

3 Q. But you don't know specifically, do you?

4 A. Yes. I recall certain papers many years ago, and I have  
5 seen a lot of patients myself.6 Q. Directing your attention to page 157/line 13 of your  
7 deposition in this case, where you were being questioned about  
8 fetal parts left in the uterus:

9 "Q. Do you know if it's reported in the literature?

10 "A. I don't specifically know, but I have seen that."

11 Were you asked that question and did you give that  
12 answer at your deposition in this case?

13 A. Yes, I did.

14 Q. This morning you were asked questions about feticidal  
15 agents that might be used to ensure fetal demise. Is potassium  
16 chloride a feticidal agent?

17 A. Yes, it is.

18 Q. Is that sometimes called KCl?

19 A. Yes. That is the chemical name for potassium chloride.

20 Q. You told the judge this morning that you were trained in  
21 the use of feticidal agents to cause fetal death, right?

22 MS. WIGMORE: Objection, your Honor:

23 Mischaracterization.

24 THE COURT: In what way?

25 MS. WIGMORE: Mischaracterization of the witness's  
SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 testimony.

2 THE COURT: I repeat: In what way is it  
3 mischaracterizing?

4 MS. WIGMORE: If you want me to approach the bench? I  
5 just don't want to influence the witness's testimony. But I  
6 believe he said quite the opposite.

7 THE COURT: Overruled.

8 Q. Doctor, are you trained in the use of KCl?

9 A. I have never used it myself, never saw anybody doing it.

10 Q. Directing your attention to page 128 of your deposition at  
11 line 17, where you were being asked whether you had been  
12 trained to use fetidicidal agents, you were specifically asked  
13 about training, at line 17, of injection with KCl.

14 MS. WIGMORE: I have an objection, your Honor, at this  
15 point. There was an errata sheet that was provided that  
16 changes this testimony. I want to make sure that is reflected  
17 in the testimony here.

18 MS. GOWAN: Do you have that?

19 MS. WIGMORE: Yes, I do.

20 THE COURT: All right.

21 Q. Doctor, what is NaCl?

22 A. Sodium chloride.

23 Q. Is that a fetidicidal agent?

24 A. Yes.

25 Q. So you have received training in sodium chloride for use as  
SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 a feticidal agent, is that right?

2 A. That is a saline injection, and it is a different method  
3 than potassium chloride. I testified earlier that I have done  
4 those abortions before.

5 Q. I see. And you have used that agent to ensure fetal  
6 demise, is that right?

7 A. Fetal demise is part of that, but it is a different method.  
8 It is a completely different method than potassium chloride.  
9 One is an injection in the amniotic fluid. That is sodium  
10 chloride. Potassium chloride is an injection into the fetus  
11 itself.

12 Q. You also testified this morning about the use of amnio-  
13 centesis. You testified about all sorts of serious  
14 complications that might occur to the woman from the use of  
15 amniocentesis. I think you mentioned complications with the  
16 bowel and others. Would you agree, Doctor, that in fact the  
17 risk to the woman from the use of amniocentesis is negligible?

18 A. It is 1 in 200. If you consider that to be negligible,  
19 that is your interpretation.

20 Q. Less than half a percent, right, Doctor?

21 A. Correct.

22 Q. Now, you have testified that you disagreed with Congress's  
23 finding that intact D&E may pose a risk of cervical  
24 incompetence. That is because you are not aware of any  
25 credible research that supports that view, is that right,

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5

Grunebaum - cross

1 Doctor?

2 A. Congress used the word "partial-birth abortion." They  
3 don't use the word "intact D&E."

4 Q. Doctor, we are talking about cervical incompetence.

5 A. Yes.

6 Q. I understand you disagree with that finding, is that right?

7 A. Congress uses the word "partial-birth abortion" when they  
8 talk about risks of cervical incompetence.

9 Q. Well, let's --

10 A. Don't they? That's what it says there.

11 Q. Let's talk about intact D&amp;E.

12 A. OK.

13 Q. Do you think that intact D&E might pose a risk of cervical  
14 incompetence?

15 A. No. Why should it? How should that be?

16 Q. You are not aware of any credible research that supports  
17 that view, are you, Doctor?

18 A. Yes, I am.

19 Q. Directing your attention to page 194/line 12 of your  
20 deposition in this case:21 "Q. Are you aware of any credible research that supports the  
22 conclusion that satisfactorily done intact D&E would not result  
23 in cervical incompetence?"

24 "A. I'm not aware of that, I said that."

25 Were you asked that question and did you give that

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 answer at your deposition in this case?

2 A. Yes, I did.

3 Q. Doesn't your opinion on this point, Doctor, simply boil  
4 down to the fact that in the absence of a positive finding of  
5 cervical incompetence, you believe that that is the same as a  
6 negative finding of cervical incompetence?

7 A. To me that sounds like common sense. You cannot say  
8 something is happening when nobody ever found that it did.

9 Q. So if something isn't studied, there must not be anything  
10 wrong with it, is that right?

11 A. That's not what I said, and you are mischaracterizing what  
12 I said. What I said is you cannot claim something can happen  
13 when nobody ever found it. Nobody ever found cervical  
14 incompetence to be increased with an intact D&E, so you  
15 shouldn't claim it.

16 Q. And you can't claim that it won't happen similarly?

17 A. Actually Congress claimed it. I am not claiming it. I am  
18 just repeating what Congress had said. Congress said that  
19 partial-birth abortions, and you say -- did you say it is the  
20 same as intact D&E? I am confused. Congress says partial-  
21 birth abortion increases the risk of cervical incompetence.  
22 Now you are telling me that they mean by that an intact D&E,  
23 isn't that correct?

24 Q. You testified that you disagreed with Congress's finding on  
25 that point. If your testimony is just because you do not know

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 what partial-birth abortion is, I don't know what the basis of  
2 your opinion is.

3 A. I didn't say I disagreed with Congress' finding. What I  
4 said was you said doesn't intact D&E increase the risk of  
5 cervical incompetence, and I said no. There is no proof of  
6 that anywhere.

7 Q. Doctor, in the expert report that you provided to the  
8 government in this case, you referred specifically to paragraph  
9 14(a) of the Act, and you said, "It says that an intact D&E  
10 'increases the woman's risk of suffering from cervical  
11 incompetence.' In my opinion, this is not true if the dilation  
12 is done properly." Then you go on.

13 A. That's correct.

14 Q. You are not aware of any credible evidence that supports  
15 your view that the procedure does not cause cervical  
16 incompetence, isn't that right, Doctor?

17 A. I'm confused. I am not sure -- I am not claiming it  
18 does --

19 THE COURT: Ask the question again, Ms. Gowan, or  
20 rephrase it.

21 Q. There isn't any credible research at all about intact D&E,  
22 is there, Doctor?

23 A. I don't know what you mean by credible research.

24 Q. There isn't any research, any studies published, there is  
25 no randomized trials, there is no case reports, there is no

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 cohort studies, there is nothing, is there, Doctor?

2 A. There are some retrospective observations.

3 Q. That is Dr. Chasen's study, is that what you are referring  
4 to?

5 A. That's right, correct.

6 Q. What is the other?

7 A. The other ones are that if there would be an increased risk  
8 in, for example, cervical incompetence, I'm sure our college  
9 would say that's a risk. And when I look at risks for cervical  
10 incompetence, a D&E is not part of those risks.

11 Q. Can you identify for me a single other published study or a  
12 single published study that deals with intact D&E?

13 A. No.

14 Q. Congress also made a finding about amniotic fluid embolus.

15 Isn't it true in fact, Doctor, that you don't necessarily  
16 disagree with Congress's finding that intact D&E presents a  
17 risk of amniotic fluid embolus?

18 A. Any pregnancy, any pregnancy intervention, and any  
19 procedure can lead to amniotic fluid embolism.

20 THE COURT: Keep your voice up, please.

21 A. Any procedure you do enough, and even if you don't do a  
22 procedure, can lead to an amniotic fluid embolism.

23 Q. You told Judge Casey this morning that your opinion is that  
24 there is a decreased risk of amniotic fluid embolism with  
25 intact D&E, isn't that right?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 A. That is right.

2 Q. But isn't it true, Doctor, that you don't necessarily  
3 disagree with Congress's finding that there is a risk of  
4 amniotic fluid embolus with intact D&E?

5 A. Congress does not say that an increased risk with intact  
6 D&E. They used the word "partial-birth abortion."

7 Q. Directing your attention to page 200/line 7 of your  
8 deposition in this case:

9 "Q. What about Congress's finding of an increase of amniotic  
10 fluid embolus? What is that, first of all?

11 "A. It's amniotic fluid embolus is when amniotic fluid enters  
12 the maternal blood circulation, usually a vein, and then moves  
13 along from the uterus up into the body of the woman, and then  
14 through the liver into the heart, and then from the heart into  
15 the lung.

16 "Q. And do you have an opinion on this finding of Congress?

17 "A. Again, what I don't understand is that if there's an  
18 increased risk of amniotic fluid embolus with a D&E, it doesn't  
19 specifically apply to an intact D&E; it would apply to all D&E,  
20 because the procedures are not significantly different. So  
21 it's not just intact.

22 "Q. It would be both?

23 "A. If at all."

24 Were you asked those questions and did you give those  
25 answers at your deposition in this case?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

- 1 A. Yes. And I stand by them, correct.  
2 THE COURT: What did you say, Doctor?  
3 THE WITNESS: I stand by those. I did say that.  
4 Q. You also spoke this morning about the internal podalic  
5 conversion. I understand that you have never had to do an  
6 internal podalic conversion when you have performed an intact  
7 D&E, is that right?  
8 A. That's correct.  
9 Q. But you have watched others do it, haven't you?  
10 A. Maybe I did, but I don't know the specifics.  
11 MS. GOWAN: If you would give me one moment, your  
12 Honor.  
13 THE COURT: Go right ahead.  
14 Q. Directing your attention to page 201/line 17 of your  
15 deposition in this case, which was taken on February 2, 2004:  
16 "Q. Have you observed someone do the conversion?  
17 "A. Yes, I did.  
18 "Q. And how is that done, by hand or by instrument?  
19 "A. It's done -- the ones I've seen?  
20 "Q. Yes?  
21 "A. Was done by hands."  
22 Does that refresh your recollection, Dr. Grunebaum, as  
23 to whether you have observed others perform the internal  
24 podalic conversion?  
25 A. Your initial question was if I have seen them during an

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5

Grunebaum - cross

1 abortion procedure. But now your question was a more general  
2 question. A internal podalic version can be done at any time  
3 during the pregnancy, not just during abortion. So are you  
4 referring to abortions or term or to a Cesarean section?

5 Q. Yes, abortions. Have you observed others perform --

6 A. I have never done them during abortion. I have done them  
7 at the time of Cesarean section, and I have observed others do  
8 both.

9 Q. You have observed others do the internal podalic conversion  
10 during the course of an abortion, is that right?

11 A. I may have. Probably I did.

12 Q. You testified this morning that you disagree with  
13 Congress's finding that conversion to a footling breech  
14 presents a risk to the mother. But isn't it a fact, Doctor,  
15 that you would agree that at 23 weeks even if you do the  
16 conversion carefully and gently, there is a slightly increased  
17 risk of perforating the uterus when performing this  
18 manipulation?

19 A. Can you show me in Congress a finding where they talk about  
20 internal podalic version?

21 Q. Doctor, this morning you were asked, in connection with  
22 finding 14(a), about the use of an internal podalic conversion.

23 A. I don't see it in the --

24 THE COURT: Doctor, wait until the question is posed  
25 to you.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

- 1 THE WITNESS: I apologize.  
2 Q. And you understand, don't you, that the Act refers to the  
3 performance of abortions?  
4 A. In the definition of "partial-birth abortion" there is no  
5 mention of internal podalic version. That is not part of the  
6 definition.  
7 Q. You understand, don't you, Doctor, that some physicians,  
8 when performing intact D&E, in fact do an internal podalic  
9 conversion?  
10 A. Yes, I am aware of that.  
11 Q. Don't you agree that in those cases, that at 23 weeks there  
12 is a slightly increased risk of perforating the uterus when  
13 performing this maneuver?  
14 A. Yes, I agree.  
15 Q. You would also agree, wouldn't you, Doctor, that at 20  
16 weeks there is a slightly increased risk of perforating the  
17 uterus when performing this maneuver?  
18 A. I agree.  
19 Q. You would agree, wouldn't you, that if you are going to do  
20 such a conversion, you would want the maternal membranes to be  
21 intact?  
22 A. I can't comment on this, because I don't do those podalic  
23 versions. So I leave this up to the physician to decide. But  
24 in general it is preferable to have the membranes intact, yes.  
25 Q. Directing your attention to page 204/line 3 of your

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 deposition in this case:

2 "Q. How much room is there in the uterus at 20 weeks?

3 "A. Good question, actually. It depends if the water has  
4 broken, if the membranes have ruptured or not. If water is  
5 broken and membranes have ruptured, there is less space,  
6 because the uterus clamps down. So if you do an inversion, you  
7 want the membranes to be intact."

8 Were you asked that question and did you give that  
9 answer at your deposition in this case?

10 A. Yes, I did.

11 Q. That is because if the membranes are ruptured, it makes it  
12 almost impossible to do the conversion, correct, Doctor?

13 A. It makes it very difficult, correct.

14 Q. You would agree, wouldn't you, that the physician must  
15 gently and slowly insert his or her hands or the instrument  
16 into the woman in order to effect the conversion without  
17 rupturing the membranes?

18 A. That is the preferred way to do it, yes.

19 Q. You would agree, wouldn't you, Doctor, that in order to  
20 safely perform the internal podalic conversion as part of the  
21 intact D&E, a degree of medical skill is clearly important?

22 A. That is important for anything we do in medicine. The more  
23 your skills, the less complications there usually are.

24 Q. You agree with Congress's finding that the use of the sharp  
25 instrument, the scissors, to puncture the fetus's skull

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5

Grunebaum - cross

1 presents a risk of laceration and secondary hemorrhaging, don't  
2 you?

3 A. I agree that puncturing the skull increases the risk of  
4 complication. I am not so sure where it says what you just  
5 said in the congressional findings. Does it mention that  
6 anywhere as being part of an abortion procedure? Maybe it  
7 does, but I couldn't find it. That is a different version of a  
8 partial-birth abortion. It doesn't say that. Puncturing the  
9 skull is part of a partial-birth abortion as Congress defines  
10 it.

11 Q. Directing your attention to page 205/line 24 of your  
12 deposition in this case, paragraph 21, we were talking about  
13 your expert report.

14 "Q. I know we touched on this before. I just have a couple of  
15 questions. You refer to Congress's findings that D&X poses a  
16 risk of laceration and secondary hemorrhage due to the doctor  
17 blindly forcing a sharp instrument in the base of the unborn  
18 child's skull while he or she is lodged in the birth canal.  
19 Now, you make the statement that this is similar -- excuse  
20 me -- that this is true for most gynecological procedures.  
21 What do you mean when you said this is true for most  
22 gynecologic procedures?

23 "A. The sentence the risk of lacerations and secondary  
24 hemorrhaging, a risk of lacerations and secondary hemorrhaging,  
25 is true for most gynecological procedures."

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 MS. WIGMORE: Your Honor, I want to object at this  
2 point. Ms. Gowan read two questions, the first of which  
3 elicited an objection and then was rephrased. So I object and  
4 move to strike the reading of the first question.

5 MS. GOWAN: I merely read it for context, your Honor,  
6 to show --

7 THE COURT: That's all right. Move on. Overruled.

8 Q. "Q. And that's because instruments are involved?

9 "A. Or surgery is involved or hands are involved or anything  
10 else is involved, correct, not just instruments.

11 "Q. So you are agreeing with Congress's finding that intact D&E  
12 poses a risk of lacerations and secondary hemorrhaging?

13 "A. Yes, I agree with that."

14 Were you asked those questions and did you give those  
15 answers at your deposition in this case?

16 A. Yes, I did give those answers.

17 THE COURT: Doctor, what is secondary hemorrhaging?

18 THE WITNESS: I apologize, your Honor. I didn't hear  
19 the word "secondary hemorrhaging." I don't think I --

20 THE COURT: Do you know what the term is?

21 THE WITNESS: No, I don't.

22 THE COURT: Do you recognize it?

23 THE WITNESS: No, I don't.

24 THE COURT: You have never heard it?

25 THE WITNESS: Not -- maybe I should hear the context

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - cross

1 in which it is used.

2 THE COURT: Doctor, you have been answering questions  
3 that contain it.

4 THE WITNESS: I did? When did I say secondary  
5 hemorrhage?

6 THE COURT: The question contained it, Doctor, and you  
7 answered. What did you understand by "secondary hemorrhaging"?

8 THE WITNESS: I don't understand that term. I'm  
9 sorry.

10 THE COURT: But you answered the question anyway.

11 THE WITNESS: Yes, I did.

12 Q. Now, you testified this morning about your work on the  
13 patient's safety committee at New York-Cornell, right?

14 A. That is correct.

15 Q. Your work on that committee deals with reviewing and  
16 establishing guidelines relating to OB-GYN care, correct?

17 A. Correct.

18 Q. Those guidelines are called protocols, aren't they?

19 A. They are.

20 Q. You have been personally involved in developing protocols  
21 for practice at New York-Cornell, right?

22 A. Correct.

23 Q. You participated in establishing a protocol for the use of  
24 Pitocin for pregnant women whose labor is being induced for  
25 live birth, right?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5

Grunebaum - cross

1 A. Correct.

2 Q. That protocol deals with how physicians should use Pitocin  
3 medication and the kinds of precautions that should be taken in  
4 connection with the use of that drug for induction for live  
5 birth, correct?

6 A. Correct.

7 Q. But you haven't worked on establishing any protocols for  
8 abortion, have you?

9 A. No, I have not worked on those.

10 Q. And you don't know if there are any protocols for abortion  
11 at New York-Cornell, do you?

12 A. No, I don't.

13 Q. And you have never asked, have you, doctor?

14 A. I have not specifically asked.

15 MS. GOWAN: No further questions.

16 MS. WIGMORE: Your Honor, I have just a few.

17 THE COURT: All right.

18 REDIRECT EXAMINATION

19 BY MS. WIGMORE:

20 Q. Dr. Grunebaum, do you recall answering in response to one  
21 of Ms. Gowan's questions that intact D&E procedure is a risky  
22 procedure?

23 A. I don't specifically recall it.

24 Q. How does the risk of intact D&E compare to the risk of  
25 dismemberment D&E?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5 Grunebaum - redirect

1 A. It's lower.

2 Q. How does the risk of intact D&E compare to the risk of  
3 induction?

4 A. It is lower.

5 Q. Do you recall Ms. Gowan asking you about the time in which  
6 you count the fetal parts during a dismemberment D&E?

7 A. Yes.

8 Q. While you are counting the fetal parts, is the patient  
9 under anesthesia?

10 A. Yes.

11 Q. While you are counting the fetal parts, is the cervix of  
12 the patient dilated?

13 A. Yes.

14 Q. Doctor, do you recall Ms. Gowan asking you questions about  
15 your deposition questions and answers relating to the risk of  
16 lacerations and secondary hemorrhaging?

17 A. The word "secondary hemorrhaging" now comes to mind, yes.

18 Q. In terms of the risk of secondary hemorrhaging and  
19 lacerations, how does that risk for an intact D&E compare to  
20 that risk for a D&E involving dismemberment?

21 A. It's probably lower with an intact D&E. The risk of  
22 lacerations and hemorrhage.

23 Q. Why is that?

24 A. Because you have less instruments that you use, there is  
25 less of a chance of retaining placenta, and there is less of a

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5

1 chance to have parts of the fetus being dismembered go through  
2 the cervix.

3 MS. WIGMORE: Thank you. I have no further questions.

4 THE COURT: Any further cross?

5 MS. GOWAN: No, your Honor.

6 THE COURT: Doctor, you mentioned earlier today that  
7 you believe in full disclosure to your patients as to the  
8 procedures and the various possibilities that are available.

9 THE WITNESS: Yes, I do.

10 THE COURT: And that you spell out for the woman just  
11 what is entailed in a D&E that involves dismemberment, correct.

12 THE WITNESS: Yes, I do.

13 THE COURT: You also spell out that if you are doing  
14 an intact D&E or D&X or partial-birth abortion, whichever term  
15 is used, that that entailed a partial delivery, and then the  
16 procedure you described of inserting the scissors in the base  
17 of the skull and using a suction devise to remove the brain.

18 THE WITNESS: Yes, I do.

19 THE COURT: You spell that out to the potential  
20 mother, correct?

21 THE WITNESS: Yes, I do.

22 THE COURT: Then you also described that some women  
23 choose to have the intact D&C -- or D&E after you have  
24 described that?

25 THE WITNESS: Yes.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5

1 THE COURT: And that --

2 THE WITNESS: May I interrupt, your Honor they do.  
3 I'm sorry. Yes.

4 THE COURT: And it is on an individual basis, not  
5 every one, but some do?

6 THE WITNESS: Many do. Many do.

7 THE COURT: And that some of them desire that because  
8 after the procedure if they want to see or hold the dead fetus,  
9 is that correct?

10 THE WITNESS: Yes. For example, in --

11 THE COURT: Is that correct, Doctor?

12 THE WITNESS: Yes.

13 THE COURT: I believe you mentioned also take  
14 pictures, is that correct?

15 THE WITNESS: Yes. That is part of our common  
16 policy -- it changed about ten years ago -- that we take  
17 pictures.

18 THE COURT: This is part of the grieving process?

19 THE WITNESS: Absolutely. We have been told by  
20 grieving counselors to take pictures of all dead fetuses and  
21 babies -- specifically babies, but also fetuses -- so there is  
22 a memory of the baby by the mother.

23 THE COURT: You say that as part of this grieving  
24 process, the mother or potential mother --

25 THE WITNESS: Yes.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43urnat5

1 THE COURT: -- requests this, even though after full  
2 disclosure from you about the fetus, that they ordered you to  
3 go ahead and suck the brain out of that child, is that correct?

4 THE WITNESS: And perform the abortion, correct.

5 THE COURT: Yes. No further questions. You may step  
6 down.

7 (Witness excused)

8 THE COURT: It is almost 5 o'clock. 4:55. I take it  
9 at this point in time there is not much point in putting on  
10 another witness. We will recess for the day and reconvene at  
11 9:30 tomorrow morning. Court will stand in recess.

12 (Adjourned to 9:30 a.m., March 31, 2004)

13

14

15

16

17

18

19

20

21

22

23

24

25

1 INDEX OF EXAMINATION  
 2 Examination of: Page  
 3 AMOS GRUNEBAUM  
 4 Direct By Ms. Wigmore ..... 203  
 4 Cross By Ms. Gowan ..... 312  
 5 Redirect By Ms. Wigmore ..... 380  
 5  
 6

7 PLAINTIFF EXHIBITS  
 8 Exhibit No. Received  
 9 94 ..... 218  
 10  
 11  
 12  
 13  
 14  
 15  
 16  
 17  
 18  
 19  
 20  
 21  
 22  
 23  
 24  
 25

