

43V5NAT1

1 UNITED STATES DISTRICT COURT
1 SOUTHERN DISTRICT OF NEW YORK

2 -----x

2

3 NATIONAL ABORTION FEDERATION,
3 MARK I. EVANS, M.D.,
4 CAROLINE WESTHOFF, MD, MSC;
4 CASSING HAMMOND, MD,
5 MARK HELLER, MD,
5 TIMOTHY R.B. JOHNSON, MD,
6 STEPHEN CHASEN, MD,
6 GERSON WEISS, MD,
7 on behalf of themselves and
7 their patients,

8

8 Plaintiffs,

9

9 v. 03 Civ. 8695 (RCC)

10

10 JOHN ASHCROFT, in his official
11 capacity as Attorney General
11 of the U.S., along with his
12 officers, agents, servant,
12 employees, and successors
13 in office,

13

14 Defendants.

14

15 -----x

New York, N.Y.
March 31, 2004
9:40 a.m.

15

16

16

17

17 Before:

18

18 HON. RICHARD CONWAY CASEY

19

District Judge

20

20

21 APPEARANCES

21

22

22 AMERICAN CIVIL LIBERTIES UNION FOUNDATION

23

Attorneys for Plaintiffs

23

125 Broad Street, 18th floor

24

New York, New York 10004-2400

24

(212) 549-2600

25

BY: JULIE STERNBERG, ESQ.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1

1 APPEARANCES (continued)
2 WILMER CUTLER PICKERING LLP
2 Attorneys for Plaintiffs
3 2445 M Street, N.W.
3 Washington, D.C., 20037-14 20
4 (202) 663-6235
4 BY: A. STEPHEN HUT, JR.
5 AMY KREIGER WIGMORE
5 KIMBERLY A. PARKER
6 LORIE CHAITEN
7
8 ELIZABETH WOLSTEIN, ESQ.
8 SHEILA M. GOWAN, ESQ.
9 SEAN LANE
9 JOSPEH PANTOJA
10 Attorneys for Defendants
10 U.S. Department of Justice
11 86 Chambers Street, 3rd floor
11 New York, New York 10007
12 (212) 637-2800
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT1

1 (Trial resumed)

2 THE COURT: All right, plaintiffs may call their next
3 witness.

4 MR. HUT: Your Honor, plaintiffs call Dr. Timothy
5 Johnson.

6 TIMOTHY JOHNSON,

7 called as a witness by the Plaintiff,

8 having been duly sworn, testified as follows:

9 DIRECT EXAMINATION

10 BY MR. HUT:

11 Q. Good morning, Dr. Johnson.

12 A. Good morning, Mr. Hut.

13 Q. Doctor, where are you currently employed?

14 A. I'm currently employed at the University of Michigan.

15 Q. Are you a physician, sir?

16 A. I am, yes.

17 Q. What positions do you hold at the University of Michigan?

18 A. I am professor and chair of the Department of Obstetrics
19 and Gynecology. I'm a Bates Professor of the Diseases of Women
20 and Children. I'm an Arthur Thurnau professor. I'm professor
21 of Womens Studies. And I'm a research scientist in the Center
22 for Human Growth and Development, all at University of
23 Michigan.

24 Q. Would you briefly describe for us, Doctor, your
25 responsibilities in these various positions?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 A. My medical school responsibilities have to do with medical
2 student education and training, postgraduate and subspecialty
3 training and education.

4 My hospital responsibilities have to do with
5 administering the department of obstetrics and gynecology, the
6 inpatient services, subspecialty services and the various
7 clinics and with recruitment, quality assurance issues of the
8 faculty.

9 Q. Could you explain, briefly, your duties as Thurnau
10 professor at the college?

11 A. Thurnau professor is a three-year appointment that's given
12 for undergraduate teaching excellence, and I received that in
13 recognition of undergraduate teaching in the women's studies
14 department in the College of Literature, Science and the Arts
15 and the expectation --

16 THE COURT: The college of what, Doctor?

17 THE WITNESS: I'm sorry. Literature, science and the
18 arts.

19 THE COURT: Nothing to do with --

20 THE WITNESS: No, I have an appointment in the
21 undergraduate college as well as the medical school.

22 Q. Doctor, can you explain briefly your responsibilities as
23 research scientist at the Center for Human Growth and
24 Development?

25 A. The Center for Human Growth and Development is a research

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 unit that's involved with various aspects of prenatal and early
2 childhood development and growth. And as part of my research
3 activities, I mentor and work with research scientists and
4 post-doctoral students in that center.

5 Q. Do you have any research responsibilities in connection
6 with the medical school, Doctor?

7 A. Yes. In addition to my own research program in fetal
8 assessment, I am responsible for the research activities of the
9 department.

10 Q. Dr. Johnson, are you a plaintiff in the action?

11 A. Yes, sir.

12 Q. Why did you bring it?

13 A. Well, I brought the action because of my interest in this
14 topic.

15 I became involved in 1997 in litigation in Michigan,
16 became more aware and more involved in the medical aspects of
17 intact induced abortion procedures and have kept track of
18 litigation statutes that have been passed since 1997 and have
19 become involved with those.

20 Q. With respect to your involvement in the case you referred
21 to in Michigan, what was your role there, Dr. Johnson?

22 A. Well, initially I was asked to serve as the Court's expert
23 in Michigan suit.

24 Q. Who was the judge in the Michigan action?

25 A. Judge Gerald Rosen.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 Q. And could you explain, briefly, the circumstances under
2 which Judge Rosen asked you to serve as his Court-appointed
3 expert?

4 A. Well, all I can say is that Judge Rosen decided that he
5 wanted to have a medical expert assist him with the medical
6 issues of that statute and asked me if I would be willing to
7 serve in that capacity. And I agreed.

8 Q. Had you known Judge Rosen previously?

9 A. No, sir.

10 Q. Did you read his opinion in Evans v. Keller?

11 A. Yes, sir.

12 Q. Based on that reading, can you tell the Court here whether
13 Judge Rosen relied on the testimony you gave in Evans v.
14 Keller?

15 MS. GOWAN: Objection, your Honor.

16 THE COURT: Sustained. He can't tell what the Judge's
17 mind was thinking. And the opinion speaks for itself.

18 Next question.

19 Q. Before you were appointed to serve as the Court-appointed
20 expert in Evans v. Keller, Dr. Johnson, did you form any view
21 on the opinion of intact variation D&E?

22 A. No, sir.

23 Q. Lets learn a little bit more about you, Doctor. From where
24 did you obtain your medical degree?

25 A. I received my medical degree from the University of

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 Virginia in 1975.

2 Q. And in addition to your medical degree, sir, have you
3 received any other medical training?

4 A. Yes. I completed four years of residency in obstetrics and
5 gynecology from 1975 to 1979 at the University of Michigan.

6 And then I did a two-year fellowship in maternal fetal medicine
7 at the Johns Hopkins Hospital.

8 Q. We have had other witnesses refer to maternal fetal
9 medicine but, briefly, can you explain for the Court what that
10 discipline entails?

11 A. It's a subspecialty of obstetrics and gynecology that deals
12 with high-risk and complicated pregnancies.

13 Q. What's a high-risk or complicated pregnancy?

14 A. Well, it would be a pregnancy complicated by either a
15 maternal high-risk condition, maternal medical complication,
16 maternal surgical complication, a pregnancy-related
17 complication or a fetal complication such as a fetal
18 abnormality, a fetal birth defect, genetic abnormality.

19 Q. Following your fellowship, what did you do?

20 A. I served four years in the United States Air Force. I
21 served two years in Biloxi Mississippi at Keesler Air Force
22 base, and then I served two years at Andrews Air Force base in
23 Washington, D.C. where I also had an appointment at the
24 Uniformed Services University of Health Sciences, which is the
25 military medical school in Bethesda.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 Q. Was your service in the Air Force in the medical corps of
2 the Air Force?

3 A. Yes, I was in the Air Force medical corps.

4 Q. Were you honorably discharged from the Air Force, sir?

5 A. Yes, sir.

6 Q. What rank had you attained at the time of your discharge?

7 A. Major.

8 Q. Following your --

9 THE COURT: Where did you start at?

10 THE WITNESS: I started at second lieutenant.

11 THE COURT: When you went on active duty what did you
12 have, what rank?

13 THE WITNESS: I was initially commissioned as second
14 lieutenant when I went on active duty, in the medical corps I
15 was captain.

16 THE COURT: So you just went up one rank in four
17 years?

18 THE WITNESS: Yes, sir. That's in four years; yes,
19 sir.

20 BY MR. HUT:

21 Q. What did you do after you left the service?

22 A. I was appointed to the faculty at Johns Hopkins Hospital
23 and I was a member of the Division of Maternal Fetal Medicine
24 and the Department of Gynecology and obstetrics at Johns
25 Hopkins. I was there from 1985 to 1993.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 I moved through the ranks, was appointed to be
2 residency program director of the department. Eventually I
3 became the director of the Division of Maternal Fetal Medicine
4 and was promoted to Associate Professor of Obstetrics and
5 Gynecology and Associate Professor of Pediatrics.

6 Q. Where did you go, Dr. Johnson, after Johns Hopkins?

7 A. In 1993 I took my career and appointment at Michigan and I
8 have been there since then.

9 Q. Do you currently practice medicine, Doctor?

10 A. Yes, sir.

11 Q. For approximately how long have you practiced?

12 A. Since 1975.

13 Q. In what area or areas do you specialize in the practice of
14 medicine?

15 A. I specialize in obstetrics and gynecology and in high-risk
16 obstetrics.

17 Q. For how long have you specialized in those areas?

18 A. Well, I have, I have been active in maternal fetal medicine
19 since I completed my fellowship in 1981 and during my training
20 from '79 to '81.

21 Q. How did that specialization of obstetrics and gynecology,
22 how long have you had that specialty?

23 A. I received and completed that training in 1979.

24 Q. Do you have any board certifications, Dr. Johnson?

25 A. Yes. I'm board certified in obstetrics and gynecology and

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 I am also certified by the subspecialty board of maternal fetal
2 medicine.

3 And I am currently recertified and up to date in both
4 of those certifications.

5 Q. Do you treat patients, Doctor?

6 A. Yes, sir.

7 Q. In what setting or settings do you do that?

8 A. Well, I treat patients both in an ambulatory setting, I see
9 patients in an outpatient clinic at the university hospital and
10 at one of our outlying health clinics. I also am involved in
11 direct patient care on-call in the hospital.

12 Q. For what types of problems or conditions do you treat
13 patients, Doctor?

14 A. Well, I see patients with a spectrum of routine obstetric
15 and gynecologic problems.

16 I see pregnant patients, I see gynecologic patients
17 for annual care, for typical-type gynecologic problems --
18 abnormal bleeding, other kinds of problems.

19 I also see high-risk patients, complicated patients
20 that either come to me or are referred to me with pregnancy
21 related complications.

22 When I am either working in the hospital on labor and
23 delivery or covering the hospital as part of my faculty duties
24 I would be responsible for patients in labor and delivery,
25 patients in the obstetric inpatient unit, and also would be

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 responsible for patients who came into the emergency room with
2 gynecologic emergencies. And I would go with those patients if
3 they needed to to the operating room for surgery.

4 Q. Do you perform abortion procedures, Doctor?

5 A. I do; yes, sir.

6 Q. What types of abortion procedures do you do?

7 A. Currently I am involved, because of my call schedule, only
8 in medical induction procedures.

9 Q. Do you have privileges to perform surgical procedures?

10 A. Yes, sir.

11 Q. And what about your call schedule involves you only in
12 medical induction procedures?

13 A. Well, currently because of my administrative and daytime
14 duties I take call usually on, on the evening or on weekends.
15 I don't cover the operating rooms or the units during the
16 daytime, which is the time that surgical procedures are carried
17 out.

18 So, because of that the patients that I see are
19 patients who are admitted for medical induction during my time
20 on call.

21 Q. Prior to your current schedule and in the past, what types
22 of abortions have you performed?

23 A. Both medical and surgical abortions, first and second
24 trimester.

25 Q. And by medical abortions in the second trimester, can you

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 again tell the Court what you mean?

2 A. I am talking about abortion inductions that are induced
3 using medications, using drugs to induce labor and to cause
4 delivery.

5 Q. And by surgical procedures in the second trimester, what do
6 you mean by that, sir?

7 A. Surgical procedures would be operative procedures to
8 perform evaluation of the uterus, so, D&E procedures.

9 Q. Do you manage spontaneous abortions in the course of your
10 practice?

11 A. Yes, sir.

12 Q. How do you do those procedures?

13 A. Those patients present to the emergency department --
14 generally occasionally to labor and delivery -- but to one of
15 our acute triage units, and they're evaluated and then I would
16 participate in their management.

17 Q. Have you had any training, Dr. Johnson, in abortion
18 procedure?

19 A. Yes, sir.

20 Q. Could you describe it for the Court, please?

21 A. Well, as a resident and as a fellow I received didactic --

22 THE COURT: Can you tell us where it occurred and when
23 it occurred?

24 THE WITNESS: Sure.

25 As a resident from 1975 to 1979 we had lectures,

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 directed readings and were involved in abortion procedures at
2 University Hospital. Those were --

3 THE COURT: That was in Virginia?

4 THE WITNESS: No, that was at the University of
5 Michigan.

6 THE COURT: University of Michigan.

7 THE WITNESS: When I was a resident between '75 and
8 '79; so at that time most of those procedures were
9 mid-trimester procedures because of the practice in the
10 hospital. I had some experience at Planned Parenthood with
11 first trimester procedures as a resident.

12 Subsequently, from 1979 to 1981, at Hopkins, I
13 received further training. At the time, from 1979 to 1981,
14 Hopkins had a large abortion research unit and the fellows,
15 including myself, were responsible for managing the
16 complications in that unit, managing the problems in that unit,
17 doing any procedures that needed to be done in that unit at
18 night when we were in-house managing those patients.

19 Since then I have continued reading the medical
20 literature and attending conferences, attending quality
21 assurance meetings, departmental meetings, and continued to be
22 involved in the education and training of our residents who
23 participate in abortion training in our institution.

24 Q. You made reference in that answer, Dr. Johnson, to the
25 mid-trimester, I'm not sure we have heard that word, what is

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 the mid-trimester of pregnancy?

2 A. Considered generally from 12 to 24 weeks, but often in
3 terms of the management we are talking more like 14, 15 weeks
4 until 24 weeks.

5 Q. And the mid-trimester is synonymous with the second
6 trimester, is that correct?

7 A. Correct, yes.

8 Q. Are you familiar with a variation of the D&E procedure
9 known as the intact dilation and evacuation, or intact D&E?

10 A. Yes, sir.

11 Q. Have you observed performance of the intact variation of
12 D&E?

13 A. Yes, sir.

14 Q. Where did that occur?

15 A. At affiliated -- at training sites that we have a
16 relationship with at our institution.

17 Q. With respect to --

18 THE COURT: Where, specifically, Doctor?

19 THE WITNESS: Well, I'm afraid to identify that place
20 because I'm concerned that my residents and my colleagues who
21 are working there could be identified and targeted as -- if
22 that were, became widely known.

23 MR. HUT: Your Honor, would it be possible at this
24 point perhaps to approach and have the court reporter, if this
25 would be satisfactory to Dr. Johnson, transcribe it only in the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1 Johnson - direct

1 presence of the Court and the government, rather than in the
2 full courtroom?

3 THE COURT: Before we do that, let me understand your
4 concern about the safety of your colleagues.

5 THE WITNESS: Yes, sir.

6 We have a very small community --

7 THE COURT: I didn't ask you --

8 THE WITNESS: I am afraid that my colleagues could be
9 identified if it were known where they were doing their
10 training in their abortions.

11 THE COURT: All right, I will take it at sidebar.

12 (Page 401 SEALED by order of the Court)

13

14

15

16

17

18

19

20

21

22

23

24

25

43V5NAT1B Johnson - direct

1 (In open court)

2 BY MR. HUT:

3 Q. Doctor, do have you teaching responsibilities?

4 A. Yes, sir.

5 Q. In what subject or subjects?

6 A. I am responsible for the educational program in obstetrics
7 and gynecology at the medical school. I am responsible for the
8 educational programs for our subspecialty training programs at
9 the hospital and the medical school in reproductive
10 endocrinology, maternal fetal medicine, gynecologic gynecology,
11 and urogynecology.

12 I am also involved in teaching fourth year medical
13 students in a variety of subjects, either maternal fetal
14 medicine. I also teach a course on transnational and
15 transcultural issues in women's health, global health.

16 At the undergraduate level I am responsible for
17 teaching courses that are generally attended by junior and
18 senior undergraduates. In the women's studies department I
19 teach a class on women's reproductive health, I teach a class
20 on men's health and I teach a class on transnational and
21 transcultural issues on women's health on an intermittent
22 basis. That is a grad level course.

23 THE COURT: You teach all of these courses now?

24 THE WITNESS: No. Right now I am only teaching
25 women's reproductive health this semester, plus the medical

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 school courses. Men's health course I teach in the fall.

2 THE COURT: Those are undergraduate courses?

3 THE WITNESS: Yes, sir.

4 THE COURT: How many hours a week do you teach in the
5 undergraduate school?

6 THE WITNESS: The classes are usually three hours a
7 week and both of the classes I teach, the women's reproductive
8 health class I teach with a professor from the nursing school,
9 and the men's health class I teach with a professor from the
10 psychiatry department. So, we co-teach those classes.

11 THE COURT: All right, go ahead, Mr. Hut. You may
12 proceed.

13 BY MR. HUT:

14 Q. In your earlier answer, Doctor, before the colloquy with
15 the Court, you made a reference to a discipline, if I heard you
16 correctly, urogynecology; could you explain for the Court and
17 for me what that is?

18 A. OB/GYN has four recognized subspecialties. Urogynecology
19 is the commonly used term, it is also called female pelvic
20 medicine and reconstructive surgery. And it is a relatively
21 new subspecialty and it is focused on urinary tract problems,
22 incontinence, pelvic floor prolapse and surgery --

23 THE COURT: Doctor, would you try and talk in layman's
24 terms when you use technical language like that? I know you
25 are not used to talking that way all the time but I am trying

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 to establish an intelligible record that could be understood by
2 those other than in the medical profession.

3 THE WITNESS: Yes, sir.

4 THE COURT: Okay, I would appreciate your effort to do
5 that.

6 Do you want to go back and describe what that first
7 category was?

8 THE WITNESS: It's a subspecialty that deals with
9 women who have trouble controlling their bladder, who leak
10 urine, or who have problems with prolapse; where the vagina or
11 uterus or rectum are prolapsing out and cause them pain or
12 discomfort with urination, with bowel movements.

13 Q. Dr. Johnson, do you have responsibilities for the training
14 of other physicians in obstetrics and gynecology?

15 A. Yes, sir. I have responsibility for the residency training
16 program, which is a four-year program that trains 20 residents
17 total, five residents a year.

18 I also have responsibility for the subspecialty
19 training programs. Each of the four subspecialties I mentioned
20 has up to one fellow a year and those are three year programs.
21 So at any given time we might have as many as 12 subspecialty
22 trainees.

23 We also have occasional trainees who spend a year or
24 two doing advanced training and laparoscopic surgery, minimally
25 invasive surgery, and we have regular exchanges with

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B

Johnson - direct

1 post-graduates from abroad as well that rotate on our teaching
2 services.

3 Q. Does your institution offer training in abortion
4 procedures?

5 A. Yes, sir.

6 Q. What type of abortion procedures are taught or trained at
7 your institution?

8 A. Well, we teach all of our residents the broad spectrum of
9 both medical and surgical services in the first and second
10 trimester.

11 The residents then actually participate in both first
12 and second trimester procedures if, unless they -- unless they
13 opt out. Our residents have an opportunity not to participate
14 in training or provision of services that they morally or
15 ethically don't feel comfortable with.

16 Q. And with respect to second trimester surgical and medical
17 procedures, what are they as taught or trained?

18 A. Those would be either medical inductions or surgical
19 procedures, second trimester.

20 Q. And the surgical procedures are what procedure?

21 A. Would be D&E procedures.

22 Q. Dr. Johnson, are you a member of any professional
23 associations?

24 A. Yes. I'm a fellow of the American College of Obstetricians
25 and Gynecologists. I'm a member of the Society for Maternal

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 Fetal Medicine. I'm an elected member of the Society for
2 Gynecologic Investigation, which is the research society of my
3 speciality. And --

4 THE COURT: What is the research part of your society?

5 THE WITNESS: The society for gynecologic
6 investigation is the society that focuses on OB/GYN research.

7 Q. With respect to your memberships, in any of those
8 organizations, have you served as member or chair of any
9 committees of those associations?

10 A. Yes.

11 I have been chair of the International Committee of
12 the American College, and then a chair of the International
13 Committee of the American College of Obstetricians and
14 Gynecologists, and I have served on Prologue and other
15 educational task forces of the American College.

16 Q. What does Prologue stand for, Doctor?

17 A. Prologue is an educational brochure that the college
18 produces that I was involved with on the production committee.

19 Q. Doctor, what is the Institute of Medicine?

20 A. The Institute of Medicine is an elected society of health
21 professionals that is the health arm of the National Academy of
22 Sciences.

23 Q. Is membership in the Institute of Medicine attained
24 voluntarily or by election?

25 A. By election.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT1B Johnson - direct

1 Q. Have you been elected a member?

2 A. Yes, sir.

3 Q. What year?

4 A. 2003.

5 Q. How many OB/GYNs currently serve as elected members of the
6 Institute of Medicine?

7 A. I think, approximately 20.

8 Q. Dr. Johnson, do you serve on any government or governmental
9 advisory committees?

10 A. Yes.

11 I am currently a member of the advisory committee on
12 women's health research to the director of the National
13 Institutes of Health.

14 Q. Have you served, sir, on the editorial board of any
15 peer-reviewed journals?

16 A. Yes, sir.

17 I have been on the editorial board of the American
18 Journal of Obstetrics and Gynecology, the Journal of Obstetrics
19 and Gynecology, and I am currently associate editor and on the
20 editorial board of the International Journal of Gynecology and
21 Obstetrics.

22 Q. Are you currently, Dr. Johnson, an active peer reviewer?

23 A. Yes, sir.

24 Q. Have you authored any publications in the field of
25 obstetrics, gynecology or medicine?

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT1B

Johnson - direct

1 A. Yes, sir.

2 I have authored approximately a hundred peer-reviewed
3 publications, 40 or 50 non-peer-reviewed publications, several
4 book chapters and several books.

5 MR. HUT: Your Honor, may I approach the witness with
6 an exhibit, which I have already supplied to the government in
7 the form of actually two exhibits contained in notebooks, as we
8 have proceeded in the past?

9 THE COURT: You may.

10 MR. HUT: Thank you.

11 Q. Dr. Johnson let me ask you to turn in the book I have
12 supplied to you at the document Tab 106 marked Plaintiff's
13 trial exhibit 106.

14 Do you see that, Dr. Johnson?

15 A. Yes, sir.

16 Q. What is Plaintiff's 106?

17 A. It is marked as my curriculum vitae.

18 Q. Do you recognize it as such?

19 A. Yes, sir.

20 Q. Is your curriculum vitae, as reflected in plaintiff's trial
21 Exhibit 106, an accurate summary of your education and
22 experience?

23 A. Yes, sir.

24 MR. HUT: Your Honor, plaintiff's move the admission
25 of Plaintiff's trial exhibit 106.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 MS. GOWAN: No objection, your Honor.

2 THE COURT: It will be received.

3 (Plaintiff's Exhibit 106 received in evidence)

4 Q. Dr. with respect to your service as a Court-appointed
5 expert by Judge Rosen in Evans v. Kelly, did your views change
6 as a result of any investigation or analysis you performed in
7 your services as such an expert?

8 A. Well, I would say that I came to know more about the issues
9 related to the so-called Michigan Partial-Birth Abortion Act
10 and I came to understand better the medical issues having to do
11 with that specific Act with respect to the definition and the
12 various implications for women's health.

13 I would say during the course of that trial I did
14 learn much more about the procedure than I had known before,
15 before that experience.

16 THE COURT: When did you do that, Doctor? When did
17 you get appointed by Judge Rosen?

18 THE WITNESS: That was in 1997.

19 THE COURT: Was that in a court proceeding involving a
20 Michigan statute?

21 THE WITNESS: Yes, sir.

22 BY MR. HUT:

23 Q. Did you come to any professional conclusions, Doctor, as to
24 the implications that that statute had for women's health?

25 MS. GOWAN: Objection, your Honor.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 THE COURT: Sustained.

2 Q. In addition to your service as Court-appointed expert,
3 Doctor, in Evans v. Kelly, have you offered expert testimony in
4 any other court matters?

5 A. Yes, sir.

6 Q. In approximately how many other cases have you provided
7 expert testimony?

8 THE COURT: What type of case are you talking about,
9 cases challenging partial-birth abortion statutes in state or
10 what are we talking about? Are we talking personal injury
11 cases, malpractice cases? What is it you are asking?

12 MR. HUT: The question was intended to elicit any or
13 all of those, your Honor. Let me rephrase it.

14 THE COURT: I just want to make sure that's what you
15 are asking. I didn't know what the question was.

16 BY MR. HUT:

17 Q. Doctor, let's take those one by one. Have you served as an
18 expert witness in any actions that have involved questions
19 concerning abortion?

20 A. Yes, sir.

21 Q. What other case or cases have you served in, sir?

22 A. In a subsequent Michigan case which was Northland v.
23 Granholm.

24 Q. Have you served as an expert in any case with respect to
25 so-called parental consent issues in abortion?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 A. Yes, sir. I was an expert in a Colorado case.

2 Q. Have you served as an expert witness in any malpractice
3 actions as the Court inquired a moment ago?

4 A. Yes, sir.

5 Q. Approximately how many?

6 A. I have probably given trial testimony 10 or 12 times in
7 malpractice cases.

8 MR. HUT: Your Honor, we offer Dr. Johnson as an
9 expert in obstetrics and gynecology and in abortion procedures,
10 pursuant to Rule 702 of the Federal Rules of Evidence.

11 THE COURT: Any objection?

12 MS. GOWAN: No, your Honor.

13 THE COURT: The Court will so recognize the doctor.

14 Q. Doctor, from the time you served as Court-appointed expert
15 in Evans v. Kelly, has your opinion about the intact D&E
16 changed?

17 A. Yes, sir.

18 Q. In what way?

19 A. Well, I would say that my understanding of the relative
20 risks and benefits of the procedure, as well as my
21 understanding of the applicability and the acceptance of the
22 procedure in abortion practice, is changed.

23 Q. In your opinion, Doctor, has there been any evolution in
24 the way D&E, of all variations, is performed?

25 MS. GOWAN: Object to the form of the question.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

- 1 THE COURT: That would be? Please specify.
2 MS. GOWAN: Vague, your Honor.
3 THE COURT: May I have the question read? I don't
4 know that that is false, but.
5 MR. HUT: I will rephrase it, your Honor.
6 THE COURT: It has a problem but I don't know that
7 that is it, but go ahead, rephrase.
8 BY MR. HUT:
9 Q. Have you formed any opinion, Doctor, concerning whether D&E
10 of all variations has changed over recent years?
11 MS. GOWAN: Objection. Lack of foundation.
12 THE COURT: I think you can get it. Try again,
13 Mr. Hut. I don't know that you need a foundation for it, but.
14 Q. In your estimation, Doctor, has the practice of D&E changed
15 over time, in recent years?
16 A. Yes, sir.
17 Q. In what way?
18 A. Well, I would say there are several things.
19 First of all, I would say that there has been an
20 evolution in the way that D&E is managed with respect to
21 preparing the cervix for the procedure. There have been
22 advances since the '80s, both in the techniques used and also
23 in how those techniques are applied.
24 With the introduction early on of laminaria and other
25 techniques that allow the cervix to dilate using osmosis over a

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 relatively longer period of time than was initially done
2 surgically, other types of osmotic dilators have been
3 introduced, and techniques have also been developed using
4 prostaglandins, specifically cytotec, in conjunction with
5 laminaria, to prepare the cervix for the D&E procedures.

6 In addition, I would say that the instruments have
7 evolved, the type of forceps that are used for the procedure
8 have been developed to more appropriately allow the grasping of
9 the fetal tissue.

10 And, finally, I think many people now are using
11 ultrasound guidance as part of their D&E procedures.

12 So, there have been a number of advances and changes
13 over time in terms of the way people approached surgical D&E
14 procedures.

15 Q. Do you understand the development of the use of osmotic
16 dilators, such as laminaria, over a longer period of time? Is
17 that a safe practice?

18 A. Yes. It's a practice that has relative safety benefits
19 compared to manual surgical dilatation that was used
20 previously.

21 THE COURT: Do you want to explain what that means,
22 Doctor?

23 THE WITNESS: Sure.

24 In the 1970s when we were dilating the cervix we would
25 do, use metal rods that would dilate the cervix relatively

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 quickly as we increased the size of the rods to get the cervix
2 to dilate.

3 The laminaria are actually little sticks of seaweed
4 that are placed in the cervix. They start off very small but
5 they draw in water and, over a period of hours, they expand so
6 that the cervix dilates more slowly and more evenly over a
7 longer period of time than it would with the surgical dilators.

8 THE COURT: Are there risks involved in both?

9 THE WITNESS: Yes, sir.

10 THE COURT: Next question.

11 BY MR. HUT:

12 Q. In your opinion, have the changes that you earlier
13 identified had an effect on the feasibility of the intact
14 variation of D&E?

15 A. Yes, sir.

16 Q. What effect is that?

17 A. Well, first of all, the intact procedure requires that the
18 cervix be both dilated and relatively unresistant to the fetal
19 parts.

20 The laminaria, which can be used repetitively can,
21 over a period of 12 hours or 24 hours, depending on how often
22 they're used and how often they're replaced, dilate the cervix
23 pretty significantly.

24 It's common practice, when we are using laminaria, to
25 put a few laminaria in to allow them to dilate the cervix and

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 then for those laminaria to be removed and for another set of
2 new laminaria in larger numbers to be placed in. And that can
3 happen two times or three times or more over a period of time
4 to dilate the cervix.

5 Cytotec, it has a different effect. It has an effect
6 not only on dilating the cervix but has an effect on the
7 consistency of the cervix so that the lower uterine segment is
8 softened, the cervix shortens and the cervix is softer and less
9 resistant.

10 So, both the combination of more dilated and softer
11 makes intact D&E procedures more feasible.

12 Q. And has the combination of more dilation and softer
13 affected the procedure's safety in your opinion, Dr. Johnson?

14 A. Yes.

15 Q. Have you observed the changes to which you just testified
16 at your institution?

17 A. Yes, sir.

18 Q. And how would you describe the current thinking of your
19 institution about the intact variation of D&E?

20 MS. GOWAN: Objection, your Honor.

21 THE COURT: Sustained.

22 Q. Have you had conversations with members of your faculty
23 about potential safety advantages of D&E?

24 MS. GOWAN: Objection, your Honor.

25 THE COURT: I will allow that.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 THE WITNESS: Yes. We talk about the safety
2 advantages of virtually all the procedures that we do,
3 including intact D&E.

4 Q. With approximately how many faculty members have you had
5 such conversations concerning intact D&E?

6 A. With intact D&E?

7 Q. Yes.

8 A. Oh, I'd say five or six.

9 Q. How many faculty, Doctor, are involved in second trimester
10 abortions -- 22 weeks?

11 A. I would say maybe eight or 10. Up to 22 weeks.

12 Q. Yes.

13 THE COURT: Do you really want to go in this
14 direction? You are opening up to people who are not here and I
15 suppose you aren't going to ask other people who disagree and I
16 am going to allow Ms. Gowan to do that.

17 I thought he was here to testify as to his expertise,
18 Mr. Hut. I don't know that you can reach out and grab a bunch
19 of expert witnesses by doing the direction of examination that
20 you are doing.

21 MR. HUT: I was going only in this brief direction,
22 your Honor, and that has to do with his understanding of --

23 THE COURT: I know you want to direct it to the
24 institution but I think you better stick with your expert.

25 MR. HUT: I shall.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 THE COURT: As to his expertise, his talent otherwise,
2 we have to explore the expertise of all the other groups and
3 friends and fellows that he talks to and I don't think that's
4 appropriate.

5 MR. HUT: I will follow Court's suggestion.

6 BY MR. HUT:

7 Q. Doctor, apart from the changes that you have described in
8 surgical procedures in the second trimester, has induction
9 procedure in the second trimester changed?

10 A. Yes, sir.

11 Q. In what way?

12 A. Well, both through the introduction of the laminaria and
13 the osmotic dilators that I mentioned to dilate the cervix, and
14 also there has been a transition since the 1980s with respect
15 to the agents that are used for medical induction.

16 In the 1970s commonly we used saline. Subsequently we
17 moved to urea.

18 THE COURT: This is what you used?

19 THE WITNESS: Correct, what I used.

20 THE COURT: Okay.

21 THE WITNESS: So, we used initially saline and then
22 moved to safer agents -- urea, prostaglandins. We used
23 intra-amniotic prostaglandins and urea, subsequently used
24 vaginal prostaglandins, and now for medical inductions it is
25 our general practice to use either vaginal or oral

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 prostaglandins in the form of misoprostol.

2 BY MR. HUT::

3 Q. Have those changes improved the safety and efficacy of
4 induction, Doctor?

5 A. I believe so, yes.

6 Q. Do you, in the course of your academic responsibilities,
7 have occasion to visit other universities as a visiting
8 professor?

9 A. Yes, sir.

10 Q. Where have you visited?

11 A. Well, I visited Emory University, Indiana University,
12 Cornell University, Johns Hopkins University, University of
13 Nebraska, Northwestern University.

14 THE COURT: Over what period of time are you talking
15 about?

16 THE WITNESS: Generally, as a visiting professor, I
17 will be there for a day, sometimes two days, participating in
18 educational activities.

19 MR. HUT: I think the Court had in mind over what span
20 of years.

21 THE COURT: The last 20 years, the last two years?

22 What are we talking about?

23 THE WITNESS: Usually visiting professor, maybe one,
24 maybe two each year.

25 THE COURT: I think we ought to make this a reasonable
SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 period of time, or at least identify if you are going to go
2 into procedures that he has observed. I think it ought to be
3 identified, the place and the time.

4 MR. HUT: I was not intending to do that, your Honor,
5 but rather intending only to ask the question based on
6 observations that you have made in recent years at universities
7 that you have visited.

8 Q. Does a D&E procedure vary in the way it is performed one
9 region to the other?

10 THE COURT: First of all, I think we ought to
11 establish the fact, the mere fact he visited whether or not he
12 observed procedures done, or he has just chitchatted in
13 discussions.

14 MR. HUT: Let's establish that responsive to the
15 Court's question, Dr. Johnson.

16 Have you had occasion, in your visits to universities
17 in recent years, to observe procedures and to discuss with
18 doctors who performed them, the way they do it?

19 THE COURT: How about last year, Doctor? We will try
20 and get this hopefully at some point in time.

21 THE WITNESS: Last year I was at Northwestern
22 University and was involved in case discussions, case
23 conferences and I --

24 THE COURT: Did you observe any abortions while you
25 were there?

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT1B Johnson - direct

1 THE WITNESS: I did not; no, sir.

2 BY MR. HUT:

3 Q. Doctor, do you have an opinion regarding the safety of the
4 D&E procedure of all variations?

5 MS. GOWAN: Objection, your Honor.

6 THE COURT: Sustained.

7 Q. Do you have an opinion concerning the safety of the D&E
8 procedure?

9 A. Yes.

10 Q. What is your opinion?

11 A. My opinion is that the D&E procedure is a safe procedure
12 for termination of pregnancy.

13 Q. Do you have an opinion regarding the safety of induction
14 procedures?

15 A. Yes. I think that's also a safe procedure.

16 Q. What are the bases for your opinions as to both?

17 MS. GOWAN: Objection, your Honor. Compound.

18 THE COURT: Compound?

19 MR. HUT: I will do it one by one, your Honor. I will
20 rephrase.

21 THE COURT: What do you base it on, Doctor? I will
22 ask the question.

23 THE WITNESS: I base that on my training, my
24 experience, my reading of the literature, as well as our, as my
25 clinical practice.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT1B

Johnson - direct

1 BY MR. HUT:

2 Q. Dr. Johnson, do you have an opinion as to how the safety of
3 D&E compares to the safety of induction?4 A. Yes. I think that D&E procedure compares favorably to
5 medical induction, especially in the period from 16 to 20
6 weeks. It has relative safety benefits and other benefits.7 Q. And at the 20 week period, what is your opinion as to the
8 relative safety between the two procedures?9 A. Well, I think all abortion procedures have greater risk at
10 greater gestational age, so there is clearly a relationship
11 between the gestational age and risk for both procedures.12 I think that after 20 weeks the relative safety of D&E
13 and medical procedures are more comparable than earlier. I
14 think that the risk benefit ratio narrows between the two.

15 Q. And on what do you base that opinion, Dr. Johnson?

16 A. Well, my training, my experience, my understanding of the
17 literature.18 THE COURT: In other words, in my understanding no
19 matter what procedure you use it's you go up in gestational age
20 the risks increase, is that correct?

21 THE WITNESS: Yes, sir.

22 THE COURT: Next question.

23 Q. Prior to 20 weeks LMP, Dr. Johnson, why, in your opinion
24 are D&Es generally safer than inductions?

25 THE COURT: I don't think the Doctor said that. I

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 think he testified they're both safe. Each had its own
2 differences but both are safe.

3 Was that not your testimony?

4 THE WITNESS: No, I think I said that between 16 and
5 20 weeks there is a relative benefit for safety with respect to
6 D&E.

7 BY MR. HUT:

8 Q. Why is that, Dr. Johnson?

9 A. Well, the D&E procedure is done, since its done surgically
10 it happens over a fairly short period of time, there is less
11 risk of bleeding, less risk of infection, compared to the
12 medical induction procedure that extends over as many as 24
13 hours so there is, it's a more controlled environment. It's a
14 shorter procedure and the major risks of both procedures of
15 bleeding and infection, and there is a relative benefit for D&E
16 in that time frame.

17 Q. Based on your knowledge of the field, Doctor, which are
18 more common after 20 weeks, induction or D&E?

19 A. Nationally D&E procedures are more common.

20 THE COURT: How about in your practice?

21 THE WITNESS: In our practice -- in our practice I
22 would say over the last several years medical inductions are
23 more common.

24 THE COURT: When you say "our" you mean University of
25 Michigan?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 THE WITNESS: Yes, sir.

2 THE COURT: Does that encompass your geographical
3 area, too, or just the University environment?

4 THE WITNESS: No, just the University environment.

5 I would say if you encompass the entire geographic
6 region the practice is much more common for D&E.

7 THE COURT: But at the University the induction is
8 more common?

9 THE WITNESS: I would say averaged over three years
10 the induction because of the patient we are managing is more
11 common; yes, sir.

12 BY MR. HUT:

13 Q. Dr. Johnson, have you had occasion to review patient
14 records in response to the government's discovery in this
15 action at your institution?

16 A. Yes, sir.

17 Q. Based on that review, Dr. Johnson, do you have an
18 understanding of which of the procedures, if any, it is more
19 common, say, over the last year, which is the time for which
20 you reviewed documents?

21 MS. GOWAN: Objection.

22 THE COURT: Whoa, whoa, whoa, whoa. I think you
23 better have a foundation for what records were reviewed first,
24 otherwise we really don't know what we are getting.

25 MR. HUT: Can you answer the Court's question, Doctor?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 THE WITNESS: Yes, sir.

2 In response to Court order I reviewed records from

3 University Hospital, I reviewed records from 2002 -- 2001, 2002

4 and 2003.

5 THE COURT: Patients that you treated?

6 THE WITNESS: Patients that I treated.

7 THE COURT: Personally?

8 THE WITNESS: Personally, yes, sir.

9 THE COURT: Okay. And how many in number?

10 THE WITNESS: How many records did I review?

11 THE COURT: How many individual patients were involved
12 in these records?

13 THE WITNESS: Well, actually what I did was for those

14 three years I reviewed all the patients that I treated who

15 had -- actually I queried the database to find out during those

16 three years were there any patients that I treated who had a

17 D&E procedure.

18 I also reviewed all the D&E procedures that were done

19 during those three years to identify whether or not I had been

20 responsible for those cases, and there were no cases that came

21 up on either database.

22 THE COURT: Did you also ask to review records of
23 inductions, procedures that you had done on patients?

24 THE WITNESS: Well, I asked to review records, all

25 records for inductions and D&Es that I had done on patients

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 only for the year 2003.

2 THE COURT: But not inductions for 2001 and 2002?

3 THE WITNESS: That's correct. That wasn't something
4 that was, that I was asked to do.

5 THE COURT: Is that because that's what the subpoena
6 said or because somebody told you to do that?

7 THE WITNESS: No, the subpoena asked me to respond
8 with all the patients who had had a consideration of a medical
9 induction who subsequently had a D&E procedure and there were
10 none of those because I could identify no D&E procedures that I
11 had done in the last three years.

12 The second -- the second group of patients that I was
13 asked to identify were those patients who had had a D&E
14 procedure. I knew because of my practice that I had done no
15 D&E procedures on, for abortion, for induction of abortion
16 cases, but I also reviewed all of the spontaneous abortion
17 cases for those three years to see whether or not I had either
18 performed or supervised any D&E procedures on second trimester
19 spontaneous abortions, and I had not.

20 THE COURT: Those are miscarriages?

21 THE WITNESS: Correct.

22 And then the third group of patients that I was asked
23 to identify were any patients with hydrocephalous that I had
24 treated by D&E, or otherwise, in 2003.

25 THE COURT: And there were none of those?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 THE WITNESS: Well, that actually for 2003 I had to do
2 a more extensive record review.

3 Because of the coding issues I reviewed all of the
4 cases that I did in 2003 with a diagnosis of abortion or
5 miscarriage. Identified in a separate database all the medical
6 abortions and all the D&E procedures that were done at our
7 hospital in order to identify whether or not I had been
8 involved in any of those cases and whether or not any of those
9 cases had associated hydrocephalous.

10 And I also reviewed all of our records from our
11 prenatal diagnostic center and from our prenatal genetic center
12 to identify all cases of hydrocephalous that might not have
13 been on all the other databases.

14 So, I think I looked at all the places where patients
15 with hydrocephalous undergoing pregnancy termination procedures
16 could have been identified at our institution.

17 THE COURT: All right.

18 BY MR. HUT:

19 Q. Based on your query of the universe of records for all D&E
20 procedures over the last year and your review of inductions in
21 2003, do you have a judgment about any trend at your
22 institution with respect to the relative frequency of those
23 procedures?

24 A. Well, I can --

25 THE COURT: The trend at the institution? I thought

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 these were just the Doctor's records. How can one tell a trend
2 unless you took all of the records of the hospital?

3 MR. HUT: I believe in the Doctor's answer, as I
4 understood it, your Honor, he had queried the database for all
5 D&Es performed at the institution to make sure he surveyed the
6 universe of those in which he had been involved.

7 Did I understand you rightly, Doctor?

8 THE WITNESS: That's correct.

9 THE COURT: All done at the hospital, not just yours?

10 THE WITNESS: Yes.

11 For 2003, in order to be sure, because occasionally
12 what will happen is there will be a patient who is admitted
13 with, for induction -- for medical induction, for example, and
14 she will be admitted when I am on call. I will place the
15 laminaria. I will place the cytotec. And she might be
16 delivered on somebody else's watch. And since I was involved
17 in that case I wanted to make sure that there were no cases
18 where I was involved in the management of hydrocephalic, and
19 there were none.

20 BY MR. HUT:

21 Q. Based on that review, Dr. Johnson, have you observed any
22 trend at your institution concerning the relative frequency of
23 the induction D&E procedure?

24 A. Well, I can tell you that based on that review I identified
25 24 D&E procedures that were done in 2003 at our institution and

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 22 medical inductions, which was actually surprising to me.

2 Q. Why is that?

3 A. It had been my impression and my opinion that medical
4 abortion at our institution was the more prevalent procedure
5 and when I have discussed this in the past with my colleagues,
6 my impression and their impression had always been that we had
7 about an 80/20 split medical induction versus surgical
8 induction.

9 So I have to say that my review of last year's
10 experience was interesting to me in that there was a fairly
11 even balance between the two procedures.

12 There were more D&Es done at my institution,
13 comparatively, than I had thought.

14 Q. Dr. Johnson, do you have an opinion why D&E is more
15 generally common after 20 weeks, nationally and in your area,
16 than induction if the two procedures are, as you testified,
17 more or less equivalent in safety after the 20 week period?

18 A. Well, I think that it's a combination of access and
19 availability.

20 Clinics that can provide surgical procedures are more
21 common, they're easier for patients to get appointments at.

22 I think that there are certainly physician preferences
23 in terms of performing D&E procedures because it allows them to
24 do a surgical procedure in a shorter period of time. It allows
25 them to do a procedure without admitting the patient to the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 hospital, without needing a longer period of observation in the
2 hospital.

3 And I think there is also a preference, many patients
4 prefer a surgical procedure that's done compared to a prolonged
5 induction procedure that might take 12 to 24 hours and might,
6 in addition to taking longer, have pain over a longer period of
7 time.

8 Q. Doctor, are there any circumstances in which medical
9 inductions are relatively less preferable for certain women?

10 A. I think there are certainly conditions where medical
11 inductions are -- I would say have increased risk, conditions
12 where the uterus might be scarred because of previous incision,
13 classical caesarian section where the incision is in the active
14 segment in the active muscle of the uterus. Women who have had
15 fibroids removed and women who have scars on their uterus.
16 Women who have had multiple caesarean sections.

17 Those would be conditions where labor and contractions
18 might increase the risks of uterine rupture and make people
19 think about the risks associated with medical induction.

20 Q. Let's return to those in a minute, Doctor. Let me ask you
21 this:

22 How does the labor that's involved in second trimester
23 induction compare to the labor involved in at term in its
24 duration, pain, and psychological effects?

25 THE COURT: I think that's quite a few questions. Do
SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 you think we can break it down instead of the universe?

2 MR. HUT: I think so, your Honor. Yes.

3 BY MR. HUT:

4 Q. How does the labor in an induction during a second
5 trimester abortion compare to the labor that a woman undergoes
6 in term?

7 THE COURT: Can that be done?

8 THE WITNESS: Can the comparison be made?

9 THE COURT: I mean don't term labor -- the labor
10 involved in delivery of a child varies enormously from woman to
11 woman, patient to patient?

12 THE WITNESS: Well I think they certainly vary a lot.

13 I would say that --

14 THE COURT: And so, when you add in another factor --

15 THE WITNESS: In terms of duration, if you were going
16 to look at time periods, I mean average total labor for someone
17 at term is in the range of 18 to 24 hours for a first
18 pregnancy, for mid-trimester termination it would be about the
19 same.

20 I would say that the drugs that are used and the
21 contractions that are generated by the drugs are really quite
22 different. The amount of uterine activity, the amount of force
23 that's generated in the mid-trimester procedure is very
24 different and significantly more than the type of force that's
25 generated in spontaneous labor or when we tried to induce

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 spontaneous labor both because of the drugs that we use and
2 because of the way the uterus contracts in the mid-trimester.

3 So the forces are different, the drugs are different.

4 We give a much higher dose of drug for mid-trimester medical
5 induction than we ever would at term because the uterus is not
6 as responsive to the drugs and responsive uterine activity that
7 might harm the fetus is not a consideration.

8 BY MR. HUT:

9 Q. Based on your experience, Doctor, are you able to make any
10 comparison between the psychological effects on the woman as
11 between labor during a second trimester induction abortion and
12 labor term?

13 MS. GOWAN: Objection, your Honor.

14 THE COURT: Basis of the objection?

15 MS. GOWAN: Extremely broad question. And I also
16 don't believe this witness is qualified to talk about
17 psychological ramifications on unknown women.

18 THE COURT: Are you capable, based on your training,
19 Doctor? Have you studied this? Or written on it?

20 THE WITNESS: No. And I guess I really don't have an
21 opinion one way or the other about the relative
22 psychological --

23 THE COURT: That answers that, next question.

24 MR. HUT: We will move on.

25 BY MR. HUT:

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT1B Johnson - direct

1 Q. How does a D&E procedure compare in terms of duration to
2 medical induction during second trimester?

3 A. Well, a second trimester D&E procedure would take minutes
4 compared to hours, so a surgical procedure would take maybe 20,
5 25 minutes, maybe an hour on the outside whereas a medical
6 induction, depending on the patient's previous obstetric
7 experience, might be anywhere eight to 10 hours to more than
8 24.

9 Q. Dr. Johnson, have you ever had a patient who did not want
10 an induction for the reasons you've discussed?

11 A. Yes, sir.

12 Q. Can you estimate how often you have seen patients who have
13 made clear to you that they do not want an induction?

14 A. I would say a couple times a year I have patients who are
15 considering second trimester pregnancy termination who are not
16 interested in having a medical procedure and desire a surgical
17 procedure.

18 Q. Why are inductions more difficult to obtain than D&Es,
19 Dr. Johnson?

20 A. Well they're generally done in a hospital facility, they
21 require both hospital facilities and expertise and that
22 expertise is often not available. They don't have the nursing
23 support, they don't have the hospital beds, they don't have
24 physicians who have the experience with the medical procedure.

25 So I guess the, it's mostly availability of hospital

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

1 beds.

2 Q. In the earlier testimony you described your judgment that
3 inductions are less preferable for women with prior c-section.

4 Did I understand that correctly?

5 A. Prior classical caesarian sections, yes.

6 Q. Thank you for the clarification. What is a prior classical
7 caesarian section?

8 A. It is an incision in the active portion of the uterus.

9 Normally when we do a caesarean section we do a
10 incision called bhindi cut and the cut in the uterus is a low
11 incision very close to the bladder in a part of the uterus that
12 doesn't contract actively.

13 Most caesarean sections are those so-called low
14 transverse caesarean sections.

15 Occasionally either a maternal fetal condition causes
16 us to do what's called a classical caesarean section where the
17 incision is in the active, thicker part of the uterus. And
18 since it is a contractual portion of the uterus, and since it
19 doesn't heal as well as, the normal classical incisions carry
20 increased risk with subsequent labors.

21 Q. Why is there -- let me withdraw that question and ask you
22 this question first.

23 Are you familiar with data showing how many caesarean
24 sections are performed each year in the United States?

25 A. Well, I can tell you that the average caesarean section

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 rate in the United States now is in the low 20s, somewhere
2 between 20 and 25 percent at most institutions.

3 I can't tell you exactly what the most recent national
4 rate is but the caesarean section rate in most institutions is
5 somewhere between 20 and 25 percent.

6 Q. Is your institution's experience consistent with that?

7 A. Yes, sir.

8 Q. Why, for women with the scar that you have just described,
9 is an induction less preferable? In your opinion?

10 A. The risk of rupture of that scar where the uterus actually
11 breaks open during the induction, because of the drugs that we
12 are giving to cause the uterus to contract, is an event that's
13 both very risky in terms of bleeding and infection but also
14 increases the, has a mortality risk for the woman as well.

15 Q. Why would rupture be a risk of induction for a woman with a
16 prior classical c-section scar?

17 A. Because the drugs being given cause the uterus to contract
18 and it's the contraction of the uterus in an area that's
19 scarred and not -- and not, and at risk for rupture that it
20 happens.

21 Q. And in your opinion, Dr. Johnson, what type of abortion
22 procedure would be the most appropriate for a woman with a
23 prior classical caesarean section scar?

24 THE COURT: Does is that vary depending on gestational
25 period?

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT1B Johnson - direct

1 THE WITNESS: In terms of the rupture risk? Yes, sir.

2 THE COURT: Would you try and place that for us too?

3 THE WITNESS: Well, the incidence of rupture of
4 classical scars would probably increase with gestational age
5 and that risk is generally caught in the range of four to five
6 percent for women with a classical scar. As I said earlier,
7 any procedure, the risk of that procedure increases with
8 advancing gestational age so there would be --

9 THE COURT: No matter which procedure you use?

10 THE WITNESS: No matter which procedure, correct.

11 THE COURT: You have that risk because of the prior
12 surgery?

13 THE WITNESS: That's correct. So that with the
14 medical induction, with medical induction there would be an
15 increased risk because of the uterine contractions. But at any
16 given gestationality there is any independent risk of that scar
17 rupturing spontaneously or because of other procedure. So it
18 could happen at time of a D&E as well but the biggest risk is
19 associated with uterine contractions.

20 BY MR. HUT:

21 Q. Are uterine contractions associated with D&E?

22 A. No, sir.

23 Q. Would D&E present the same concerns -- let me withdraw that
24 and ask this question.

25 With respect to the risk of uterine rupture from
SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B

Johnson - direct

1 contractions, which procedure would be the safer, D&E or
2 induction?

3 A. With respect to excessive uterine force the D&E would be a
4 safer procedure.

5 Q. In your opinion, what procedure would you recommend for a
6 person seeking a second trimester abortion with a prior
7 classical c-section scar?

8 A. I think that I would recommend a D&E.

9 I think both procedures are, as I have said earlier,
10 both procedures can be safe and both procedures could be done
11 but I think there would be a benefit, a risk benefit to a D&E
12 procedure.

13 Q. Would, or for what reasons do women with multiple
14 c-sections face risk in connection with the labor associated
15 with a second trimester induction?

16 A. A similar reason.

17 Women who have had three or more caesarean sections of
18 the low transverse type have an increased risk of uterine
19 rupture and this similar, in that kind of a situation with
20 multiple uterine scars the chance of a scar that had not healed
21 well or had not healed -- or had not healed at all would
22 increase their risk of uterine rupture if they were in labor or
23 if they were given drugs to cause medical induction.

24 Q. In your medical opinion, Doctor, which, as between D&E and
25 induction, would be the better choice for a woman undergoing

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 second trimester abortion with multiple prior c-sections?

2 A. I think probably a D&E procedure, especially in a woman who
3 had three or more previous caesarean sections would have a
4 safety benefit.

5 Q. What is myomectomy, Dr. Johnson?

6 A. Myomectomy is a procedure where a muscle tumor, a fibroid
7 tumor is removed from the uterus and a scar similar to a
8 classical caesarean section scar is the residual of the
9 surgery.

10 Q. And which of the procedures of D&E and induction would you
11 recommend for a woman having had a myomectomy and seeking a
12 second trimester abortion?

13 A. D&E, for the same reasons that I would recommend it for a
14 classical caesarean section, because of the similarity in the
15 uterine scar.

16 Q. Are inductions relatively less preferable for women with
17 cardiac ailments or certain ones, Doctor?

18 MS. GOWAN: Objection.

19 THE COURT: What's the basis of the objection?

20 MS. GOWAN: Your Honor, I don't know what the word
21 preferable means. I believe it is vague.

22 THE COURT: Preferred to you mean, Mr. Hut, over
23 another form of abortion procedure?

24 MR. HUT: Yes, your Honor.

25 BY MR. HUT:

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 Q. Do you recall the question, Doctor?

2 A. I guess my response would be that in certain medical
3 conditions, like heart conditions, the fluid shifts and the
4 prolonged stress and pain of a medical induction would make a
5 D&E procedure a preferable procedure in some patients.

6 THE COURT: Are there some medical conditions that the
7 induction would be preferable to the D&E?

8 THE WITNESS: Well, I guess in a situation where one
9 needed to obtain an intact fetus in order to do a postmortem
10 evaluation I would say yes. But in terms of is there any
11 maternal medical condition where a medical induction would be
12 preferred, I can't think of any pure maternal medical condition
13 where it would be the preferred technique.

14 BY MR. HUT:

15 Q. Doctor, in prior testimony there has been reference to
16 fetal anomalies; what is a fetal anomaly?

17 A. A fetal anomaly is any kind of a fetal defect, either a
18 developmental defect, an abnormal heart, an abnormal kidney.
19 It could be a biochemical defect or a genetic defect. Any kind
20 of an abnormality of the fetus could be described as an
21 anomaly.

22 Q. In your professional opinion, Doctor, are there indications
23 relating to fetal anomalies for which you believe induction
24 abortion is not preferred?

25 A. Yes, sir.

43V5NAT1B Johnson - direct

1 Q. What are -- what is that or what are those?

2 A. I would say that in situations where pathologic evaluation
3 is, would be uninformative in situations where a definitive
4 genetic diagnosis or a definitive biochemical diagnosis had
5 already been made and was the basis for the decision to
6 terminate the pregnancy, that a D&E procedure would generally
7 be what was done in the United States.

8 Q. What is hydrocephalous, Dr. Johnson?

9 A. Hydrocephalous is a condition where there is excessive
10 fluid in the ventricles of the brain leading to enlargement of
11 the fetal cranium.

12 Q. In your opinion, Doctor, which of D&E or induction, would
13 be the preferred procedure for a woman seeking an abortion in
14 the second trimester pregnant with a hydrocephalic fetus?

15 A. It would depend. I think it would depend on two things.

16 First, it would depend on what the presumptive cause
17 of the hydrocephalous was. If there was the possibility that
18 the hydrocephalous was part of a complex series of anomalies
19 and was a syndrome there might be a benefit to a pathologic
20 evaluation.

21 On the other hand, if the hydrocephalous were severe,
22 if the head were massively enlarged, then that would make
23 vaginal delivery, medical induction relatively difficult in the
24 absence of some kind of a procedure to destroy or reduce the
25 size of the fetal skull.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 So, with significant or severe or massive
2 hydrocephalous I would say that some type of a destructive
3 procedure would be beneficial and safer for the patient.

4 Q. Is D&E a destructive procedure, as you use the term?

5 A. Yes, sir.

6 Q. Are there, in addition to certain cardiac ailments that you
7 described earlier and uterine scarring that you described
8 earlier, any other indications relating to maternal health for
9 which inductions may not be preferred that you have not yet
10 described to the Court?

11 A. Well, I would say any underlying medical condition where
12 either stress or labor, prolonged labor with the risks
13 associated with either dehydration or excessive fluids would be
14 relatively contraindicated.

15 So, for example, women who had underlying pulmonary
16 disease who had asthma, women who had a medical condition where
17 either prolonged labor or the fluid shifts associated with a
18 medical abortion would be relatively contraindicated.

19 So, I mentioned, I think pulmonary disease, any
20 underlying auto-immune disorder, any disease that predisposed
21 the woman to infection, any disease that predisposed the woman
22 to bleeding.

23 I would say there are a fairly broad spectrum of
24 diseases across the organ systems that would require a person
25 doing a medical induction to pay particular attention to the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 maternal condition and might lead one to believe that a D&E
2 might be a safer procedure.

3 Q. And why, with respect to women prone to infection, might a
4 D&E be a better procedure?

5 A. Well, with medical induction there is a prolonged period of
6 time, there is an increased risk of infection associated with
7 multiple examinations with the prolonged labor and so that it
8 would be relatively contraindicated.

9 The other -- you said patients at risk for infection?

10 Q. Yes.

11 A. Yes, that would be that.

12 Q. And when you say relatively contraindicated, can you
13 explain to the Court what you mean by that, Doctor?

14 A. Well, what I mean is that given two procedures there would
15 be a comparative advantage to the patient with respect to
16 health risks, health outcomes to have one procedure compared to
17 the other.

18 Q. Why, in your opinion, is a woman who may be prone to
19 bleeding, why might such a woman and her doctor find a D&E
20 preferable to induction?

21 A. Well, one of the -- there are several opportunities for
22 bleeding in both conditions but one of the common technical
23 problems associated with a medical induction is retained
24 placenta after delivery of the fetus. And there can be a fair
25 amount of bleeding that occurs at that time requiring surgical

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 removal of the placenta and that's happening in a, in a fairly
2 uncontrolled situation.

3 So not only would there be relative benefit to the
4 D&E, but also the D&E would be done in an operating room with a
5 physician in attendance who could make sure that any bleeding
6 problems or any bleeding tendencies were dealt with
7 immediately, either medically or surgically. And that might be
8 more difficult to do during the course of a medical induction.

9 Q. And when you referred, in your last answer, to
10 circumstances happening in a fairly uncontrolled setting, which
11 of the procedures were you describing by uncontrolled setting?

12 A. Well, a medical induction would be done in a hospital bed
13 close to but not necessarily adjacent to an operating room.

14 There may -- it may take a while to get an operating
15 crew ready, it may take a while for all the equipment to be
16 brought together to a patient who has a bleeding complication
17 associated for medical procedure. And, generally, all of those
18 things are in the room for a D&E.

19 Q. In your opinion, is a medical induction a less controlled
20 procedure? Is it a procedure that occurs in a less controlled
21 setting?

22 A. I would say -- I mean, I think that it's a very safe
23 procedure but in patients who are pushing the margins of
24 health, patients who have marginal reserve in terms of
25 bleeding, marginal reserves in terms of blood volume, patients

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 who are more medically compromised, the rapid availability of
2 tools to manage complications are much more important in those
3 patients than for a patient who didn't have those complications
4 undergoing a medical induction.

5 Q. Are those tools more readily or rapidly available in a
6 surgical procedure?

7 A. Yes, sir.

8 THE COURT: Is that true of all abortions that you are
9 better off being in a hospital than in some clinic?

10 THE WITNESS: No, sir. I think that most D&E
11 procedures are actually done in free-standing clinics.

12 THE COURT: I'm not saying -- that's where they're
13 done. I'm saying are you better off, the patient, is better
14 off being in a hospital, period?

15 THE WITNESS: I would say that I don't know of any
16 data to support that.

17 THE COURT: Well, you mentioned a lot of the talented
18 people available.

19 THE WITNESS: Right.

20 THE COURT: The facility.

21 THE WITNESS: Right.

22 THE COURT: The facility itself to deal with
23 complication. It seems to me that all of that adds up that you
24 are better off if you are in a hospital.

25 THE WITNESS: Well, I would say that --

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 THE COURT: Less risk.

2 THE WITNESS: Yes.

3 I mean, any time you are closer to the ability to have
4 everything done for you, the better complications and other
5 problems can be taken care of. Unfortunately, those hospitals
6 aren't always available for people. But I would say
7 availability of surgical services or resuscitative services
8 certainly benefit people and they're generally more available
9 in hospitals.

10 THE COURT: Thanks, Doctor.

11 BY MR. HUT:

12 Q. Dr. Johnson, have you seen any patient or patients for whom
13 you have, you and the patient have determined induction was
14 less preferable from a medical point of view?

15 A. From a medical point of view?

16 Q. Correct.

17 A. Over my career?

18 Q. Why don't we take it in the past three to five years.

19 A. I guess I can't remember a specific case in the last to
20 five years where I have seen such a patient.

21 I guess I can't remember a specific case in the last
22 three to five years where I have seen a particular patient that
23 had a medical problem that would fit that category. It may
24 have happened, I just can't remember one right now.

25 Q. Dr. Johnson, are there cases where inductions fail?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

1 A. Yes.

2 Q. What happens in such a circumstance?

3 A. Well, there are two things that can happen. The purpose of
4 the induction is to empty the uterus and when one does a
5 medical induction, a fairly common event is for the fetus to be
6 compelled and for the placenta to be retained. And in that
7 situation the medical induction has to be completed surgically
8 so that the removal of the placenta has to happen in the
9 operating room, and people generally do that either if there is
10 excessive bleeding or if there is, the placenta has not been
11 expelled spontaneously after a certain number of hours. That's
12 the most common cause for failure of the procedure.

13 In a small number of cases it is possible for the
14 medical induction not to cause the cervix to dilate and not to
15 cause the fetus to be expelled, but that's less common.

16 Q. Taken as a whole, the more common and the less common
17 situations how often, in your judgment, do inductions fail?

18 A. I would say somewhere between 10 and 20 percent, depending
19 on how you classify the failures and how you intervene to
20 remove the placenta.

21 Q. You have used the word placenta now several times, I think
22 we have had testimony about it, but for my benefit and perhaps
23 for the Court's, can you remind me what a placenta is?

24 A. A placenta is an organ that attaches the fetus to the
25 mother and through which gas exchange happens.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 THE COURT: Between what happens?

2 THE WITNESS: Gas exchange. Gas and nutrition.

3 Oxygen and nutrients passed from the mother through to the

4 fetus through it.

5 BY MR. HUT:

6 Q. What does a doctor need to do when an induction fails?

7 A. A surgical procedure to complete it.

8 Q. Would the surgical procedure involve a D&E?

9 A. Well it would be a dilatation and removal of the placenta

10 if it were just the placenta that needed to be removed, or D&E

11 if there were more fetal tissue or other fetal tissue that

12 needed to be removed.

13 MR. HUT: Your Honor, I'm about to move to a new line

14 of questions, I wonder if this would be a good time for the

15 morning break?

16 THE COURT: To take our morning break? Sure. That's

17 fine. 10 minute recess. The Court will stand in recess.

18 (Recess)

19 THE COURT: Mr. Hut, you may inquire.

20 MR. HUT: Thank you, your Honor.

21 BY MR. HUT:

22 Q. Dr. Johnson, following up on a question put to you by the

23 Court shortly before the break, do you know one way or the

24 other whether most D&Es in the country are performed outside

25 the hospital setting today?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 A. Yes, sir; they are.

2 Q. Does that fact affect your view as to their overall safety?

3 A. No, sir.

4 Q. Dr. Johnson, what is uterine perforation?

5 A. Uterine perforation describes a condition where an
6 instrument or an object goes through the uterine muscle.

7 Q. What, if any, health risks are associated with uterine
8 perforation, in your judgment?

9 A. Well, the risks would be either bleeding or infection.
10 Perforation site can lead to bleeding, the bleeding can be
11 either intraperitoneal bleeding or intrauterine vaginal
12 bleeding, infection.

13 Perforation can either introduce infection into the
14 uterus or introduce infection outside the uterus into the
15 peritoneal cavity.

16 Those would be the major complications of uterine
17 perforation.

18 Q. As between the intact variation of D&E and the
19 dismemberment variation, do you have an opinion which, if any,
20 involve less risk of uterine perforation?

21 A. Yes.

22 It would be my opinion that the intact procedure would
23 carry less risk.

24 Q. What causes the lesser risk in your opinion, Doctor?

25 A. Well, there are two potential items that can perforate the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT1B Johnson - direct

1 uterus during a D&E procedure. One would be the instruments
2 themselves and those instruments, with each passage into the
3 uterus have a, have the potential to perforate the uterus so
4 that an intact procedure would reduce the number of passes of
5 the instruments and reduce the risk of perforation with the
6 instruments.

7 In addition, the dismemberment procedure leads to
8 fetal parts, bony parts, the head, various other body parts
9 that can, themselves, cause perforation.

10 Long bones, sharp bones can actually perforate the
11 uterus, can go all the way through the uterus either to the
12 broad ligament, to the round ligament, or to the abdominal
13 cavity during the course of the procedure.

14 So, in addition, as one attempts to get those uterine
15 parts, extra passages with the instruments can lead to further
16 risk. So, it's repetitive passages of the instruments to
17 complete the procedure to retrieve parts that may be missing,
18 and then finally those parts themselves that can move outside
19 the uterus as part of the process.

20 (Continued on next page)

21
22
23
24
25

43vrnat2 Johnson - direct

1 Q. Doctor, what is cervical laceration?

2 A. Cervical laceration describes a condition where the cervix
3 is torn, basically torn or lacerated, generally during -- well,
4 it could happen during a procedure, it could happen during
5 labor. A mechanical force can cause the cervix to lacerate.

6 Q. What, if any, health risks are associated with cervical
7 laceration, Doctor?

8 A. The immediate risk would be a bleeding risk. The cervix is
9 a very vascular organ, and when there is a laceration there can
10 be bleeding, significant bleeding, exsanguinating bleeding
11 ultimately. There are long-term risks of incompetent cervix
12 preterm delivery associated with cervical lacerations as well.

13 THE COURT: Doctor, let me ask you, in the abortions
14 you have performed, have you ever perforated a uterus?

15 THE WITNESS: Not to my knowledge.

16 THE COURT: Have you ever lacerated a cervix?

17 THE WITNESS: Not to my knowledge.

18 THE COURT: Next question.

19 Q. As between the intact version of D&E and the dismemberment
20 variation of D&E, Dr. Johnson, do you have an opinion which, if
21 any, involves less risk of cervical laceration?

22 A. I think they would be fairly comparable with respect to
23 cervical laceration. I think the risk of cervical laceration
24 is reduced significantly by the laminaria and the cytotec that
25 we talked about earlier. I would say that between the intact

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - direct

1 procedure and the dismemberment procedure, I think the risk of
2 cervical laceration would probably be about the same.

3 Q. What safety advantages, if any, Dr. Johnson, are associated
4 with shorter operating time?

5 A. The benefits would be less bleeding, less infection.

6 Q. Is there any benefit conferred from less exposure or
7 exposure of shorter duration to anesthesia?

8 A. Yes. Depending on the type of anesthesia, there would be
9 benefits to that as well.

10 Q. Do you have an opinion as to which variation of D&E, the
11 intact variation or the dismemberment variation, offers shorter
12 operating time?

13 A. Given the clinical factors associated with the intact
14 procedure, I think that clinical factors would favor shorter
15 operating time for an intact procedure.

16 Q. Do you have an opinion, Dr. Johnson, as to which of the two
17 D&E variations, the intact or the dismemberment variation, may
18 best facilitate the extraction of the fetal skull during an
19 abortion procedure?

20 A. I think that the intact procedure is actually developed in
21 part to deal with the problem of the fetal skull. When one
22 does a D&E, technically one of the challenges is to remove the
23 fetal skull, partly because it is relatively large, partly
24 because it is relatively calcified, and it is difficult to
25 grasp on occasion.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 So one of the common technical challenges of a
2 dismemberment D&E is what is called a free-floating head or a
3 head that has become disattached and needs to be removed. This
4 can lead to more passages of instruments through the cervix.
5 And technically it is difficult to grasp the head; it is round,
6 it slips out of the instruments that we generally use. Either
7 those instruments or the head can be extruded outside the
8 uterus and cause perforation.

9 So at least one of the reasons I think that the intact
10 procedure was developed was so that the fetal head would not be
11 free-floating, would be attached to fetal parts that could be
12 more easily grasped. It could be more easily removed and
13 reduce the risks -- and enhance the ease with which the cranium
14 can be removed.

15 Q. Can ultrasound guidance mitigate the problems associated
16 with the evacuation of the head and dismemberment D&E as you
17 have described it?

18 MS. GOWAN: I object to the form of the question.

19 THE COURT: Can I have the question read, please?

20 MR. HUT: I will rephrase it, your Honor.

21 Q. Is ultrasound guidance useful in assisting a physician in
22 evacuating the fetal head in a dismemberment D&E?

23 A. It might be. It might allow the physician to identify just
24 exactly where the head is and allow him to grasp it more surely
25 and more readily.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 Q. Can ultrasound eliminate the problems you described a
2 moment ago entirely?

3 A. No, sir.

4 Q. Based on the clinical factors that you described and with
5 which you are familiar, do you have an opinion regarding the
6 safety of the intact variation of D&E?

7 A. Based on those clinical factors, I would think that there
8 are relative safety benefits to the intact procedure compared
9 to the dismemberment procedure.

10 Q. Are you aware of any professional organization, Doctor,
11 that shares your opinion on that?

12 A. That shares the opinion that the intact procedure might
13 potentially be safer?

14 Q. Correct.

15 A. I believe that the American College of OB-GYN in its
16 statements has made the statement that in certain clinical
17 situations the intact procedure might be a preferred procedure,
18 might have benefits.

19 THE COURT: Are you a member of any medical
20 association that has a differing view?

21 THE WITNESS: No, sir.

22 Q. In your opinion, Dr. Johnson, is there a significant body
23 of medical opinion that intact D&E can be safer for some women
24 in some circumstances than the dismemberment D&E?

25 MS. GOWAN: Objection, your Honor.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 THE COURT: May I have the question.

2 (Question read)

3 THE COURT: What does that mean?

4 MR. HUT: Your Honor, it means what the Supreme Court
5 intended it to mean.

6 THE COURT: He is not a member of that court.

7 MR. HUT: Yes, sir. I am aware of that.

8 THE COURT: I think you have to break it down to him
9 and define the terms, what you mean by it.

10 Q. Do you have an understanding, Dr. Johnson, of a significant
11 body of medical opinion?

12 A. I guess for me that would be a significant number of
13 practicing clinicians or practicing physicians.

14 Q. Based on that understanding, do you have an opinion whether
15 there is a significant body of medical opinion that intact D&E
16 can be safer for some women under some circumstances?

17 MS. GOWAN: Objection: Foundation.

18 THE COURT: I will allow it.

19 A. Yes. I think there are a substantial number of practicing
20 OB-GYNs who believe that the intact D&E procedure has benefits
21 compared to the traditional dismemberment procedure.

22 Q. In your opinion, Doctor Johnson, is intact D&E ever the
23 only procedure available for a woman needing a second trimester
24 abortion?

25 A. No, sir.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43vrnat2 Johnson - direct

1 Q. Does that fact affect your view as to whether intact D&E
2 should be available to physicians for use in second trimester
3 abortions?

4 A. No, sir. I guess the fact that it is never the only option
5 doesn't change my opinion that in certain situations it might
6 be the most appropriate option in the hands of certain
7 clinicians in certain clinical situations.

8 Q. Dr. Johnson, in your professional opinion, are there
9 particular maternal health conditions where evacuation of an
10 intact fetus in a D&E would have safety benefits?

11 A. Maternal conditions?

12 Q. Yes.

13 A. I think there are potential conditions where the relative
14 clinical factors might reduce the risks to a mother with a
15 bleeding abnormality or with infection or with other kinds of
16 problems, yes.

17 Q. What type of patient might have a bleeding abnormality?

18 A. A patient with some type of defect in platelets or some
19 type of defect in clotting or some type of deficiency in a
20 clotting factor, for example.

21 Q. Why, in your judgment, would the intact variation of D&E
22 offer safety advantages for women who may be at particular risk
23 for hemorrhage?

24 A. Because it has the potential to reduce the risks of
25 perforation, bleeding, and infection, all of which would be

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 poorly tolerated by those patients.

2 Q. Can you describe or explain to us, Dr. Johnson, what type
3 of patients may be at particular risk for infection.

4 A. Either patients who have immune compromise or patients who
5 have ongoing infections, patients, for example, who might have
6 a urinary tract infection, who might have another type of lower
7 genital tract infection would be at increased risk for
8 infection at the time of an abortion procedure.

9 Q. Doctor, What is chorioamnionitis?

10 A. Chorioamnionitis is an infection of the amniotic fluid and
11 the amniotic sac.

12 Q. Which variation of D&E, dismemberment or intact, would you
13 recommend for someone with chorioamnionitis?

14 A. I think there would be benefits in that situation to an
15 intact procedure.

16 Q. Why is that, sir?

17 A. Those patients have an entry of infection, and the
18 termination of pregnancy would be indicated in that situation
19 for the health of the mother. But the procedure, particularly
20 an intact procedure, would reduce the risk of perforation,
21 reduce the risk of dissemination of the amniotic fluid
22 infection into the peritoneal cavity, so it would reduce the
23 risk of peritonitis, would reduce the risk of spreading the
24 infection outside the uterus because of the reduced risk of
25 perforation.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43vrnat2 Johnson - direct

1 Q. In your opinion, Dr. Johnson, for a woman with
2 chorioamnionitis, would an intact D&E or an induction be more
3 preferable?

4 A. I think a D&E procedure would be preferable in that
5 situation.

6 Q. That would be the intact D&E?

7 MS. GOWAN: Objection: Leading.

8 THE COURT: Which type of D&E would be preferable,
9 Doctor?

10 THE WITNESS: Any D&E procedure would be preferable to
11 a medical induction, and I think that an intact D&E would be
12 preferable in a patient with chorioamnionitis because of the
13 reduced potential for perforation and dissemination of the
14 infection.

15 Q. In your opinion, Dr. Johnson, does the intact version of
16 D&E offer safety advantages for women who may be carrying
17 fetuses with specific types of fetal abnormalities or
18 anomalies?

19 A. Yes. I think in situations where pathological evaluation
20 has the potential to inform a patient or a physician about
21 medical conditions and recurrence risks, delivery of an intact
22 fetus using intact D&E procedure would have a benefit.

23 Q. Why is that?

24 A. Because the intact specimen would allow a more complete
25 pathologic evaluation, would allow a better gross anatomic

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 description of the fetus that would potentially lead to
2 improved clinical diagnosis of the exact fetal condition.

3 Q. Other than post-procedure pathological examination, are
4 there other ways to diagnose fetal anomalies?

5 A. Yes. They can be diagnosed through DNA testing, genetic
6 testing. They can be diagnosed with biochemical testing,
7 through either sampling of the amniotic fluid or biopsy of
8 fetal tissue. They can be diagnosed using ultrasound. And
9 they can be diagnosed using limited pathological evaluations as
10 well.

11 Q. Are there any cases in which, in your opinion, the
12 examination of an intact fetus pathologically and post-
13 procedure offers advantage over some of the methods you have
14 just described?

15 A. Yes, in situations where there are complex abnormalities,
16 when there are multiorgan abnormalities, and the identification
17 of those various anomalies and the classification of them into
18 a diagnosis or a syndrome or a condition could be informative
19 with respect to recurrence risk, with respect to familial
20 inheritance, with respect to counseling the patient about how
21 that fetal condition arose and what implications it had both
22 for her health and the health of the future pregnancies.

23 Q. Had you finished your last answer, Dr. Johnson?

24 A. Yes, sir, I think so.

25 Q. Are there any fetal anomalies in your experience, Doctor,

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 that are difficult to diagnose by ultrasound?

2 A. I would say that there are fetal conditions and syndromes
3 that are difficult to diagnose definitively using ultrasound,
4 yes.

5 Q. Other than those that you have described thus far in your
6 testimony, Dr. Johnson, are there any other advantages in terms
7 of safety or health of the woman or her family for the intact
8 variation of D&E?

9 A. We certainly have patients who have requested the
10 opportunity to hold their fetuses, to grieve. An intact
11 procedure would allow that opportunity for a patient who wanted
12 to be able to look at the fetus and to be able to begin the
13 grief process.

14 Q. Doctor, let me ask you to turn in the black notebook that I
15 have placed on the table in front of you to Plaintiffs' 69.
16 Dr. Johnson, what is Plaintiffs' Trial Exhibit 69?

17 A. It is the so-called -- it is the Partial-Birth Abortion Ban
18 Act of 2003.

19 Q. Have you reviewed or read the Partial-Birth Abortion Ban
20 Act of 2003 in its entirety?

21 A. Yes, sir.

22 Q. Please have a look on the first page of Plaintiffs' Trial
23 Exhibit 69 at section 2. Do you see that, sir?

24 A. Yes, sir.

25 Q. What is the heading of that section?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - direct

1 A. "Findings."

2 Q. Have you reviewed that section of the Act?

3 A. Yes, sir.

4 Q. Dr. Johnson, please turn in Plaintiffs' Trial Exhibit 69 to
5 page S3-4.

6 A. OK.

7 Q. Do you see paragraph 14(A) on page S3-4?

8 A. Yes.

9 Q. Let me read that section for you. "Partial-birth abortion
10 poses serious risks to the health of a woman undergoing the
11 procedure. Those risks include, among other things: An increase
12 in a woman's risk of suffering from cervical incompetence, a
13 result of cervical dilation making it difficult or impossible
14 for a woman to successfully carry a subsequent pregnancy to
15 term; an increased risk of uterine rupture, abruption, amniotic
16 fluid embolus, and trauma to the uterus as a result of
17 converting the child to a footling breech position, a procedure
18 which, according to a leading obstetrics textbook, 'there are
19 very few, if any, indications for other than for delivery of a
20 second twin'; and a risk of lacerations and secondary
21 hemorrhaging due to the doctor blindly forcing a sharp
22 instrument into the base of the unborn child's skull while he
23 or she is lodged in the birth canal, an act which could result
24 in severe bleeding, brings with it the threat of shock, and
25 could ultimately result in maternal death."

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 Did I read that correctly, Doctor?

2 A. Yes, sir.

3 Q. Doctor, I would like you to assume that the abortion
4 procedure being addressed in paragraph 14(A) of the findings is
5 the intact variation of D&E. Based on your experience, do you
6 agree with the statement that the intact variation of D&E
7 "increases a woman's risk of suffering from cervical
8 incompetence as a result of cervical dilation"?

9 A. No, sir.

10 Q. Why not?

11 A. I don't know of any evidence to suggest that that specific
12 procedure increases a woman's risk of suffering from cervical
13 incompetence compared to any other surgical D&E procedure.

14 THE COURT: Compared to what, Doctor?

15 THE WITNESS: Compared to any other D&E procedure.
16 Compared to a dismemberment D&E.

17 THE COURT: Does that mean they all have a risk for
18 that possibility?

19 THE WITNESS: There would be a very slight risk of
20 cervical laceration and cervical incompetence with any surgical
21 procedure, yes, sir.

22 THE COURT: You are not saying it is not true; it is
23 just it is no more so than other procedures, abortion
24 procedures?

25 THE WITNESS: Correct. I am saying that it is an

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 uncommon, it is not a common serious risk.

2 THE COURT: It is not common to any abortion
3 procedure?

4 THE WITNESS: Correct.

5 THE COURT: So it is no more so with the partial-birth
6 or intact D&E than it is for other procedures, is that your
7 opinion?

8 THE WITNESS: That is my opinion, yes, sir.

9 Q. Based on your experience, Dr. Johnson, you agreed with the
10 paragraph set out in paragraph 14(A) of the finding that there
11 is, quote, an increased risk of uterine rupture, abruption,
12 amniotic fluid embolus, and trauma to the uterus from the
13 intact variation of D&E?

14 THE COURT: Could we take them one at a time?

15 MR. HUT: Sure.

16 Q. How about with respect to an increased risk of uterine
17 rupture.

18 A. I assume this is comparing it with other surgical
19 procedures, and I don't believe there is any evidence that
20 there is an increased risk of uterine rupture with the intact
21 procedure.

22 Q. How about compared to any medical procedure in the second
23 trimester?

24 A. Probably less risk compared to a medical procedure.

25 Q. The next one is abruption.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 A. No, no increased risk of that.

2 Q. How about amniotic fluid embolus?

3 A. No.

4 Q. How about trauma to the uterus?

5 MS. GOWAN: That is an incomplete statement. It is
6 trauma to the uterus as a result of converting the child to a
7 footling breech position.

8 THE COURT: With that amendment, Mr. Hut?

9 MR. HUT: That's fine.

10 THE COURT: Now, Doctor, can you answer it?

11 A. Actually, the statement goes on and compares it to a
12 procedure that is commonly called internal podalic version and
13 is described in obstetric textbooks. I guess I would say
14 that --

15 THE COURT: Do you want to tell us what that is, so we
16 all understand?

17 THE WITNESS: Sure.

18 THE COURT: Thanks.

19 THE WITNESS: Historically there was a description of
20 a procedure where a fetus was turned from a head-first position
21 to a breech position for delivery.

22 THE COURT: That being foot-first?

23 THE WITNESS: That being a foot-first, correct. In
24 the early part of the last century, internal podalic version
25 was a way that doctors managed difficult labors. So if a woman

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 was having problems at term in labor with a baby and it was
2 coming head-first, they would often in their management go
3 inside, turn the baby around, and pull it out by the feet.
4 That is no longer a procedure that is done in medicine or
5 obstetrics, with the exception occasionally of a second or
6 aftercoming twin, where we will occasionally do a podalic
7 version.

8 The comparison of that podalic version to a
9 mid-trimester procedure like this is really not accurate. The
10 fetus at term is much bigger, the uterus is much more
11 distended, much more likely to rupture. There is much less
12 amniotic fluid in a second trimester procedure where the fetus
13 is manipulated into a footling, into a breech position for the
14 procedure.

15 The mechanics, the amniotic fluid, the small size of
16 the fetus, the relatively thickness of the uterus would all be
17 very, very different than would exist in a term pregnancy with
18 an internal podalic version. So that comparison is think is
19 very problematic.

20 Q. What percentage of midtrimester terminations, Dr. Johnson,
21 present in breech?

22 A. Generally, in the midtrimester up until certainly around
23 20, 24 weeks fetuses are about 50-50. It is only in the last
24 trimester that they correctly line up head-first. So that at
25 term fewer than 5 percent of fetuses are breech. But in the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 midtrimester it is -- well, the not even 50-50, because they
2 could be head-first, they could be feet-first, they could be
3 transverse lie, they could be moving all around. It is really
4 a pretty even mix of everything.

5 Q. With respect to those presenting feet-first, is there any
6 need to do any manual or digital manipulation of the fetus to
7 bring it into breech position?

8 A. No. It would come down that way spontaneously.

9 Q. Directing your attention, Dr. Johnson, to the last clause
10 of paragraph 14(A), based on your experience, do you agree with
11 the statement that the intact variation of D&E poses "a risk of
12 lacerations and secondary hemorrhaging due to a doctor blindly
13 forcing a sharp instrument into the base of the unborn child's
14 skull while he or she is lodged in the birth canal"?

15 A. There is always a risk in anything we do in medicine, but I
16 don't think that there is a serious risk of that kind of
17 laceration or that kind of hemorrhaging, because the intact
18 procedure is being done in a relatively controlled situation.
19 Generally, the head is stabilized by the cervix. Generally,
20 the physician can identify the cervix clearly and can affect
21 placement of the instruments in a way that minimizes the risks
22 of damage to the cervix.

23 Q. Based on your experience, Doctor, does a physician
24 performing an intact variation of D&E visualize or is the
25 physician able to palpate the fetal skull prior to effecting an

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - direct

1 incision?

2 MS. GOWAN: Objection: Foundation.

3 THE COURT: I didn't understand that. Your objection
4 is to foundation?5 MS. GOWAN: Yes. I don't think there has been any
6 testimony that this witness has any experience with other
7 people performing the procedure. Counsel has just asked him
8 basically what he --9 THE COURT: Could you rephrase it, Mr. Hut, and ask
10 the doctor if he has any problems.11 Q. Dr. Johnson, did you earlier testify that you had observed
12 the intact D&E being performed?

13 A. Yes.

14 THE COURT: Have you done it yourself?

15 THE WITNESS: No, sir.

16 Q. Based on procedure --

17 THE COURT: Just a minute. You have never done it?

18 THE WITNESS: Never done a procedure where the fetus
19 was delivered intact through a D&E procedure, no, sir.20 THE COURT: Then I don't think he is capable of
21 answering that. He testified what he looked at and observed,
22 but he has never done it. I will sustain the objection, unless
23 you want to rephrase it.

24 MR. HUT: I would, your Honor.

25 Q. Based on what you have observed, Dr. Johnson, in the cases
SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 where you have observed an intact D&E variation, is the doctor
2 able to visualize or palpate the fetal skull prior to incision?

3 THE COURT: Sustained. He can't testify to what he
4 visualized. You can ask him what he saw happened, but not what
5 he visualized.

6 MR. HUT: I am not asking him what he visualized.

7 THE COURT: I know that. But he can't testify as to
8 what another surgeon visualized. He can tell you what he saw.

9 Q. Did you make any observation of the way the physician
10 performing that intact D&E effected the incision into the
11 skull?

12 A. In the situations that I have observed, they either --
13 actually, the procedures that I have observed, they all used a
14 crushing instrument to deliver the head, and they did it under
15 direct vision.

16 Q. Thank you, Doctor.

17 THE COURT: Can you explain to me what that means.

18 THE WITNESS: What they did was they delivered the
19 fetus intact until the head was still trapped behind the
20 cervix, and then they reached up and crushed the head in order
21 to deliver it through the cervix.

22 THE COURT: What did they utilize to crush the head?

23 THE WITNESS: An instrument, a large pair of forceps
24 that have a round, serrated edge at the end of it, so that they
25 were able to bring them together and crush the head between the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 ends of the instrument.

2 THE COURT: Like the cracker they use to crack a
3 lobster shell, serrated edge?

4 THE WITNESS: No.

5 THE COURT: Describe it for me.

6 THE WITNESS: It would be like the end of tongs that
7 are combined that you use to pick up salad. So they would be
8 articulated in the center and you could move one end, and there
9 would be a branch at the center. The instruments are thick
10 enough and heavy enough that you can actually grasp and crush
11 with those instruments as if you were picking up salad or
12 picking up anything with --

13 THE COURT: Except here you are crushing the head of a
14 baby.

15 THE WITNESS: Correct.

16 THE COURT: You have watched this but you have never
17 done it?

18 THE WITNESS: Correct.

19 THE COURT: Next question.

20 Q. Dr. Johnson, in the circumstance that you just described to
21 his Honor, in what position was the fetus when the skull was
22 compressed?

23 A. Breech.

24 Q. Was the head lodged at the cervical gloss?

25 A. Yes.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43vrnat2

Johnson - direct

1 THE COURT: Was the body outside the woman's body to
2 an extent?

3 THE WITNESS: Some of it. It can be or not. Some of
4 it can be or -- it depends on where the cervix is. It depends
5 on where the uterus is. It depends how long the baby is. It
6 depends how long the mother's vagina is.

7 THE COURT: At some times that you observed it was?

8 THE WITNESS: Right. And sometimes during the
9 procedure the cervix can actually be brought down so that --
10 the cervix and the uterus can be moved up and down relative to
11 the opening of the vagina.

12 THE COURT: An affidavit I saw earlier said sometimes,
13 I take it, the fetus is alive until they crush the skull?

14 THE WITNESS: That's correct, yes, sir.

15 THE COURT: In one affidavit I saw attached earlier in
16 this proceeding, were the fingers of the baby opening and
17 closing?

18 THE WITNESS: It would depend where the hands were and
19 whether or not you could see them.

20 THE COURT: Were they in some instances?

21 THE WITNESS: Not that I remember. I don't think I
22 have ever looked at the hands.

23 THE COURT: Were the feet moving?

24 THE WITNESS: Feet could be moving, yes.

25 THE COURT: Next question.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 Q. With respect to the instrument that you described to his
2 Honor a moment ago, Doctor, used to compress the fetal skull in
3 the intact D&E variations that you observed, is the same or
4 similar instrument used to compress the skull in a
5 dismemberment D&E?

6 A. Yes. Basically, the same instruments are used for the two
7 procedures. They are called Sopher. People use different
8 kinds of forceps. The ones that we use for our D&E procedure
9 are called Sopers, S-O-P-H-E-R.

10 Q. Dr. Johnson, please turn back to the first page of
11 Plaintiffs' Trial Exhibit 69. Let me direct your attention to
12 paragraph 2 of the findings. Do you see that, sir?

13 A. Yes, sir.

14 Q. This time could you read paragraph 2 for the Court, please.

15 A. "Rather than being an abortion procedure that is embraced
16 by the medical community, particularly among physicians who
17 routinely perform other abortion procedures, partial-birth
18 abortion remains a disfavored procedure that is not only
19 unnecessary to preserve the health of the mother but in fact
20 poses serious risks to the long-term health of women and in
21 some circumstances their lives. As a result, at least 27
22 states banned the procedure, as did the United States Congress,
23 which voted to ban the procedure during the 104th, 105th, and
24 106th Congresses."

25 Q. Directing your attention to the first sentence that you

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 just read, Doctor, and let's assume again that the abortion
2 procedure referred to here is the intact variation of D&E, is
3 it your opinion that the intact variation D&E "in fact causes
4 serious risks to the long-term health of women and in some
5 circumstances their lives"?

6 A. No.

7 Q. Why not?

8 A. I guess I would say that any procedure has the potential to
9 cause serious risks. But I don't think that the procedure as
10 described here poses any more serious risks than any other
11 medical or surgical midtrimester termination procedures.

12 THE COURT: Does that statement say more risk or just
13 a risk?

14 THE WITNESS: It says imposes serious risks to the
15 long-term health of women."

16 THE COURT: And your answer said more risk. In other
17 words, you put it in the comparative.

18 THE WITNESS: Correct.

19 THE COURT: By itself it is not inaccurate, because
20 you say all of these procedures have risk, correct?

21 THE WITNESS: Right. I would say that any medical
22 procedure, with the exception of maybe drawing blood, but any
23 medical procedure has the potential to have a serious
24 complication.

25 THE COURT: So it would be fair to say that all

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 abortions, regardless of which procedure, have risks, correct?

2 THE WITNESS: That's correct, yes, sir.

3 THE COURT: OK. Next question.

4 Q. Dr. Johnson, is it your opinion that abortion as practiced
5 in this country is safe?

6 A. Yes, sir.

7 Q. Is it your opinion that D&E by dismemberment is a safe
8 procedure?

9 A. Yes, sir.

10 Q. Is it your opinion that induction in the second trimester
11 is a generally safe procedure?

12 A. Yes, sir.

13 Q. Doctor Johnson, could you please turn to paragraph 13 of
14 the findings which are located on page S3. Let me read a
15 portion of that. "There exists substantial record evidence
16 upon which Congress has reached its conclusion that a ban on
17 partial-birth abortion is not required to contain a 'health'
18 exception, because the facts indicated that a partial-birth
19 abortion is never necessary to preserve the health of a woman,
20 poses serious risks to a woman's health, and lies outside of
21 the standard of medical care."

22 Again assuming that the paragraph is talking about the
23 intact variation of D&E, do you agree with the statement that I
24 just read?

25 MS. GOWAN: Objection, your Honor: Foundation. There
SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - direct

1 is nothing to show that this witness has surveyed the
2 Congressional Record and is in any way qualified to opine on
3 this statement.

4 THE COURT: Read the question, Mr. Reporter, please.
5 (Question read)

6 MR. HUT: Let me rephrase.

7 Q. Dr. Johnson, are you aware of any medical literature that
8 indicates that intact D&E poses serious risks to a woman's
9 health?

10 A. No, sir.

11 Q. Are you aware of any medical literature that indicates or
12 suggests that an intact D&E lies outside the standard of
13 medical care?

14 A. No, sir.

15 Q. Do you believe, in your professional opinion, that an
16 intact D&E poses serious risks to a woman's health?

17 A. No, sir.

18 Q. Do you believe, in your medical opinion, that intact D&E
19 lies outside the standard of medical care?

20 A. No, sir.

21 Q. Please turn, Dr. Johnson, to paragraph 14(F), which you
22 will find on page S3-5. Let me read it for you. "A ban on the
23 partial-birth abortion procedure will therefore advance the
24 health interests of pregnant women seeking to terminate a
25 pregnancy."

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43vrnat2 Johnson - direct

1 Assuming, Dr. Johnson, that the procedure referred to
2 in that sentence is the intact variation of D&E, do you agree
3 with the statement by Congress?

4 A. No, sir.

5 Q. Why not?

6 A. Because I think that there are potential situations where
7 the intact procedure might have benefits in individual clinical
8 situations for individual patients, and that it might be the
9 preferred procedure in those situations to advance the health
10 interests of the pregnant woman.

11 Q. Finally, Dr. Johnson, let me direct your attention to
12 paragraph 14(J) on the same page. Let me ask, since this is
13 the last of these, you to read the first sentence of paragraph
14 14(J).

15 A. "Partial-birth abortion also confuses the medical, legal,
16 and ethical duties of physicians to preserve and promote life,
17 as the physician acts directly against the physical life of a
18 child whom he or she had just delivered all but the head out of
19 the womb in order to end that life."

20 Q. Based on your experience and assuming again that the
21 procedure referred to is the intact variation of D&E, do you
22 agree with the statement you just read?

23 MS. GOWAN: Your Honor, once again, there is no
24 foundation for that, that he has any experience performing the
25 partial-birth abortion procedure.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43vrnat2 Johnson - direct

1 THE COURT: Sustained.

2 Q. Based on your observations of intact D&E's, Dr. Johnson,
3 and your experience in abortion procedures, is it your opinion
4 that partial-birth abortion confuses the medical, legal, and
5 ethical duties of physicians to preserve and promote life as
6 the physician acts directly against the physical life of a
7 child whom he or she had just delivered?

8 THE COURT: How could he possibly testify as to the
9 operations of many unknown physicians, as to whether it
10 confuses them or not? Next question. Sustained.

11 MR. HUT: As advertised, your Honor, I have no further
12 questions of the witness.

13 THE COURT: I have 12:21. Do you want to do a bit of
14 cross, Ms. Gowan?

15 MS. GOWAN: Yes, your Honor, that would be fine.

16 CROSS-EXAMINATION

17 BY MS. GOWAN:

18 Q. Dr. Johnson, you told us that you first became involved in
19 partial-birth abortion law cases when you testified as a court-
20 appointed expert for Judge Rosen in Evans v. Kelley. That was
21 in Michigan in 1997, right?

22 A. Yes, ma'am.

23 Q. Then you also told the judge that you were an expert
24 witness in another case, Evans v. Grantholm. You were also a
25 plaintiff in that case, weren't you, Dr. Johnson?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 A. Yes, ma'am.

2 Q. You were joined as a plaintiff in that case with Dr. Mark
3 Evans, isn't that right?

4 A. I believe Dr. Evans was one of the other plaintiffs, yes.

5 Q. Evans v. Grantholm.

6 THE COURT: Could you keep your voice up, please,
7 Doctor.

8 A. I remember Northland v. Grantholm. But I think Dr. Evans
9 was one of the plaintiffs in that case.

10 Q. Dr. Evans was the lead plaintiff in Evans v. Kelley, the
11 first case that you were involved in, isn't that right?

12 A. Yes, ma'am.

13 Q. Dr. Evans is one of your co-plaintiffs in this case, isn't
14 that right?

15 A. Yes, ma'am.

16 Q. You know Dr. Caroline Westhoff, another of your
17 co-plaintiffs in this case, very well, don't you, Doctor?

18 A. I don't know her very well, no. I know her professionally.

19 Q. You know her dating back to 1977, when she was at the
20 University of Michigan doing her residency when you were in
21 your post-doc training, isn't that right?

22 A. No. I think in 1977, when we met, she was a medical
23 student and I was a resident in training.

24 Q. That was at the University of Michigan?

25 A. That's correct.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 Q. So you have known her since that time, is that right?

2 A. I first met her when she was a medical student, and I have
3 subsequently come to know her again.

4 Q. You have told Judge Casey that since 1997, when you were
5 the court-appointed expert to Judge Rosen, that you have become
6 more involved in the medical aspects of intact D&E, is that
7 right?

8 A. I'm sorry?

9 Q. This morning you told Judge Casey that since 1997, when you
10 were the court-appointed expert for Judge Rosen, you have
11 become more involved in the medical aspects of intact D&E,
12 right?

13 A. Correct.

14 Q. But you never performed it, right?

15 A. Correct.

16 Q. You never performed any research in connection with the
17 procedure, right?

18 A. Correct.

19 Q. Never conducted any randomized trials, correct?

20 A. Correct.

21 Q. Cohort studies, you never did any of those, right?

22 A. That's right.

23 Q. Case studies? No?

24 A. I have not been involved in any research involved with the
25 procedure.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - cross

1 Q. You testified that you had spoken at the University of
2 Michigan with some of your colleagues about intact D&E, right?

3 A. Correct.

4 Q. They don't do intact DEA at the University of Michigan, do
5 they?

6 MR. HUT: Objection: Vague and ambiguous,
7 mischaracterizes the testimony.

8 THE COURT: Ask the question: Do they or don't they.
9 Overruled. Just rephrase the question.

10 Q. Is intact D&E performed at the University of Michigan,
11 Doctor?

12 A. I would say that it has been increasingly the intention of
13 people to perform --

14 THE COURT: Doctor, is it performed there or not?

15 A. The specific procedure where it is completely done intact
16 is not performed there.

17 Q. And it is not formally taught at Michigan either, is it,
18 Doctor?

19 A. No. We do teach our residents, talk with our residents,
20 and talk with our faculty about the intact modification of the
21 procedure.

22 Q. It is not formally taught, is it?

23 A. At the University of Michigan?

24 Q. Yes.

25 A. No, it is formally taught at the University of Michigan.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

- 1 Our residents are involved in and participate in the procedure.
2 THE COURT: But you said it is not done there. How
3 could they participate?
4 THE WITNESS: They participate at one of our
5 associated facilities.
6 THE COURT: In other words, you do it off-site?
7 THE WITNESS: Correct.
8 THE COURT: Does the university know that?
9 THE WITNESS: Yes.
10 Q. You told Judge Casey that you watched the performance of an
11 intact D&E, right?
12 A. Correct.
13 Q. But you are not sure whether you actually saw it in person
14 or whether you watched it on a video, isn't that right, Doctor?
15 A. No, that is not correct.
16 Q. Directing your attention to page 70/line 10 of your
17 deposition in this case:
18 "Q. So when you say -- I asked you where you had witnessed the
19 couple that you had mentioned to me, and I thought you said 'in
20 my unit,' which prompted my question --
21 "A. Yes.
22 "Q. -- about whether they did them --
23 "A. Yes.
24 "Q. -- at the University of Michigan.
25 "A. We don't consciously do them at the University of Michigan.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - cross

1 Well, I've seen an intact D&E, but now I'm trying to think
2 where I've seen it, and I can't remember whether I saw it in
3 person, at another health center, or whether I saw it on a
4 video at ACOG.

5 "Q. I see.

6 "A. Or at some other kind of meeting. It would not have been
7 at our unit."

8 Were you asked those questions and did you give those
9 answers at your deposition in this case?

10 A. Yes.

11 Q. Doctor, the last time you performed a D&E was about --

12 THE COURT: Excuse me. Could I interrupt you. You
13 said in your answer you didn't consciously do this procedure at
14 the University of Michigan. What does that mean,
15 "consciously"? Were you unconscious?

16 THE WITNESS: No.

17 THE COURT: It confuses me.

18 THE WITNESS: What I mean by that is that the people
19 who do our D&E procedures try to deliver the fetus as intact as
20 possible with as few procedures as possible. So if, as they do
21 the procedure, if they are able to deliver the fetus intact,
22 that would be fine. The fewer number of passes to do a
23 dismemberment D&E is always our goal. Because of the way we do
24 the procedures in the past with the degree of cervical
25 dilatation, generally it takes two or three passes to remove

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - cross

1 all the tissue. But it would certainly --

2 THE COURT: You still don't do an intact D&E at the
3 university?

4 THE WITNESS: Correct. We don't start off the
5 procedure with the intention to necessarily deliver the fetus
6 with a single pass.

7 THE COURT: And that is conscious and deliberate?

8 THE WITNESS: We are conscious and deliberate in
9 trying to minimize --

10 THE COURT: But you don't start out to do that?

11 THE WITNESS: We consciously try to minimize the
12 number of passes.

13 THE COURT: I am talking about the intact D&E.

14 THE WITNESS: Right.

15 THE COURT: You don't do that?

16 THE WITNESS: It has not been our practice, that's
17 right.

18 THE COURT: We will break for lunch now and reconvene
19 at 2 o'clock.

20 (Luncheon recess)

21

22

23

24

25

43vrnat2

Johnson - cross

1 AFTERNOON SESSION

2 2:00 p.m.

3 TIMOTHY JOHNSON, resumed.

4 THE COURT: Before we get started, a little more
5 housekeeping. I have received correspondence -- it is
6 beginning to feel like I'm several people's pen pal. Believe
7 me, I don't encourage it. Nonetheless, on the topic of Drs.
8 Chasen and Westhoff, the Court is going to make the same ruling
9 as we did with regard to Dr. Hammond.

10 We have heard from the Second Circuit, and in their
11 wisdom they have declined to expedite a hearing earlier than
12 April 20, I believe is the date. Therefore, I will not
13 dissuade the plaintiff from calling those doctors. The caveat
14 goes along, however, that should at some time toward the end of
15 this proceeding the records become available, those doctors
16 will be ordered back for the government to utilize records
17 should they be made available to the government.

18 With that said, Ms. Gowan, you may continue your
19 cross-examination.

20 CROSS-EXAMINATION (continued)

21 BY MS. GOWAN:

22 Q. Dr. Johnson, the last time that you performed a D&E was
23 about four or five years ago, right?

24 A. That's correct, yes, ma'am.

25 Q. That was a D&E by dismemberment, right?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 A. Yes, ma'am.

2 Q. The gestational age of the most recent D&E you performed
3 was a fetus under 18 weeks, isn't that right?

4 A. Yes, ma'am.

5 Q. In all, you have performed less than 10 D&E's, right?

6 A. Correct.

7 MS. GOWAN: Your Honor, may I approach?

8 THE COURT: You may.

9 MS. GOWAN: I am going to show Dr. Johnson Government
10 Exhibit A8.

11 Q. Dr. Johnson, I am showing you what has been marked as
12 Government Exhibit A8. Dr. Johnson, will you take a moment to
13 look at that. Do you recognize what it is?

14 A. It is a declaration of Dr. Mark Evans.

15 Q. Would you take a look at the last page, page 20. Is that
16 not your declaration?

17 MR. HUT: The last page I have is 18 on the bottom and
18 nothing on the top.

19 A. This is Dr. Evans' declaration that you gave me.

20 Q. That is a mistake. I see that. Thank you. Excuse me, Dr.
21 Johnson. That's OK.

22 Dr. Johnson, did you submit a declaration in Evans v.
23 Grandholm?

24 A. Yes, ma'am.

25 Q. Was that in or about February 10, 2000?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - cross

1 A. That sounds about right.

2 Q. I would like to read into the record an excerpt from your
3 declaration submitted in that case. Turning to page 7,
4 paragraph 13, going on to page 8, starting with the second full
5 sentence, your declaration reads:

6 "A physician performing a D&E is also sometimes able
7 to remove the fetus largely intact. In any D&E, for example,
8 the physician may extract the fetal body intact feet first
9 unless the cervix is obstructed by the aftercoming head, which
10 may be too large to pass intact through the cervix. At that
11 point, the physician uses some surgical procedure, such as
12 collapsing the skull with forceps, to reduce the size of the
13 head so that it can pass through the cervical os.

14 "As compared to disarticulating the fetus, removing it
15 intact reduces the number of times the physician inserts sharp
16 instruments into the uterus and may have advantages when an
17 intact fetus is indicated for a pathological study to assess
18 the extent, cause, and risk of occurrence of fetal anomalies."

19 At the time that you wrote that sentence in your
20 declaration, you had never performed an intact D&E, correct?

21 A. That's correct.

22 Q. At the time that you wrote that sentence, in fact it had
23 never happened to you in the handful of D&E's that you had
24 performed that a woman was so dilated that the fetus came out
25 largely intact, isn't that right, Doctor?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 A. It had not happened to me, that's correct.

2 Q. This statement in the declaration that you submitted in the
3 Michigan case was just based on things that you had heard from
4 others, isn't that right, Doctor?

5 A. Based on things that I had heard, things that I had spoken
6 about, my clinical impressions, yes, ma'am.

7 Q. When you say your clinical impressions, Doctor, it wasn't
8 based on any actual clinical experience, because this hadn't
9 happened to you, isn't that right?

10 A. It is correct that it had not happened to me. But my
11 clinical impression is derived from more than just my own
12 personal clinical experiences. It is derived from my
13 interactions with colleagues, my discussions at national
14 meetings, my reading the literature, my previous experience.
15 Those kinds of things inform my clinical opinions.

16 Q. Was there anything written in the literature as of 2000
17 about intact D&E that informed your statement in your
18 declaration submitted in the Michigan case?

19 MR. HUT: Objection, your Honor. The paragraph is
20 about dismemberment D&E.

21 THE COURT: Let me hear the question.

22 (Question read)

23 THE COURT: The question is about the literature. He
24 may answer the question.

25 MR. HUT: Excuse me. May I be heard?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 THE COURT: Yes.

2 MR. HUT: The subject of the paragraph --

3 THE COURT: I know what the paragraph is about. But
4 the question asks him about the literature, and he is capable
5 of answering it yes or no. Then from there you can go back and
6 address the paragraph. I know what the paragraph is about.

7 Go ahead, answer the question, Doctor. Do you need it
8 read again?

9 THE WITNESS: No, sir.

10 A. There was no published literature that I was aware of in
11 2000.

12 Q. In most of the pregnancies that you have terminated, you
13 used medical induction abortion because you wanted an intact
14 fetus for genetic evaluation, isn't that right?

15 A. At our institution, that has been our bias, yes.

16 THE COURT: That's done what?

17 THE WITNESS: At our institution, that has been our
18 bias, yes.

19 THE COURT: Your bias?

20 THE WITNESS: Correct.

21 THE COURT: OK.

22 Q. It is the practice, in fact, at Michigan that virtually all
23 terminations are done for genetic or developmental reasons,
24 isn't that right?

25 A. Yes. Virtually all the pregnancy terminations that we do

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 are for an underlying pathologic indication, usually in the
2 fetus.

3 Q. That would be in 99 percent of the abortions performed at
4 Michigan, is that right?

5 A. If you are talking about second trimester procedures, I
6 would probably say at least 95 percent.

7 Q. You would agree that the majority of fetal anomalies have
8 an adverse effect on the health of the fetus as opposed to the
9 health of the woman, right?

10 A. Correct.

11 Q. In your view, there are only a few fetal anomalies that
12 could have an adverse effect on the health of the woman, right?

13 A. There are a number of fetal anomalies that can have an
14 adverse effect on the mother, yes.

15 Q. A few number, isn't that right, Doctor?

16 A. A few, yes.

17 Q. You are expecting in the future to see a decrease in the
18 number of mid to late second trimester abortions thanks to some
19 newer diagnostic screen tools that permit diagnoses of Down's
20 syndrome at an earlier gestational age, isn't that right?

21 A. Possibly, yes.

22 Q. Over the past year at Michigan, as you have been planning
23 to implement these new diagnostic techniques and have expanded
24 your prenatal diagnostic services, you have seen earlier
25 diagnoses which have resulted in a lower gestational age for

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 abortion at your institution, isn't that right?

2 A. I would say across the board that is probably correct.

3 Q. Since virtually all terminations at the University of
4 Michigan are for genetic reasons, medical induction is used to
5 enable an intact fetus for genetic evaluation, right?

6 A. I think I said earlier that we have a substantial number of
7 procedures at least that were done last year where the
8 indication was a genetic abnormality where the D&E procedure
9 was performed. But when there are anatomic defects, when there
10 are developmental defects, where we feel that a pathologic
11 evaluation would be informative, then we favor a medical
12 technique.

13 Q. You would certainly agree, wouldn't you, Doctor, that in
14 doing the second trimester abortion for fetal anomalies, both
15 medical abortion and D&E by dismemberment are options that are
16 available to you, isn't that right?

17 A. I would agree that those are available options, yes.

18 Q. The other 1 percent or so of abortions that are performed
19 at Michigan are as a result of maternal medical conditions or
20 as purely an elective procedure done in conjunction with some
21 other procedure, like a woman coming in and having her tubes
22 tied, isn't that right?

23 A. I would say for the most part. We occasionally do elective
24 terminations in some circumstances, but not very often.

25 Q. Of those abortions that you do perform for maternal

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 complications, most of them are done at earlier gestational
2 ages, isn't that right?

3 A. It would depend. They are done, I would say, pretty much
4 across the spectrum. I would have to look to tell you and
5 quote you exactly.

6 THE COURT: You don't know the answer to that as head
7 of the department?

8 THE WITNESS: I don't know at what gestational age the
9 various procedures are done. We see a fair number of patients
10 who present early from our clinic with medical complications
11 that we would terminate early. But we also have a percentage
12 of patients that refer to us late in the second trimester from
13 other hospitals where the diagnosis and the referral has been
14 delayed. So I don't know the breakdown on those numbers.

15 Q. Doctor, let me direct your attention to page 80/line 18 of
16 the deposition that you gave in this case.

17 THE COURT: What is the date of the deposition,
18 please, Ms. Gowan?

19 MS. GOWAN: Monday, February 23, 2004.

20 THE COURT: Thank you.

21 Q. "Q. Now, you mentioned briefly, and I'd like to ask you
22 about it, there is some small number of abortions that are done
23 for maternal indications?

24 "A. Mm.

25 "Q. Now, that's separate and apart from those electives that

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 are done in connection with another procedure?

2 "A. Correct.

3 "Q. Like a BTL, right?

4 "A. Correct.

5 "Q. OK. And what kinds of maternal indications can you
6 describe for me that let's say within the past year there have
7 been terminations performed at your institution?

8 "A. Well, we don't do very many, because we're able to handle
9 most maternal complications. If you give me the last couple of
10 years, I may be able to talk about the kinds of conditions that
11 we see most commonly. I would say maternal cardiac disease,
12 maternal renal disease, women with end stage renal failure, and
13 women with autoimmune diseases like lupus that are unstable
14 would, in my experience, probably be the most common
15 indications that we would have, because those conditions would
16 be those where the mother's life would be at significant risk
17 if the pregnancy were allowed to continue. Let me just say
18 that generally those procedures would tend to be done early.

19 "Q. And when would that be?

20 "A. Well, I think in most situations they would be first
21 trimester cases."

22 Doctor, does that refresh your recollection as to
23 whether most of the abortions performed at Michigan for
24 maternal complications are done at earlier gestational ages?

25 A. Again, I can't give you an exact breakdown. I wouldn't

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

- 1 disagree with the statement that most of them are probably done
2 in the first trimester. I think that is probably correct.
- 3 Q. As a maternal fetal specialist, you sometimes manage women
4 through to viability of the fetus and delivery of an infant
5 when they have maternal medical complications, don't you,
6 Doctor?
- 7 A. Yes, ma'am.
- 8 Q. You cannot remember any second trimester abortion that was
9 performed at your institution over the past several years
10 because of a maternal medical condition, can you, Doctor?
- 11 A. Actually, we had a case of a patient who had significant
12 cardiovascular disease, vascular disease, that I believe had a
13 midtrimester termination sometime in the last year.
- 14 Q. Directing your attention to page 82/line 17 of your
15 deposition in this case:
- 16 "Q. Can you recollect any termination from the last several
17 years, going over in your mind in answering my question, for a
18 maternal condition that was in the second trimester?
- 19 "A. I can't remember, no."
- 20 Were you asked that question and did you give that
21 answer at your deposition in this case?
- 22 A. Yes.
- 23 Q. In your view, the number of medical conditions where a
24 woman's life is significantly threatened by pregnancy are
25 probably fewer than there were 20 or 25 years ago because of

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - cross

1 improved medical management, right?

2 A. Yes, I would agree with that.

3 Q. You would also agree, would you not, that there are only a
4 few conditions where a pregnancy can adversely affect the long-
5 term health of the woman?

6 A. I think I would agree with that statement generally.

7 Q. You can't think of a situation involving a maternal health
8 complication where an intact D&E would be the only procedure
9 that could be performed, can you, Doctor?

10 A. I think I stated earlier that I don't believe there is ever
11 a condition where an intact D&E would be the only procedure
12 that would be available or an option to perform.

13 Q. It is fair to say, isn't it, Doctor, that you don't think
14 there is any maternal complication that would either require an
15 intact D&E or make an intact D&E the only procedure that could
16 be performed?

17 A. I'm sorry. I don't understand how that question was
18 different than the previous question. Could I hear it back,
19 please.

20 (Question read)

21 A. I think that is what I just said. I would agree with that
22 statement.

23 Q. You testified this morning about misoprostol.

24 A. Right.

25 Q. Misoprostol changes the kind of resistance that the cervix

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 gives and tends to bring down fetal parts into the lower
2 uterine cavity, isn't that right?

3 A. Yes.

4 Q. It also results in increased pliability of the cervix
5 because it is a softening agent, right?

6 A. Yes, that's correct.

7 Q. Would you agree that the combined use of laminaria and
8 misoprostol increases the possibility of doing an intact D&E
9 procedure?

10 A. I would say it would increase the possibility, yes.

11 Q. That is because if the cervix is not well dilated with
12 laminaria, if the lower uterine segment is not softened with
13 misoprostol such that the presenting parts of the fetus -- the
14 feet, the legs -- remain higher in the uterus, the physician is
15 more likely to dismember fetal parts because of the resistance
16 encountered as the physician removes the fetus, isn't that
17 right?

18 A. I would say there are two components. There is a
19 resistance component that allows the cervix to be more plastic
20 and allows the fetal tissue to come through the cervix. I also
21 think that the position in the lower uterine segment
22 facilitates the delivery of an intact specimen.

23 Q. That's right. And if the misoprostol and the laminaria
24 were not used in combination, then you would not have the
25 circumstance that you just described, and the procedure would

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

- 1 probably go forward as a dismemberment D&E, right?
- 2 A. I guess the preparation of the cervix for the procedure in
- 3 the absence of those two drugs would probably make it more
- 4 difficult to do an intact procedure and would lead to a higher
- 5 chance of doing a dismemberment procedure.
- 6 Q. In your opinion, the use of more laminaria and misoprostol
- 7 increases the likelihood of a fairly rapid delivery of an
- 8 intact fetus, right?
- 9 A. I would agree with that, yes.
- 10 Q. It is your understanding, isn't it, Dr. Johnson, that
- 11 physicians who are attempting to perform intact D&E will use
- 12 more laminaria per insertion in the woman and are using two and
- 13 sometimes three insertions prior to the surgical procedure?
- 14 A. With respect to intact procedures or with respect to all
- 15 surgical procedures?
- 16 Q. Intact procedures.
- 17 A. I think physicians are using more laminaria and cytotec in
- 18 combination for virtually all the procedures they do, both
- 19 intact and dismemberment and medical, because of the advantage
- 20 that I spoke of earlier.
- 21 Q. Would you agree, Doctor, that a physician could avoid the
- 22 degree of dilation that would result in a fetus coming out
- 23 intact if the physician did not use misoprostol in combination
- 24 with laminaria?
- 25 A. I think they would decrease the chances of an intact

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 delivery. But the reason that physicians are using more
2 laminaria and more cytotec is to prevent rapid dilatation of
3 the cervix and to decrease the risk of damage to the cervix
4 during the procedure.

5 The reason we are using more laminaria and cytotec is
6 actually to decrease cervical complications, and that is the
7 reason it is being used more liberally. So I don't know why a
8 physician would avoid using those drugs when a physician feels
9 that they are benefiting the patient and making for a safer,
10 less risky procedure.

11 Q. You have heard the term "classic D&E," right?

12 A. Classic D&E, yes.

13 Q. In your mind that term is synonymous with D&E by
14 dismemberment, isn't it?

15 A. I would use those terms interchangeably.

16 Q. You can't think of any situation where abortion by D&E
17 dismemberment would be contraindicated after 20 weeks, can you?

18 A. Not absolutely contraindicated, no.

19 Q. You can't think of any situation after 20 weeks where
20 surgical termination wouldn't be a clinical option. Let me go
21 back a minute. You just said in answer to my question,
22 absolutely contraindicated. What did you mean by that?

23 A. That the procedure could not be done under the
24 circumstances.

25 Q. So it can be done, there is no contraindication, after 20

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 weeks for the performance of D&E by dismemberment, is that
2 right?

3 A. I can't think of a situation where that particular
4 procedure could not potentially be done. There might be a
5 situation where another procedure might be better, but I can't
6 think of a particular situation that that procedure would be
7 absolutely contraindicated.

8 Q. It is your view, isn't it, that the D&E by dismemberment
9 and medical induction have comparable advantages for abortion
10 during the 16 to 20 week gestation age range?

11 A. I think they are comparable advantages. I think there are
12 some relative benefits for the D&E procedure, but I think they
13 are both relatively safe procedures and are widely considered
14 to be safe, yes.

15 Q. And have comparable advantages, right?

16 A. And have comparable advantages? Well, they have different
17 advantages. The medical abortion procedure would have the
18 advantage of an intact fetus. The D&E procedure would have
19 other advantages. I think one could compare the advantages.
20 It would depending on the clinical situation. One might use
21 those relative advantages to pick or choose what one did.

22 Q. But after 20 weeks, when the risks for both are higher, in
23 your opinion the relative comparative advantages of D&E by
24 dismemberment may not be as high as those of medical induction
25 abortion, right?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 A. I think I said earlier that the comparative risks increase
2 after 20 weeks, and the comparative benefits probably depend on
3 the clinical situation.

4 Q. In your view, there have been advances since the 1980s in
5 the medical abortion technique, right?

6 A. Yes.

7 Q. That is what we spoke about this morning, the use of
8 misoprostol and vaginal agents that have clinical profiles
9 suggesting there might be shorter abortion initiation time for
10 medical induction abortion and that suggest that there might be
11 some improved profile characteristics, correct?

12 A. I think that's correct, yes.

13 Q. In your view, some of these improvements may translate into
14 increased safety for a medical abortion and less risks
15 associated with the procedure, right?

16 A. I think there is the potential for that, yes.

17 Q. You testified this morning about contraindications for a
18 medical induction abortion. There are two types of
19 contraindications, right?

20 A. We generally talk about relative contraindications and
21 absolute contraindications.

22 Q. In your view, you think medicine has pretty much gotten
23 away from the idea of absolute contraindications, isn't that
24 right?

25 A. Yes. I think I would be uncomfortable saying that never

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 was a procedure possible. So I would be uncomfortable with an
2 "always" or a "never" in a clinical situation.

3 Q. You also testified this morning about the circumstances
4 when a patient has had a prior C-section, especially a
5 classical C-section on the vertical incision or a patient with
6 multiple C-sections, correct?

7 A. Right. We talked about that this morning.

8 Q. In these circumstances, in order to determine whether the
9 abortion should be by medical induction, you would want to look
10 at the risk/benefit ratio to the woman, wouldn't you?

11 A. Yes. I think you would look at the relative risk, you
12 would look at the benefits. You would discuss the options and
13 the risks with the patient and make a decision there.

14 Q. You would want to look at the type of incision, the type of
15 surgery, the number of prior surgeries, and there might be
16 situations where medical induction abortion could be safely
17 performed, isn't that right?

18 MR. HUT: Objection: Compound.

19 THE COURT: Overruled.

20 A. Can I hear the question again, please.

21 (Question read)

22 THE COURT: I am going to change my ruling, Ms. Gowan.
23 Break it down.

24 Q. In making that assessment, that risk/benefit assessment,
25 you would want to look at what the type of incision was, isn't

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 that right?

2 A. That would be one of the things you would consider if you
3 were dealing with a previous surgical scar, yes.

4 Q. You would want to look at how many prior C-sections there
5 had been, right?

6 A. Those would be informative historical factors yes.

7 Q. After taking that information into account, there might be
8 situations where a medical induction abortion could be safely
9 performed, isn't that right?

10 A. I think there are certain situations where a medical
11 abortion could be selected and where it could be performed
12 relatively safely, yes.

13 Q. You are aware of references in the literature that medical
14 induction is safe for women with prior low transverse
15 C-sections, right?

16 A. Yes.

17 Q. In cases of a classical C-section incision, you might find
18 some benefit to doing a surgical D&E by dismemberment under
19 ultrasound guidance, where you can watch the incision, right?

20 A. That might be an interesting clinical modification to make
21 in those situations.

22 Q. You have taken a woman with a prior classical C-section to
23 term and delivery through a trial of labor, haven't you,
24 Doctor?

25 A. Yes.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - cross

1 Q. When you testified this morning about the C-section rate
2 being at 20 to 25 percent, you were talking about all
3 C-sections, isn't that right?

4 A. Correct.

5 Q. That wasn't 20 to 25 percent with a classical C-section,
6 correct?

7 A. No. That was all Cesarean section rates, repeats and
8 primaries. So that is the total Cesarean section rate for an
9 institution.

10 Q. This morning you also described a situation involving a
11 myomectomy. Isn't it true, Doctor, that medical abortion is
12 sometimes used for patients with a myomectomy?

13 A. It can be, yes.

14 Q. You reviewed your patient medical records in connection
15 with discovery in this case recently, isn't that right?

16 A. I reviewed some of my patients' medical history and medical
17 records, yes.

18 Q. You reviewed the records that were responsive to the
19 government's discovery request, didn't you, Doctor?

20 A. Yes, I did. But I didn't review all of my patients'
21 medical records, just those that were --

22 Q. And you made a diligent review for records that were
23 responsive to the government's discovery request, right?

24 A. Yes.

25 Q. And you made a thorough review, right?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 A. I made a thorough review in an attempt to answer the
2 discovery questions, yes.

3 Q. You couldn't find a single medical record where, for
4 abortions that you performed or supervised within the past
5 three years, the induction method of abortion was considered
6 but rejected because the method was contraindicated, isn't that
7 right, Doctor?

8 A. I think what I answered this morning was that I have not
9 done personally any D&E's in the last several years, so it
10 would have been impossible for me to find any cases where
11 medical abortion had been considered where I then did a
12 procedure, since I haven't done the procedure in the last three
13 years.

14 Q. Doctor, you have done medical induction abortions in the
15 past three years, haven't you?

16 A. The records that I was asked to identify were records of
17 patients who had had consideration made for a medical abortion
18 where it was felt to be contraindicated and then who
19 subsequently had a D&E procedure where I was the surgeon. And
20 since I did not do any D&E's, it would have been impossible for
21 me to find any cases where patients fit that category.

22 Q. That's right. So doesn't that mean that all of the
23 procedures that you performed in the past three years were by
24 medical induction abortion and the procedure was not
25 contraindicated?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - cross

1 A. That's correct, yes.

2 Q. Is it fair to say, in your opinion, that there are some
3 different steps taken by doctors to effectuate an intact
4 removal as opposed to steps taken in D&E by dismemberment?

5 A. I think that there are specific steps that could be taken
6 to maximize the potential for an intact procedure.

7 Q. Two of those we have already spoken about, and that is the
8 increased use of laminaria and the use of misoprostol, right?

9 A. Right. I think we also spoke about the use of ultrasound
10 as another potential.

11 Q. The ultrasound itself doesn't do anything to effectuate the
12 intact removal; it just assists the physician in viewing the
13 operating area, isn't that right?

14 A. That would be correct, yes.

15 Q. You are not aware of any significant data that has been
16 published that would show that intact D&E is necessarily a
17 safer procedure than D&E by dismemberment, correct?

18 A. That is correct. I am not aware of any data that has been
19 published reporting on D&E.

20 Q. You haven't seen anything written that connects the
21 advances with laminaria and misoprostol with the performance of
22 intact D&E in a way to suggest that there might be advantages
23 to intact D&E, have you?

24 A. Have I seen anything written about that?

25 Q. That connects the two.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43vrnat2

Johnson - cross

1 A. No. That is the kind of connection that clinicians make in
2 their practice. Since there have been no reports about intact
3 procedures, I would be hard-pressed to find any articles that
4 talked about the relationships between advances in surgical
5 technique and intact procedures.

6 Q. When you testified before Judge Rosen in 1997, you didn't
7 have an opinion on the safety of intact D&E relative to D&E by
8 dismemberment, did you?

9 A. That's correct.

10 Q. I understand that you testified this morning that intact
11 D&E has safety advantages over D&E. But isn't it a fact,
12 Doctor, that your view is that it may have some safety
13 advantages, not that it does?

14 A. I guess my opinion is that there are clinical factors to
15 suggest that there would be advantages that I described this
16 morning comparing the intact procedure to the dismemberment
17 procedure.

18 Q. When you say would be, are you saying may be?

19 A. I would say that with a reasonable degree of clinical
20 certainty, there probably are differences.

21 Q. Directing your attention to page 148/line 6 of your
22 deposition testimony in this case. By way of background, I am
23 referring to your testimony before Judge Rosen in 1997.

24 "Q. You were asked, 'Doctor, do you have an opinion on the
25 safety of intact D&E procedure relative to conventional D&E?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 'A. Not really.' And you were asked some sort of more narrow
2 questions. Is it still true today that you do not really have
3 an opinion on the safety of intact D&E relative to conventional
4 D&E's or have you changed your view.

5 "A. Yes. Let me take a look at what was going on before,"
6 reviewing the testimony. Then you go on and you say, "I guess
7 in response to your question I would say that my opinion has
8 changed since 1997.

9 "Q. OK.

10 "A. That I think that the discussions that I'm beginning to
11 hear about the intact D&E procedure suggest to me that there
12 may be some safety advantages to the procedure. In 1997 I
13 really didn't have an opinion. In 2004 it's my opinion that
14 there may be some safety benefits, but that's based on clinical
15 experience, discussions with colleagues, information that I
16 discovered that I've read this weekend, and changes in
17 practice" -- excuse me. I'm missing one page, and I want to be
18 sure to read the rest of your answer -- "and changes in
19 practice that I'm seeing as people are talking about the
20 increase in ability to successfully perform an intact D&E
21 procedure using the ancillary techniques that we've already
22 talked about.

23 "Q. When you say the clinical conditions, what were you
24 referring to just now?

25 "A. I wasn't listening to myself, so -- I suspect that I was

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 talking about cytotec, laminaria, ultrasound guidance. I think
2 I was talking about clinical technique, clinical adjuncts."

3 Were you asked those questions and did you give those
4 answers at your deposition in this case?

5 A. As you were reading that, were there some areas that you
6 skipped over? I don't have the text in front of me. If you
7 read the text, then I assume that reflects what I said.

8 Q. The only area that I skipped over was just my handing you
9 at the deposition. As you recall, I was handing you my
10 highlighted portion of the testimony so that you could be sure
11 to see it when I was asking the questions.

12 A. I'm sorry. I wasn't quite sure what the ellipses were.

13 The question is does that reflect my testimony that day?

14 Q. Were you asked those questions and did you give those
15 answers at your deposition in this case?

16 A. I assume so.

17 THE COURT: Do you have a doubt?

18 THE WITNESS: No. I don't have the deposition here in
19 front of me. No, I'm sure that reflects the deposition. I
20 didn't read it. If you read all that was written down there,
21 then I'm sure that reflects the record.

22 Q. In 1997 you also told Judge Rosen that you weren't sure
23 whether you could conceive of a situation where intact D&E
24 might be the best medical procedure, correct?

25 A. I think that's correct, yes.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2

Johnson - cross

1 Q. Your view has changed since that testimony, but only
2 insofar as there might be a situation where a woman with a
3 fetal anomaly does not want to have an induction abortion, she
4 wants to have a quick surgical procedure, and says if I can
5 have a pathological evaluation of the fetus, I would like it;
6 isn't that right, Doctor?

7 A. I don't think that is the only reason. That would be one
8 potential advantage. But I think we already spoke about the
9 advantages of fewer passes, lower risk of perforation, and so
10 on.

11 Q. Directing your attention to page 151/line 17 of your
12 deposition in this case, where I am asking you about your prior
13 testimony to Judge Rosen. Beginning on line 17:

14 "Q. Sitting here today, can you identify a situation where the
15 use of intact D&E might necessarily be the best medical
16 procedure, or does your answer to that question remain the
17 same?"

18 "A. I think my opinion has changed on this since 1997. I think
19 that the situation we talked about earlier, a patient with a
20 fetal anomaly where a postmortem evaluation might be
21 informative, who does not want to have a medical procedure but
22 prefers to have a surgical procedure, an intact D&E might be
23 the best medical procedure in that particular situation for
24 that particular patient."

25 "Q. Well, when you say the patient doesn't want to have a

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - cross

1 medical abortion, what do you mean?

2 "A. That the patient --

3 "Q. That they have --

4 "A. -- says I don't.

5 "Q. -- a choice?

6 "A. Yeah, that the patient says I don't want to be in labor, I

7 don't want to have an induction, I want to have a quick

8 surgical procedure, and I want it done today.

9 "Q. OK.

10 "A. But if I can have a pathological evaluation, I'd like it.

11 "Q. OK, fine."

12 Were you asked those questions and did you give those
13 answers at your deposition in this case?

14 MR. HUT: Your Honor, I object at this point. That is
15 a transcript in which there is a lot of back and forth between
16 what was said in 1997, what was being said at the deposition,
17 and now we are being asked what was said here today. I wish
18 that Ms. Gowan would show Dr. Johnson a copy of the transcript
19 so that it can be intelligently followed by the witness.

20 THE COURT: Overruled, Mr. Hut. And, by the way,
21 don't use that technique again.

22 MS. GOWAN: Yes, your Honor.

23 THE COURT: I'm not talking to you. I'm talking to
24 Mr. Hut. In this court this is known as telegraphing to the
25 witness, Mr. Hut. Don't do that ever again in this courtroom.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat2 Johnson - cross

1 MR. HUT: I apologize, your Honor. It was not my
2 intention.

3 THE COURT: You may proceed, Ms. Gowan.

4 Q. Were you asked those questions and did you give those
5 answers at your deposition in this case, Doctor?

6 A. Those were my answers to those questions, yes.

7 Q. You are not sure, are you, whether intact D&E adds
8 substantially to the safety of D&E?

9 A. It is my belief that it does.

10 (Continued on next page)

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

43V5NAT3 Johnson - cross

1 BY MS. GOWAN:

2 Q. Directing your attention to page 206, line 1 of your
3 deposition in this case:

4 "Q Does intact D&E, in your view, add substantially
5 to the safety of D&E?"

6 "A I'm not sure. I think it has the potential to
7 add marginally. Where it adds substantially I don't know."

8 Were you asked that question and did you give that
9 answer in your deposition in this case?

10 A. I believe so. Yes.

11 Q. Now, we spoke this morning about the risk of conversion to
12 a footling breech. Now, as you understand it, with performing
13 intact D&E some physicians bring the fetus to a double footling
14 breech presentation either with instruments or their fingers,
15 correct?

16 A. That's correct, yes.

17 Q. And would you agree, would you not, Doctor, that that
18 maneuver presents a potential risk of perforation?

19 A. Well, I think there is a potential risk. I think it is a
20 very, very low risk in a midtrimester procedure.

21 Q. You would agree it presents potential risk, correct?

22 A. It presents a potential risk, yes.

23 Q. And we were, you were talking this morning about the idea
24 that there was less risk with the intact D&E procedure because
25 there are less passes with instruments and less bony parts and

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Johnson - cross

1 you talked about uterine perforation.

2 Now, wouldn't you agree that uterine perforation is an
3 uncommon complication of second trimester surgical abortion?

4 A. Well, uterine perforation is a serious complication of one
5 of the more serious complications. I would -- I guess I would
6 say that midtrimester surgical, we have said earlier that it's
7 a relatively safe procedure so all complications are relatively
8 uncommon for second trimester procedures. Uterine perforation
9 is one of the more common of those uncommon complications.

10 Q. It varies from the low range of .5 per thousand to a high
11 of two to three per thousand, isn't that right?

12 A. I think that ballpark range is probably about right.

13 Q. And that's available data relating to D&E from 16 to 20
14 weeks, isn't that right?

15 A. I think those numbers would obviously increase with
16 advancing gestational age but those are the generally quoted
17 numbers for perforation between 16 and 20 weeks.

18 Q. But you have never seen any data that you would consider
19 reliable for perforation after 20 weeks, right?

20 A. I don't know of any data that I would consider reliable.

21 Q. Now, you testified this morning that you disagreed with
22 Congress' finding about the risk of cervical incompetence?

23 A. Correct.

24 Q. Now, in 1997 you testified about potential risks of
25 cervical dilation including incompetent cervix or preterm

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Johnson - cross

- 1 labor, and you expressed the view at that time that you
2 couldn't really tell whether there might be a risk from the
3 dilation of the cervix in intact D&E, isn't that right?
4 A. In 1997 when we were using different techniques that was my
5 opinion; yes.
6 Q. But you're not aware of any published studies relating to
7 the risk of cervical competence associated or not associated
8 with intact D&E, are you?
9 A. I'm not aware of any data to suggest that there is an
10 increased risk of cervical incompetence associated with second
11 trimester D&E procedures.
12 Q. It's not studied, is it, Doctor?
13 A. Well, that's the whole point. Congress made a finding
14 there was an increased incidence of cervical incompetence and
15 it's not been studied so I don't know what the basis for their
16 finding is since there is no data that I know of to support
17 that finding.
18 Q. But intact D&E has never been studied, has it, Doctor?
19 A. Well, I think that there are ongoing studies that I know
20 about with respect to intact D&E. To my knowledge there are no
21 published studies with respect to intact D&E.
22 Q. The only study that you're aware of, isn't it true, Doctor,
23 is the study that was prepared by the plaintiff, one of your
24 co-plaintiffs in this case, Dr. Chasen?
25 A. That's correct. That's the only study I'm aware of.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Johnson - cross

- 1 MS. GOWAN: No further questions. Thank you.
2 THE COURT: Mr. Hut, do you have any redirect?
3 MR. HUT: I believe I have only one or two questions,
4 your Honor.
5 REDIRECT EXAMINATION
6 BY MR. HUT:
7 Q. Dr. Johnson, please return to Government Exhibit A-4.
8 A. I don't have it anymore. Is that the Evans deposition?
9 Q. No, sir.
10 A. I'm sorry.
11 Q. That is your declaration in the Evans v. Granholm case. Do
12 you no longer have that?
13 A. I never got it. I got the wrong deposition and then
14 Ms. Gowan didn't give me the other deposition.
15 MR. HUT: Your Honor, may I approach?
16 THE COURT: You may.
17 BY MR. HUT:
18 Q. You did not have a copy of Government Exhibit A-4 before
19 you when you answered questions about paragraph 13 of your
20 declaration in Evans v. Granholm?
21 A. That's correct.
22 Q. Turning your attention, please, to paragraph 13,
23 Dr. Johnson.
24 A. Yes.
25 Q. Does paragraph 13 address the disarticulation or

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Johnson - redirect

1 dismemberment variation of D&E?

2 A. It describes the dismemberment disarticulation variant.

3 MR. HUT: Thank you.

4 No further questions, your Honor.

5 THE COURT: Ms. Gowan?

6 MS. GOWAN: Nothing, your Honor.

7 THE COURT: If you are all finished let me just ask

8 you a couple questions, Dr. Johnson.

9 I heard you talk a lot today about dismemberment D&E
10 procedure, second trimester; does the fetus feel pain?

11 THE WITNESS: I guess I --

12 THE COURT: There are studies, I'm told, that says
13 they do. Is that correct?

14 THE WITNESS: I don't know. I don't know of any -- I
15 can't answer your question. I don't know of any scientific
16 evidence one way or the other.

17 THE COURT: Have you heard that there are studies
18 saying so?

19 THE WITNESS: I'm not aware of any.

20 THE COURT: You never heard of any?

21 THE WITNESS: I'm aware of fetal behavioral studies
22 that have looked at fetal responses to noxious stimuli.

23 THE COURT: Does it ever cross your mind when you are
24 doing a dismemberment?

25 THE WITNESS: I guess whenever I --

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Johnson - redirect

1 THE COURT: Simple question, Doctor. Does it cross
2 your mind?

3 THE WITNESS: Does the fetus having pain cross your
4 mind?

5 THE COURT: Yes.

6 THE WITNESS: No.

7 THE COURT: Never crossed your mind.

8 THE WITNESS: No.

9 THE COURT: When you have done D&Es or when you have
10 done abortions, do you tell the woman various options that are
11 available to her?

12 THE WITNESS: Yes, sir.

13 THE COURT: And do you explain what is involved like
14 in D&E, the dismemberment variation? Do you tell her that?

15 THE WITNESS: We would describe the procedure, yes.

16 THE COURT: So you tell her the arms and legs are
17 pulled off. I mean, that's what I want to know, do you tell
18 her?

19 THE WITNESS: We tell her the baby, the fetus is
20 dismembered as part of the procedure, yes.

21 THE COURT: You are going to remove parts of her baby.

22 THE WITNESS: Correct.

23 THE COURT: Are you ever asked, Does it hurt?

24 THE WITNESS: Are we ever asked by the patient?

25 THE COURT: Yes.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Johnson - redirect

- 1 THE WITNESS: I don't ever remember being asked.
2 THE COURT: And although you have never done an intact
3 D&E, do you know whether or not the incision of the scissors in
4 the base of the skull of the baby, whether that hurts?
5 THE WITNESS: Well, I guess my response would be I
6 think that the baby feels it but I'm not sure how pain
7 registers on the brain at that gestational age. I'm not sure
8 how a fetus at 20 weeks or 22 weeks processes and understands
9 pain.
10 THE COURT: You have never done one of these
11 procedures but did you ever ask what -- you say you know about
12 it clinically, did you ever ask one of those who perform them
13 whether it hurts the fetus?
14 THE WITNESS: No, sir.
15 THE COURT: When you describe the possibilities
16 available to a woman do you describe in detail what the intact
17 D&E or the partial birth abortion involves?
18 THE WITNESS: Since I don't do that procedure I
19 wouldn't have described it.
20 THE COURT: Did you ever participate with another
21 doctor describing it to a woman considering such an abortion?
22 THE WITNESS: Yes. And the description would be, I
23 would think, descriptive of what was going to be, what was
24 going to happen; the description.
25 THE COURT: Including sucking the brain out of the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

1 skull?

2 THE WITNESS: I don't think we would use those terms.
3 I think we would probably use a term like decompression of the
4 skull or reducing the contents of the skull.

5 THE COURT: Make it nice and palatable so that they
6 wouldn't understand what it's all about?

7 THE WITNESS: No. I think we want them to understand
8 what it's all about but it's -- I think it's -- I guess I would
9 say that whenever we describe medical procedures we try to do
10 it in a way that's not offensive or gruesome or overly graphic
11 for patients.

12 THE COURT: Can they fully comprehend unless you do?
13 Not all of these mothers are Rhodes scholars or highly
14 educated, are they?

15 THE WITNESS: No, that's true. But I'm also not
16 exactly sure what using terminology like sucking the brains out
17 would --

18 THE COURT: That's what happens, doesn't it?

19 THE WITNESS: Well, in some situations that might
20 happen. There are different ways that an after-coming head
21 could be dealt with but that is one way of describing it.

22 THE COURT: Isn't that what actually happens? You do
23 use a suction device, right?

24 THE WITNESS: Well, there are physicians who do that
25 procedure who use a suction device to evacuate the intercranial

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

1 contents; yes.

2 THE COURT: It is so much nicer to say evacuate but
3 now you told me also, which I am trying to comprehend, that
4 some women prefer this procedure so that it helps in the
5 grieving process -- that they can hold this fetus that they
6 have, just a short while prior to this, ordered the physician
7 to kill this baby by this procedure?

8 THE WITNESS: Well, I was talking in the context of
9 our, in our, of our institution where most of these pregnancies
10 are desired pregnancies with multiple anomalies where the
11 mother has decided to terminate a desired pregnancy with a
12 heart defect or an abnormal brain or abnormal kidneys.

13 THE COURT: But they've also, since you say full
14 disclosure, they've also authorized you to kill this fetus by
15 the procedure described by you, at least two of them,
16 dismemberment.

17 THE WITNESS: Or medical induction; that's right, sir.
18 Yes.

19 THE COURT: Thanks, Doctor, very much. You may step
20 down.

21 THE WITNESS: Thank you, your Honor.

22 THE COURT: Mr. Hut, you may call your next witness.

23 MR. HUT: Your Honor, the next witness is Dr. Cassing
24 Hammond and my colleague Lorie Chaiten will conduct the
25 examination of Dr. Chasen.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Johnson - redirect

1 THE COURT: The lawyer's name is Chaiten?

2 MR. HUT: Correct, your Honor.

3 MS. CHAITEN: Good afternoon, your Honor.

4 THE COURT: Good afternoon.

5 CASSING HAMMOND,

6 called as a witness by the Plaintiff,

7 having been duly sworn, testified as follows:

8 DIRECT EXAMINATION

9 BY MS. CHAITEN:

10 Q. Dr. Hammond, please introduce yourself.

11 A. My name is Dr. Cassing Hammond.

12 Q. Dr. Hammond, where are you currently employed?

13 A. I'm currently employed by the Northwestern Medical Faculty
14 Foundation at Northwestern University Medical School.

15 Q. Can you please describe briefly what the Northwestern
16 Medical Faculty Foundation is?

17 A. The Northwestern Medical Faculty Foundation is a
18 multi-speciality organization that comprises all the full-time
19 teaching faculty of Northwestern University Medical School.

20 THE COURT: Doctor, would you please just slow down a
21 little bit? Our reporters are world class but they're only
22 human.

23 THE WITNESS: I'm sorry, your Honor.

24 THE COURT: Just slow down. It would be helpful.

25 BY MS. CHAITEN:

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 Q. Are you a physician?

2 A. Yes, I am.

3 Q. What positions do you hold?

4 A. I am currently an assistant professor of obstetrics and
5 gynecology at Northwestern and I wear a variety of different
6 clinical and administrative hats.

7 I am a member of the division of general obstetrics
8 and gynecology. I am also the gynecologic consultant for the
9 rehabilitation institute of Chicago. I am the gynecologic
10 consultant for the Comprehensive Women's AIDS Center at
11 Northwestern Memorial Hospital.

12 I am also the director of the program and fellowship
13 in family planning at Northwestern University.

14 From an administrative standpoint and educational
15 standpoint I am medical director at Prentice Ambulatory Care.

16 I am also is director of our third-year clerkship in
17 OB/GYN at Northwestern University Medical, and assistant
18 director of the Division of Undergraduate Education for our
19 department.

20 Q. Dr. Hammond, I'm going to ask you to briefly describe your
21 responsibilities associated with each of these positions but
22 first I would like to ask you a couple of questions for
23 clarification.

24 You mentioned the word Prentice a number of times,
25 what is Prentice?

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT3 Hammond - direct

1 A. Prentice Womens Hospital is a part of Northwestern Memorial
2 hospital. It is actually our free-standing women's hospital so
3 it's part of the same corporation as Northwestern Memorial, but
4 is a separate structure.

5 Q. You also mentioned the Rehabilitation Institute of Chicago;
6 what is that?

7 A. The Rehab Institute of Chicago is a free-standing
8 rehabilitation hospital. It actually stands immediately
9 adjacent to Prentice. It is also a separate corporate entity
10 from Northwestern Memorial Hospital but it has very close ties
11 to the Northwestern University Medical School system.

12 Q. Thank you, Dr. Hammond.

13 Let's start with that one. You mentioned that you are
14 the gynecologic consultant for the Rehabilitation Institute of
15 Chicago; what responsibilities does that entail?

16 A. These are clinical responsibilities. I see patients each
17 month in the women's center at the rehab institute so,
18 essentially, I am providing obstetric and gynecologic care to
19 women with severe disabling conditions.

20 Q. Doctor, do you assist those women in carrying healthy
21 pregnancies to term?

22 A. Yes, I do.

23 Q. You also mentioned that you are gynecologic consultant to
24 Northwestern Memorial's comprehensive AIDS center; can you
25 please describe what responsibilities that entails?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 A. One day each week the comprehensive AIDS center becomes a
2 womens center where we have both two infectious disease
3 doctors, a high-risk obstetrician and myself, I perform all the
4 gynecologic care that day in that center.

5 Q. Those are for patients who are suffering from HIV and AIDS?

6 A. That is correct.

7 Q. Doctor, you also mentioned the Northwestern program in
8 family planning; can you describe your responsibilities in
9 connection with this program?

10 A. I supervise the performance of pregnancy terminations from
11 very early in gestation through 24 weeks that are referred to
12 at Northwestern, us at Northwestern's family planning center.

13 I also supervise fellowship, a two-year advanced
14 training program where people are receiving training in these
15 procedures and also in family planning and contraceptive
16 research, generally.

17 Q. And that's a fellowship program for physicians?

18 A. That is correct.

19 Q. Doctor, what responsibilities are involved in your role as
20 the Director of Prentice Ambulatory Care surgery?

21 A. This is predominantly an administrative function.

22 Prentice Ambulatory Care, or PAC as we call it, is a
23 clinic that serves predominantly a low-income population. We
24 have a general OB/GYN clinic as part of it, we have a high-risk
25 OB clinic, and several other smaller clinics. And I'm the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 person who is consulted regarding medical policy issues,
2 particularly those that kind of fall between cracks within each
3 of the clinics.

4 Q. And then you also mentioned that you are the director of
5 the clerkship program at Prentice Women's Hospital; what is
6 that program and what are your responsibilities?

7 A. Every 12 weeks -- excuse me, every six weeks at Prentice we
8 have 12 students who rotate through our hospital as part of
9 their third-year clerkship, which is where medical students
10 receive the majority of their basic OB/GYN training.

11 I am responsible for all the students who rotate,
12 specifically at Prentice Women's Hospital, which is the largest
13 site for OB training in our system.

14 As assistant director of the undergrad education
15 division I also assist our director in managing all three of
16 those sites.

17 Q. And finally, Dr. Hammond, you mentioned that you're an
18 assistant professor in the Department of Obstetrics and
19 Gynecology at Northwestern University; what responsibilities
20 are involved in that position?

21 A. That's my academic title.

22 I was originally hired as an instructor and was then
23 promoted to assistant professor several years, and it really
24 indicates my teaching responsibilities within the department.

25 Q. And does that involve teaching of medical students and

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 residents and fellows?

2 A. That is correct.

3 Q. Doctor, why are you here today?

4 A. I'm here as a plaintiff challenging assertions in the
5 Partial-Birth Abortion Act of 2003.

6 I am also here as an expert in obstetric, gynecologic
7 and abortion practices.

8 Q. Why did you bring this action?

9 A. I brought this action because I disagree with assertions in
10 the Partial-Birth Abortion Act of 2003. I'm especially
11 concerned that the legislation is vague and could sweep in a
12 large number of the procedures I do, and I'm concerned that the
13 assertions the Act makes regarding safety are also not true.

14 Q. On what subject or subjects do you intend to offer opinions
15 in this case?

16 A. I intend to offer opinions regarding both why I believe
17 that this legislation is vague and also why I believe that the
18 safety assertions are untrue.

19 Q. Do you consider yourself qualified to offer opinions in
20 those areas?

21 A. Yes, I do.

22 Q. Doctor, before we get to those opinions, let's go back and
23 discuss your experience and background a bit more.

24 Where did you earn your medical degree?

25 A. I earned my medical degree through the six year B.A.M.D.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 program at the University of Missouri in Kansas City.

2 Q. In what year was that?

3 A. That was in 1988.

4 Q. Have you received any other formal medical training?

5 A. Yes, I have.

6 I performed my residency in obstetrics and gynecology
7 at the University of Rochester in Rochester, New York and
8 completed that training in 1992.

9 Q. Is obstetrics and gynecology your speciality?

10 A. Yes, it is.

11 Q. Do you have any board certifications?

12 A. Yes. I am boarded by the American Board of Obstetrics and
13 Gynecology.

14 Q. And when did you become board certified in that area?

15 A. I completed the boarding process in 1994.

16 Q. And do you annually recertified?

17 A. Yes, I do.

18 Q. Is that compulsory or voluntary?

19 A. No, it's voluntary.

20 Q. Dr. Hammond, do you currently treat patients?

21 THE COURT: Excuse me, could I interrupt?

22 Would you mind explain what boarding is that you do
23 voluntarily?

24 THE WITNESS: Certainly, your Honor.

25 Many of the boarding agencies for various specialities

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 have started to require recertification as opposed to what they
2 previously did where somebody was boarded and then set for
3 life.

4 You have the option currently, in OB/GYN, to retake a
5 written examination, I believe every eight years; or every year
6 to retake a series of questions based on articles that the
7 American Board of OB/GYNy selects.

8 So, each year on a voluntary basis I choose to
9 recertify by reading approximately 30 articles and answering
10 120 out of 180 questions that they provide.

11 THE COURT: Thank you, Doctor.

12 Good ahead, Ms. Chaiten.

13 BY MS. CHAITEN:

14 Q. Doctor, I'm not sure whether you answered my last question
15 so I will repeat it just in case I missed the answer.

16 Do you currently treat patients?

17 A. Yes, I do.

18 Q. And what types of patients do you see?

19 A. I see a large number of different types of patients.

20 Approximately 60 percent of my time is simply as a
21 member of the division of general obstetrics and gynecology in
22 our faculty-based practice and there I do what you would expect
23 any private OB/GYN to do. I deliver babies and so forth.

24 I also see patients, as I have indicated, at the
25 rehabilitation institute of Chicago, I see patients in the AIDS

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 center providing their gyne care. And then I also see patients
2 who are referred to us for also first and second trimester
3 pregnancy termination.

4 Q. Doctor, do you perform surgeries?

5 A. Yes, I do.

6 Q. What types of surgeries do you perform?

7 A. Again, the majority of surgeries can would be germane to
8 general OB/GYN so, to name a few, caesarean sections, operative
9 vaginal deliveries like forceps deliveries, tubal ligations,
10 gynecologic procedures such as hysterectomy, myomectomy, and so
11 forth.

12 I also provide, as I have indicated, pregnancy
13 terminations through 24 weeks' gestation.

14 Q. Doctor, I would like to just ask you to describe what a
15 couple of those procedures you mentioned are.

16 You mentioned myomectomies, what is a myomectomy?

17 A. A myomectomy literally means removal of myomas. And
18 myomas, which are more commonly known by the words fibroid
19 tumors of the uterus, are the most common benign, i.e.,
20 cancerous tumor of the uterus.

21 Q. What is a hysterectomy?

22 A. Hysterectomy is removal of the uterus.

23 Q. Doctor, you also testified that you deliver babies; about
24 how many babies do you deliver each year?

25 A. I delivered approximately 100 babies last year.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 Q. And you have testified that you perform abortions; how long
2 have you been performing abortions?

3 A. I began providing pregnancy terminations in the first year
4 of my residency. I have continued since that time, so
5 approximately 15 years.

6 Q. And what types of abortions have you performed over the
7 course of your career?

8 A. I have provided both medical abortions and also surgical
9 abortions.

10 Q. Can you describe for me what you mean by medical and
11 surgical abortions, please?

12 A. Well, medical abortion involves giving some kind of
13 medication or drug to induce abortion. So, in the first
14 trimester of pregnancy you are inducing something akin to a
15 miscarriage.

16 In the second trimester of pregnancy you are, in
17 essence, inducing labor to cause a patient to deliver.

18 For surgical abortions, typically in the first
19 trimester this involves suction curettage where we vacuum the
20 uterine lining.

21 In the second trimester pregnancy it involves a
22 performance of the procedure dilation and evacuation, commonly
23 abbreviated D&E.

24 Q. Doctor, do you currently perform each of these types of
25 abortions?

43V5NAT3 Hammond - direct

1 A. Yes, I do.

2 Q. And approximately how many abortions have you performed in
3 your career?

4 A. I have performed at least approximately 3,000 abortions
5 since beginning my residency and probably a thousand or more
6 D&Es.

7 Q. How frequently do you currently perform abortions?

8 A. I am currently scheduled to perform abortions each Tuesday
9 and also each Friday; they are referred to the family planning
10 service.

11 We often have to add extra procedures in because of
12 the nature of our practice, so we will occasionally add them in
13 that have to be done sooner and other times of the week, or
14 even the weekend.

15 Q. What type of abortions are you currently providing in your
16 practice?

17 A. Really all types I have been trained to do, so both medical
18 and surgical abortions in the first as well as the second
19 trimester.

20 Q. So that would include the procedures you have described for
21 us a few minutes ago, the suction curettage and the medical
22 abortion in the first trimester, and the dilation and
23 evacuation and labor induction procedure in the second
24 trimester; is that correct?

25 A. That is correct.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT3 Hammond - direct

1 Q. Doctor, what gestational ages do you perform abortions?

2 A. We currently provide abortions from very early in
3 gestation, really as early as the pregnancy is diagnosed. So,
4 approximately five weeks until 24 weeks.

5 Q. Do you have formal training in providing abortion care?

6 A. Yes, I do.

7 Q. Can you describe that for us?

8 A. Well, I received training when I was a resident in
9 obstetrics and gynecology.

10 When I was at the University of Rochester obtaining
11 training abortion training was optional but was routinely
12 provided to residents, and I chose to learn how to do this.
13 So, I was trained in my residency program to provide surgical
14 abortion through approximately 20 weeks' gestation.

15 THE COURT: Ms. Chaiten, is this a convenient time to
16 take our afternoon break? Or do you have a little bit more you
17 would like to do on this?

18 MS. CHAITEN: Your Honor, if I might just ask one
19 follow up question and then it would be a fine time to take a
20 break.

21 THE COURT: Of course. Go ride ahead.

22 BY MS. CHAITEN::

23 Q. Have you received any additional training in abortion care?

24 A. Yes, I have.

25 After I finished residency I continued to provide

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 abortion care, but when I moved to Northwestern University had
2 really only been trained through approximately 20 weeks'
3 gestation.

4 At that point my boss was Dr. Marilyn Frederikson, she
5 needed something to be able to assist with these procedures
6 because there is a lack of people out there who are actually
7 trained to do them.

8 And she -- in essence I was an apprentice to her as I
9 gradually advanced the gestational age at which I was
10 comfortable to 22 weeks. And then, after approximately 2001,
11 gradually advanced my comfort level, had advanced my comfort
12 level to 24 weeks.

13 MS. CHAITEN: Your Honor, this would be a fine time to
14 take a break.

15 THE COURT: Fine. The Court will stand in recess.

16 (Recess)

17 THE COURT: Before we continue I thought I would just
18 tell all of you that having experienced two days of listening
19 to this testimony, I would tell you in advance that when the
20 hearing or trial was concluded I still want summations but I'm
21 going to require written submissions on findings of fact and
22 conclusions of law, which I am going to -- at the time, I won't
23 set a date of course -- but it will be at the moment 10 days
24 after the conclusion of the hearing, all right?

25 Ms. Chaiten, you may inquire.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 MS. CHAITEN: Thank you, your Honor.

2 BY MS. CHAITEN::

3 Q. Dr. Hammond, you testified earlier that you performed
4 procedures called D&E, is that correct?

5 A. That is correct.

6 Q. Approximately how many D&E procedures have you performed
7 throughout your career?

8 A. At least a thousand.

9 Q. Can you just describe what is involved in a D&E?

10 A. Well, a D&E is really a two-day procedure, or at least a
11 two-part procedure.

12 The first part of a D&E involves dilating the cervix.

13 And after the first trimester you usually can't do that
14 adequately or safely simply by rigidly dilating the cervix with
15 a blunt instrument as we do in the first trimester.

16 So, what we usually do, once you've hit about 12
17 weeks' gestation is we use something called osmotic dilators.

18 There are several different types of osmotic dilators but we
19 use them according to a protocol that we have that's
20 gestational-age dependent and put them in the day before the --

21 THE COURT: Slow down a little bit Doctor, please?

22 THE WITNESS: I'm sorry.

23 We put them in typically the day before the procedure
24 and then the next day, once the cervix has been opened by the
25 dilators, we then proceed with the second part of the procedure

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 which is the uterine evacuation.

2 That part consists of reaching inside of the uterus

3 with one of a variety of instruments and removing the

4 pregnancy, both the fetus as well as the placenta.

5 BY MS. CHAITEN:

6 Q. Doctor, have you ever heard of an approach to D&E called

7 intact D&E?

8 A. I have heard of intact D&E and have used the term.

9 In all honesty, we use the term more with respect to

10 this type of legislation than we typically use it clinically.

11 THE COURT: Do you know what it means though? In this

12 matter in which the term is used?

13 THE WITNESS: With some ambiguity, your Honor, because

14 we try to do every D&E intact and there are variations and

15 degrees of intactness with D&E. And I hear the term used but

16 don't always know if every procedure that I'm doing qualifies

17 as an intact D&E or not.

18 Q. Doctor, in the context of your practice, what do you

19 consider an intact approach to D&E?

20 A. Well, again, every D&E that I do has as part of its goal

21 trying to remove the pregnancy as intact and as expeditiously

22 as possible. So, I can't really designate one specific degree

23 of intactness that always constitutes an intact procedure.

24 Clearly, if I perform something such as a breech

25 extraction procedure and have been able to accomplish a large

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 part of the procedure intact, that seems like an intact
2 procedure to me.

3 Q. Doctor, you mentioned a breech extraction procedure; can
4 you just describe briefly what is a breech extraction
5 procedure?

6 A. A breech extraction refers to reaching into the uterus and
7 if the fetus is presenting in a breech or buttocks-first
8 presentation, grasping a lower extremity, then grasping the
9 other lower extremity and gradually delivering the fetus -- in
10 this particular scenario, to the level of the fetal head or
11 calvarium.

12 Q. Thank you, Doctor.

13 Doctor, is it your view then that an intact D&E is a
14 separate procedure from other kinds of D&Es?

15 A. No.

16 MS. GOWAN: Objection, leading.

17 THE COURT: Sustained.

18 Q. Doctor, have you ever heard the intact D&E approach -- I'm
19 sorry, let me restate that.

20 Have you ever heard the intact approach to D&E
21 referred to by any other names?

22 A. Some people have characterized intact D&E as dilatation and
23 extraction, or D&X.

24 Q. Doctor, you described a minute ago a breech extraction; are
25 there approaches to D&E where the fetus is removed to a lesser,

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 less intact than the approach that you just described that you

2 would also consider an intact approach to D&E?

3 MS. GOWAN: Objection. Vague, leading.

4 THE COURT: May I hear the question please,

5 Ms. Reporter?

6 (Record read)

7 THE COURT: Rephrase Ms. Chaiten. It sounded leading.

8 Q. I will move on, that's fine.

9 Doctor, in the context of your practice, approximately

10 how many times have you performed an intact approach to D&E?

11 A. That's really hard for me to say because, again, we are

12 dealing with this continuum of procedures where you're really

13 looking at how intact constitutes an intact D&E. And, to be

14 honest, we don't really think much about how intact we are

15 doing it at the time. It's not something that we record or

16 that we note or keep track of.

17 Now, I can tell you that in those procedures that I do

18 between 20 and 24 weeks' gestation I am probably, in about half

19 of all of those cases, able to extract a part of the fetal

20 torso intact to the level of the fetal navel or above.

21 Q. Does that depend on fetal presentation?

22 A. Yes.

23 Typically the fetus, for me to be able to do so, would

24 have to eventually be in the breech position. It might not

25 start out in breech position but I would have to control a

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 lower extremity to eventually proceed in that fashion.

2 Q. Doctor, you testified earlier that you have teaching
3 responsibilities at Northwestern University; can you please
4 describe those in more detail?

5 A. I teach medical students, residents and fellows, each
6 appropriate to their level of training.

7 So, medical students at the first or second year
8 occasionally observe us. Medical students at the third or
9 fourth year level continue observing and occasionally will do
10 minor forms of assistance in the operating room.

11 Residents are a completely different matter. People
12 who are in residency training are training in this case to
13 become obstetricians and gynecologists and they actually do
14 procedures with us, though always under my supervision.

15 So, between the beginning of residency, which is
16 internship and the end of residency, or even the end of
17 fellowship, I supervise and assist residents as they help me
18 perform these procedures.

19 Q. Doctor, you mentioned the family planning fellowship
20 program earlier, can you describe any teaching responsibilities
21 you have in connection with that program?

22 A. My teaching responsibilities are predominantly to the
23 residents and fellows when they rotate on the family planning
24 service.

25 We have a fourth-year resident at any one point in

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 time who works with us on the service learning to perform
2 first, and complicated second, trimester procedures.

3 We also have two fellows in the program, a first-year
4 and a second-year fellow who are also learning to do these
5 procedures whom I also supervise.

6 Q. Doctor, what types of abortion procedures are taught at
7 your institution?

8 A. Well, really all of the abortion procedures that I have
9 described. So, first trimester medical and surgical, and
10 second trimester medical and surgical abortions as well.

11 Q. So, Doctor, when D&E is taught at your institution, does
12 that include the approach to D&E that is an intact approach to
13 D&E?

14 A. Well, we teach D&E. And to the extent that every D&E
15 involves an attempt to remove the fetus as intact as possible,
16 yes, we teach intact D&E. But I really have trouble with this
17 notion that intact D&E constitutes the separate and distinct
18 procedure.

19 It's really part and parcel of D&E in general and we
20 teach that so we teach all degrees of intactness.

21 Q. Are you a member of any professional associations?

22 A. Yes, I am.

23 I am a member of the American College of Obstetricians
24 and Gynecologists. I'm a member of the Association of
25 Professors of Gynecology and Obstetrics. I'm a member of the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

- 1 Central Association of Obstetrics And gynecology. I am a
2 member of the National Abortion Federation. I am a member of
3 Physicians for Reproductive Choice and Health.
4 Q. You mentioned that you are a member of the American College
5 of Obstetricians and Gynecologists; what is that organization?
6 A. It is the largest professional organization of
7 obstetricians and gynecologists in the United States.
8 Q. Do you hold any particular titles within that organization?
9 A. I'm a fellow of the American College of OB/GYN.
10 Q. Doctor, have you authored any publications?
11 A. I have authored two chapters on women with disabilities.
12 I presented a number of poster presentations and
13 abstracts at national meetings.
14 Q. Are there any other issues besides women with disabilities
15 on which you have written or spoken?
16 A. HIV in women, particularly HIV as pertains to both
17 reproductive choice and abortion.
18 MS. CHAITEN: Your Honor, if I might approach the
19 witness to hand him a binder of exhibits as we have been
20 proceeding previously?
21 THE COURT: Go right ahead.
22 MS. CHAITEN: Thank you.
23 Q. Dr. Hammond, if you would please look at what's been marked
24 Plaintiff's Exhibit number 98, it should be at Tab 98 in the
25 binder that I just handed you.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT3 Hammond - direct

1 A. Yes, I see it.

2 Q. What is this document?

3 A. This is my CV or curriculum vitae.

4 Q. Is this an accurate summary of your education and
5 experience?

6 A. Yes, it is.

7 MS. CHAITEN: Your Honor, at this time I would like to
8 offer Exhibit 98 into evidence.

9 THE COURT: Any objection?

10 MS. GOWAN: No, your Honor.

11 THE COURT: Received.

12 (Plaintiff's Exhibit 98 received in evidence)

13 MS. CHAITEN: Thank you, your Honor.

14 Q. Doctor, what if any actions do you take to maintain current
15 on your developments within your medical specialities?

16 A. Well, I read common journals within my specialities such as
17 the American Journal of OB/GYNe and American Obstetrics and
18 Gynecology.

19 I attend regular grand rounds in our department, a
20 weekly presentation our department requires that we attend.

21 I attend national meetings on issues that are germane
22 to my work such as pregnancy termination, such as medical
23 education, as well as some other more general types of OB/GYN
24 meetings. And I also confer with colleagues.

25 Q. Aside from your work in connection with this matter, have

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

- 1 you offered expert testimony in any other matters?
- 2 A. Yes, I have.
- 3 Q. Approximately how many other cases have you provided expert
- 4 testimony?
- 5 A. I believe there have been three other cases.
- 6 Q. And have you testified live at trial in each of those
- 7 cases?
- 8 A. No. I testified live at trial in two of those cases.
- 9 Q. And in the third one did you offer your testimony in some
- 10 other form?
- 11 A. It was in written format.
- 12 Q. Maybe an affidavit?
- 13 A. Yeah, it was an affidavit or declaration. Yes.
- 14 Q. Have you ever been qualified to provided expert testimony
- 15 in Court?
- 16 A. Yes, I have.
- 17 Q. And in what areas, Doctor?
- 18 A. Related to obstetrics, gynecology and also abortion
- 19 practice.
- 20 MS. CHAITEN: Your Honor, we tender Dr. Hammond as an
- 21 expert in obstetrics and gynecology and abortion practice
- 22 pursuant to Federal Rule of Evidence 702.
- 23 THE COURT: Any objection?
- 24 MS. GOWAN: Your Honor, the government has no
- 25 objection. I would just like to make a caveat.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT3 Hammond - direct

1 Before the break counsel elicited testimony that
2 Dr. Hammond was going to offer opinions on vagueness and then
3 she asked if he was qualified to offer opinions on the subjects
4 that she had elicited. Most certainly we would object to any
5 qualification of Dr. Hammond as an expert on vagueness as it
6 relates to the statute.

7 THE COURT: Well, perhaps that was used loosely. I
8 don't think you really are offering him as an expert on
9 vagueness, are you, Ms. Chaiten?

10 MS. CHAITEN: No, your Honor. We are not.

11 THE COURT: He can testify what he understands.

12 Can you just, I take it generally then as offered you
13 have no objection, is that correct?

14 MS. GOWAN: That's right, your Honor. Thank you.

15 THE COURT: Okay.

16 MS. CHAITEN: Thank you, your Honor.

17 THE COURT: The Court will acknowledge it.

18 Can you inquire, the witness testified he testified in
19 three cases, I'm not sure what type of cases he testified in.

20 MS. CHAITEN: Certainly, your Honor.

21 Q. Doctor, can you please tell us what type of cases those
22 three cases were?

23 A. One of them was a case involving similar legislation in the
24 state of Illinois. I believe that was known as the Hope Clinic
25 case.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT3 Hammond - direct

1 There was another case also involving similar
2 legislation in Ohio that was, the Taft case in Ohio.

3 And the third case was medical malpractice case in
4 which I was an expert witness for one of the defendants, and
5 that was in Chicago.

6 THE COURT: Excuse me, the last case?

7 THE WITNESS: The last case was a medical malpractice
8 case in which I was an expert for one of the defendants.

9 THE COURT: And I believe in the Ohio case did you
10 testify for the plaintiffs?

11 THE WITNESS: Yes, I did, your Honor.

12 THE COURT: And that was challenging the Ohio statute
13 on partial-birth abortion?

14 THE WITNESS: That is correct.

15 THE COURT: And that's the same case that the Sixth
16 Circuit, I believe, just upheld the statute?

17 THE WITNESS: That is correct.

18 THE COURT: And the other was the Illinois challenge?

19 THE WITNESS: That is correct.

20 THE COURT: Okay.

21 You may inquire.

22 MS. CHAITEN: Thank you, your Honor.

23 BY MS. CHAITEN:

24 Q. Dr. Hammond, are you receiving any compensation for your
25 work in this matter?

43V5NAT3 Hammond - direct

1 A. Only my expenses.

2 Q. And is the payment of your expenses contingent in any way
3 on the outcome of this case?

4 A. No, it is not.

5 THE COURT: Do you get to ride home no matter what
6 happens?

7 THE WITNESS: Yes, your Honor.

8 THE COURT: Okay.

9 MS. CHAITEN: Do I?

10 Q. Dr. Hammond, earlier you said you were here to give your
11 opinion on, among other things, the safety of D&E. Is that
12 correct?

13 A. That is correct.

14 Q. And what is that opinion?

15 A. I think the D&E is a very safe procedure when performed to
16 terminate pregnancy in the second trimester, and likely the
17 safest method of termination of pregnancy in the second
18 trimester through approximately 20 weeks' gestation.

19 Q. Doctor, do you have an opinion regarding the safety of
20 second trimester induction termination procedures?

21 A. I think that induction of labor terminations are also very
22 safe procedures.

23 Q. What are the bases for your opinions?

24 A. Well, the bases for my opinion that D&E is safe relates to
25 nearly 30 years of data that D&E has repetitively been shown to

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 be a very safe procedure, particularly when compared to a
2 variety of different methods of inducing labor.

3 I also now have nearly 15 years of experience to
4 corroborate the fact that, in skilled hands, D&E is a very safe
5 procedure not only through 20 weeks' gestation but also through
6 24 weeks' gestation.

7 Q. Do you have an opinion as to how the safety of D&E compares
8 to the safety of induction?

9 A. I think through approximately 20 weeks' gestation D&E is
10 likely the safer method of pregnancy termination. I think once
11 you hit approximately 20 weeks' gestation the data breaks down
12 a little bit and it's harder to tease out which method is
13 safer, particularly if you start looking at more recent
14 medications, such as misoprostol, that are used to induce those
15 terminations.

16 I think that both methods between 20 and 24 weeks are
17 a very safe method of terminating pregnancy.

18 Q. And what is your experience in connection with your
19 practice between 20 and 24 weeks?

20 A. Well, I think in my practice at 20 to 24 weeks D&E is
21 likely safer. We have considerable skill within the
22 institution doing D&E, significant experience with this method,
23 and a patient population that generally likes some of the
24 advantages of D&E.

25 Q. Doctor, why, in your opinion, are D&Es generally safer than

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 inductions, at least through 20 weeks' gestation?

2 A. I think that the principal problem with induction of labor
3 involves the so-called induction abortion interval.

4 The fact is, when you start an induction of labor you
5 don't know how long it's going to take from the time that you
6 start giving a medicine to induce labor until the abortion is
7 complete, that is, until the patient delivers either the fetus
8 or the placenta, or both fetus and placenta. And that's what
9 really ends up complicating a large number of induction
10 terminations.

11 We just don't know when you start this procedure that
12 the patient will deliver within six hours, 12 hours, or in some
13 cases we have patients who attempt induction and still haven't
14 delivered in 24, 48 or 72 hours.

15 Now, to get back to your question how that implicates
16 or how that involves safety, the longer you induce somebody the
17 longer that they gradually accumulate the risk of certain
18 complications, particularly infection and hemorrhage.

19 So, a lot of the risks of induction eventually come
20 back to the unpredictable and often long period of time that
21 people are waiting to deliver without doing so.

22 Q. Doctor, based on your experience which are more common
23 after 20 weeks, inductions or D&Es?

24 A. D&Es are more common generally and particularly at our
25 institution.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43V5NAT3 Hammond - direct

1 Q. Do you have an opinion as to why that is the case even
2 after 20 weeks?

3 A. Well, I think one key reason is that most people in our
4 speciality realize that D&E is generally safer way of
5 terminating pregnancies and there may be some hesitancy or
6 uncertainty between 20 and 24 weeks. But their general
7 knowledge of this often will lead them to consult with us at a
8 tertiary care center and ask for opinions.

9 I think that specifically at our institution other
10 than our experience with the common knowledge among
11 obstetricians that it's safe, it boils down to a personal
12 preference of a lot of patients.

13 When you really sit down with a patient and you try to
14 explain the two options that she has, a lot of patients really
15 recoil at the thought of an induction. Now, keep in mind that
16 neither of these procedures are particularly aesthetic or good
17 to think about but I'm typically dealing, in fact in almost all
18 the cases at 20 to 24 weeks that you mentioned, I am dealing
19 with women who are coming in to me because they're sick or
20 because they have a really bad fetal anomaly that's prompting
21 them to terminate the pregnancy.

22 So, these are people who feel like they're
23 experiencing like a pregnancy loss. And when you look at a
24 patient like that and say, well, now that you are already upset
25 about this, we may need to expose you to a procedure where you

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 could sit on the labor floor contracting and uncomfortable for
2 six hours, 12 hours, or a day or two or three days and we don't
3 know exactly when that's going to end. And that uncertainty,
4 for a lot of people, is a big psychological burden.

5 THE COURT: Is that the way you present it to them,
6 Doctor?

7 THE WITNESS: No. We try to be as equitable as
8 possible as we present this to people. And frankly, your
9 Honor, sometimes by the time they get to me they have already
10 been counseled by our maternal fetal medicine doctors or
11 someone else in the community.

12 THE COURT: Do they give full disclosure as to the
13 various procedures available and what is entailed, such as the
14 dismemberment, in some forms of D&E?

15 THE WITNESS: If they do not and then the patient is
16 referred to me for D&E, we do tell the patient what's entailed
17 in a D&E.

18 THE COURT: In simple, clear English?

19 THE WITNESS: I think so, your Honor, yes.

20 Now, there are variations, depending on the patient's
21 own kind of psychological situation that we clearly take into
22 consideration, but we actually have a large number of patients
23 who look at us and say, let me get this straight. What you
24 will be doing is dismembering the fetus. And we say, yes,
25 that's exactly what we are doing.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 THE COURT: Do you tell them what happens when they do
2 an intact D&E?

3 THE WITNESS: If the patient --

4 THE COURT: The brain is sucked out?

5 THE WITNESS: Well I don't -- as a point of fact, your
6 Honor, I don't usually do the suction part. I do compress the
7 calvarium and I do some other procedures. I don't actually do
8 suction so I don't explain that part.

9 THE COURT: You don't explain that to them?

10 THE WITNESS: Well I explain the method.

11 THE COURT: You explain what a compression of the
12 calvarium is?

13 THE WITNESS: Yes, sir; that I do explain.

14 THE COURT: That that's crushing the skull?

15 THE WITNESS: I explain that, yes.

16 THE COURT: By the way, is cost a factor when a
17 patient asks you, because the D&E is quicker is it less
18 expensive to the hospital and the patient?

19 THE WITNESS: I don't know how that factors for either
20 the patient or the hospital. It's not a factor in our
21 discussion at all.

22 THE COURT: You don't know if it's less expensive?

23 THE WITNESS: Well, I don't know because it would
24 really depend on a variety of factors for the induction
25 termination. How long it takes in particular because if it --

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 THE COURT: If the patient is there for, as you say,
2 48 or 72 hours, that's going to cost more, isn't it?

3 THE WITNESS: You're right, that those would be very
4 expensive procedures for the hospital.

5 THE COURT: And I assume then for the patient?

6 THE WITNESS: I don't know. I don't know what part of
7 that costs --

8 THE COURT: Unless it is a charity patient it will
9 cost the patient more, will it not?

10 THE WITNESS: I honestly don't know the answer to
11 that, your Honor.

12 THE COURT: You have been at that hospital how many
13 years?

14 THE WITNESS: I have been at Northwestern for nine
15 years.

16 THE COURT: And you don't know?

17 THE WITNESS: I don't know the relative cost to the
18 patient of induction termination.

19 THE COURT: You don't know if it's more expensive if
20 they're there for a matter of hours or they're there three
21 days.

22 THE WITNESS: I know if they're there for three days
23 it is more expensive than if they are there for a few hours.

24 THE COURT: Okay. Next question, Ms. Chaiten.

25 MS. CHAITEN: Thank you, your Honor.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 BY MS. CHAITEN::

2 Q. Doctor, let's go back to what you just testified to and
3 break it down a little bit.

4 You mentioned that there was uncertainty in the length
5 of the procedure in the case of an induction termination and
6 you have described this to some extent, but can you explain
7 briefly what you mean by that?

8 A. The uncertainty is when the patient will eventually deliver
9 the fetus and the placenta.

10 We start the medicine and we don't know when that's
11 eventually going to cause her to deliver.

12 Q. And you also mentioned the possibility of a failed
13 induction; can you explain what you mean by that and how that
14 plays into this testimony?

15 A. Yes.

16 Q. Induction of labor doesn't always work. By that you will
17 have patients who simply don't deliver in response to this
18 medicine and there is a point where, particularly given the
19 patient's clinical circumstances, in other words how sick she
20 is, that the person taking care of her has to draw a line and
21 figure out when enough is enough and you need to convert the
22 procedure to a D&E.

23 We actually get patients referred in from the
24 community who have sometimes been induced for a number of days
25 who have become infected in that process and frankly come in

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43V5NAT3 Hammond - direct

1 relatively sicker to us than they otherwise would have been if
2 we had had them sooner.

3 (Continued on next page)

4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

43vrnat4 Hammond - direct

1 Q. Doctor, you also mentioned the psychological burden on a
2 patient who is undergoing an induction termination procedure.
3 Can you briefly explain what you mean by that.

4 A. It is the burden of, first of all, not knowing when she is
5 going to deliver, the burden of being on the labor floor and
6 waiting and not knowing, and then also the burden of delivering
7 the fetus.

8 THE COURT: When you say the labor floor, they are in
9 a bed, aren't they?

10 THE WITNESS: That's correct.

11 THE COURT: They aren't on the floor.

12 THE WITNESS: I'm sorry. We commonly refer to our
13 labor ward --

14 THE COURT: That is what I thought. But I sounded
15 rather grim.

16 Q. Doctor, you have referred a number of times to the fact
17 that an induction termination is performed on the labor floor.
18 Why is that induction termination is performed on the labor
19 floor or where labor occurs?

20 A. Because the patient needs to be monitored throughout that
21 process, so they need to have appropriate nursing personnel on
22 hand regularly checking in on them, particularly depending upon
23 the induction agent that is the medication that is being used.
24 We also don't know when they are going to deliver, so we
25 wouldn't want them in another part of the hospital where we

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 might not be able to make it to the delivery as easily.

2 Q. Doctor, what happens if they don't deliver, if it is what
3 you called a failed induction? Do you deal with that on the
4 labor floor?

5 A. If it is a failed induction, our other alternative is to do
6 a D&E. That could either be accomplished on our labor floor
7 where we have the same operating rooms or we perform Cesarean
8 sections, or we also have other operating rooms in other parts
9 of the building, Prentiss, as well as the main hospital where
10 we can do those.

11 Q. So in order to do that, the patient is taken to the
12 operating room?

13 A. That is correct.

14 Q. Thank you. Dr. Hammond, you have now listed a number of
15 reasons why you think that D&E is more common even after 20
16 weeks. Have you ever observed a patient who did not want an
17 induction termination for the reasons that you have identified?

18 A. We see patients quite often who have that characteristic.
19 We have a large number of patients who say that they do not
20 want to have an induction after they have been counseled and
21 are sent to our service. We also now have patients who come in
22 to us specifically requesting that we do these procedures as
23 intact as possible so that they can have the benefits of both.

24 In other words, there are some women who want the
25 control of the D&E and the predictability of the D&E, but they

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 would still like to be able to hold the fetus afterwards. So
2 we now have patients coming to see if we can specifically do
3 these as intact as possible.

4 Q. What do you tell those patients?

5 A. I tell them that we can't guarantee, that the general
6 approach to the D&E is the same for pretty much all gestational
7 ages and I simply don't know a priori whether or not I am going
8 to be able to extract the fetus intact or not. But I tell them
9 that we will do our best, as we always do, to do that.

10 Q. Doctor, do you ever have a patient for whom an induction
11 termination, in your view, might not be the most appropriate
12 medical approach?

13 THE COURT: At a particular point of gestation or just
14 open-ended?

15 MS. CHAITEN: During the period when he can do an
16 induction termination, which is in the second trimester.

17 A. Yes, I do have patients in whom medical induction is either
18 not a wise decision or that it is contraindicated.

19 Q. Can you tell me what you mean by the term
20 "contraindicated."

21 A. Not indicated. In other words, we see absolute and
22 relative contraindications. An absolute contraindication would
23 be a reason you absolutely cannot do this procedure in the way
24 you suggest. A relative, and that is the most applicable to
25 D&E's, a relative contraindication would be a reason that the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 risk/benefit ratio is tilted towards one procedure versus
2 another.

3 Q. You mentioned that relative contraindications are most
4 applicable to D&E's. Is there ever an absolute contra-
5 indication for a labor induction?

6 A. There are very few, but one example would be a complete
7 placenta previa.

8 Q. Before you proceed, I wanted to ask you to please explain
9 to us what is a complete placenta previa.

10 A. A complete placenta previa is where the placenta or the
11 afterbirth is completely covering the opening to the womb or
12 the cervix. In that circumstance, the only way that the fetus
13 can come out of the womb, out of the uterus, would be to
14 deliver through the placenta. So not only can't you induce
15 that to happen, but were you somehow able to do so, the patient
16 would be bleeding very, very heavily in the process.

17 Q. Why is that, that the patient would be bleeding very
18 heavily?

19 A. Because as the placenta separates from the wall of the
20 uterus and is disrupted, there is a maternal hemorrhage where
21 the placenta has been connected to the wall of the uterus.

22 Q. Doctor, what type of pregnancy termination procedure would
23 you want to perform for a patient who has complete placenta
24 previa?

25 A. If you think of it logically, you have only two logical

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 options and realistically only one safe option. Logically, the
2 baby in some cases with a placenta previa where there is a need
3 to terminate, would need to come out either abdominally or it
4 would need to come out vaginally through something like a D&E.

5 From a realistic medical standpoint, taking the baby
6 out abdominally is not a valid option. In terms of what I mean
7 abdominally, I am talking about something akin to a C-section,
8 but at the gestational ages that you are talking about a
9 C-section isn't really the same as doing what would in essence
10 be a hysterotomy.

11 A uterus is a thicker, more vascular organ. You would
12 be cutting through a segment of the uterus that not only bleeds
13 more but is more prone to rupture in subsequent pregnancies,
14 placing the patient's reproductive future at risk. So almost
15 everyone agrees that terminating a pregnancy by hysterotomy is
16 not wise and is medically unsound except in very, very rare
17 circumstances.

18 So this kind of patient, a patient with a complete
19 placenta previa who for whatever other reason needs to
20 terminate a pregnancy, should undergo a dilation and
21 evacuation.

22 Q. Dr. Hammond, can a woman with placenta previa carry her
23 pregnancy to term?

24 A. Absolutely. The majority of placentas previas can be
25 carried to term. What I was trying to indicate is if you had a

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 patient who for some reason needed to terminate the pregnancy
2 and also had a coexistent complete placenta previa, under those
3 circumstances D&E would be the best method of termination.

4 MS. GOWAN: Your Honor, I move to strike as
5 nonresponsive to the question.

6 THE COURT: Could I please hear the question and
7 answer.

8 (Record read)

9 THE COURT: Overruled.

10 Q. Dr. Hammond, you testified a little bit ago to the term
11 "relative contraindications." Can you remind us again what is
12 a relative contraindication.

13 A. It is a reason that kind of changes the risk/benefit ratio.
14 By that, you wouldn't absolutely consider avoiding to do a
15 procedure or intervention under those circumstances, but there
16 might be something about the patient's medical history or the
17 current clinical situation that certainly makes one a much,
18 much better option than the other.

19 Q. Are there relative contraindications for second trimester
20 induction termination?

21 A. Yes, there are.

22 Q. Could you provide some examples of those?

23 A. There are several. I think probably the best example is a
24 prior uterine incision such as would be obtained from a
25 myomectomy, which is removal of fibroids from the uterus, or

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 from a classical Cesarean section. But there are others, such
2 as chorioamnionitis, bleeding disorders in the patient, certain
3 kinds of heart anomalies, and so forth, that the patient has,
4 heart disease, that I think could certainly make D&E a much
5 better option than labor induction.

6 Q. Doctor, let's start with the chorioamnionitis example.

7 Could you tell us, what is chorioamnionitis?

8 A. Chorioamnionitis is an infection of the amniotic fluid and
9 the fetal membranes.

10 Q. Is this a serious condition?

11 A. It can be. It certainly justifies delivery to evacuate the
12 uterus because the patient, the mother, is at significant risk.

13 Q. Why is chorioamnionitis is a relative contraindication for
14 second trimester induction termination?

15 A. There are several reasons. First of all, it is not an
16 absolute contraindication. I wouldn't fault somebody who
17 induces labor, because the number one priority is you have to
18 evacuate the uterus for the patient's well-being in those
19 circumstances.

20 However, I think that D&E is a better choice for at
21 least a couple of reasons. First of all, you have to contend
22 with the unpredictable induction abortion interval that I just
23 mentioned. A patient who is already starting infected, if she
24 is allowed to remain infected and undelivered to one day, two
25 days, three days, is only going to become sicker and sicker

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 during that process.

2 She also mounts other risk factors during that
3 process. She is already infected, but her risk of hemorrhage
4 is eventually greater because the infected uterus doesn't
5 squeeze like it is supposed to after it has delivered. It is
6 the squeezing of the uterus around the blood vessels that flow
7 through its walls that stops it from bleeding. So a patient
8 who is infected and has a prolonged induction is more at risk
9 for a hemorrhage, which we already know is a greater risk with
10 medical induction abortion than it is with D&E.

11 There is also another reason, which has to do
12 procedurally. Anything that you can do that decreases the --
13 I'm going to end it there. I think I have adequately addressed
14 it.

15 Q. Doctor, you mention that a woman could become sicker and
16 sicker and sicker. How does that happen, aside from the
17 increased risk of hemorrhage?

18 A. The patient can also become septic. By that, the infection
19 that at first is localized to the uterus can disseminate
20 through her bloodstream. She develops what we call sepsis,
21 particularly gram negative sepsis, referring to the most likely
22 bacteria involved. And that can be life threatening. It is in
23 many cases.

24 Q. Doctor, how is the infection spread?

25 A. It is spread in a variety of ways. The bacteria enter the

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 bloodstream usually at the level of the wall of the uterus and
2 are picked up in this manner. A lot of whether or not it is
3 spread has to do with the inoculum, the dose of bacteria, for
4 lack of a better way of putting it, that are eventually
5 introduced into the bloodstream. They are also picked up as
6 the uterus is contracting and squeezing. So the longer the
7 induction, the greater the dose of bacteria and the greater the
8 chance for the uterus to spread them into the patient's
9 bloodstream.

10 Q. Why is that bad?

11 A. Because the patient can get very, very ill, and you can
12 take what was at first a localized infection to the uterus and
13 now generate a disseminated, life-threatening uterus to the
14 woman.

15 Q. Doctor, what procedure would you think most advisable for a
16 patient with chorioamnionitis?

17 A. A D&E.

18 Q. Can you perform a D&E safely for a patient in an emergency
19 situation where, for example, you don't have the 24 hours to
20 dilate the cervix that you testified to earlier?

21 A. Absolutely. In some circumstances, patients will have
22 already achieved enough dilatation that you can go ahead and
23 perform the D&E. In other cases, however, if I have some
24 benefit that requires that I accomplish the D&E more
25 expeditiously, I can abbreviate the preparation time somewhat.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

- 1 To explain this perhaps a little bit further,
2 laminaria, which are the most common dilator that we put in the
3 cervix to open it, take about eight hours to maximally dilate.
4 However, they achieve really good dilatation by four hours. So
5 I know that I can often cut down on the time frame somewhat,
6 particularly if I have a patient whose cervix is particularly
7 predisposed to having this done.
8 Q. Do you ever have to terminate for chorioamnionitis on an
9 emergency basis?
10 A. Yes.
11 Q. Does that happen frequently?
12 A. Yes, it does.
13 Q. Why is that?
14 A. One of the more common reasons that I am consulted at our
15 institution is because of preterm premature rupture of
16 membranes, which is where the amniotic sac or the bag of waters
17 breaks sometime during the second trimester. Again, this
18 really is what a lot of lay people might think of under more
19 general rubric of pregnancy loss, because the prognosis for
20 many of these pregnancies is very bad, particularly if it is
21 earlier in gestation than the second trimester. Once that bag
22 of waters has broken, they have lost the barrier to infection,
23 and a lot of germs that typically reside in the vagina can
24 ascend and affect the fetus in the fetal membranes.
25 Q. You mentioned premature rupture of membranes. Is there an

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 acronym that is commonly used for that term?

2 A. We commonly refer to that is PPRM or PROM.

3 Q. So if you say PPRM or PROM during the course of pregnancy,

4 you are talking about a situation where the amniotic sac has

5 ruptured prematurely?

6 A. That is correct.

7 Q. Doctor, is it possible to manage the pregnancy to term if a

8 patient is suffering from chorioamnionitis?

9 A. No. If a patient has chorio -- and I apologize, we

10 commonly don't said the whole word, we abbreviate it "chorio"

11 for I think obvious reasons. The treatment of chorio is to

12 deliver -- keep in mind that there are patients who develop

13 chorio at term. So we do have patients who will be in normal

14 labor at full term who develop chorio, and we are simply

15 getting those patients delivered. But at very early

16 gestational ages you cannot manage those pregnancies

17 expectantly once you have that diagnosis.

18 Q. And managing expectantly means expecting to manage the

19 pregnancy to term?

20 A. Either attempt -- yes, that is correct. But I would make

21 it clear you cannot do nothing and you also cannot simply treat

22 those patients with antibiotics. You have to evacuate the

23 uterus under those circumstances.

24 Q. Dr. Hammond, you also said that bleeding disorders can be

25 relative contraindications for second trimester induction

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 termination. Can you tell me what do you mean by "bleeding
2 disorders."

3 A. There are any number of either congenital or existing from
4 birth bleeding disorders or acquired bleeding disorders that
5 patients can get. Some of the common examples people might
6 have heard of would be hemophilia. There are a variety of
7 different types. There are disorders of other clotting factors
8 that we see. There are disorders of platelets that we run into
9 where people lose their blood's typical ability to clot.

10 Q. Why would such disorders be a relative contraindication for
11 second trimester induction terminations?

12 MS. GOWAN: Your Honor, I understand that Dr. Hammond
13 is a plaintiff in this case, but he is also an expert, and
14 counsel has qualified him as an expert to testify. Dr.
15 Hammond's affidavit, TRO affidavit, in this case was submitted
16 to the government as his expert report. Nowhere in that
17 affidavit does he discuss contraindications for medical
18 induction abortion. It is beyond the scope of the expert
19 disclosure that Dr. Hammond has provided to the government in
20 the case.

21 I would like to read, if I may, your Honor --

22 THE COURT: No, no. Is that correct, Ms. Chaiten? Is
23 it correct?

24 MS. CHAITEN: He speaks about the advisability of D&E
25 over induction termination because for some patients it is more

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 advisable not to do an induction termination.

2 THE COURT: I would suggest you move on to another
3 area. I will take the expert report and look at it overnight
4 and let you know.

5 MS. CHAITEN: Your Honor, there is much testimony from
6 this witness about the advisability of different second
7 trimester abortion procedures. Perhaps he might not have used
8 the actual term "relative contraindication," but there is a
9 great deal of testimony from him previously in the case on this
10 subject.

11 THE COURT: I guess we will find out when I read his
12 report.

13 MS. CHAITEN: Thank you, your Honor.

14 BY MS. CHAITEN:

15 Q. Doctor, you testified earlier that most women prefer D&E
16 over induction for medical as well as personal reasons where a
17 skilled D&E provider is available, is that correct?

18 A. That is correct.

19 Q. You have also told us your view that D&E is a safe
20 procedure, is that correct?

21 A. That is correct.

22 Q. You also testified earlier that when you perform D&E you
23 always try to remove the fetus as intact as possible, is that
24 correct?

25 MS. GOWAN: Objection: Leading.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 MS. CHAITEN: Your Honor, I am simply trying to
2 facilitate the testimony, since we have jumped ahead.

3 THE COURT: I will allow it just to set the stage for
4 it. But beyond that, please don't do any leading.

5 MS. CHAITEN: Thank you, your Honor.

6 Q. Did you testify earlier that you always try to remove the
7 fetus intact as possible?

8 A. Yes, I do.

9 Q. Is that approach to D&E that we talked about earlier what
10 some people refer to as intact D&E?

11 A. Can be, yes.

12 Q. For ease of reference here, can we refer to the intact
13 approach to D&E as "intact D&E"?

14 A. Yes, we can.

15 Q. Do you have an opinion regarding the safety of intact D&E?

16 A. I think it is a very safe procedure.

17 Q. Do you have an opinion as to the comparative safety of
18 intact D&E to other second trimester abortion termination
19 procedures?

20 A. I think D&E's generally are very safe procedures. But I
21 think the more intact you can do a D&E, the safer it is for the
22 patient. So I think intact D&E's are really the safest type,
23 the safest variation, the safest evolution of D&E's.

24 Q. Why is it that you believe that intact D&E is the safest
25 way to perform a D&E?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 A. There are several reasons that I think it is safer to
2 proceed with a D&E as intact as possible. One reason is simply
3 that it involves fewer passes of instruments. The less that I
4 pass an instrument into the uterus, logically, the less chance
5 I have to perforate the uterus, which is simply to poke a hole
6 in the uterine lining with either my instruments or one of the
7 parts of the fetus.

8 THE COURT: Have you ever punctured a uterus?

9 THE WITNESS: Yes, I have.

10 Q. Doctor, can you continue to explain why you believe that --

11 THE COURT: Just one second. In what type of
12 procedure did you do?

13 THE WITNESS: In first as well as second trimester
14 abortions.

15 THE COURT: What type of abortion? What procedure?

16 THE WITNESS: Using both suction curettage in the
17 first trimester and D&E in the second trimester.

18 THE COURT: Is that a dismemberment D&E?

19 THE WITNESS: Yes. I have had, like most providers,
20 relatively few perforations.

21 THE COURT: I am asking about when you did have the
22 perforation.

23 THE WITNESS: Yes. They have been with dismemberment
24 D&E's.

25 THE COURT: Were you sued for malpractice?

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 THE WITNESS: No.

2 THE COURT: Did the patient know you perforated the
3 uterus?

4 THE WITNESS: Absolutely. We always share that with
5 the patient.

6 THE COURT: Go ahead. Next question.

7 Q. Dr. Hammond, I believe you were talking about the reasons
8 why it is your opinion that the intact approach to D&E is the
9 safest way to perform a D&E. I think you mentioned the
10 decrease in the number of passes of instruments into the
11 uterus. Would you continue with your answer, please.

12 A. Like I was saying, there are many other reasons that I
13 think that it is safer to use the intact procedures. Another
14 reason is simply that there is less of a chance to lacerate or
15 to make a cut in the cervix. If you are doing this relatively
16 intact, you have fewer bony parts of the fetus that are exposed
17 that can cut into the cervix as you remove them from the
18 patient. So the more intact the fetus, the less chance there
19 is for those to cut the cervix and injure the patient.

20 Another reason is simply the degree of surgical
21 control that we have. With very intact procedures, when I have
22 control over the fetus external to the cervix, I can see a
23 large portion of the procedure that I am doing. I am not
24 having to blindly grope inside the uterus like I am with a more
25 disarticulated or dismembered D&E, and therefore have a

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 drastically lower likelihood of perforating the uterus and
2 injuring the cervix, because it is all exposed.

3 I also, particularly if this has proceeded intact to
4 the level of the fetal head, have complete control of the head.
5 One of the hardest parts of a D&E is if the head has been
6 dismembered and you have to, because it is usually the last
7 thing you have to extract, if you have to try to again grope
8 blindly inside of the uterus, it is entirely based on your
9 experience with the instruments and it is not something that
10 you have the degree of surgical control over at that time. So
11 I think surgical control is another issue.

12 I also think that there is less likelihood of retained
13 products, retained material if we are doing one of these as an
14 intact or relatively intact procedure. One of the problems
15 with a more disarticulated D&E is you have to have some way of
16 accounting for what you have removed from the uterus. All of
17 us, after doing these for a while, get a gestational
18 age-appropriate concept of what is an appropriate amount of
19 fetal as well as placental material. But clearly, if I have
20 watched the intact fetus delivery, I know, without having to do
21 anything further, that I have removed the fetus intact and
22 haven't left something behind.

23 I also think that this is safer in the case of some
24 fetal anomalies. I also think that the intact D&E decreases
25 our time in the OR. I don't mean that from a matter of

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 convenience. It is simply the fact that one of the kind of
2 standards in surgery is the less time that the patient is
3 exposed to the procedure, the less duration of anesthetic she
4 is receiving, the less likelihood her complications. If I can
5 do this intact rather than having to constantly reach in and
6 remove more and more parts, I can accomplish the D&E faster.

7 Q. Doctor, let's talk about your testimony that intact D&E
8 reduces the risk of uterine perforation because of fewer passes
9 with instruments. Can you explain how uterine perforation
10 would occur.

11 A. It can occur in one of two ways: Either because the
12 instrument goes through the wall of the uterus or because a
13 fetal part that has been disarticulated, particularly a sharp
14 fetal part, is pushed by the instrument or something else
15 inside the uterus through the wall of the uterus.

16 Q. What, if any, health risks are associated with uterine
17 perforation?

18 A. It varies. Uterine perforations are actually more common
19 with D&E and with first trimester abortion than many people
20 realize. We know that from studies where they have done
21 simultaneous first trimester abortions and tubal ligations so
22 that they were looking into the abdomen while they were doing
23 it.

24 We know that most small perforations probably cause no
25 harm, but certain perforations cause more harm than others. If

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 it is a large perforation at the top of the uterus, the patient
2 can bleed from it. But usually we can fix the uterus without
3 any long-term sequelae, long-term problems.

4 On the other hand, a similar size perforation on the
5 side of the uterus, which is where the blood supply for the
6 uterus comes in, the uterine artery comes from the side wall of
7 the pelvis, if you poke a hole or perforate the side of the
8 uterus, the patient can have catastrophic hemorrhage quite
9 rapidly, because she is bleeding from very large blood vessels.

10 Q. Doctor, are the risks associated with uterine perforation
11 serious?

12 A. Again, there is a wide range of uterine perforations. In
13 most cases, no, but they can be. It can vary from simply
14 needing extra observation in the hospital to some patients
15 requiring surgery to repair the uterus. And in other cases the
16 only way we can control the hemorrhage is by performing a
17 hysterectomy, which is obviously serious, because at that point
18 the patient has lost her reproductive capacity.

19 Q. Why does intact D&E reduce the risk of uterine perforation?

20 A. Again, there are several reasons. First of all, I am just
21 not reaching into the uterus as frequently. Secondly, when I
22 am reaching in, I am not having to rely solely on feel. I have
23 greater control of the fetus, often operating almost directly
24 in front of me external to the cervix. So I am not reaching
25 into the uterus as frequently, I don't have dismembered fetal

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 parts in the uterus that can poke through the uterus, and I
2 have far better surgical control throughout the procedure.
3 Q. Doctor, you also testified that one of the advantages of an
4 intact D&E is reducing the risk of cervical laceration or
5 uterine perforation due to the fact that the presence of sharp
6 bony fetal parts is reduced. First of all, can you tell us,
7 what is cervical laceration?

8 A. It is a cut or a tear in the cervix, a scrape in the
9 cervix.

10 Q. What causes the presence of sharp bony parts in the first
11 place?

12 A. Disarticulation or dismemberment of the fetus.

13 THE COURT: Have you ever lacerated a cervix?

14 THE WITNESS: I am sure at some point that I have,
15 your Honor. I don't specifically recall a circumstance right
16 now.

17 Q. Doctor, why is the presence of sharp bony fetal parts
18 associated with laceration and perforation?

19 A. In terms of perforation, obviously if you have a sharp
20 object that is inside of the uterus and you are reaching in
21 somewhat blindly into the uterus to grasp that sharp object,
22 you can either directly push that object through the uterine
23 wall or indirectly do so as whatever other parts jostle that
24 object inside the uterus.

25 In terms of cervical laceration, if you have exposed

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 bony fragments and you are extracting them through this ring-
2 like structure, which is what the cervix is, they can scrape
3 against the cervix as you remove them and lacerate the cervix.

4 Q. Why does intact D&E reduce this risk?

5 A. If you are doing the procedure intact, again, you don't
6 expose those bony fragments, the fetus remains intact. It is
7 more akin in many degrees of intactness to a standard delivery.

8 Q. Another advantage that you mentioned earlier was the
9 reduction of retained fetal tissue or parts. What does that
10 mean?

11 A. Retained parts just means that we leave something behind.
12 It can be either the placenta or it can be part of the fetus.

13 As I was indicating earlier, one of the things we do at the end
14 of the procedure is to make a mental note as to whether we
15 think that we have extracted everything that might have been
16 inside.

17 In some of the cases that I have seen, that is not
18 nearly as easy as it would seem. I have seen patients referred
19 in because they have fetal anomalies. So I have cases where
20 the baby doesn't have much of its head or may be lacking
21 extremities or any of the other landmarks that we commonly use
22 to tell us whether we have extracted everything that we need to
23 extract.

24 So if I have done this intact and have an intact fetus
25 in front of me, then I know that I have done at least an intact

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 extraction of the fetus and have nothing else that I need to
2 look for.

3 Q. Why is it important to make sure that you have removed all
4 the fetal tissue and parts from the uterus?

5 A. With respect to both the fetus and the placenta, if you
6 leave tissue inside of the uterus, the patient is at an
7 increased risk for coming back with either an infection or a
8 hemorrhage. Most of the time the patient will simply pass the
9 tissue and will be fine, but many patients don't, and we
10 eventually have to evacuate that material under less than
11 optimal circumstances.

12 Q. Why does intact D&E reduce the risk of retained fetal
13 tissue or parts?

14 A. As I had just indicated, because we have seen the fetus
15 delivered. We now at that point that there is nothing that we
16 have dismembered or disarticulated that could be remaining
17 behind inside of the uterus. It is all at that point present
18 and accounted for.

19 Q. How would you go about making sure that you have removed
20 all of the fetal tissue and parts in a situation where you have
21 disarticulated the fetus, or dismembered it?

22 A. There are several things that we do. Probably the most
23 important is the operator's experience in assessing the feel
24 that the uterus has afterwards. The last part of a D&E
25 typically involves vacuuming the uterus after we have finished

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 the extraction. There is a characteristic feel that the uterus
2 has when vacuuming that allows to us tell that it is empty.

3 Apart from that, though, we have to rely on what we
4 can see that we have actually removed. So we look for certain
5 sentinel things, certain sentinel parts to indicate whether we
6 have removed the fetus or not. We look, for example, for
7 extremities in the calvarium of the head to make sure that all
8 of this has been taken outside of the mother at this point.
9 Occasionally we will also use ultrasound as an adjunct in some
10 circumstances to help us out.

11 Q. Can you fully address the risk of retained fetal tissue and
12 parts with the use of ultrasound?

13 A. No. I chose the word "adjunct" specifically because I
14 don't think that ultrasound is really a definitive tool to tell
15 that the uterus is completely evacuated. It can be helpful
16 particularly with some of the anomalies that I see, because,
17 like I said, we don't always have the sentinel parts, the fetus
18 does not have them, for us to look for. That makes it a little
19 bit tricky in some of the circumstances. So looking at the
20 uterus and also making sure that it appears empty can help us
21 out.

22 The critical thing is really the experience of the
23 operator knowing that the uterus gives him that feel, knowing
24 that there is really an appropriate amount of mass, of
25 material, that you have removed at the gestational age.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 Q. Doctor, if you were to use an ultrasound in order to
2 determine whether all fetal tissue and parts have been removed
3 from the uterus, what is the patient doing while you are
4 examining the uterus with an ultrasound?

5 A. At that point, the patient is still in the OR and asleep,
6 because we use general anesthesia on our patients after
7 approximately 16 to 18 weeks. At that point we do not want her
8 awakened after she has already taken the risk of the general
9 anesthetic and the intubation only to find that there are more
10 things that we need to be removing from inside of the uterus.

11 before we reverse the anesthetic and take her to the
12 recovery room, we have to account for those parts. So she
13 would still be asleep, still -- I was going to say in the
14 dorsal lithotomy position and caught myself -- still basically
15 in stirrups and basically in the position essentially of a
16 pelvic exam while we finish this.

17 Q. Would reliance on ultrasound to determine whether fetal
18 tissue and parts had all been removed lengthen the procedure?

19 A. It could lengthen the procedure, particularly if we were to
20 rely on it routinely or need to rely on it routinely,
21 especially in cases of anomalies.

22 Q. Doctor, you testified earlier a little bit about this and
23 to the fact that intact D&E offers advantages because it offers
24 the potential for shorter operating time. Can you describe why
25 that is the case.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43vrnat4 Hammond - direct

1 A. Again, I believe that the shorter operating time is not
2 just a matter of convenience for the operating staff or the
3 patient. It is the fact that the morbidity of any procedure,
4 the risks in general, correlate with the duration of the
5 operative procedure, particularly the duration under which the
6 patient receives a general anesthetic. So anything that I can
7 do to facilitate the procedure expeditiously and yet still
8 retain the degree of safety that we need is in the patient's
9 best interest. And that is actually true of most surgical
10 procedures, not even in just obstetrics and gynecology but
11 throughout surgery.

12 With regard to an intact procedure, it is usually a
13 quicker procedure, because we aren't having to spend the time
14 we would ordinarily take grasping within the uterus for parts
15 of the fetus that have been disarticulated as we have been
16 doing this procedure. Again, if we can control the lower
17 extremity and do something like a breech extraction as I have
18 described, I can often deliver the fetus, if not intact to the
19 level of the calvarium, at least partially so, and obviate the
20 need for a lot of other steps that we have to take in a more
21 dismembered D&E.

22 Q. What safety advantages, if any, are associated with shorter
23 operating time?

24 A. As I indicated, there is a decreased exposure to the
25 general anesthetic, which anesthesiologists tell me is safer.

SOUTHERN DISTRICT REPORTERS, P.C.

(212) 805-0300

43vrnat4 Hammond - direct

1 There is also a decreased risk of bleeding, because you simply
2 aren't exposing the patient to the same prolonged procedure
3 when the placenta may already have been initially disrupted and
4 the patient starts bleeding throughout the course of the D&E.
5 I'm in, I'm out, the patient is no longer bleeding, her uterus
6 is well contracted by that squeeze-down, stopping itself from
7 bleeding, and it's over.

8 THE COURT: Ms. Chaiten, I am going to recess a couple
9 of minutes early today. I have to conduct a sentence in a
10 couple of minutes. We will break at this time and reconvene at
11 9:30 in the morning.

12 Also, Ms. Gowan, would you send us, please, one
13 paragraph of where you think it is in the expert report that
14 there is no mention. Ms. Chaiten can respond and direct to us
15 a portion of that report where you this is not included.

16 MS. CHAITEN: Your Honor, would you like that in
17 writing this evening?

18 THE COURT: It would help so we could look. We will
19 give you a ruling in the morning.

20 MS. CHAITEN: I can provide you with the pages of the
21 paragraph of the declaration and the pages of the deposition at
22 this time, if that would be useful.

23 THE COURT: You can give that to my law clerk, and Ms.
24 Gowan can do the same.

25 MS. CHAITEN: Thank you.

SOUTHERN DISTRICT REPORTERS, P.C.
(212) 805-0300

43vrnat4 Hammond - direct

1 THE COURT: That's fine. Have a lovely evening. We
2 will see you at 9:30.
3 (Adjourned to 9:30 a.m., April 1, 2004)

4
4
5

6 INDEX OF EXAMINATION

7 Examination of:	Page
8 TIMOTHY JOHNSON	
9 Direct By Mr. Hut	388
9 Cross By Ms. Gowan	474
10 Redirect By Mr. Hut	511
11 CASSING HAMMOND	
12 Direct By Ms. Chaiten	517

12
13
13

14 PLAINTIFF EXHIBITS

15 Exhibit No.	Received
16 106	409
17 98	537

18
19
20
21
22
23
24
25

