

1 4/2/04 Judge Casey take 1 of national versus Ashcroft
2 continuing direct of Dr. Westwho have Carol.
3 THE COURT: Good morning. Please be seated.
4 THE DEPUTY CLERK: Dr. Westhoff, you are still under
5 oath.
6 THE WITNESS: Yes. Carolyn Westhoff, resumed.
7 DIRECT EXAMINATION
8
9 BY MR. HUT:
10 THE COURT: All right, Mr. Hut, you may inquire.
11 MS. GOWAN: Your Honor, this is Ms. Gowan.
12 THE COURT: Ms. Gowan, I'm sorry.
13 MS. GOWAN: May I just as a housekeeping matter, move
14 the admission of the document that I read into the record, a
15 portion of which I read into the record yesterday during
16 Dr. Hammond's cross examination.
17 THE COURT: You can offer it.
18 MS. CHAITEN: Objection. This document was not
19 designated as one of the defendant's exhibits pursuant to Rule
20 26 we object to its admission into evidence.
21 THE COURT: Overruled. It will be received.
22 MS. GOWAN: Thank you, your Honor.
23
24 THE DEPUTY CLERK: Excuse me, what's the document
25 number?

1 MS. GOWAN: Excuse me, your Honor, just so the record
2 is clear, this is Government Exhibit N, and the portion that I
3 read into the record yesterday appears at Bates page stamped 1
4 NAF 001416. This exhibit is listed in the pretrial order
5 provided to the Court.
6 THE COURT: It's in the pretrial order. Okay, thank
7 you.
8 (Government's Exhibit
9 N received in evidence)
10 THE COURT: Mr. Hut.
11 MR. HUT: Thank you, your Honor.
12 DIRECT EXAMINATION
13
14 BY MR. HUT:
15 Q. Dr. WEGS Wes, good morning.
16 A. Good morning.
17 Q. Yesterday we had concluded with a description by you of
18 your method of performing vacuum aspiration in the first
19 trimester, I would like now to move to the second trimester and
20 ask you what type of abortion method is most commonly used
21 after the first trimester?
22 THE COURT: Where and when?
23 Q. Let's take it nationwide currently.
24 A. Okay. In the United States?
25 MS. GOWAN: Objection, your Honor.

1 THE COURT: Can that be known?
2 THE WITNESS: Yes.
3 MR. HUT: Your Honor, Dr. Westhoff do you have a basis
4 on your review of C D C statistics for knowing what abortion
5 method is most frequently used in the United States?
6 A. Yes, Mr. Hut.
7 THE COURT: I think that's -- if that's what you are
8 searching for I think that was a better way to approach it,
9 Mr. H*ULT.
10 All right, go ahead.
11 Q. What is that, Dr. Westhoff?
12 A. The CDC reports that in the United States about 95 percent
13 of all second trimester abortions are performed using D&E.
14 Q. What is your process or method, Dr. Westhoff, for
15 performing D&E abortion?
16 A. Okay --
17 THE COURT: Excuse me Z. that mean dismemberment?
18 THE WITNESS: Dilation and extraction include a
19 spectrum of approaches with varying possible degrees of a
20 dismemberment and include the intact variation.
21 THE COURT: Does the report break it TKOURPB?
22 THE WITNESS: The report does not.
23 THE COURT: So in other words you don't know except
24 that it comes within annum PWREL will a of D&E?
25 THE WITNESS: Yes, sir.

1 THE COURT: Okay.
2 THE WITNESS: Yes, your Honor.
3 BY MR. HUT:
4 Q. You stated, in your last answer to the Court, Dr. Westhoff,
5 you referred to dilation and extraction, did you mean dilation
6 and evacuation?
7 A. Yes.
8 Q. Could you now describe for us the way that you performed
9 D&E?
10 A. As with of course any surgical procedure, a case will
11 proceed individually based on both the particular circumstances
12 and anatomy of the patient and each step of a indication will
13 proceed according to what occurred in the preceding case.
14 So just as a general prologue I can describe a typical
15 case for you but each case proceeds, will proceed a little
16 differently one step at a time.
17 In our setting we take care of patients for D&E in the
18 am before you will a Tory operating room. After the patient
19 has had the induction of general anesthesia with an --
20 Q. Let me interrupt you Doctor. Why don't you take us a step
21 back and describe the dilation portion of the process first?
22 A. Certainly.
23 For patients who are requesting a second trimester
24 abortion and who have expressed a preference for D&E, we will
25 first see the patient one or two days prior to the planned

1 operative procedure and at that time the patient will have
2 routine type of history and physical examination, likely to
3 have an additional sonogram to assess gestational age correctly
4 and --
5 THE COURT: Excuse me, Doctor.
6 At that point or do you, sometime prior to that, do
7 you have a discussion with the patient, when you are doing it
8 permanently?
9 THE WITNESS: Yes.
10 THE COURT: And make a complete disclosure of the
11 options available to them?
12 THE WITNESS: I will usually do a history and physical
13 examination and sonogram in fact before the --
14 THE COURT: No, Doctor.
15 THE WITNESS: Before.
16 THE COURT: I'm trying to find out whether or not you
17 discuss with her the options available and what is entailed in
18 the procedures of the various options.
19 THE WITNESS: Yes, your Honor. I do discuss this.
20 What I wanted to say is that I want to assess the
21 patient first because my assessment.
22 THE COURT: Okay.
23 THE WITNESS: May have an impact on what are the
24 TPROEPT options for her.
25 So I do an assessment of the patient before I discuss

page 6

1 the options.
2 THE COURT: All right.
3 THE WITNESS: So that's the order in which I proceed.
4 And after I have done this preliminary assessment so
5 that I can understand her physical condition, I understand the
6 particularities of her pregnancy and it's what its
7 complications may be and so on at that point we will in fact
8 have a discussion of her alternatives which in fact includes
9 the spectrum of continuing this pregnancy without interruption
10 using an induction approach to interruption and using the D&E
11 approach.
12 When patients come to me it is usually because they've
13 been referred and they already have a preference for the D&E
14 approach.
15 THE COURT: And when you discuss the D&E, do you
16 discuss dismemberment?
17 THE WITNESS: I tell them that my responsibility is to
18 remove the fetus and the other --
19 THE COURT: Doctor, that isn't my question.
20 Do you discuss dismemberment? Do you tell them about
21 ripping or tearing a limb off the fetus?
22 THE WITNESS: I may very often discuss that I remove
23 the fetus in pieces but that is not necessarily a uniform part
24 of the discussion.
25 THE COURT: Well do you do it most of the time? I

page 7

1 mean, do they really understand what are you doing when you
2 tell them all these clinical terms?

3 THE WITNESS: I try to use everyday language and not
4 use terms that are going to be confusing to the patient. We
5 try to --

6 THE COURT: Do any of them ask you whether or not the
7 fetus experiences pain when that limb is torn off?

8 THE WITNESS: I do have patient who ask about fetal
9 pain during the procedure, yes.

10 THE COURT: And what do you tell them?

11 THE WITNESS: I, first of all, assess their feelings
12 about this, but they of course even notwithstanding the
13 abortion decision, would generally tell me they would like to
14 avoid the fetus feeling pain.

15 I explain to them that in conjunction with our
16 anesthesiologists that the medication that we give to our
17 patients during the procedure will cross the placenta so the
18 fetus will have some of the same medications that the mother
19 has.

20 THE COURT: Some.

21 THE WITNESS: Yes, that's right.

22 THE COURT: What do you tell them, does the fetus feel
23 pain or not when they ask?

24 THE WITNESS: What I tell them is that the subject of
25 the fetal pain and whether a fetus can appreciate pain is a

page 8

1 subject of some research and controversy and that I don't know
2 to what extent the fetus can feel pain but that its --

3 THE COURT: Do you tell them it feels some pain
4 STPHEUFRPBLGTS I do know that when we do, for instance an
5 amniocentesis and put a needle through the abdomen into the
6 amniotic cavity that the fetus withdraws so I certainly know
7 based on my experience that the fetus with withdraw in response
8 it a painful stimulus.

9 THE COURT: Going ba back to my question.

10 THE WITNESS: Yes, your.

11 THE COURT: Do you tell them that the fetus feels
12 pain?

13 THE WITNESS: I tell them some of the things I just
14 told you.

15 THE COURT: Don't you make it simple for them and say
16 yeah, they feel it?

17 THE WITNESS: Well, your Honor, I think whether a
18 fetus feels pain is subject to research and is uncertain and I
19 share that with the patient.

20 I am not confident what the fetus feels with the
21 anesthesia that we use and I don't want to shy away from the
22 possibility the fetus feels pain but I do not believe it's
23 fully determined what the fetus feels during this procedure.

24 THE COURT: Do you care?

25 THE WITNESS: Certainly.

1 I think the while --

2 THE COURT: Next question, Mr. Hut.

3 BY MR. HUT:

4 Q. Let me follow up on the Court's question, Doctor.

5 You described the reaction or response that you
6 discerned in the fetus when amnio SEPB TEUS EUS is delivered,
7 do you discern a similar response when you performed the
8 surgical extraction portion of a D&E in the fetus to stimuli?

9 A. No, we don't.

10 When we are doing the D&E and the mother and the fetus
11 are receiving intraconvenient us annual SKWRAOES I cans and
12 anesthetic agents, the fetus does not withdraw from the
13 touching or grasping, so my interpretation of that different
14 reaction is that the anesthesia is having an effect on the
15 fetus.

16 Q. Let me now return to the question with which we started
17 this morning, and that is to ask you from the process of
18 assessment through dilation through the surgical component to
19 describe your method with respect to D&E.

20 A. Yes.

21 After the assessment and the discussions that we were
22 just alluding to, we proceed first with the use of osmotic
23 dilators to soften and dilate the cervix. And we will decide
24 for each patient how many dilators to use, again, depending on
25 her personal anatomy, whether she has given birth before, how

1 far PRG TPHAPBT she is and so on.

2 We in fact also have a separate discussion as part of
3 the informed consent process of the use of the osmotic
4 dilators. We insert osmotic dilators in exam room using local
5 anesthesia so that that process is more tolerable for the
6 patient and we may inS-RT dilators once or twice. We may see a
7 patient on just one day prior to the operation or two days. If
8 we see the patient for two days on the second day we will
9 remove the first set of dilators and replace these with a new
10 set of dilators in order to achieve greater dilation and, as I
11 said, that decision is made primarily on the basis of
12 gestational age and the woman's anatomy.

13 After we have allowed time for the dilation to proceed
14 the woman is requested to appear after the hospital with an
15 empty stomach so that we can induce general anesthesia for the
16 D&E procedure. In and in some occasional cases we will also
17 use some misoprostol for a few hours before the procedure to
18 additionally soften the cervix.

19 In the operating room after the patient is asleep we
20 will remove all of the dilators, assess the condition of the
21 cervix and we will then rupture the amniotic membranes, allow
22 amniotic fluid to drain and then we will insert either fingers
23 or an instrument into the uterine cavity.

24 Before we can insert any instrument we do attach a
25 tenaculum to the outside of the cervix in order to pull the

1 cervix down and stabilize the uterus as I described in the
2 first trimester procedure.

3 But once the cervix is stabilized we will then insert
4 finger or uterus -- finger or instrument into the uterine KAFB
5 cavity to begin to pull down fetal parts.

6 In general, in our cases, we have a son O graphy unit
7 in the room with us standing by but we decide on a case by case
8 basis how much we want to use sonography during the case.

9 THE COURT: That's a on O gram.

10 THE WITNESS: Son O gram, ultrasound, yes, to take, to
11 image the fetal portion, the fetal parts and position.

12 Q. Does that conclude the description, Doctor?

13 A. At this point we will begin the extraction of the fetus or
14 the evacuation of the fetus. And we can do this with a
15 combination of traction with instruments or digital traction
16 until we remove the entire fetus from the uterus.

17 When we have removed the entire fetus, if it is in
18 parts we do enumerate the parts to feel confident that all the
19 fetus has been removed and then we will remove placenta and
20 membranes using a combination of suction curettage and sharp
21 curettage.

22 Q. You mention traction in your answer, Dr. Westhoff; what is
23 traction?

24 A. Traction just means pulling.

25 Q. With respect to your earlier testimony that these

4/2/2004

page 12

1 procedures all proceed variably, what are some of the factors
2 that may vary among the D&E as performed for different
3 patients?

4 A. There are differences both in -- well first of all in the
5 maternal anatomy and every woman's cervix responds differently
6 to the osmotic dilators so we don't know until we begin this
7 part of the procedure how long the cervix is or how open the
8 cervix is.

9 We, in every indication the placenta is going to be in
10 a different position and in every case the fetus will be
11 presenting in a different position.

12 In the middle trimester when we do these procedures
13 the fetus may be head first but majority of the time is
14 presenting in some other position.

15 At nine months 95 percent or more of the time when
16 labor begins the fetus is head first. But in the mid try
17 PHEFLTer because there is more space in the uterus the fetus
18 can be in a much broader variety of positions and very roughly
19 speaking about one third will be head first, one third will be
20 feet first and one third will be in some more sideways kind of
21 position at the beginning of the procedure. That position will
22 affect, of course, what part of the fetus we are able to grasp
23 as begin the case.

24 Q. How far, Dr. Westhoff, does the cervix dilate during a D&E,
25 as you perform it?

1 A. There is really extraordinary variation and so some
2 patients may only be one to two centimeters dilated, other
3 women may be three to four centimeters dilated at the beginning
4 of the case.

5 Women who are having a D&E because of miscarriage
6 because may have a cervix that is already open to three or four
7 centimeter without my using any laminaria to cause that
8 dilation.

9 Q. Is getting too much dilation a concern for you in your D&E
10 practice?

11 A. No, it is not.

12 Q. Why is that?

13 A. I have never had a cervix dilate in a way that was
14 inappropriate for the case.

15 At nine months of pregnancy during labor of course the
16 cervix dilates on its own to about 10 centimeters in diameter
17 overall. I have never ever seen someone respond to osmotic
18 dilators to that degree, they're substantially less dilation
19 but because the fetus is smaller less dilation is adequate to
20 carry out the D&E.

21 Q. Can you state whether responding to osmotic dilators the
22 cervix dilates quickly or gradually?

23 A. The cervix dilates gradually in response to osmotic
24 dilators.

25 As I said over -- we could use them for as little as

1 six hours, for instance, in some cases late in the first
2 trimester but generally we use in the second trimester we are
3 using osmotic dilators anywhere from 24 to 48 hours before the
4 case and so that degree of dilation of a couple centimeters
5 occurs over many hours. That's substantially slower than
6 dilation that occurs during labor at term or during an
7 induction abortion.

8 Q. Why doesn't maximizing the degree of dilation harm the
9 woman's cervix in the D&E as you perform them?

10 A. Well the cervix is, of course, capable of dilating all the
11 way to 10 centimeters and that is -- that's the natural
12 potential of cervical dilation and that is what is experience
13 during childbirth and to our knowledge cervical dilation during
14 childbirth is not harmful to the cervix.

15 What we do during a D&E is less dilation than
16 childbirth because dilation occurs much more slowly than childbirth.

17 Q. As between D&E and induction in the second trimester, which
18 procedure typically has the most dilation associated with it?

19 A. Induction will have more dilation during the second
20 trimester because the fetus in fact cannot be delivered in an
21 induction until there is enough dilation in order to expel the
22 fetal head and in an induction the fetal head is intact and so
23 has larger diameters than it does with a D&E.

24 Q. Are you aware of the use of laminaria with certain second
25 trimester abortions -- sorry, second trimester inductions,

1 currently?
2 A. Yes.
3 There are a number of people using induction abortion
4 in the second trimester will do a combination kind of treatment
5 where they may use OGS motic dilators prior to starting the
6 induction and we know that that use of laminaria or other
7 osmotic dilators during an induction abortion or prior to an
8 induction abortion will shorten the time required for an
9 induction abortion.
10 So it is an add junktive approach that enhances the
11 induction.
12 Q. Dr. Westhoff, how much of the fetus can a physician remove
13 with the first pass of instruments during a D&E?
14 A. Well --
15 MS. GOWAN: Objection, your Honor.
16 THE COURT: May I have the question, read, please?
17 (record read).
18 THE COURT: What's the objection?
19 MS. GOWAN: I don't think that Dr. Westhoff is
20 qualified to respond to a question that asks generally about
21 how much physicians can remove on the first pass of the
22 instrument.
23 MR. HUT: I will rephrase the question, your Honor.
24 BY MR. HUT:
25 Q. In your D&E practice, Dr. Westhoff, how much of a fetus may

page 16

1 be removed by the physician with the first pass of instruments
2 during a D&E?
3 THE COURT: Meaning herself.
4 Q. Herself or her service, to the extent she is familiar with
5 it?
6 THE WITNESS: Myself, that's fine.
7 Many my own experience, my own personal experience
8 there is a very wide range of possibility where SPHAEUFPLSZ
9 with the first pass of instruments in fact we do not succeed in
10 removing any fetal parts so we can have an unsuccessful pass.
11 And we can introduce instruments and remove the entire fetus at
12 the first pass of the instruments and of course every
13 possibility in between those two extremes have, which have all
14 in fact occurred in my very own practice.
15 THE COURT: Does it vary with gestational age?
16 THE WITNESS: Yes, it does. But the correlation with
17 gestational age is an imperfect one because both of the
18 extremes that I described can occur early and late in the
19 second trimester.
20 THE COURT: Have you ever perforated the uterus with
21 one of these passes?
22 THE WITNESS: To my knowledge I haven't personally
23 had --
24 THE COURT: That's all I'm asking.
25 THE WITNESS: Had a perforation. I can also tell you

1 the statistics for my service.
2 THE COURT: I'm just asking you, Doctor.
3 THE WITNESS: Okay.
4 THE COURT: We have more than enough statistics here.
5 Have you ever lacerated the cervix?
6 THE WITNESS: Yes. I have had patients experience
7 cervical laceration under my care during D&E.
8 THE COURT: Yes.
9 BY MR. HUT:
10 Q. Have doctors performing D&E in your service under your
11 supervision had uterine perforations during the course of D&Es
12 they have performed, Doctor?
13 A. Yes.
14 As I was saying yesterday, I'm responsible for
15 assessing all of our complications in order to make sure that
16 we're taking good care of our patients and see what's going on.
17 In the last three years on our service we have had one
18 cervical laceration and we have had three perforations of the
19 uterus during D&E procedures.
20 THE COURT: Were these done by interns or residents?
21 THE WITNESS: They were done by other attending
22 physicians or --
23 THE COURT: I didn't ask you that, Doctor. My
24 questions are very simple.
25 THE WITNESS: No.

1 THE COURT: They were not done by residents.
2 THE WITNESS: They were not done by TIPHERPBTZ or
3 receipts dent.
4 THE COURT: Either win.
5 THE WITNESS: I know who was involved in each of these
6 cases and it was not interns and residents.
7 BY MR. HUT:
8 Q. These perforations and lacerations occur in D&Es that were
9 effected with dismemberment or more relative intact, Doctor?
10 A. Each of these cases I am referring to occurred during a
11 dismemberment type of D&E.
12 We have had no lacerations or perforations on my
13 service with the use of an intact variant of D&E.
14 Q. At what point during the D&E procedure as you do it,
15 Dr. Westhoff, would it be decided the D&E would be proceeding
16 by an intact or relatively intact extraction?
17 A. As I mentioned earlier, each step in our surgical care is
18 really determined by the step that immediately precedes it.
19 My PREF convenience to minimize the passes of
20 instruments into the uterine cavity and my corollary preference
21 is to remove as much of the fetus in each pass as possible and
22 the, sort of logical goal of that is if I can remove the fetus
23 intact I will do so.
24 But we have to see each step of the way as we proceed
25 if that's possible.

1 Sometimes I may remove a portion of the fetus first.
2 Possibly I will remove a leg and then after I have removed that
3 leg on the next pass I'm able to bring down the entire rest of
4 the fetus.
5 So, each case may have a very individual kind of
6 progression through the steps whether it's entirely intact,
7 entirely in pieces or some combination between those two and
8 that evolves as the case proceeds and depends on what is
9 possible for me to do in the safest manner.
10 Q. With respect to the dilation process in a D&E as you
11 described it earlier, does that process differ for an intact
12 D&E or as between intact D&E and a dismemberment D&E?
13 A. No, it doesn't.
14 We approach dilation, as I said, based on the
15 patient's anatomy, her obstetrical history and gestational age.
16 We don't start out with a prior intent of doing something
17 different.
18 For all of our cases I would like to do an intact
19 evacuation if possible but everybody gets the same approach to
20 the laminaria.
21 THE COURT: Going back if I could with you a moment,
22 Doctor, to your discussion with patients who say all of them
23 you try and do an intact procedure?
24 THE WITNESS: Yes, your Honor.
25 THE COURT: Do you tell the mother that when you do

1 that that you get to that stage that part of the body, that the
2 fetus is outside her body you insert scissors in the base of
3 the skull and insert them into the brain of the fetus?
4 THE WITNESS: I have not used those particular details
5 but I do tell patients that my goal is to make the --
6 THE COURT: I'm not asking about goals, Doctor, I'm
7 trying to find out what you really tell.
8 THE WITNESS: Okay.
9 THE COURT: The mother what you are going to do when
10 you do this procedure.
11 THE WITNESS: Okay.
12 THE COURT: I want to know whether that woman knows
13 that you are going to take a pair of scissors and insert them
14 into the base of the skull of her baby, of her fetus. Do you
15 tell her?
16 THE WITNESS: I do not usually tell patients specific
17 details of the operative approach. I'm completely --
18 THE COURT: Do you tell her that you are going to
19 then, ultimately, suck the brain out of the skull?
20 THE WITNESS: In all of our D&Es the head is collapsed
21 or crushed and the brains are definitely out of the skull but
22 those are --
23 THE COURT: Do you tell them that?
24 THE WITNESS: Those are details that would be
25 distressing to my patients and would not -- information about

1 that is not directly relevant to their safety.
2 THE COURT: Don't -- whether it's relative to their
3 safety or not don't you think it's since they're giving
4 authorization to you to do this act that they should know
5 precisely what you're going to do?
6 THE WITNESS: That's actually not the practice I have
7 of discussing surgical cases with patients.
8 THE COURT: I didn't ask you that. I said don't you
9 think they ought to know?
10 THE WITNESS: No, sir, I don't. That's not how I
11 discuss C Sections with patients, it's in the ow I discuss
12 hysterectomy mys and not how I discuss D&Es.
13 THE COURT: Next question.
14 BY MR. HUT:
15 Q. Do you tell a woman who is considering a D&E that the fetal
16 arms, legs, extremities may be dismembered is in the course of
17 a dismemberment variation D&E, Dr. Westhoff?
18 A. I tell patients that we will remove all of the fetus and
19 the uterus and membrane, the placenta and membranes from the
20 uterus as safely as possible and that that proceeds somewhat
21 differently for all patients.
22 I then see if that elicits further questions from the
23 patient and I address the questions according to her concerns.
24 Q. But following up on the Court's question, are you any more
25 explicit with respect to the details of a D&E depending on

1 whether it's an intact D&E that may be performed or a
2 dismemberment D&E?
3 A. I offer patients the same general information and because I
4 don't know at the pre-operative visit how the surgery will
5 actually play out when the time comes, I do give patients a
6 general description and in fact tell them I don't have a
7 crystal ball to predict exactly how their operation will
8 proceed on the next day.
9 Q. During the midtrimester Dr. Westhoff, what is usually the
10 largest part of the fetus?
11 A. The largest part is the skull itself and in particular we
12 pay attention to the diameter across the skull.
13 Q. When any part of the fetus is too large to pass through the
14 cervix during the course of a surgical abortion in the
15 midtrimester, how do you remove the part in question?
16 A. For any fetal part that's too large to fit through the
17 cervix we attempt to remove -- reduce the diameter of the fetal
18 part by severing, crushing it or collapsing it.
19 Q. How often will it be necessary to collapse the fetal skull
20 during D&E whether the D&E proceeds by a dismemberment or more
21 relatively intact, Doctor?
22 A. For the vast majority of D&Es testimony be necessary it
23 either crush or collapse the feel theal skull.
24 THE COURT: Do you tell the woman that? Do you use
25 the word crush?

1 THE WITNESS: Your Honor, I do not.

2 THE COURT: I didn't think so.

3 Next question.

4 BY MR. HUT:

5 Q. Is that true of both dismemberment D&E and intact D&E,
6 concerning the need to collapse the skull during D&E?

7 A. Yes, it is.

8 Q. Is there a difference, Dr. Westhoff, between the way a
9 head, fetal head may be collapsed in a D&E by dismemberment and
10 the way it may be collapsed during a D&E performed by the
11 intact SRAEUR KWREUGS?

12 A. Yes. The approaches are different.

13 In the dismemberment D&E the fetal head will be up
14 inside the uterus. It is necessary to insert our forceps, open
15 them as wide as possible to try to capture the head within the
16 opening of the forceps and then crush the head using external
17 force applied against the head.

18 And at that point the contents of the skull will drain
19 and the diameters will be reduced and we will be able to remove
20 the skull through the cervix.

21 This is often difficult to do and may require multiple
22 attempts which is one of the risks that concerns me.

23 With an intact D&E D&E, when we have put a hole into
24 the base of the skull we can generally do that under direct
25 visualization because the base of the skull is, thanks to

1 traction, held right in the cervical opening and so it is, in
2 my experience and my opinion, less risky to put a hole in the
3 base of the skull.

4 Because the contents of the skull are liquid the skull
5 contents may often drain out spontaneously as soon as there is
6 a hole in the skull. In some cases it is necessary to use
7 succeeding. The bones of the skull are fairly soft and will
8 just collapse inward at that point without any external
9 application or force and then the diameters are reduced and it
10 will be possible, using additional traction, to deliver the
11 head through the cervix.

12 THE COURT: Doctor, when you are doing any of these
13 crushing procedures, whether it be to an extremity or to the
14 body, the skull, does the baby, does the fetus ever make any
15 noise or cry?

16 THE WITNESS: It absolutely does not. And in our
17 setting it does not move. It does not withdraw, it does not
18 move. It has very limited tone to its body.

19 THE COURT: How about when you do the intact.

20 THE WITNESS: It does --

21 MR. HUT: Let me finish the question.

22 THE WITNESS: Yes, your Honor.

23 THE COURT: It is a breech presentation and and the
24 extremity, the fetus is removed from the uterus up to the skull
25 to do the hands ever move, the if I canners?

1 A. In my experience there is no spontaneous fetal motion. The
2 fetus is limp.
3 THE COURT: You have never seen the feet move or the
4 hands?
5 THE WITNESS: Not in my experience with a D&E.
6 THE COURT: Have you ever heard of them moving before
7 you make the hole in the base of the skull.
8 THE WITNESS: Not in my experience on my service, sir.
9 THE COURT: Next question.
10 BY MR. HUT:
11 Q. Dr. Westhoff, are you able to see, ever, the base of the
12 fetal skull when you are collapsing it in the course of a D&E
13 proceeding by the dismemberment variation?
14 A. No. If I am collapsing the skull that is still inside the
15 uterus in a dismemberment D&E I am not able to see the skull at
16 all. That's when we often rely on sonography to help us locate
17 the skull because it is high up -- it is usually high up in the
18 uterine cavity so we need to use rather long forceps in order
19 to grasp it and collapse it and bring it down to the cervix.
20 Q. Dr. Westhoff, when, in a pregnancy, can a D&E be performed?
21 A. We can did a D&E techniques throughout the second
22 trimester.
23 Q. At what point in the second trimester are you able in
24 some circumstances, to achieve or to use on regular basis, the
25 intact variation of D&E?

1 A. The variation that we've been discussing in the last few
2 minutes we may commonly use at 18 or 20 weeks or later in the
3 second trimester.
4 Q. How often are you able to achieve intact delivery in a D&E?
5 A. At those gestational ages I would say very generally less
6 than half of the time we effect an intact delivery.
7 Q. Does it ever happen in your experience, Dr. Westhoff, that
8 prior to the 18 to 20 week period you just mentioned you are
9 able to remove the fetus intact?
10 A. Yes, it does happen.
11 Q. In addition to D&E, Dr. Westhoff, what other options are
12 available for abortions after the first trimester?
13 A. Induction is the main option that's selected and at least
14 THRAOET KHREU hysterotomy, which is equivalent to a c-section
15 or hysterectomy, would be techniques. But these are much
16 greater risks of complication for the woman and so they are
17 used extremely rarely.
18 Q. Based on your review of and familiarity with CDC
19 statistics, Dr. Westhoff, what percentage of second trimester
20 abortions are performed using the procedures you have just
21 identified?
22 A. The best estimate is about 95 percent are D&E and about 5
23 percent are induction.
24 CDC also does report some perfect portion of cases as
25 being done according to other techniques and we don't know

1 fully what is included in the other category.
2 But it appears that D&E and induction include most of
3 them.
4 The CDC's categorization -- I'm sorry if this bores
5 the Court, but only refers --
6 THE COURT: Why should you think that, ma'am?
7 THE WITNESS: Well you said something about statistics
8 a minute ago not being so interesting.
9 THE COURT: The Court never told you that statistics
10 bored it.
11 THE WITNESS: I'm sorry, your Honor.
12 THE COURT: So don't put words in my mouth, ma'am.
13 THE WITNESS: I'm sorry, your Honor.
14 That the CDC has for about 20 years now had a category
15 of installation type of induction and may not, that may not
16 include inductions that are currently being done using, for
17 instance, administration of prostaglandin in the vagina rather
18 than installation.
19 So, the statistics, the best we have in the United
20 States, but not as perfectly informative as I might wish.
21 (continued on next page) TWM 4/2 take 2 NAF v. Ashcroft Caroline
Westhoff on
22 direct by Mr. Hut<]
23 Q. Does that mean that prostaglandin inductions in the second
24 trimester would be performed less than 5 percent of the time?
25 MS. GOWAN: Objection.

1 THE COURT: What is the objection?
2 MS. GOWAN: Leading.
3 THE COURT: Sustained.
4 Q. Dr. Westhoff, do you have an opinion whether an abortion as
5 performed in the United States today is a safe procedure based
6 on your familiarity with statistics concerning complication
7 rates?
8 A. Yes.
9 Q. What is your opinion?
10 A. Abortion is safer than continuing pregnancy to term and
11 continuing childbirth, and it is also overall extremely safe.
12 Q. Without going into the relative safety of different methods
13 of abortion at this point --
14 THE COURT: Before you go further, safer than
15 childbirth?
16 THE WITNESS: Yes, your Honor.
17 THE COURT: Would you recommend abortions rather than
18 childbirth then?
19 THE WITNESS: If a woman wants to have a baby, she
20 should TKR*EUPBL definitely go the full nine months.
21 THE COURT: And take the risk?
22 THE WITNESS: And take the risk, yes, but --
23 THE COURT: Thank you, next question.
24 Q. Without delving into the relative safety of different melds
25 of abortion, do you have an opinion on the impact of increased

1 gestational age of the relative safety of abortion?
2 A. Yes, sir. Abortion is safest in the early weeks of
3 pregnancy and as the gestational age advances, the risks and
4 complications increase as well.
5 Q. Dr. Westhoff, based on your experience as an obstetrician
6 and TKPWOLGT, are you familiar with reasons why women terminate
7 their pregnancies after the first trimester?
8 A. Yes, I am.
9 Q. In your practice what are circumstances you have seen that
10 cause women to terminate pregnancies after the first trimester?
11 THE COURT: You can ask what women have told her. But
12 obviously she can't testify what is in their mind and how they
13 make the decision.
14 MR. HUT: Absolutely.
15 THE COURT: So the form of the question is
16 objectionable.
17 Q. Subject to the Court's observation --
18 THE COURT: No. Just ask the questions, Mr. Hut.
19 Q. What have women told you as to reasons why they wish to
20 terminate pregnancies after the first trimester?
21 MS. GOWAN: Objection: Hearsay.
22 THE COURT: I will allow it.
23 A. There are several categories of. One is personal problems
24 such as relationship problems and social problems. A much
25 larger group in our practice is women who HIV abnormalities in

1 the pregnancy itself. These may be chromosomal abnormalities
2 that have been diagnosed or anatomical abnormalities of the
3 fetus, and a smaller group are --
4 THE COURT: Could I stop you there. Do you make a
5 note on your chart when that exists?
6 THE WITNESS: Yes, we do. We generally are in receipt
7 of information from a referring physician with regard to test
8 results, and so on.
9 THE COURT: But you perform the procedure. Do you
10 note on your chart the reason for the abortion?
11 THE WITNESS: We usually do. I'm not sure that is
12 true 100 percent of the time. But I think we usually do, sir.
13 THE COURT: Do you do it, personally?
14 THE WITNESS: Yes, I usually do.
15 THE COURT: Next question.
16 Q. Have you completed your answer as to the reasons women have
17 told you why they seek to terminate pregnancy in the second
18 trimester?
19 A. Just the last point is that a third cat TKPWOEURL of
20 seeking abortion are problems with maternal health. That is a
21 smaller category than the other two.
22 Q. With respect to problems with maternal health and
23 chromosomal and anatomical anomalies with fetuses, do women who
24 encounter these, are they mostly ending wanted or unwanted
25 pregnancies, Dr. Westhoff, in your experience?

1 A. The majority of my patients across all reasons are in fact
2 ending wanted pregnancies because of problems that have
3 developed or been diagnosed.
4 THE COURT: Excuse me. If the abortion is done for
5 maternal health reasons, do you note that on your charts?
6 THE WITNESS: Yes, we generally do.
7 THE COURT: You personally?
8 THE WITNESS: I personally generally do.
9 THE COURT: Next question.
10 Q. When in pregnancy, Dr. Westhoff, are fetal anomalies of the
11 kind you described generally diagnosed?
12 A. For chromosomal abnormalities some women have early testing
13 and may have a diagnosis of a chromosomal abnormality by the
14 end of the first trimester. But most of the patients who I see
15 have genetic abnormalities diagnosed by amniocentesis during
16 the second trimester. That may be done anywhere between 16 and
17 20 weeks' gestation, and they will get the results of that test
18 2 to 3 weeks later. So that is when I see them with regard to
19 genetic abnormalities.
20 For women who have anatomical abnormalities on a
21 sonogram, such as an abnormal heart, for instance, that is most
22 likely to be detected at or even after 20 weeks' gestation. So
23 those patients tend to come to me towards the end of the second
24 trimester.
25 THE COURT: Doctor, could I ask you, we have heard a

1 lot of about amniocentesis. Since you are very fond of
2 statistics, or appear to be very aware of them, how frequently
3 does amniocentesis occur in the pregnancy? How many fetuses
4 have that problem?
5 THE WITNESS: Amniocentesis is a diagnostic test to
6 remove fluid from the sac surrounding the fetus in order to do
7 tests T. standards continue to evolve. The standard for most
8 of my career has been to offer amniocentesis to all women age
9 35 or older because of the frequency of chromosomal
10 abnormalities in that agegroup, as well as to women who have
11 other problems in their personal history or family history. I
12 don't know, however, the total number of amniocentesis that
13 are done in the United States each year. I don't have that
14 information.
15 THE COURT: What is the condition where there is
16 abnormal fluid in the head?
17 THE WITNESS: That is hydroselous.
18 THE COURT: Can that be detected by the amniocentesis?
19 THE WITNESS: No. Hydroselous is not a chromosomal
20 abnormality. Hydroselous will be likely diagnosed with an
21 anatomical study of the fetus using sonography at around 18 or
22 20 weeks.
23 THE COURT: Conditions that cause blindness in babies
24 at birth, can that be detected prior to birth?
25 THE WITNESS: Not to my knowledge, your Honor.

1 THE COURT: So there are no, that you know of,
2 abortions done because the mother can detect in advance that
3 their baby will be born blind?
4 THE WITNESS: Not in my personal experience and not to
5 my knowledge.
6 THE COURT: Go ahead, Mr. Hut.
7 Q. Dr. Westhoff, do you have an opinion regarding the safety
8 of D&E?
9 A. Yes, I do.
10 Q. What is that opinion?
11 A. That D&E is a safe technique of abortion and is in most
12 cases overall has less risk of maternal morbidity and mortality
13 than continuing a pregnancy to term.
14 Q. What is the basis for your opinion, Doctor?
15 A. In part I base my opinion on data collected from the CDC
16 with regard to maternal mortality, and for data regarding
17 complications and morbidity from a variety of case series, as
18 well as other government collected data on hospitalization
19 rates.
20 Q. Do you have an opinion, Dr. Westhoff, regarding the safety
21 of induction in the second trimester?
22 A. Yes, I do.
23 Q. What is that opinion?
24 A. Induction is generally a safe approach to abortion. The
25 newer induction techniques appear to be safer than the

1 installation techniques that were used 20 years ago. But
2 because induction is infrequent, there is somewhat less data
3 available to assess its safety.
4 Q. Do you know what percentage of inductions in the second
5 trimester are performed by the newer methods of induction as
6 opposed to saline instillations?
7 A. I don't know, and I am not aware of any data source to help
8 me with that.
9 Q. Dr. Westhoff, do you have an opinion as to how the safety
10 of D&E compares to the safety of induction? [Installation
11 should be instillation, above]
12 A. Yes.
13 Q. What is your opinion, Doctor?
14 A. Both approaches are very safe. In the earlier part of the
15 second trimester up to 16 weeks or so the uterus is less
16 sensitive to the induction drugs, and so I think D&E is
17 substantially safer because it is more likely to be successful
18 in the earlier part of the second trimester. In the later part
19 of the second trimester, in general, D&E and induction are
20 similar. However, that depends on the resources available when
21 taking care of the patient, because they are not going to be
22 equal in all hands.
23 Q. With respect to the later part of the second trimester, do
24 you have an opinion why D&E is the more common in that portion
25 if, as you have testified, the procedures are, subject to your

1 testimony, approximately comparable in safety?
2 A. Yes. An induction abortion involves hospitalization for a
3 period of several days during which a woman goes through
4 essentially the experience of labor. This is painful and
5 difficult for all women, and in particular is distressing. My
6 patients find that would be very distressing when they are
7 ending a wanted pregnancy, and they would prefer to have a D&E
8 with less time in the hospital and the opportunity to under undergo
9 the abortion itself in a very short period of time while they
10 are asleep.

11 THE COURT: I don't think the witness can testify what
12 the woman is thinking, Mr. Hut. I assume there is an
13 objection, Ms. Gowan.

14 MS. GOWAN: Yes.

15 THE COURT: Sustained. Strike the answer. Ask it
16 again, Mr. Hut. The witness can't testify what is going
17 through multiple women's minds.

18 Q. Based on descriptions of the induction procedure that have
19 been supplied to you by women who consulted your practice and
20 opinions given to you by women who are --

21 THE COURT: That doesn't cure it. You have a crowd
22 out there now.

23 Q. Dr. Westhoff, do you talk on a regular basis to women in
24 your practice about the alternative of induction?

25 A. Yes.

1 Q. What do they tell you about their views W-FRPT induction?

2 MS. GOWAN: Objection.

3 THE COURT: Sustained.

4 Q. How do the contractions during induction during the second
5 trimester, Dr. Westhoff, compare to those typically experienced
6 at term during labor?

7 A. The uterine contractions during an induction abortion are
8 similar to the contractions that women experience during
9 childbirth where labor is also induced using similar med
10 situations. I believe based on my experience that contractions
11 that are induced with medication are more painful than
12 contractions that occur spontaneously.

13 Q. How does the surgical portion --

14 THE COURT: How could you know that without feeling it
15 yourself?

16 THE WITNESS: Your Honor, if it is appropriate, I have
17 been through childbirth and have had an induction myself. But
18 I have taken care of many, several thou, patients in
19 childbirth. Based on my observation of spontaneous labor and
20 induced later, I have a very definite opinion that induced
21 labor is more painful for my patients.

22 THE COURT: Isn't pain something that can only be
23 experienced by the person who is having it?

24 THE WITNESS: I agree that only the person who has the
25 pain experiences it. I have an explicit responsibility

1 mandated by the joint commission to assess the level of pain
2 and record the level of pain that my patients experience. So
3 it is part of my job to assess, as an observer, the intensity
4 and the quality of pain that my patients experience during all
5 of my encounters with them.
6 THE COURT: So it is something subjective that you
7 assess?
8 THE WITNESS: Yes, your Honor.
9 THE COURT: Next question.
10 Q. Is any portion of the curriculum for obstetricians and
11 gynecologists either in medical school or residency devoted
12 to pain management?
13 A. Pain management, yes, has become a priority based on the
14 request of the joint commission that all doctors --
15 THE COURT: Which joint commission is this?
16 THE WITNESS: The joint commission for the
17 accreditation of healthcare organizations, which periodically
18 reviews all hospitals and SOERBGTD facilities for whether they
19 meet national standards and can continue to be accredited to
20 take care of patients.
21 Q. Dr. Westhoff, how long typically does the surgical portion
22 of a D&E take from the commencement of the evacuation to the
23 cessation of anesthesia in your practice?
24 A. There is of course a range. I don't have a practice of
25 watching the clock during cases, but I would say that often in

1 the neighborhood of 20 minutes. But cases can be as short as
2 10 minutes and as long as an hour. If there are complications
3 that require treatment, it can be much longer than an hour.
4 Q. With respect to the insertion of laminaria that you
5 described that can occur one or two days prior, where is the
6 woman typically after the laminaria are inserted and prior to
7 surgery?
8 A. After a woman has laminaria she may return home or return
9 to work.
10 Q. Do you have an opinion, Dr. Westhoff, whether inductions
11 may be medically less preferable for some patients undergoing
12 abortion?
13 A. Yes.
14 Q. For what types of complications might an induction abortion
15 be medically less preferable?
16 A. There are several categories of patients where an induction
17 may be more dangerous or likely to fail. First among women for
18 instance where the uterus is in TPBGTD they have a condition
19 called chorioamnionitis. The cervix is often soft and easy to
20 dilate but the uterus will not respond well to the medication,
21 and prompt removal of the pregnancy by doing a D&E is safer in
22 terms of the infection and quicker. So that is one example.
23 In looking at the maternal anatomy, in a woman who has
24 had certain types of previous uterine surgery there is a risk
25 with the contractions of induction that her uterus would

1 rupture. Therefore, while there is a range of opinion about
2 exactly which surgeries are contraindications against
3 induction, I think that doctors would agree that many uterine
4 surgeries are contraindication to an induction because of the
5 risk of uterine rupture -FPT those are two major examples.
6 Q. What is uterine rupture, Dr. Westhoff?
7 A. Uterine rupture simply means that the uterus rips open.
8 The problem with that is that of course the woman can
9 hemorrhage, the repair may require, will require, immediate
10 emergency surgery, and if the uterus cannot be repaired
11 adequately, it may be necessary to do a hysterectomy. So
12 uterine rupture leads to the risk of permanent loss of child
13 bearing capacity.
14 Q. What are some of the sources or origins of uterine scarring
15 that may make an induction medically less preferable?
16 A. The most common type of uterine scarring is that from a
17 previous Cesarean section. There are about 1 million Cesarean
18 sections per year in the United States. If the Cesarean
19 section is done high, if the incision is made high in the
20 uterus, it is called a classical C-section, and I think most
21 doctors would agree not to do an induction in that setting. If
22 a woman has had multiple C-sections low in the uterus, I think
23 that most doctors would agree an induction is more dangerous.
24 I think there is a wider range of opinion if a woman
25 has had a single C-section, whether it is safe to do an

page 40

1 induction either in a future labor or at the time, for
2 instance, of a possible abortion.
3 Q. Have you previously expressed opinion in writing on whether
4 women or labor is appropriate for women following a single
5 prior C-section?
6 A. I have some work that is relevant to that question, yes.
7 Q. What did you conclude in that regard?
8 A. Just briefly, that there has been a lot of concern that the
9 rate of C-sections is a million per year, and one issue is
10 whether we could allow all women after a C-section to labor and
11 have a vaginal birth with the next pregnancy. So there has
12 been a lot of work over 20 years now to assess the safety of
13 that. I helped with a study a number of years ago to assess
14 the risks of vaginal birth after C-section in a study that
15 summarized the experience of about 11 or 12,000 women
16 attempting vaginal birth after C-section.
17 For many of those women it is safe, but many of them
18 require an additional C-section and they have a higher
19 complication rate.
20 Q. Based on your experience, is there any evolution in medical
21 viewpoint concerning the appropriateness of vaginal delivery
22 following C-section?
23 MS. GOWAN: Objection.
24 THE COURT: May I have the question, please.
25 (Question read)

1 THE COURT: Sustained. Rephrase it.
2 Q. Based on your review of literature, conversation with
3 colleagues, and study in the field, has there been any
4 evolution of point of view with respect to the appropriateness
5 of labor following vaginal delivery?
6 A. Yes, there has been.
7 Q. What is that evolution, Doctor? S- S-
8 A. When I was in training in obstetrics at Kings County
9 Hospital, the rule that any woman with a previous
10 C-section was not allowed to labor at all. There then began a
11 trend over the next decade to permit labor and vaginal
12 birth after C-section, although in general not to allow an
13 induction after a C-section.
14 THE COURT: When you use the word "induction," do you
15 mean induced birth?
16 THE WITNESS: Yes.
17 THE COURT: Or an induction abortion?
18 THE WITNESS: I was referring right then to any kind
19 of induction, whether it is a future pregnancy at term to be
20 induced or the possibility of an induction for an abortion.
21 But induction at term for a birth would be far more prevalent.
22 Q. What is my opinion, Dr. Westhoff, about which there
23 has been some testimony from prior witnesses?
24 A. My opinion is an operation to remove benign growths
25 from the uterus that are called fibroids or myomas. S- there

1 is concern that the weakened wall of the uterus after such
2 surgery would contraindicate an induction in a future pregnancy
3 because of the risk of uterine rupture. The magnitude of that
4 risk will vary according to how extensive the myoma was.
5 was.
6 Q. Is myoma a source of uterine scarring?
7 A. Yes, it is.
8 Q. Is induction relatively less preferable in your opinion for
9 women who have uterine scarring from myoma?
10 A. I would prefer to avoid inductions in women with uterine
11 scarring.
12 Q. Dr. Westhoff, what is placenta previa?
13 A. That is a placenta in the position where it covers all or
14 part of the cervical opening. Certainly the risks of placenta
15 previa are hemorrhage as the cervix dilates. If the patient
16 has a placenta previa and needs a second trimester abortion, I
17 would prefer to use a D&E technique and not an induction,
18 because of the greater risk of hemorrhage during induction.
19 Q. Do you see, Dr. Westhoff, in the course of your
20 practice, patients who experience cardiac disease during
21 pregnancy?
22 A. Yes, we do.
23 Q. In your medical opinion, what type of abortion is the most
24 appropriate for a woman with cardiac disease?
25 A. I want to rely on the cardiologists who refer such patients

1 to me. Because of the cardiac condition of the patients, these
2 doctors will tell me that whether it is in the second trimester
3 or in fact for childbirth at term, that prolonged labor is
4 dangerous to their patients because of the change in the
5 dynamics of the blood supply. So they refer patients to me for
6 D&E.
7 Q. In the course of your practice, Dr. Westhoff, do you see
8 patients refer to you with pulmonary disorders?
9 A. Yes, I do.
10 Q. Do you have an opinion concerning whether induction is
11 medically preferable or not with respect to women with certain
12 preliminary disorders?
13 A. For all of my patients with medical conditions, I try to
14 make a decision in their best interest in conjunction with the
15 specialist who is taking care of their primary problem. For
16 patients with pulmonary disorders I prefer to do a D&E, because
17 fundamentally the anesthesia care during the D&E can protect
18 the rest of their body systems, including their lung. And also
19 because some of the drugs that are used for induction, the PROS
20 prostaglandins, have a risk of causing bronchospasm, which
21 would interfere with the patient's breathing and oxygenation.
22 Q. Can you explain further what bronchospasm is.
23 A. I'm sorry. Bronchospasm is asthma. The air will not pass
24 through the tubes in the lungs.
25 Q. Do you see any patients, Dr. Westhoff, who are referred to

1 you by other doctors with bleeding disorders?
2 A. Yes, I do see patients who have either primary bleeding
3 disorders, so the patients who have abnormalities of clotting
4 factors and abnormalities of their platelets because that is
5 just a primary problem in their body, or because such a problem
6 results as a side effect when they are being treated for some
7 other condition. So I see patients with a wide range of
8 clotting disorders in our practice.
9 Q. Can you state whether in your opinion induction is more or
10 less medically appropriate for women with such bleeding
11 disorders affecting clotting factors and platelets, Doctor?
12 A. In consultation with the medical doctors who are primarily
13 taking care of these patients, our preference is to take care
14 of them with D&E where during a brief time, as needed, we can
15 replace any missing clotting factors during the short time that
16 the procedure takes, and that minimizes the risk of hemorrhage
17 in comparison to a more prolonged induction abortion.
18 Q. Are there certain types of fetal anomalies, Dr. Westhoff,
19 that in your opinion make a medical induction less preferable?
20 MS. GOWAN: Objection, your Honor.
21 THE COURT: I think I heard THAERD question, but can
22 you read
23 (Question read)
24 THE COURT: What is the objection?
25 MS. GOWAN: A fetal anomaly not a maternal condition,

1 so I believe the question is vague.

2 THE COURT: I will allow it.

3 A. Yes.

4 Q. What is your opinion in that respect, Dr. Westhoff? [>
5 check above<]

6 A. Any large something of fetal anatomy will interfere with
7 the progress of labor in flux and will therefore more likely
8 result in a failed induction. So by and large in the presence
9 of large abnormalities of the fetus, for instance, hydroselves,
10 I would prefer to perform a D&E. A failed induction would mean
11 that a patient would end up needing a D&E anyway. So rather
12 than going through induction where there is a high risk of
13 failure, I would prefer to start with a D&E.

14 Q. Is there a higher risk of failure with induction in
15 connection with a fetal anomaly such as hydroselves?

16 A. The problem with hydroselves, in that case the fetus has a
17 very large head so it is unlikely to be able to fit through the
18 cervix. This depends of course --

19 THE COURT: Couldn't you just crush it then?

20 THE WITNESS: It would be an extra procedure to crush
21 the head. And if you are going to do an induction, you don't
22 actually have any access to the fetal head to crush it prior to
23 the induction. So one would end up with putting the patient
24 through labor and still needing to do a combined procedure.

25 THE COURT: Are there any studies done as to whether

1 it is more profitable for a physician to do a D&E rather than
2 an induction? Is there anybody who has done a study on the
3 profit margin?? Because it takes less time for the D&E, you
4 can accomplish more of them in a shorter space of time, are
5 they more profitable for the physician?

6 THE WITNESS: I think that I could probably lay out
7 for you, if you would like, how we --

8 THE COURT: I asked you a simple question. Have there
9 any studies been done that it is more profitable to do a D&E
10 for a STPHUBGS as to the profit to the physician? S-

11 THE WITNESS: I think the amount of physician time has
12 not been assessed in comparing these two procedures.

13 THE COURT: You have testified that they take normally
14 less time. How about are they more profitable for the
15 hospital?

16 THE WITNESS: Sir, if I may address the first point,
17 the physician is not -- the induction takes a great deal more
18 time for the patient. The physician is not necessarily
19 directly involved during all of that time. So the amount of
20 physician time that is involved in the two may not be so
21 different, but I am unaware of any formal comparisons for that.

22 For the hospital, I don't know what the differences
23 are in the billing on the part of the facility.

24 THE COURT: Has anybody ever made a study or
25 comparison as you have heard it?

1 THE WITNESS: I am not aware of that. The hospital
2 has certainly not expressed a preference to me that they wish I
3 would do one procedure or the other. I have never heard such a
4 recommendation from the hospital.

5 THE COURT: So you don't know whether it is more
6 profitable for a physician to do more D&E's because they occupy
7 less of the doctor's time, whether it is more profitable?

8 THE WITNESS: As I told you, the D&E procedure itself
9 may be 20 minutes or an hour in the operating room. But there
10 is a lot of additional time spent with the patient in the
11 preoperative care and post-op care, and that may be similar to
12 the time that a physician spends taking care of a patient
13 having an induction. I believe it would be possible to make
14 those comparisons, but I'm unaware that anybody has ever done
15 so.

16 THE COURT: All right. Next question.

17 Q. Approximately how often in your experience, Dr. Westhoff,
18 do inductions fail?

19 A. For inductions, the entire induction can fail in terms of
20 meaning that there is no expulsion of the fetus or perhaps the
21 maternal condition deteriorates before enough time has elapsed.
22 Those cases are fairly rare but are require immediate
23 conversion to a D&E. For a large subset of inductions,
24 anywhere from I would say 10 to 25 percent, the uterine
25 contractions fail to expel the placenta and membranes;

1 therefore, the patient still needs to have a D&C in order to
2 remove that portion of the pregnancy.

3 THE COURT: A D&C?

4 THE WITNESS: A D KR*PBD C, yes, sir, to remove the
5 placenta and membranes.

6 Q. When the induction fail in the sense that the fetus is not
7 expelled from the uterus, what procedures are taken with
8 respect to that condition?

9 A. In most cases, if there is some degree of uterine dilation,
10 we would proceed to a D&E.

11 Q. Do you have an opinion, Dr. Westhoff, regarding the safety
12 of the intact variation of D&E?

13 A. Yes, I do.

14 Q. What is your opinion, Doctor?

15 A. My opinion is that the intact variation is safer for the
16 woman than the dismemberment variation of D&E.

17 Q. Why in your opinion is the intact variation safer than the
18 dismemberment variation for the woman?

19 A. Because we minimize the number of passes of instruments
20 into the uterus, we can reduce or possibly eliminate the risk
21 of perforation of the uterus or laceration of the cervix that
22 is directly due to the instrument itself. Also, because in
23 TKPHEPLT D&E the fetus is removed in parts, there are bony
24 fragments and it is possible for the bony fragments to cause
25 perforation or laceration. With the intact variation, that

1 risk is decreased or eliminated.

2 Also, with the TKPHEPLT D&E there is a risk of small
3 portions of the fetus remaining behind in the uterus, and that
4 can lead to hemorrhage or infection of the uterus subsequent to
5 the procedure. When the fetus is removed intact, that risk is
6 reduced or eliminated.

7 Q. Dr. Westhoff, you made reference in your answer to the use
8 of forceps in a TKPHEPLT D&E. I would like to show you an
9 exhibit.

10 MR. HUT: Your Honor, may I approach at this time?

11 THE COURT: You may.

12 Q. Doctor, I have handed you Plaintiffs' Trial Exhibit 144.

13 MR. HUT: Your Honor, I have provided in advance of
14 the trial this morning Plaintiffs' Trial Exhibit 144 for
15 examination by government counsel.

16 Q. Dr. Westhoff, what is Plaintiffs' Exhibit 144. This is a
17 surgical instrument referred to as vi are a forceps and comes
18 from my operating [> BIERER forceps<]

19 Q. Are forceps like the beerer forceps that are Plaintiffs'
20 Trial Exhibit 144 used by you during dismemberment D&E's?

21 A. Yes, these are.

22 Q. Can you describe the beerer forceps for the Court and in
23 particular the KHRAFPG or grasping end of the beerer forceps,
24 please.

25 A. Yes, sir. These are a stainless steel instrument, it is

1 about 11 inches long. At one end are finger holes to hold on
2 to it. There is a hinge at about 7 or 8 inches along the
3 shaft. Then at the tip of the hinge is -- the tips of the
4 instrument are each about the size of my thumb and they are
5 open, or fen straightd, they are smooth on the outside, and on
6 the inside they are serrated in order to permit effective
7 grasping.

8 Q. Since the external part of the KHRAFPG or grasping end of
9 the forceps is smooth, how can those, if they do inflict
10 uterine perforation, Dr. Westhoff?

11 A. There are several ways that these can cause perforation.
12 First of all, during a D&E procedure the uterus is very soft.
13 When we are reaching into the uterus seeking fetal parts, it is
14 possible just to puncture the uterus directly with the tip of
15 the forceps. Even though the tip of the forceps is smooth and
16 rounded, it can easily penetrate the soft uterine wall during
17 pregnancy.

18 Second, when we open the forceps to grasp fetal parts,
19 it is actually possible to grasp the interior surface of the
20 uterine wall and tear a piece of uterus from the rest of the
21 uterus.

22 Q. Dr. Westhoff, in your experience as between the intact
23 variation of D&E and the TKPHEPLT variation of D&E, which has
24 the shorter operating time?

25 A. In our experience -- in my experience, my SPERPBL

1 experiencer intact D&E has a shorter operating time.
2 Q. Why is that the case, Doctor?
3 A. If I can remove the entire fetus intact, or close to
4 intact, it is a fairly smooth sequence of events, and I do not
5 have to repeatedly search within the uterine cavity for
6 additional fetal parts. The search to grasp and remove each
7 part separately may need to be repeatd as many as 10 to 20
8 times. That sequence of events usually culminating in the
9 removal of the skull, is difficult and, because it is done
10 blindly, must done with slow and careful approaches, and that
11 takes more time.
12 Q. What safety advantages, if any, Dr. Westhoff, are
13 associated with shorter procedure time?
14 A. For any surgical procedure there is just a general
15 principle that shorter anesthesia time is safer for the
16 patient. There are fluid shifts that can happen in the
17 patient's body during the procedure and while under general
18 anesthesia. So it is a safety benefit to limit the time.
19 In particular, during any surgery during pregnancy,
20 there is always a risk of heavy bleeding and hemorrhage, and
21 the more prolonged the procedure is, typically the greater the
22 blood loss. Those are two STKAPBGS.
23 Q. Is there any safety advantage, Doctor, to shorter exposure
24 to anesthesia?
25 A. In particular, for patients with medical abnormalities,

page 52

1 they will be less likely to experience complication and will
2 recover more quickly if they have brief exposure to anesthesia.
3 MS. GOWAN: Your Honor, I would like to move to strike
4 the testimony. It is beyond the scope of Dr. Westhoff's expert
5 report in this case. I don't believe that she opined generally
6 at all on the effects of anesthesia and the complications that
7 might be associated with anesthesia.
8 THE COURT: Mark the exhibit and, Mr. Hut, if you
9 think something contrary -- do you concede that or not?
10 MR. HUT: I am actually now paging, your Honor,
11 through the expert report, which consists principally of --
12 THE COURT: I suggest you have one of your associates
13 do that and you submit it to me on the break. If Ms. Gowan is
14 correct, I will strike the testimony. If you believe she
15 opined on this in the report, then have your associates mark it
16 page and line.
17 MR. HUT: In general, your Honor, I do believe, I am
18 confident, that she opined on general safety advantages of
19 intact D&E and specified some. I do not know from memory
20 whether she specified shorter exposure to anesthesia. I do
21 know that it is --
22 THE COURT: If it is not there, I will strike it.
23 Very simply, it is either there or it is not.
24 MR. HUT: My partner Ms. Wigmore has provided me a
25 reference at paragraph 25.

1 THE COURT: I will look at it on the break.
2 MR. HUT: Of the declaration. Perhaps in Gowan would
3 look at that as well during the break.
4 THE COURT: Fine. I will rule on it at the break. If
5 you would go on to another area, please.
6 MR. HUT: Happy to.
7 Q. Dr. Westhoff, there was earlier testimony this morning
8 about the way that the fetal skull is collapsed in the course
9 of the TKPHEPLT D&E variation and the intact D&E version. As
10 varying. As between those two, are there safety advantages
11 incident to one of those, in your opinion?
12 A. Yes. I believe collapsing the skull in the intact
13 procedure is safer for the patient.
14 Q. Dr. Westhoff, can you state whether there are any
15 advantages to an intact D&E with respect to pathological
16 examination post-procedure of fetal anomalies?
17 A. For patients who are --
18 THE COURT: Are you a pathologist, by the way, Doctor?
19 THE WITNESS: I am not a pathologist, sir.
20 THE COURT: I assume she did not opine on that in her
21 expert report if she is not a pathologist.
22 MR. HUT: I believe she said, your Honor. Let me try
23 to establish a foundation.
24 Q. Dr. Westhoff, have you talked to PALG on this
25 \{^ivities}\{^ists} in connection with the D&E's that you

1 perform and learned about advantages to particular variations
2 respecting pathological examinations?
3 THE COURT: That isn't going to cure it, Mr. Hut.
4 Sustained. That's like me talking to aRod. I still can't hit
5 300.
6 MR. HUT: Would it were that easy, right, your Honor?
7 THE COURT: Would it be so easy.
8 Q. Dr. Westhoff, can you state whether in your opinion the
9 intact variation of D&E facilitates a grieving by the woman or
10 parents with respect to the D&E abortion?
11 A. Yes. We have taken care of several patients who have
12 availed themselves of the opportunity to hold the fetus after a
13 termination done by the intact D&E meld. Because it is the
14 back of the skull that collapsed, since this is not
15 disfiguring, and the face, for instance, is intact. Several of
16 my patients have wished to hold the fetus after the procedure
17 and have expressed gratitude that they were able to do so.
18 THE COURT: Would any of those patients that have
19 expressed that desire to assist them in grieving, and certainly
20 grieving is a serious thing, in any of those instances did you
21 tell those mothers that what they authorized you to do was to
22 make an incision at the base of the skull of their baby and
23 suck its brain out?
24 THE WITNESS: Your Honor, I definitely --
25 THE COURT: It is a simple question, Doctor. Did you

1 in any of those cases?
2 THE WITNESS: I definitely in those cases discussed
3 collapsing the skull. I definitely don't recall exactly what
4 words I used to communicate it. But because we do not always
5 achieve an intact D&E, for those patients we need to do have a
6 detailed discussion that we might only a deliver a fetus in
7 parts \that{,}\{,}that we would attempt to deliver it intact,
8 that the skull would be collapsed, and so on. So we did
9 discuss this ahead of time in those session. We also discussed
10 whether the patient would in fact prefer, for instance, an
11 induction in order to --
12 THE COURT: But did you tell them that you would be
13 sucking the brain out of the same baby that they desired to
14 hold, for the grieving process? Did you tell them that is what
15 you did STPWH--
16 THE WITNESS: I definitely tell them I collapseted
17 skull.
18 THE COURT: How about you can S-ing the brain out, did
19 you tell them that before they wanted to hold that baby so they
20 would know that is what they had authorized you to do?
21 THE WITNESS: They know that the head is empty. I do
22 not use the term "sucking the brain out O*EU" with my patients.
23 I don't think that helps the grieving process.
24 THE COURT: I didn't ask you that, Doctor.
25 Next question.

1 Q. Dr. Westhoff, you mentioned a moment ago that the face may
2 remain even though the head is collapsed and the intracranial
3 content suctioned out. Can you explain how that occurs?
4 A. Yes. The fetus has a tiny face and a relatively large
5 head. The bones of the back of the skull are very soft. When
6 we make an incision in the base of the skull, we don't disturb
7 any of the skin covering the entire skull, we don't disturb the
8 scalp. So the top and back of the head itself just collapses
9 and looks a little wrinkly and collapsed, but the facial
10 structures are not disturbed at all by that procedure.
11 Q. Do you or the hospital take any other steps to help
12 facilitate the grieving process in circumstances where parents
13 may indicate they desire it?
14 A. Yes, sir. We have clergy available to meet with our
15 patients during their pre-opvisits or on the day of their
16 surgery. We have social workers available. And we also have a
17 variety of referrals available. We have arrangements to permit
18 burial of the fetus if the patients want. And we have a
19 protocol in place where the patients f they wish to, can
20 identify a funeral home, and so forth.
21 Because the hospital also has small coffins present,
22 both for still births or for fetuses after a termination, and
23 in the case of our D&E patients we actually have little hats
24 available so we could in fact cover the back of the head where
25 the incision had been made. But we had a patient last year who

1 had an unfortunate abnormality of her pregnancy and aborted
2 twins in the second trimester, and she was able to hold both of
3 them and have clergy present and arrange for them to be buried.
4 Q. With respect to the subject of your testimony a moment ago,
5 Dr. Westhoff, how long does it typically take, in your
6 experience, to effect compression of the skull in an intact
7 D&E?
8 A. It takes less than a minute.
9 Q. How long does it typically take in your experience, Doctor,
10 to locate compress the skull in a TKPHEPLT D&E?
11 A. It takes several minutes. It is never less than a minute.
12 Q. Doctor, you referred in an earlier answer to the
13 possibility or the counseling that you sometimes do or the
14 offering that you sometimes do of an induction in cases where
15 an intact fetus may be desired to facilitate the grieving
16 process. Given that possibility, why then, in your opinion,
17 did you do so the intact D&E variation offer advantages?
18 A. To some extent, the choices here are issues of patient
19 preference. Even with my patients who, for instance, express a
20 desire to hold the fetus, I make sure they know that I cannot
21 offer them a certainty that I will deliver an intact fetus.
22 They are aware of the probability it will be dismembered, but
23 they really don't want to undergo labor and they would still
24 rather undergo the D&E even though they know that the
25 possibility of delivering an intact fetus is not certain. Did

page 58

1 I answer your question?
2 Q. Yes, Doctor.
3 THE COURT: Is this an appropriate time?
4 MR. HUT: I have about two more questions, or two more
5 minutes, three more minutes, on this line. Then it would be a
6 fine time, your Honor. But I'm happy to do whatever you want.
7 THE COURT: Go ahead, finish up this line.
8 Q. Dr. Westhoff, can you state whether in your opinion there
9 are women with specific health conditions for which an intact
10 D&E may offer safety or health advantages?
11 A. Yes.
12 Q. What are some conditions of maternal health for which an
13 intact D&E may offer certain advantages, in your opinion,
14 Doctor.
15 A. For those of my patients who arrive to be taken care of and
16 already have serious medical conditions, and this includes
17 heart disease, sickle cell TPHAOEPLia, organ transplant, and a
18 variety of other conditions, for those patients whose
19 physiologic status is marginal at the start of the procedure,
20 any complication is more catastrophic in their case. They
21 would really be not in a good position to undergo additional
22 surgery to care for the possible complications. In those cases
23 I believe those patients have the most to gain from an intact
24 D&E, where I would have an opportunity to avoid complications
25 that in their medical condition would be unusually risky for

1 them.
2 MR. HUT: This will be a fine time for the morning
3 break, your Honor.
4 THE COURT: Fine. We will take our recess now.
5 (Recess) 4/2/04 Judge Casey continuing direct of Dr. Westhoff
6 THE COURT: Please, be seated.
7 Before we commence questioning I have reviewed the
8 expert report and the transcript and I am going to allow the
9 answer to stand as is, but I am going to preclude any more
10 detailed inquiry along that line, as far as anesthesia,
11 Mr. Hut.
12 I think as far as it goes I will allow it at this
13 stage.
14 MR. HUT: Thank you, your Honor. I have no wish to
15 pursue any further examination in all events but I appreciate
16 the ruling.
17 THE COURT: All right.
18 BY MR. HUT:
19 Q. Dr. Westhoff, are you aware of any studies comparing the
20 relevant safety of the intact variation of D&E with D&E
21 involving dismemberment?
22 A. Yes, sir.
23 Q. What study or studies are you aware of, Doctor?
24 A. There is a study carried out by Dr. Chasen, who is another
25 plaintiff in this case, which I believe has been accepted for

1 publication in the American journal of obstetrics and
2 gynecology and is currently in press.
3 Q. Is the American journal of obstetrics and gynecology a peer
4 HAOEUFRR review journal?
5 A. Yes, it is.
6 Q. Have you read the article that is in press for publication
7 in the American journal of obstetrics and gynecology by
8 Dr. Chasen on the subject?
9 A. Yes. I have read the draft version of the article.
10 Q. Can you describe, in general, what the investigators,
11 including Dr. Chasen, were seeking to do?
12 THE COURT: Do they say so in the report? Otherwise
13 you are asking her to opine as to what was the operation of the
14 mind of Dr. Chasen and if there were other authors.
15 Investigators, I don't know who they are, but I'm not going to
16 allow it if that's what are you asking.
17 BY MR. HUT:
18 Q. Was Dr. Chasen the lead investigator?
19 A. Yes.
20 Q. Based on what the text of the study or the article in press
21 that you have read discloses, can you state what the
22 investigators were seeking to do?
23 A. They were speaking to review their experience with D&Es
24 over several years at a single institution in order to find out
25 whether intact D&E cases had more complications than

1 dismemberment D&E cases.
2 Q. Based on your review of the study, how did Dr. Chasen and
3 his co-investigators compare or go about comparing the relative
4 safety of the two variations?
5 THE COURT: Before we go any further, what's this
6 co-investigators business, are there more authors involved than
7 Dr. Chase KHEUS? Who are the co-investigators.
8 MR. HUT: There are, your Honor. I have the study
9 available. I can tell you the names of three others.
10 THE COURT: Well this is what I think you should bring
11 out, as to who they are, what it's all involved, whose records
12 did they study.
13 MR. HUT: Dr. Westhoff --
14 THE COURT: If you know.
15 BY MR. HUT:
16 Q. -- do you recall the names of any of the other
17 investigators associated with Dr. Chasen in the study?
18 A. Yes. I don't know all of them. Do you quantity me to tell
19 you the names of the ones I know.
20 Q. Yes, and their affiliations, to the extent you know those
21 as well, Mrs. ?
22 A. Sure, Dr. Frank injury very in that case which is chairman
23 of STWEUBGsened gynecology at the Cornell campus of the New
24 York Presbyterian hospital is one of the authors; Dr. Bill rash
25 Baum who is an attending physician there, Dr. SKWRA*UPB Kaufman

1 who did her training the Cornell campus of New York
2 Presbyterian and who now is attending physician on my service
3 at Presbyterian.
4 And I think there are one or two additional authors
5 but I don't know those individuals <!0>
6 Q. Based on your review of the text of the study, can you
7 explain what you believe Dr. Chasen and others, how they went
8 about comparing the recommendtive safety of the two techniques?
9 THE COURT: Just a minute.
10 Is Dr. Chasen going to testify?
11 MR. HUT: He is, your Honor.
12 THE COURT: Then why would this witness be the
13 appropriate one to ask how they went about it and what they did
14 if she wasn't there and she didn't do it?
15 Don't you think Dr. Chasen might possibly be the one
16 to ask.
17 MR. HUT: I think there is no question that Dr. Chasen
18 has TPHUFP to offer on the subject. I thought Dr. Wes did as
19 well but if it is the Court's preference we can go on.
20 THE COURT: If she didn't participate I don't think
21 she qualifies.
22 MR. HUT: It is a study she reSTPAOEUFPLTD she
23 reviewed.
24 MR. HUT: Of which I can make a proffer.
25 THE COURT: But if she didn't do it, it was like if I

1 read thyme Mag aSTKAOEPB today do you think I would be the
2 appropriate witness to testify as to what thyme did in their
3 investigation and their writing and what they did? I hardly
4 think so.
5 Q. Perhaps I can simplify the question to this?
6 THE COURT: Especially since you are going to have
7 Dr. Chasen. This is a waste of time asking her what it says.
8 And what they did.
9 BY MR. HUT:
10 Q. Dr. Westhoff how, if at all, does the study performed by
11 Dr. Chasen and its conclusions affect your view of the intact
12 variation of D&E as compared to dismemberment variation?
13 A. The results of Dr. Chasen's study confirm my impression
14 that intact D&E is a safe alternative for my patients and is
15 less likely to result in serious complications than a
16 dismemberment D&E.
17 So, his results from his patients conforms to my
18 clinical experience.
19 Q. We will just leave it there until we are able to hear from
20 Dr. Chasen.
21 Dr. Wes WERBGS for how long have you been a member of
22 the American College of obstetricians and gynecologists?
23 A. I was a junior fellow from the late '70s through 1985 and I
24 became a fellow in 1986 and have been a fellow since then.
25 Q. Has ACOG taken any public position as to the safety of

1 intact D&E that informs your view of the subject, Doctor?
2 A. Yes; ACOG has a position statement.
3 Q. As a member of ACOG are you familiar with that position?
4 A. Yes, I have read the position statement.
5 Q. And what position does ACOG take, Doctor?
6 A. ACOG has stated that there may be circumstances in which
7 and patients for whom intact D&E did a safe and appropriate
8 alternative.
9 Q. Do you recall the approximate year of the statement in
10 which ACOG made the assertion you just described?
11 A. I believe the original statement was in 1997.
12 Q. Do you know, Doctor, whether ACOG has issued any subsequent
13 statements regarding intact D&E since the 1997 policy
14 statement?
15 A. I believe ACOG has two additional statements that address
16 the issue in *RBG I think 2000 and 2002, and that affirm their
17 original position.
18 Q. Dr. Westhoff, is D&E or is intact D&E -- excuse me -- in
19 your opinion, the only available method to terminate pregnancy
20 in the second trimester?
21 A. It's not the only technique available.
22 Q. Does the fact that intact D&E may not be the only technique
23 available to terminate a pregnancy in the second trimester
24 affect your view that may be the safest procedure in some
25 circumstances?

1 A. Among the range of alternatives I usually think for most of
2 my patients that intact D&E offers safety advantages, compared
3 to those alternatives.
4 Q. Doctor, I would like you to now turn to Tab 69 in the
5 notebook that is before you.
6 Do you have it?
7 A. Yes, I do.
8 Q. Have you ever seen plaintiff's trial Exhibit 69, which is
9 at Tab 69 in the book before you, Dr. Westhoff?
10 A. Yes, I have. This is the partial-birth abortion ban.
11 Q. Let me direct your attention to Section 1531 of the Act
12 which appears on page S-36.
13 A. Yes.
14 Q. Do you see that? I would like you to read, if you would,
15 Dr. Westhoff -- well, let me first ask you to read the title of
16 the Section.
17 A. This section is titled partial-birth abortions prohibited.
18 Q. Can you read the first sentence under Section 1531A?
19 A. "any physician who in or affecting interstate or foreign
20 commerce knowingly performs a partial-birth abortion and
21 thereby kills a human fetus shall be fined under this title or
22 imprisoned not more than two years or both."
23 Q. Doctor, with respect to the reference in the sentence you
24 read to partial-birth abortion, is there a commonly understood
25 meaning of partial-birth abortion in the medical community, so

1 far as you are aware?
2 A. Not in the medical community, no.
3 Q. I would like, if I can, Doctor --
4 THE COURT: TPHRUPB of them understand it?
5 THE WITNESS: It is not a medical term, sir.
6 THE COURT: I didn't say that, I asked you whether or
7 not there are people in the medical community who understand
8 it.
9 THE WITNESS: I don't think so but I cannot read their
10 minds, of course.
11 BY MR. HUT:
12 Q. Let me direct your attention, Doctor, to Section 1531B 1 of
13 Plaintiff's trial Exhibit 69 of the act which is set forth in
14 Plaintiff's trial Exhibit 69. Please, read that section for
15 us, beginning with the parenthetical containing the numeral 1?
16 A. "the term partial-birth abortion means an abortion in which
17 the person performing the abortion, A, deliberately and
18 intentionally vaginally delivers a living fetus until in the
19 case of a head-first presentation the entire fetal head is
20 outside the body of the other; or in the case of breech PRPB
21 TAEUGS, any part of the fetal trunk past the Navyel is outside
22 the body of the mother for the purpose of performing an AO
23 vertical act that the person knows will kill the partially
24 delivered living fetus."
25 Shall I continue?

1 Q. Please do.
2 A. And B performed the overt act other than completion of
3 delivery, that kills the partially delivered living fetus."
4 Q. Now, Doctor, if you would turn to the first page of
5 Plaintiff's trial Exhibit 69, there you will find Section 2.
6 PWHAS the title of Section 2 on the first page?
7 A. Findings.
8 Q. Please have a look at Section 2-is 1 of the findings, which
9 appears on the same page; do you see the term partial-birth
10 abortion in the second line of that section?
11 A. Yes.
12 Q. Could you please read for us the language that appears
13 immediately after that phrase up to the dash mark that appears
14 in the second line from the bottom of that paragraph 1?
15 A. Okay.
16 "an abortion in which a physician deliberately and
17 intentionally vaginally delivers a living unborn child's body
18 until either the entire babe KWREUP's head is outside the body
19 of the mother or any part of the baby's trunk past the Navyel
20 is outside the body of the mother and only the head remains in
21 the woman, for the purpose of performing an overt act,
22 marijuana usually the puncturing of the back of the Chile's
23 skull and removing the baby's brains) that the person knows
24 will kill the par shamly delivered infant, performs this act
25 and then completes delivery of the dead infant."

1 Q. Now, could you refer back to the definition of the
2 partial-birth abortion in Section 1531B 1, which you will find
3 again on pages S-36 to S-37 and tell me whether the phrase
4 "only the head remains inside womb" appears in the S*UBS W*EBGS
5 section of B 1?
6 MS. GOWAN: Objection, the document speaks for itself.
7 THE COURT: Sustained.
8 Q. Dr. Westhoff, without enumerating them, have you perceived
9 any differences between language that describes a certain
10 abortion procedures in the findings of the statute and language
11 that appears in the text of the ban in Section 1531B 1?
12 A. Yes. The ban is more general than the findings.
13 Q. Mindful of the Court's ruling I'm not going to take you
14 through those difference but instead will ask you this, what
15 impact, if any between those differences between the text of
16 the ban in Section 1531B 1 and the text in the findings have on
17 your ability as a physician to determine what conduct is
18 prohibited by the ban?
19 A. The language --
20 THE COURT: Doesn't your question presume a fact that
21 hasn't been established?
22 MR. HUT: I said what difficulty if any, your Honor,
23 what impact if any -- at least I certainly intended to.
24 THE COURT: All right.
25 Can you answer that question?

1 THE WITNESS: Reading the ban the language is more
2 general and brief than in the findings. And it appears that it
3 could apply to a broader range of D&Es that he perform
4 including D&Es that involve dismemberment because it doesn't
5 say anything about intact. And, therefore, it's really
6 difficult for me it tell when I am actually doing a case
7 exactly whether I would be violating the ban.
8 Q. Dr. Westhoff, does the term vaginally delivers, as used in
9 the text of the ban, Section 1531B 1, have a commonly
10 understood meaning in the medical community?
11 A. To vaginally deliver means to remove any tissue from the
12 uterus out of the woman's body through the vagina. We can, for
13 instance, refer to vaginally delivering a myoma of the uterus,
14 we would vaginally deliver it if we remove it in that manner.
15 So, it is the removal of anything from the uterus out
16 through the vagina.
17 Q. Do you have an understanding of the phrase living fetus, as
18 that may be understood in the medical community?
19 A. Yes. And I think a living fetus would probably be most
20 simply interpreted as one that has a heartbeat and that would
21 be of course different from a viable fetus.
22 THE COURT: If you delivered a living fetus vaginally
23 are you delivering a fetus with a heartbeat from the uterus to
24 the vagina?
25 THE WITNESS: Yes.

page 70

1 THE COURT: You understand that?
2 THE WITNESS: If a fetus has a heartbeat I would
3 consider it to be living.
4 THE COURT: And you understand then what that phrase
5 means, correct?
6 THE WITNESS: I'm sorry, your Honor, I just got
7 confused. Yes.
8 THE COURT: Oh, the answer is yes.
9 THE WITNESS: Yes.
10 THE COURT: Next question.
11 BY MR. HUT:
12 Q. Within the medical community is there a commonly understood
13 meaning of the phrase "breech presentation," Dr. Westhoff?
14 A. Yes. Breech presentation means that either the feet or the
15 buttocks of the fetus are facing the cervical canal.
16 Q. Within the medical community, Dr. Westhoff, is there a
17 KPOFRPBly understood meaning of the phrase "completion of
18 delivery" as used in the text that you read a moment ago?
19 A. Yes.
20 Completion of delivery would mean that all fetal
21 parts, including placenta and membrane, have been removed from
22 the uterus.
23 Q. Let me direct your attention to the phrase "any part of the
24 fetal trunk past the Navyel is outside the body of the mother."
25 Based on your experience as a physician and abortion provider,

1 do you have any understanding what that phrase means?
2 A. Well, the fetal trunk past the Navel will include abdomen,
3 abdominal organs, rib cage chest and back, or back.
4 Q. Turn to Section 1531B 1B, please, Dr. Westhoff on page
5 S-37?
6 A. That's B at the top of S-37?
7 Q. Yes.
8 A. Okay.
9 Q. That states, "performs the overt act other than completion
10 of delivery that kills the partially delivered living fetus."
11 Did I read that correctly, Doctor?
12 A. Yes.
13 Q. In your opinion, if part but not all of the fetus is
14 outside the mother, is that fetus a partially delivered one?
15 A. Yes.
16 Q. Now, I would like you to focus your attention for the
17 moment, Dr. Westhoff, on intact D&E asking you to direct your
18 attention as I do so, to Section 1531B 1A of the act.
19 First, directing your attention to the phrase
20 "vaginally delivers a living fetus," can you tell us whether or
21 not intact D&E involves a vaginal delivery?
22 A. Yes, it does.
23 Q. When you perform an intact D&E, Dr. Westhoff, is the fetus
24 living when you commence vaginal delivery?
25 A. Although I don't always check for it, I believe there is

1 usually a heartbeat and that the fetus is living.
2 Q. With respect to the phrase in Section 1531B 12 breech
3 presentation, and I believe you may have testified it this
4 previously, what percentage -- I withdraw that comment. Let
5 me start again, I withdraw the question.
6 What percentage, in your experience of intact D&Es
7 involve a fetus in the breech presentation?
8 A. Well about one third of the time the fetus will already be
9 in the breech presentation at the start of the case and as we
10 progress through the case the position may change. So other
11 fetuses may become breech presentation as the case develops.
12 Q. Dr. Westhoff, when you perform an intact D&E on a feet news
13 breech presentation, do you vagina fallly deliver a living
14 fetus until the point at which any part of the fetal trunk past
15 the navel is outside the body?
16 A. Yes.
17 Q. Can you explain how that occurs, Dr. Westhoff?
18 A. With traction on the feet and legs. And right now we are
19 talking about an entirely intact exSTRABGS, is that right?
20 Q. That's correct.
21 A. So, with traction on the feet and the legs the buttocks and
22 abdomen will deliver out through the cervix and that's using
23 traction usually with my hands and perhaps instruments.
24 Q. Directing your attention, Dr. Westhoff, to the phrase, "an
25 overt act that the person knows will kill the partially

1 delivered fetus," in doing an intact D&E, do you perform an
2 overt act after part of the fetal trunk past the navel is
3 outside the woman's body?
4 A. Yes.
5 Q. Can you give us an example, Doctor, of the kind of overt
6 act that you perform at that point or that you may perform?
7 A. Well one example would be cutting the umbilical cord and
8 another example would be collapsing the skull, both of which I
9 believe would be lethal.
10 Q. And at the time you either cut the umbilical cord or
11 collapse the skull, is the fetus living?
12 A. Yes.
13 Q. And why do you perform the acts in question, either the
14 cutting of the cord or the collapsing of the skull?
15 A. Because we need to do both of those things to continue the
16 procedure.
17 THE COURT: And either act would kill the fetus, the
18 cutting of the cord or the collapsing of the skull? Or does it
19 take the combination?
20 THE WITNESS: No, no, I believe either of them would
21 be lethal. I don't have any data about how soon the heartbeat
22 would stop after either of those acts, but I believe either of
23 them individually would definitely be lethal.
24 THE COURT: Kill the fetus.
25 THE WITNESS: Yes.

1 MR. HUT: Are either of those acts, acts that you know
2 will kill the fetus correct, Dr. Westhoff.
3 A. Yes.
4 Q. Directing your attention again to 1531B 1A do you seize the
5 phrase "deliberately and intentionally" at the beginning of the
6 section?
7 A. Yes.
8 Q. With respect to the steps comprising an intact D&E that you
9 just described, when you perform an intact D&E are any of the
10 steps that you described performed deliberately and
11 intentionally?
12 A. Yes. Every step of the procedure is done deliberately and
13 intentionally, as is true with all surgical procedures. We
14 proceed step by step in a deliberate and intentional manner.
15 Q. What is your purpose in performing an intact D&E?
16 A. To abort the pregnancy.
17 Q. Doctor, do you fear prosecution under this Act for the
18 intact D&Es that you perform?
19 A. Yes, I do.
20 Q. Now I want to ask you some questions about the
21 applicability of the act to dismemberment D&E.
22 Does vaginal delivery, Dr. Westhoff, occur in a
23 dismemberment D&E?
24 A. Yes, it does.
25 Q. When you perform a D&E involving dismemberment, is the

1 fetus living when you KPHEPBSZ with the vaginal delivery?
2 A. Yes, it is.
3 Q. Based on your experience, Dr. Westhoff, in performing D&E
4 involving dismemberment, has it ever occurred that you have
5 vaginally delivered a living fetus until a point at which any
6 part of the fetal trunk past the navel is outside the body of
7 the mother?
8 A. Yes. In multiple scenarios.
9 Q. Could you provide an example of one of those multiple
10 scenarios?
11 A. Every case proceeds individually but in a case I have taken
12 care of I have brought down a leg and initially dismembered a
13 leg so that the case had begun as a dismemberment case but on
14 grasping the second leg was able to pull down the buttocks and
15 trunk and so forth and continue the case with the fetus still
16 having a heartbeat and until the trunk past the navel was out
17 of the cervix.
18 Q. Can you explain how, in the example you have just given,
19 that part of the fetus outside the woman's body -- excuse me,
20 the part of the fetus past the navel is outside the woman's
21 body?
22 A. Because using traction --
23 THE COURT: Can I -- stop right there.
24 Just tearing off TA one leg, that act itself would
25 kill the fetus if nothing else happened, would it not? It

1 would bleed to death, wouldn't it?
2 THE WITNESS: It would not necessarily bleed to death.
3 Arteries have a lot of elastic tissue in the walls of arteries
4 so they contract when they are cut or opened and it may lead to
5 a lethal act itself but not necessarily.
6 It may lead to the death.
7 THE COURT: More likely than not wouldn't it bleed to
8 death?
9 THE WITNESS: It may lead to death but --
10 THE COURT: I'm not talking about the rare exception,
11 I'm asking more likely than not wouldn't it just bleed to
12 death?
13 THE WITNESS: Not within the length of time during
14 which I'm carrying other parts of the procedure.
15 THE COURT: I didn't ask you that. I'm just asking
16 you whether it would bleed to death. It would cause death?
17 THE WITNESS: If we stopped and did nothing else and
18 waited it may be that the fetus would bleed to death. I can't
19 give you probability around that though. I don't know how
20 certain that is.
21 THE COURT: Next question.
22 BY MR. HUT:
23 Q. Directing your attention, Dr. Westhoff, to the phrase an
24 overt act that the person knows will kill the partially
25 delivered living fetus," in the example you just described

1 would you perform an overt act after part of the fetal trunk
2 past the navel is outside the woman's body?
3 A. Yes.
4 As in the case we were discussing previously where the
5 fetus was entirely intact, subsequent steps would include
6 cutting the umbilical cord and collapsing the skull, either one
7 of which would be more rapidly lethal.
8 Q. And why is it that you would perform such acts?
9 A. In order to continue the procedure and empty the uterus
10 safely.
11 Q. And the the time that you would collapse the skull or cut
12 the cord, is the fetus still living?
13 A. Yes. It would be likely is to still be living.
14 Q. And as with the last example, is the cutting of the cords
15 or the collapsing of the skull an act that you know is lethal?
16 A. Yes.
17 Q. Are the steps that you have just described in performing
18 the variation of dismemberment D&E discussed performed by you
19 deliberately and intentionally?
20 A. Yes.
21 Q. What is your purpose in performing a D&E involving
22 dismemberment such as the one you have just described?
23 A. It is to, as safely as possible, complete the abortion.
24 Q. Doctor, would you fear prosecution under the act for D&E by
25 dismemberment and the way you just described it?

1 A. Yes, I would.
2 Q. Do you have any other examples or scenarios of the way D&E
3 with dismemberment might proceed given its variability that
4 would involve vaginal delivery of a living fetus until a point
5 at which any part of the fetal trunk past the navel is outside
6 the body of the woman?
7 A. Yes, certainly I can.
8 An example that I have in fact experienced myself
9 taking care of the patient in the recent past involved not
10 being able to start the procedure by bringing the feet down,
11 using forceps and in fact first delivering part of the fetal
12 back entirely separate from the patient.
13 So, that is a part of the fetal trunk above the navel
14 that was delivered outside of the woman's body as a separate
15 piece of the fetus.
16 We were then able to continue bringing the fetus down
17 and bring the legs down and ultimately completed the abortion.
18 I don't remember in that case all the exact subsequent
19 steps but we did bring out a piece of the trunk first while the
20 fetus was still living.
21 So I fear that that particular sequence of events
22 would also violate the ban.
23 Q. And in the sequence that you described, did you perform an
24 overt act after the evacuation of the portion of the fetal
25 trunk above the navel? And while that portion was outside the

1 body of the woman?
2 A. Yes.
3 And in that case as it evolved, we also cut the cord
4 and collapsed the skull, both of which are lethal acts.
5 Q. Again, why did you perform those lethal acts in the
6 situation you just described?
7 A. In order to continue emptying the uterus and in order to
8 continue the abortion.
9 Q. And at the time you performed the first of the cutting of
10 the cord or the collapsing of the skull, was the fetus still
11 living?
12 A. Yes.
13 Q. And at the time you performed either the first of cutting
14 of the cord or collapsing of the skull, did you know that the,
15 such act as performed, would be lethal?
16 A. Yes.
17 Q. Did you perform the acts in the abortion you just described
18 deliberately and intentionally?
19 A. Yes.
20 Q. Now, in a dismemberment D&E do you deliver the fetus to a
21 certain point for the purpose of performing an act that you
22 know would kill a fetus?
23 A. I go step by step for the purpose of completing the entire
24 abortion and I know that in the course of proceeding step by
25 step I will perform a lethal act., but --

page 80

1 Q. Is that step by step process the same for an intact D&E,
2 Dr. Westhoff?
3 A. Yes, it is.
4 Q. Now, Doctor, I would like to turn your attention or have
5 you turn your attention to the applicability of the Act to the
6 induction.
7 Does vaginal live re.
8 MS. GOWAN: Object to the form of the question.
9 THE COURT: What's your objection?
10 MR. HUT: I will rephrase it, your Honor.
11 Q. Does vaginal delivery occur, Dr. Westhoff, in an induction?
12 A. Yes; vaginal delivery occurs during an induction abortion.
13 Q. When you perform an induction abortion, is the fetus living
14 when you commence the vaginal delivery?
15 A. Yes, it is.
16 Q. Based on your experience in performing an induction, has it
17 ever occurred that you have vaginally delivered a living fetus
18 until a point at which any part of the fetal trunk past the
19 navel is outside the body of the mother?
20 A. Yes.
21 Q. Now, directing your attention to the phrase, "an overt act
22 that the person knows will kill the partially delivered living
23 fetus," does it ever occur in the course of an induction
24 procedure that a physician must perform an overt act that he or
25 she knows will kill the fetus?

1 A. Yes.
2 In an induction in the midtrimester it is very likely
3 that the fetus will present in the breech position to start out
4 with as I said earlier. And so, as the induction proceeds, the
5 fetus may in fact deliver feet-first and typically the cervix
6 will not yet be sufficiently dilated for the larger parts of
7 the fetal body to pass readily through. It is common for there
8 to be some tension on the cord which may be wrapped ash the
9 body so a very common occurrence during an induction delivery
10 of a breech would be to cut the cord along the way.
11 And in the case of a midtrimester abortion, that would
12 be a lethal act.
13 Q. And when you would cut the cord in in the situation you
14 just described, would there be a part of the fetal body past
15 the navel -- excuse me, part of the fetal trunk past the navel,
16 outside the body of the woman at the time that you performed
17 the lethal act?
18 A. Yes, it would be.
19 Q. And at the time you performed the loathe note note loatheal
20 act, would the fetus still be living?
21 A. Yes, it would be.
22 Q. And would the cutting of the cord as you just described it,
23 an act that a physician knows will kill the fetus?
24 A. Yes.
25 Q. And the type of induction that you just described,

1 Dr. Westhoff, are steps taken deliberately and intentionally?
2 A. The overall plan of the induction is deliberate and
3 intentional and if the fetus delivers to that position, any
4 subsequent action by the physician is deliberate and
5 intentional; yes.
6 Q. Dr. Westhoff, will you explain why it is that physicians
7 have a role in treating upon stainous abortions?
8 A. Note note note note spontaneous abortions.
9 A. Spontaneous abortion or miscarriage can occur throughout
10 gestation and many spontaneous abortions do not complete on
11 their own. The patient requires physician assistance to
12 complete the emptying of the uterus and there is some portion
13 of cases where women might complete the delivery entirely
14 without intervention but I would say in the majority of
15 miscarriages, of which there are about 800,000 per year in the
16 United States, in at least 75 percent some medical intervention
17 is required.
18 In particular, in the second trimester the cervix may
19 be open and the patient may be bleeding or may have infection
20 and this can only stop or be remedied through the completion of
21 emptying the uterus and so a physician must take some action
22 to help the miscarriage be completed.
23 Q. And is the action of the physician may take in emptying
24 the uterus something that involves vaginal delivery?
25 A. Yes, it does.

1 Q. And when a physician may treat spontaneous abortion, may
2 the fetus be still living when you commence with the vaginal
3 delivery?
4 A. Yes, it may.
5 Q. Based on your experience, Dr. Westhoff, in managing
6 spontaneous abortion, has it ever occurred that you have
7 vaginally delivered a living fetus at the point at which any
8 part of the fetal trunk past the navel is outside the body of
9 the mother?
10 A. Yes, I have.
11 Q. Directing your attention to the phrase "overt act the
12 person knows will kill the partially delivered living fetus,"
13 does it ever occur in the course of treating a spontaneous
14 abortion, that the physician must perform an overt act that she
15 knows would kill the fetus?
16 A. Yes, it does.
17 Q. Can you provide us with an example?
18 A. Well there are two patients very recently taken care of,
19 both of whom were miscarrying wanted pregnancies. In one case
20 the cervix started to dilate and the patient was around 20 to
21 22 weeks, I don't remember the gestational age exactly but the
22 cervix started to open and the amniotic membranes became
23 infected so she had a condition called chorio amnio night EUS
24 and that is dangerous to the woman she can become septic. Her
25 entire body can become infected if all the infected tissue is

page 84

1 not removed from the uterus.
2 Because SHER cervix started to dilate she didn't need
3 any laminaria treatment, TPREUPS -RGS but we took her to the OR
4 for a D&E in order to complete the miscare rath.
5 In another recent patient the membranes ruptured
6 prematurely and the fetus, while still alive, was not growing
7 further and after three weeks it became clear that the
8 ultimately the prognosis for the fetus was fatal, it was not
9 going to be able to grow and survive this so we dilated the
10 patient's cervix with laminaria and went to the O RBGSZ to
11 perform a D&E.
12 So these were both miscarriages that did not complete
13 on their own and we needed to take care of the patient to
14 complete the miscarriage.
15 Q. In these or any other cases that you have seen, does it
16 occur that there is a part of a navel -- excuse me -- a part of
17 the fetal trunk past the navel that is outside the body of a
18 woman at a time that the physician must perform an overt act
19 that is lethal?
20 A. Yes.
21 THE COURT: Keep your voice up, Mr. Hut, please?
22 MR. HUT: Sure, your Honor.
23 Q. And in those situations or any other, what type of overt
24 act might a physician have to perform?
25 A. Certainly it may be cutting the umbilical cord and also in

1 these cases the collapse of the skull.
2 Q. And at the time of the collapse of the skull was the fetus
3 in these cases still living?
4 A. Yes, it was.
5 Q. And why did you perform the collapsing of the skull in
6 these cases or why do you perform collapsing of the skull and
7 the cutting of the cord and any other treatment in the case of
8 spontaneous abortion, Doctor?
9 A. In order to continue the procedure and accomplish the goal
10 of emptying the uterus, I need to proceed step by step and
11 cannot complete it without carrying out those maneuvers.
12 Q. Is the collapsing of the skull or the cutting of the cord
13 in such cases an act that the physician knows will kill the
14 fetus?
15 A. Yes, it is.
16 Q. Are the steps taken by physicians in managing spontaneous
17 abortion deliberate and intentional?
18 A. Yes, they are.
19 Q. What is the physician's purpose in managing a spontaneous
20 abortion in the ways you have just described?
21 A. Overall the main purpose is to maximize the health and
22 safety of the woman and it is necessary to empty the uterus to
23 do so.
24 MR. HUT: Your Honor, I have reached the end of the
25 line of examination and wonder since it is 25 past the hour

1 whether it might be an appropriate time to break for lunch.
2 THE COURT: What are you H*UPBG retoday?
3 MR. HUT: No, sir, I'm happy to go on if your Honor
4 wishes. Or at least no more hungry today than on any other
5 today.
6 THE COURT: You must know the menu is a little better
7 today than I am aware of yet.
8 All right, we will take our luncheon recess and we
9 will reconvene.
10 But, just before we break, Mr. Hut, being Friday I am
11 trying to get a handle, as best you can tell, are you on
12 schedule as far as your case?
13 MR. HUT: As best I can tell, yes we are, your Honor.
14 There are questions as to decisions that we need to
15 make with certain witnesses. I have supplied the government
16 and did so yesterday with a list of witnesses that we would be
17 expecting to present through Thursday. I think thereafter
18 whether there remains any time is something just and what we do
19 with it is something we will need to assess.
20 But the answer to your Honor's question is yes, we are
21 on time.
22 THE COURT: Because it may come as a shocker or blow
23 to you, but if we are falling behind I am trying to try and
24 stay with schedule and I will, if you think you are falling
25 behind I would like to keep to it. Sadly enough I do have

1 other cases and so I have to keep all of this in PHOEUPBD in my
2 schedule.
3 So, if we are falling behind we will add some time on
4 to our workday whether we start a little early or we sit a
5 little bit later.
6 So, I wish you would keep me abreast of that.
7 Also, I would like to mention at this time there is a,
8 one open question which is the deposition of some Department of
9 Justice people. The government has submitted a brief. The
10 plaintiff has submitted a letter but I wish just simply pretty
11 much objecting that time is late but that seems to have been
12 violated more than once in this case. But I afford you the
13 opportunity, if you wish, to submit, by tomorrow, anything that
14 you might wish to put in and I will rule on that by Monday.
15 But, in any event, I would appreciate -- and this goes
16 to both sides as we get into the defense note note the
17 defense's case, the government's case, to keep me abreast of
18 your SKED AOULG STKPWRAOERPBLGS scheduling and should there be
19 a problem we will cure it.
20 MR. HUT: For the plaintiffs we are fine on time now.
21 Indeed, your Honor, since we are talking about
22 housekeeping, I don't know how long Ms. Gowan has or plans to
23 have on cross examination with Dr. Westhoff this afternoon. I
24 would estimate that I have probably no more than another 20 to
25 30 minutes and I wondered whether if there were cross

1 examination that didn't take us quite to the end of the day,
2 whether the Court might consider adjournment of that time.
3 The other thing, on our list for today, if you wanted
4 to sit further, would be to have the depositions read of the
5 Rule 30B 6 representatives of the American medical Womens'
6 session and American public health association, but we would be
7 happy to defer those and we think we can fit them in probably
8 better next week.
9 THE COURT: As long as you feel you are on time but I
10 think it's important, at least for the Court to try and stay on
11 SKED you'll, and I would appreciate it personally if you would
12 let me know and we can cure it by setting an extra hour or
13 starting a little bit early or something of that nature, or
14 even cutting out lunch, Mr. Hut.
15 MR. HUT: That places everything in a different light
16 Judge, Casey.
17 THE COURT: I tell you it wouldn't hurt me one bit if
18 I missed one.
19 MR. HUT: Many people tell me the same thing, your
20 Honor. No, we are well on time.
21 THE COURT: Just, if you keep it in mind, if you see a
22 problem, try and alert me to it so we can try and take care of
23 it, okay?
24 MR. HUT: Yes, sir.
25 THE COURT: The Court will stand in recess until 2:00:

1 (luncheon recess).
2 (continued on next page) TWM 4/2/04.
3 AFTERNOON SESSION
4 2:00 p.m.
5 CAROLYN WESTHOFF, resumed.
6 THE COURT: Mr. Hut, you may inquire.
7 MR. HUT: Thank you, your Honor. Gowan TKPWOUFPB
8 excuse me, Mr. Hut. Your Honor, this is Ms. Gowan. May I raise
9 raise a very brief matter?
10 THE COURT: Yes.
11 MS. GOWAN: I understand your Honor is permitting
12 plaintiffs to file a more formal opposition to the government's
13 motion in limine in connection with the 30(b)(6) testimony of
14 Mr. Moss KHEL la and the testimony of Mr. Kim. Will the
15 government be permitted to a short reply to that in limine
16 motion or not?
17 THE COURT: I think I know what the law is on it.
18 Tell you what. Limit it to two pages. How is that? Gowan
19 that is fine.
20 THE COURT: I think I know what the law is on the
21 subject and I can evaluate what each of you puts in. But if
22 you think you will, and God forbid we break up this pen pal
23 relationship, two pages.
24 MS. GOWAN: Would you like that when, your Honor?
25 THE COURT: You would probably be advised to send it

page 90

1 to me over the weekend. I assume you want a ruling on this
2 fairly quickly. So try and have it by if not Monday morning,
3 sometime on Monday.
4 MS. GOWAN: Gowan yes, your Honor. Thank you.
5 MR. HUT: Your Honor, for the plaintiffs, I was not
6 clear whether the Court was inviting a submission earlier. I
7 am now fairly clear that the Court is not. I think we are
8 prepared to have you rule on the basis of the submissions to
9 date.
10 MS. GOWAN: If that is so, we would as well.
11 THE COURT: Good stuff. I think you are starting to
12 read me. I think it is probably adequate. But so that my law
13 clerks wouldn't think that I didn't give each of you every
14 possible opportunity, the record will reflect I gave it to you,
15 and in your wisdom you made this choice.
16 MR. HUT: Is there occasion TPOUGS, your Honor, we
17 would be glad to do this, if it would be for the convenience of
18 the Court and the Court's clerks to submit the depositions,
19 testimony, and exhibits that are in question?
20 THE COURT: Sure.
21 MR. HUT: Thank you. In any event, that is fine. Mr.
22 Hut. TKWOUFPB Gowan excuse me, your Honor. I'm sorry. Ms.
23 Gowan again. Just to note that while we would have no
24 objection to plaintiffs submitting is the deposition
25 designations, the government has not yet prepared any

1 counterdesignations. Oh, excuse me. We did. Excuse me, your
2 Honor.
3 THE COURT: I know how you feel sometimes, Ms. Gowan.
4 MS. GOWAN: Foot in TPHAOEU mouth.
5 THE COURT: That's all right. I'm never quite sure
6 that my clerks tell me that I have gone through the right door.
7 I think that should take care of it. I will review it and try
8 and deal with it with the alacrity of a leopard or maybe
9 something short of that.
10 With the real reason about the start, Mr. Hut, you're
11 at the plate.
12 MR. HUT: And isn't.
13 Let me continue some housekeeping. On behalf of plaintiffs we
14 move for the admission of redacted Plaintiffs' Trial Exhibit
15 70, a copy of the redacted form which we have supplied the
16 government, and Plaintiffs' Trial Exhibit 144, which is the
17 demonstrative forceps about which Dr. Westhoff testified before
18 the lunch break.
19 THE COURT: Could I examine those, please? I never
20 asked to see them after you were through with the witness.
21 MR. HUT: May the witness hand them up to you, your
22 Honor?
23 THE COURT: Sure.
24 THE WITNESS: Careful. They are sharp inside.
25 THE COURT: Before I injure myself, I will hand them

1 back.
2 MR. HUT: Keep them there, Dr. Westhoff, and we will
3 supply them to the KO*URPL deputy following this afternoon's
4 sess
5 I take it there is no objection.
6 MS. GOWAN: No.
7 THE COURT: They will be received then. S- S-
8
9 DIRECT EXAMINATION S-
10
11 Q. Dr. Westhoff, by way of follow-up to one or two matters
12 that were the subject of testimony by you this morning, with
13 respect to intact D&E, do you deliver the fetal trunk above the
14 navel to a particular point for the purpose of performing an
15 act that you know will kill the fetus?
16 A. No. I carry out each step in order to complete the
17 abortion as a whole. But not for the purpose of -- not for the
18 specific purpose of a lethal act.
19 THE COURT: You are not doing it to deliver the fetus,
20 are you, live? Each is a step leading to the killing of the
21 fetus, right?
22 THE WITNESS: Yes, ultimately the fetus --
23 THE COURT: You start out with a predetermination you
24 are going to kill the fetus, right?
25 THE WITNESS: Yes, that is true.

1 THE COURT: OK. Next question.
2 Q. Dr. Westhoff, at the start of an intact D&E, can the
3 physician take any step that in and of itself eventually will
4 cause fetal death?
5 THE COURT: Can I have that question again?
6 (Question read)
7 A. For instance, on some level, as soon as we rupture the
8 membranes, ultimate if time passed that might lead to fetal
9 death. But in the short run, if the very first step was the
10 delivery of the placenta, if the position of the placental
11 delivery of the placenta prior to delivery of the fetus, the
12 placenta of course supplies the blood supply to the fetus, so
13 the fetus would bleed to death before delivery of any fetal
14 part, if I were to take that as the first step. In some cases
15 the placenta does deliver first.
16 THE COURT: Is the short answer to the question yes?
17 THE WITNESS: Yes, your Honor.
18 THE COURT: Dr. Westhoff, with respect to your
19 testimony earlier today about the evolution of medical thing on
20 the question of vaginal delivery after C-section, what is, in
21 your understanding, the current thinking of the profession
22 based on reading and conversations that you have had?
23 MS. GOWAN: TEUBT form of the question.
24 THE COURT: Sustained.
25 Q. Dr. Westhoff, have you read the literature that reflects

page 94

1 current medical thinking about vaginal delivery after
2 C-section?
3 A. Yes, sir. KPWOUPB Gowan I object to the form of the
4 question.
5 THE COURT: If we are going to draw this out, what
6 have you read, Doctor?
7 THE WITNESS: That the great urgency to avoid repeat
8 C-sections has come at some cost in maternal risk, and that
9 nowadays it is again more preferred to avoid labor, and
10 particularly to avoid induction after --
11 THE COURT: Maybe we are having trouble communicating,
12 Doctor. My question is: What have you read?
13 THE WITNESS: I am not going to be able to recollect
14 the TPHAEUPLGS or authors of specific articles.
15 THE COURT: If you can't remember it, then I am not
16 going to allow the testimony, and I will strike it, that is to
17 say what the current literature says. Next question.
18 MR. HUT: Can I inquire of Dr. Westhoff, your Honor,
19 whether she can recall generically the kinds of literature in
20 the field that she has read?
21 THE COURT: I think she has answered she doesn't
22 remember, and I don't think I am going to let you lead her.
23 Q. Dr. Westhoff, as a member of the American medical women's
24 association, are you aware of any statement by that
25 organization that supports your view concerning the safety

1 advantages of intact D&E?
2 A. The organization AMWA S- did produce a statement that I
3 have seen that supports the view that intact D&E has safety
4 advantages for patients.
5 Q. As a member of the American Public Health Association, Dr.
6 Westhoff, are you aware of any position taken by that
7 organization that supports your view concerning the safety of
8 intact D&E?
9 A. Yes. I have also read a statement of the APHA which
10 supports the safety of the potential use of the intact D&E
11 procedure for its benefits for women's health.
12 MR. HUT: Your Honor, with respect to the earlier line
13 of examination concerning current thinking of vaginal delivery
14 after C-section, may I make an offer? The only reason I ask to
15 do so is because there has been earlier testimony by the doctor
16 that was, I believe, incomplete. I am happy to approach and do
17 it at sidebar if your Honor wishes.
18 THE COURT: What is it you are trying to proffer, Mr.
19 Hut?
20 MR. HUT: Do you want me to address that subject from
21 the podium?
22 THE COURT: Come on up to the sidebar. It is good
23 exercise.
24 (At the sidebar)
25 MR. HUT: Your Honor, prior to the lunch break Dr.

1 Westhoff was permitted to give some testimony concerning
2 evolution in the thinking in the profession about vaginal birth
3 after C-section. What she said was, and I am paraphrasing now,
4 that there was a time in the seventies and early eighties when
5 it was thought that vaginal delivery was ill-advised. Then
6 there was a shift in the thinking a point when it was thought
7 that vaginal delivery should be sought to be accomplished
8 whenever possible. She would say, if I were permitted to ask
9 the question that your Honor sustained the objection to, she
10 would say that the thinking in her estimation has shifted back.
11 Indeed the essence of the testimony she would give is think is
12 what she responded to your Honor's question.
13 THE COURT: In answer to your question.
14 THE COURT: You asked her what have you read.
15 THE COURT: That was after you couched it in those
16 terms.
17 MR. HUT: Exactly. I know why I asked.
18 MR. HUT: I am not making an assertion. She I think
19 misunderstood your question.
20 THE COURT: We are not going to play that game. We
21 are not going to speculate what she understood.
22 MR. HUT: You subsequently asked her just to tell you
23 what she had read.
24 THE COURT: Because you were asking what her reading
25 in the profession was.

1 MR. HUT: That is the substance of what she is going
2 to say.
3 THE COURT: I am not going to allow it. She couldn't
4 remember what she had read. That is where it stands.
5 MR. HUT: All right. Thank you, your Honor.
6 (Continued on next page)
7
8 (In open court)
9 BY MR. HUT:
10 Q. Dr. Westhoff, do you have an opinion concerning the
11 suggestion made by certain of defendants' experts that a
12 physician can easily get around a ban by causing fetal demise
13 before the physician starts to evacuate the uterus?
14 MS. GOWAN: Objection, your Honor.
15 THE COURT: Sustained.
16 Q. Dr. Westhoff, are you aware of any suggestion made that a
17 physician can get around the ban by causing fetal demise prior
18 to the beginning of the SRABGulation?
19 MS. GOWAN: Objection.
20 THE COURT: Read the question to me, please.
21 (Question read)
22 THE COURT: I think you can ask the question if you
23 rephrase it.
24 Q. Dr. Westhoff, do you have any opinion concerning the
25 advisability of effecting fetal demise prior to the evacuation

1 of the uterus at the start of a D&E?
2 MS. GOWAN: Your Honor --
3 THE COURT: I don't think you -- read the question
4 again, please.
5 (Question read)
6 MS. GOWAN: Your Honor, Dr. Westhoff gives no such
7 opinions in her expert disclosure in this case.
8 THE COURT: That is another reason, if that be so. Do
9 you contest that, Mr. Hut?
10 MR. HUT: I would have to actually go back to --
11 THE COURT: Why don't you have one of your associates
12 review the expert opinion as presented to the defendants and
13 you move on to another question.
14 MR. HUT: I shall.
15 Q. Let me ask a question on the basis of the doctor's own
16 experience. Dr. Westhoff, do you effect fetal demise prior to
17 commencing surgical evacuation of the uterus in a D&E?
18 THE COURT: When?
19 MR. HUT: Hut in any T*E.
20 THE COURT: In any one?
21 Q. The question is, in any D&E do you usually or typically
22 effect fetal demise as a normal course activity prior to
23 SRABGuateing the uterus?
24 MS. GOWAN: I object to the form of the question.
25 THE COURT: It seems a bit confusing to me, Mr. Hut.

1 I suppose if you do a D&E as we discussed at some length this
2 morning and you tear a limb off, sooner or later, I don't know
3 the time spread, but I know there was some variation as to what
4 part you dismembered and tore off, you are going to have a dead
5 fetus.
6 MR. HUT: That is not where I am trying to go with the
7 question, your Honor. Let me try it again.
8 Q. Dr. Westhoff, do you make it a practice either to effect
9 fetal demise by using potassium chloride, as we have heard
10 about, or injecting injock sin into the amniotic sac prior to
11 the time that you effect a surgical evacuation of the uterus?
12 THE COURT: Wasn't that a lot simpler? You may answer
13 that question, Doctor.
14 A. No, Mr. Hut, I usually do not do so. S-
15 Q. Why not?
16 A. [> TKEUPBLG Exxon?<] the main reason that it is an
17 additional procedure that does not offer any benefit to the
18 woman that I am taking care of. The procedure itself is not
19 trivial, it can be difficult to accomplish, can fail, and has
20 some risks. Those are the main reasons I do not use this
21 procedure.
22 THE COURT: As you said this morning, there is some
23 dispute as to fetal pain. If you had done that, there wouldn't
24 be any pain, would there? To the fetus I'm talking about.
25 THE WITNESS: I don't think we know whether

1 intracardiac injection would cause fetal pain, your Honor.
2 THE COURT: But there wouldn't be any as far as the
3 dismemberment if you had, or as far as that goes, the incision
4 to the back of the skull, if you did that before you started?
5 THE WITNESS: That's correct.
6 THE COURT: If the baby is dead, it is not going to
7 feel any pain, is it?
8 THE WITNESS: No.' We don't know if the intracardiac
9 injection causes pain, however.
10 THE COURT: So there might be still some there?
11 THE WITNESS: That's right.
12 Q. Is potassium chloride a toxin to the fetal system?
13 A. Yes, it is.
14 THE COURT: I take it, then, the question of the
15 infliction of pain to the fetus is not on the top of your list
16 of concerns when doing your work?
17 THE WITNESS: While I wish to avoid fetal pain, I have
18 no desire to inflict fetal pain t top of my list is the safety
19 of the woman who is undergoing the procedure.
20 THE COURT: In fact, do you consider fetal pain at
21 all?
22 THE WITNESS: Yes, your Honor. As I previously
23 stated, I think one of the benefits of using general anesthesia
24 with my patients, since I don't know if there is fetal pain, is
25 that the general anesthesia crosses the placenta and does

1 circulate in the fetal circulation and may have a physiologic
2 effect in the fetus, and I think that is a benefit.
3 THE DEFENDANT: That is the limit of your concern?
4 OK. Next question.
5 Q. Dr. Westhoff, do you have any opinion concerning whether
6 the effectuation of fetal demise at the outset would cause a
7 D&E to be more or less difficult?
8 MS. GOWAN: Objection, your Honor. This goes to the
9 scope of Dr. Westhoff's expert report in this case. We
10 previously objected on the grounds that no such opinions were
11 offered in the report.
12 MR. HUT: I will rephrase it, your Honor. That's
13 correct.
14 Q. Dr. Westhoff, in your experience on the occasions when you
15 have effectuated fetal demise, is it your belief that that
16 makes the ensuing D&E more or less easy to accomplish?
17 A. In cases where I am taking care of a patient who has
18 received KCl, for instance, so the fetus is already dead at the
19 beginning of the procedure, or where we are doing the procedure
20 after spontaneous fetal demise, the fetal tissue softens
21 rapidly and that makes evacuation of the uterus more difficult,
22 because it usually requires many more passes, and in my
23 experience there is a greater risk of retained tissue in the
24 uterus, which in turn leads to increased risk of infection for
25 the woman and potential need for additional procedures.

1 THE COURT: Have you ever left tissue behind that
2 could cause infection to the woman?
3 THE WITNESS: Yes, sir, I have.
4 THE COURT: Next question.
5 MS. GOWAN: Your Honor, I would like to move to strike
6 this testimony. I think it unfairly prejudices the government,
7 not having been put on notice in Dr. Westhoff's expert report
8 that she was going to opine on this subject. Certainly the
9 government did not inquire upon this at her deposition.
10 Counsel is now attempting to use Dr. Westhoff as a fact witness
11 to elicit the same type of testimony.
12 THE COURT: Were you referring to my question of the
13 witness?
14 MS. GOWAN: No, your Honor. Mr. Hut's question.
15 THE COURT: It is not in the report? Is that what you
16 are saying?
17 MS. GOWAN: Yes.
18 THE COURT: Mr. Hut, do you want to point it out if it
19 is there?
20 MR. HUT: No, your Honor. But I do want to point out
21 that Dr. Westhoff is now testifying as PH- Gowan says, as a
22 fact with the with respect to her practice. She is a plaintiff
23 in the action. She has brought the action because she believes
24 that the Act will have potentially very, very serious
25 consequences for the kind of medicine she practices. She is

1 listed as a fact witness --
2 THE COURT: She has testified Ms. Gowan at some length
3 this morning as to her personal practice.
4 MS. GOWAN: Most certainly, your Honor, and we believe
5 that she is entitled to do that. However, what is going on in
6 the government's view right now is an attempt to recast expert
7 opinion about the nature of fetal tissue after the injection of
8 potassium chloride as fact testimony.
9 THE COURT: Is there any mention of that in the expert
10 report?
11 MS. GOWAN: No, your Honor.
12 MR. HUT: I believe that it is proper fact testimony,
13 your Honor. This is a central issue in the case. She has
14 views based on her personal experience.
15 THE COURT: Have you used it in the last three years
16 in effectuating the demise?
17 THE WITNESS: Yes, your Honor.
18 THE COURT: In what sort of procedure have you done
19 it?
20 THE WITNESS: Most recently I used it the same week
21 the PBA ban was signed into law and we did not yet know if
22 there would be a restraining order. So in taking care of my
23 patients that week I used intracardiac KCl injections to make
24 sure that I would not in fact violate the ban -- would not be
25 at risk of violating the ban. So that experience is just a few

1 months ago.
2 THE COURT: Was the procedure carried out without
3 mishap?
4 THE WITNESS: I felt the procedure was more
5 difficult --
6 THE COURT: I didn't ask you that. Was there any
7 mishap?
8 THE WITNESS: A particular patient I am remembering
9 had retained tissue and had two additional D&C's subsequent to
10 the day of the procedure in order to remove --
11 THE COURT: Did you remove it?
12 THE WITNESS: We subsequently removed it.
13 THE COURT: No further injury to the patient, and so
14 you got it all out?
15 THE WITNESS: The patient had an infection. She had
16 to be admitted on another day. She is fine now.
17 THE COURT: You got it cured and she is fine now?
18 THE WITNESS: She is fine now.
19 THE COURT: Have you had infections where there has
20 been no tissue left behind in patients?
21 THE WITNESS: Yes, we sometimes have infections.
22 THE COURT: Have you also had infections where you
23 have had an intact D&E? I mean infections in your patients.
24 Has it occurred?
25 THE WITNESS: I have had infections in all sorts of

1 patients. Are you asking just about the specific subgroup with
2 intact D&E?
3 THE COURT: Yes. I will take one at a time. I'm am
4 piecemeal type of guy. HAGS it ever happened? Simple
5 question.
6 THE WITNESS: Yes, I have taken care of many patients
7 and many of them have had infections.
8 THE COURT: I didn't ask you that. I just said did it
9 ever happen?
10 THE WITNESS: Yes.
11 THE COURT: It is so much simpler when you do a little
12 piece at a time.
13 Ms. Gowan, I think I am going to allow it, within
14 limits though, Mr. Hut. I'm not sure where it really goes,
15 achieves anything for you.
16 MR. HUT: I just have one more question and that is by
17 way of follow-up to your Honor's question. It was not clear to
18 me respectfully whether Dr. Westhoff remembers infections in
19 that subgroup of D&E patients who received a procedure that
20 resulted in an intact or relatively in fact fetus.
21 THE COURT: I think she does. We are not going to
22 rehit that, Mr. Hut. We don't play that game. Move op. Next
23 question.
24 BY MR. HUT:
25 Q. Doctor, please turn again to Plaintiffs' Trial Exhibit 69,

1 Partial-Birth Abortion Ban Act of 2003. Turn to section 1531,
2 which I think you will find on page S3-6. The second sentence
3 of section 1531 subsection (A) states, "This subsection does
4 not apply to a partial-birth abortion that is necessary to save
5 the life of a mother whose life is endangered by a physical
6 disorder, physical illness, or physical injury, including a
7 life-endangering physical condition caused by or arising from
8 the pregnancy itself." Did I read that sentence correctly,
9 Doctor?
10 A. Yes, sir? In light of this exception, would you have
11 concern about providing a banned procedure to a patient with
12 the kind of life-endangering condition enumerated? [> that was
13 a question<]
14 A. Yes, I would.
15 Q. Why is that, Doctor?
16 A. A woman can have a very serious or deteriorating health
17 problem, but it is a matter of judgment as to exactly if and
18 when her life is in danger. So if I were to judge that her
19 life is in danger, that would, I think, be something that would
20 be subject to other interpretations by other physicians and
21 would also therefore leave me at risk of prosecution to
22 proceed.
23 Q. Doctor, with respect to testimony from you in the morning,
24 why is it that the Chasen study confirms your view respecting
25 the safety of intact D&E?

1 A. There are two reasons Dr. Chasen's results confirm my view.
2 One is that among the complications that he reports on in his
3 study about 5 percent of patients in the intact group and 5
4 percent of the patients in the dismemberment group experienced
5 complications. But in fact on reading the details, all of the
6 serious complications he reported, the most serious
7 complications, were in the dismemberment group and that those
8 actually are entirely analogous to the complications that we
9 have observed in our own patient practice at Presbyterian.

10 Second, his overall conclusion is that the
11 complication rates are the same in the two groups. But in his
12 study the patients who underwent an intact D&E had a
13 gestational age that was about two weeks greater than the
14 gestational age of patients undergoing dismemberment D&E.
15 Since we do know based on other existing data that the risk of
16 complications increases with advancing gestational age, I would
17 expect to see more complications in the subgroup that had a
18 more advanced gestational age. The fact that they had the same
19 overall rate of complications is, I think, something that
20 favors intact D&E as being a safer technique.

21 I think that supports --

22 THE COURT: Does that mean you disagree with Dr.
23 Chasen's report?

24 THE WITNESS: I think Dr. Chasen --

25 THE COURT: Do you disagree, ma'am?

1 THE WITNESS: I agree with his results. I think his
2 conclusions are too conservative.

3 THE COURT: So it is like a legal opinion, you agree
4 in part and disagree in part?

5 THE WITNESS: Yes, your Honor.

6 THE COURT: We have a Court of Appeals that does that
7 a lot. OK, for whatever it is worth, Mr. Hut, it's all yours.

8 MR. HUT: One more question on this line.

9 Q. Dr. Westhoff, you mentioned a moment ago that your own
10 results concerning complications observed at New York
11 Presbyterian were analogous. In which group, those who
12 received D&E by dismemberment or those who received D&E intact,
13 do you see the major complications?

14 MS. GOWAN: Objection. I don't think there has been
15 any testimony about results, for results of any examination of
16 procedures at Columbia.

17 THE COURT: Let me hear the question.

18 MR. HUT: Let me rephrase it. I am only referring to
19 the doctor's testimony that Dr. Chasen's observations of
20 serious complications in the dismemberment group were analogous
21 to Dr. Westhoff's own observations in patients that her service
22 treats.

23 Q. My question to Dr. Westhoff is, in which group of patients
24 whom you treat do you see the greater number of major
25 complications?

1 A. As I testified this morning, we review all of our
2 complications on a routine basis, and all of our major
3 complications in the last three years, including perforation
4 and laceration, were observed in patients who had a
5 dismemberment D&E. And none of those serious complications
6 occurred among patients who underwent an intact D&E.
7 THE COURT: You say you review this. Do you keep
8 records of it so that you and those in your group can review
9 this together?
10 THE WITNESS: Yes. We write a one-page report on
11 every kind of complication that we see among all the patients
12 on our service. We bind those together in a notebook. I
13 review with them.
14 THE COURT: Was that requested by the government?
15 THE WITNESS: THO.
16 THE COURT: And did you produce isn't it.
17 THE WITNESS: No, sir, no one asked me for that.
18 THE COURT: I don't know whether it did or it didn't,
19 but I would ask that you check, because I didn't hear anything
20 about a document like at a, a book with complications.
21 THE WITNESS: It is a looseleaf binder where we put
22 all of our complication reports.
23 THE COURT: It is amazing what you find in looseleaf
24 binders.
25 THE WITNESS: I try to keep track of this one so that

1 I don't get too surprised.
2 THE COURT: Next question, if any.
3 BY MR. HUT:
4 Q. Dr. Westhoff, please refer to section 2 of the Act called
5 "Findings" again, Plaintiffs' Trial Exhibit 69. Turn to page
6 S3-4, please.
7 A. Yes.
8 Q. Let me read a portion of section 14(A) of the findings.
9 "Partial-birth abortion poses serious risks to the health of a
10 woman undergoing a procedure. Those risks include, among other
11 things, an increase in a woman's risk of suffering from
12 cervical incompetence, a result of cervical dilation making it
13 difficult or impossible for a woman to successfully carry a
14 subsequent TREG TPHAEPBS to term."
15 THE COURT: Keep your voice up, Mr. Hut.
16 MR. HUT: Yes, your Honor.
17 THE COURT: Thank you.
18 Q. "an increased risk of uterine rupture, abruption, empWOEUT
19 being fluid embues and trauma to the uterus as a result of
20 converting the child to a footling breech position, a procedure
21 which, according to a leading obstetrics textbook, 'there are
22 very few, if any, indications for, other than delivery of a
23 second twin, and a risk of lacerations, secondary ham RAPBLGing
24 due to the doctor blindly TPORGS \{\^s}\{\^ings} a sharp
25 instrument into the base of the unborn child's skull why he or

1 she is lodged in the birth canal, an act which could result in
2 severe bleeding, brings with it the threat of shock, and could
3 ultimately result in maternal death."
4 Did I read that correctly?
5 A. Yes, sir.
6 Q. Let's assume for this question and subsequent questions
7 that the procedure being discussed in this paragraph 14(A) is
8 intact D&E. Based on your experience, Doctor, do you agree
9 with the statement that intact D&E "increases a woman's risk of
10 suffering from cervical incompetence, a result of cervical
11 dilation"?
12 A. I do not agree with that statement.
13 Q. Why not, Dr. Westhoff?
14 A. I don't agree with it mainly on the basis of the fact that
15 dilation occurs very gradually for women who undergo this
16 procedure, and that the amount of dilation they experience is
17 far less than the dilation that occurs at the time of
18 childbirth. I also know of no evidence to support the
19 statement.
20 Q. Based on your experience, Dr. Westhoff, do you agree with
21 the statement that there is an increased risk of uterine
22 rupture?
23 A. No, I don't agree with that statement.
24 Q. How about with the statement that there is an increased
25 risk of abruption?

1 A. I don't agree with that statement.
2 Q. How about the statement that there is an increased risk of
3 amniotic fluid embolus?
4 A. I don't agree with that statement.
5 Q. Are you aware of any literature that demonstrates increased
6 risk of any of these complications with intact D&E?
7 A. I have seen no literature that describes any of these
8 increased risks.
9 Q. Are you aware of any increased risk of trauma to the uterus
10 as a result of converting the child to a footling breech
11 position, Dr. Westhoff?
12 A. I am not aware of any increased risk to the uterus from the
13 version procedure during a D&E.
14 Q. Why not?
15 (RRD)
16 Q. Why do you not agree with the statement of Congress?
17 THE COURT: How if how she is not aware, I don't know
18 if she could explain that. Do you want to rephrase it?
19 MR. HUT: Surely. [>check this<]
20 Q. You state that you are unaware of any basis for thinking
21 that there would be any risk of trauma to the uterus as a
22 result of conversion to a footling breech. Why do you think
23 that there is an absence of any evidence suggesting any risk?
24 A. I haven't in my personal practice had any patients with a
25 complication from that source, and that includes the practice

1 of my group and my colleagues who are part of my group. And I
2 have not seen any reports of such adverse kind of events in the
3 medical literature.
4 Q. Does version or conversion to a footling breech occur in
5 all intact D&E's, Dr. Westhoff?
6 A. No, it does not.
7 Q. Why is that?
8 A. In many cases the fetus is already in a breech position at
9 the beginning of the procedure [> version, not conversion,
10 in that answer EURGS didn't understand<] can version or
11 conversion occur in a STKPHEFPLT D&E if a fetal foot is grasped
12 something.
13 A. Yes, it didn't.
14 Q. Is version or conversion more dangerous in other contexts
15 than it is in your estimation in an abortion procedure [> check
16 above<]
17 A. In a version procedure done at term, at 9 months, the
18 larger size of the fetus can make version more difficult and --
19 and that's all.
20 Q. Based on your experience, Dr. Westhoff, do you agree with
21 the statement by Congress that intact D&E poses "a risk of
22 lacerations and secondary hemorrhaging due to the doctor
23 PWHREUPBLGD force ago sharp instrument into the base of the
24 unborn child's skull while he or she is lodged in the birth
25 canal"?

1 A. I do not agree with that.
2 Q. Why not?
3 A. First of all, it is not a -- the incision in the base of
4 the skull is not a blind procedure, so that is inaccurate. But
5 we have carried that out many times and have never experienced
6 a problem of damage to the maternal tissue or hemorrhage during
7 that part of the procedure. And I have not heard of any of my
8 colleagues experiencing that problem.
9 Q. Doctor, I would like now to make or I want to ask you to
10 make two assumptions. First, assume an intact D&E procedure in
11 which, contrary to your view of safety, the physician has acted
12 to cause fetal demise prior to cervical evacuation. Second,
13 assume, contrary to what you have just testified to, that any
14 or all of cervical incompetence, amniotic fluid embolus,
15 PRUPGS, trauma to the uterus, or laceration or secondary ham
16 RAEPBLGing or in fact risks of intact D&E, would these risks
17 have been reduced in any way in your opinion by the step of
18 causing initial fetal demise?
19 MS. GOWAN: I object to the form of the question.
20 THE COURT: It is a pip. Do you want to repeat it,
21 Mr. Reporter.
22 THE COURT: Read the question back.
23 (Question read)
24 THE COURT: Sustained.
25 Q. Let me try to simplify it. Assume that a physician has

1 acted to cause fetal demise. Assume further that, contrary to
2 what you have testified to, all of the risks or any of them
3 numerated by Congress in section 14(A) of the findings, were
4 true. Does the act of causing fetal demise at the outset
5 reduce or eliminate any of those risks?
6 THE COURT: Are you talking about fetal demise prior
7 to the procedure starting?
8 MR. HUT: Yes, your Honor, through the injection of
9 TKPWEUPBLG Exxon to the am no, ital sack or --
10 THE COURT: That S-S what you said. You just said
11 prior to --
12 Q. Sorry W. that qualification, Dr. Westhoff, are you able to
13 answer the question? [> check the judge<]
14 A. If any of those risks were present, I do not believe that
15 causing fetal demise prior to the beginning of the procedure
16 would reduce any of the risks [> TPAOEPLT<] [> fetal<]
17 Q. Dr. Westhoff, let me direct you to section 2 of the
18 findings. Let me read the first sentence of that paragraph.
19 "Rather than being an abortion procedure that is embraced by
20 the medical community, particularly among physicians who
21 routinely perform other abortion procedures" --
22 THE COURT: Routinely do what?
23 Q. "perform other abortion procedures, partial-birth abortion
24 remains a disfavored procedure that is not only unnecessary to
25 preserve the health of the mother but in fact poses serious

1 risks to the long-term health of WEFPL and in some
2 circumstances their lives."
3 Are you with me, Dr. Westhoff?
4 A. Yes, I found it.
5 Q. Did I read it correctly?
6 A. Yes, you did.
7 Q. Again, let's assume for purposes of the next question that
8 the procedure referred to here in this finding by Congress is
9 intact D&E. Based on your experience, Doctor, do you agree
10 with the statement that intact D&E in fact poses serious risks
11 to the long-term health of women and in some circumstances
12 their lives?
13 A. No, I do not agree.
14 Q. Why not, Dr. Westhoff?
15 A. I don't have any -- there is no biological plausibility
16 based on my knowledge and experience that there are long-term
17 health risks for women. Similarly, based on my knowledge and
18 biological plausibility, that there is RAEUFBG to their lives.
19 Q. Dr. West off, please turn to section 13 of the findings
20 which appears on page S3-3.
21 A. Yes.
22 Q. Let me read the first -- rather the second sentence of that
23 paragraph. "The facts indicate that a partial-birth abortion
24 is never necessary to preserve the health of a woman, poses
25 serious risks to a woman's health, and lies outside the

1 standard of medical care." Did I read that correctly?

2 A. Yes.

3 Q. Again, I ask you to assume that what the paragraph is
4 talking about is intact D&E. On that assumption, do you agree
5 with the statement by Congress in this finding that intact D&E
6 is never necessary to preserve the health of a woman, poses
7 serious risks to a woman's health, and lies outside the
8 standard of medical care?

9 MS. GOWAN: I object to the question. Counsel has not
10 read the entire sentence of that paragraph, which reads as
11 follows: "There exists substantial record evidence upon which
12 Congress has reached its conclusion that a ban on partial-birth
13 abortion is not required to contain a health exception, because
14 the facts indicate that a partial-birth abortion is never
15 necessary to preserve the health of a woman, poses serious
16 risks to a woman's health, and lies outside the standard of
17 medical care." There is no foundation that this witness has
18 reviewed the Congressional Record in this case.

19 MR. HUT: That is exactly right, your Honor. That is
20 why I didn't ask her about the Congressional Record. This
21 replicates the exchange that took place during the testimony of
22 Dr. Johnson. At that time I did read that question, and Ms.
23 Gowan objected to it. I thought, on consideration further,
24 that that objection was well-founded and I asked permission to
25 rephrase and I believe your Honor said at that time you

1 better, and so I did, and the question proceeded as I have just
2 asked it.

3 THE COURT: You aren't going to cause me to reverse
4 myself are you, Ms. Gowan?

5 MS. GOWAN: No, not on that. I don't think he phrased
6 the question? Just that way.

7 THE COURT: I was going to do it on other grounds, but
8 not on that basis. We aren't going to revisit that. But I do
9 think it would be nice if you broke it into parts.

10 MR. HUT: Hut I would be happy to do that, your Honor.

11 Q. Do you agree with the statement in the sentence just read
12 that partial-birth abortion, rather, intact D&E, "is TPHEFRS
13 necessary" --

14 THE COURT: You won't get sick if you say the words.
15 It is sort of like you have to have your mouth washed out if
16 you say the words. I realize you slipped, but . . .

17 Q. Do you agree with that portion of Congress's findings, Dr.
18 Westhoff?

19 A. No.

20 Q. Why not?

21 A. I believe that an intact D&E is in fact beneficial to
22 preserve the health of the woman and that it does not pose
23 serious health risks, and that it lies inside the stash standard of
24 care.

25 Q. Do you discuss with your colleagues their views about the

1 safety of intact D&E?
2 A. Yes.
3 Q. Do you discuss with other providers outside your
4 institution who perform D&E their views as to the safety of
5 intact D&E?
6 A. Yes.
7 Q. Do you discuss with other obstetricians and gynecologists
8 who may not perform intact D&E their view of intact D&E and its
9 safety?
10 A. Yes.
11 Q. Based on those discussions that you have had within your
12 profession, do you believe that there is widespread agreement
13 that D&E may in some circumstances be safer for some women than
14 its alternatives?
15 MS. GOWAN: Objection.
16 THE COURT: Sustained.
17 Q. Dr. Westhoff, please look at paragraph 14(B) of the
18 findings which appear on page S3-4. Are you there?
19 A. Yes.
20 Q. Let me read the last sentence of that paragraph. "Indeed,
21 unlike other, more commonly used abortion procedures, there are
22 currently no medical schools that provide instruction on
23 abortions that include the instruction in partial-birth
24 abortions in their curriculum." Did I read that correctly?
25 A. Yes.

1 Q. Do you agree with that statement?
2 A. No, I do not agree.
3 Q. Why not?
4 A. I provide instruction in this technique at Columbia
5 University, and I know colleagues at other medical schools who
6 also provide this instruction.
7 Q. What other medical schools?
8 A. The Albert Einstein College of Medicine, NYU, Cornell, and
9 certainly Northwestern, and University of California San
10 Francisco.
11 Q. At the time the Partial-Birth Abortion Ban Act of 2003 was
12 enacted in November 2003, were you teaching intact at Columbia?
13 A. Yes.
14 Q. To your knowledge, were intact D&E's being taught at the
15 other institutions you mentioned?
16 A. Yes.
17 Q. Next please look at paragraph 14(F). That appears on the
18 next page, S3-5. [> teaching intact D&E at Columbia<] that
19 finding is but a single sentence. Let me read it to you. "a
20 ban on the partial-birth abortion procedure will therefore
21 advance the health interests of pregnant women seeking to
22 terminate a pregnancy." Did I read that correctly?
23 A. Yes.
24 Q. Assuming that the procedure referred to in that sentence
25 were intact D&E, do you agree with the statement?

1 A. No. I disagree.
2 Q. Why not? Or why do you disagree?
3 A. In my experience and opinion based on my experience, intact
4 D&E decreases risks to the patient during a D&E procedure, and
5 I think decreasing risks does in fact advance the health
6 interests of the woman.
7 Q. Please turn to section 14(J) which appears on the same
8 page. Let me ask you, Dr. Westhoff, to read the first sentence
9 of the findings set forth in paragraph 14 SKWRPBGTS.
10 A. "Partial-birth abortion also confuses the medical, legal,
11 and ethical duties of physicians to preserve and promote life
12 as the physician acts directly against the physical life of a
13 child whom he or she had just delivered all but the head out of
14 the womb in order to end that life."
15 Q. Based on your experience, Dr. Westhoff, in performing
16 intact D&E, and assuming again that that is the procedure that
17 is being discussed, do you agree with the statement that you
18 just read?
19 A. No, I don't.
20 (Continued on next page) 4/2/04 Judge K*EUSy take 5 continuing
direct of Dr. Westhoff.
21 BY MR. HUT:
22 Q. Why not?
23 A. In all of the abortion procedures that I carry out, we
24 clarify that the goal. Procedure is to terminate the
25 pregnancy, that the fetus will TPOBT alive at the end of the

1 procedure, and that this is done at the request of the patient
2 and explicitly with the consent of the patient.
3 So for all abortion procedures overall, regardless of
4 technique, we in fact seek to clarify these legal, ethical and
5 medical issues prior to beginning any procedure. And the
6 intact D&E D&E is not different from the rest of abortion
7 practice in that regard.
8 THE COURT: But you do so, as you testified this
9 morning while not describing all the gory details to the woman
10 who is consenting and authorizing you to do it, correct?
11 THE WITNESS: Correct, your Honor.
12 THE COURT: Thank you.
13 Next question.
14 BY MR. HUT:
15 Q. Finally, directing your attention to paragraph 14C of the
16 findings, Dr. Westhoff, the first sentence states, ," a
17 prominent medical association has concluded that partial-birth
18 abortion is not an accepted medical practice, that it has never
19 been subject to even a minimal amount of the normal medical
20 practice developed, and that the relative advantages and
21 disadvantages of the procedure in specific circumstances remain
22 unknown?
23 THE COURT: Keep your voice up, please.
24 Q. Remain unknown and that there is no consensus among
25 obstetricians about its use."

1 Did I read that clearly?
2 A. Yes.
3 Q. Once again let me ask you to assume that the procedure
4 being discussed is intact D&E; let me ask you whether you agree
5 that a prominent medical association -- or let me ask you if
6 you agree first with the proposition that intact D&E is not an
7 accepted medical practice?
8 A. I do not agree with that proposition.
9 Q. Do you agree with the proposition that it has never been
10 subject to even a minimal amount of the normal medical practice
11 development?
12 A. I do not agree.
13 Q. Do you agree with the proposition that the relative
14 advantages and disadvantages of the procedure in specific
15 circumstances remain unknown?
16 A. I do not agree.
17 THE COURT: Until Dr. Chasen's paper there has been
18 nothing written, correct?
19 THE WITNESS: I think that medical -- my medical
20 practice itself is the evidence I need to use.
21 THE COURT: I didn't ask you that, Doctor. I asked
22 you simply, until Dr. Chasen's paper nothing has been written;
23 correct?
24 THE WITNESS: No, your Honor. There have --
25 THE COURT: What are the other papers that have been

1 written then?
2 THE WITNESS: There have been some brief descriptions
3 in the past by other practitioners of the method and the
4 results in their cases.
5 THE COURT: That were published in peer journals?
6 THE WITNESS: I don't think they've been published in
7 per review journals, no, but they have been available to
8 colleaguess.
9 Q. Is there any paper that HRZ been written subject to review
10 if a peer reveal journal that finds intact D&E to be in any way
11 less safe that dismemberment D&E or another alternative?
12 A. No, there -- no.
13 MR. HUT: I have no further questions of the witness
14 -RGS your Honor.
15 THE COURT: Just one question so I don't forget to ask
16 you.
17 You say there are written communications concerning
18 partial-birth abortion or intact D&E, whatever you want to call
19 it, amongst colleagues; do you save them?
20 Do you keep a file?
21 THE WITNESS: I have files, sir, yes.
22 THE COURT: So you have files on this subject, were
23 knees subpoenaed by the government?
24 THE WITNESS: I think there are some paper --
25 THE COURT: I don't know, I'm asking you were they

1 subpoenaed by the government.
2 THE WITNESS: I don't know. My files were not
3 subpoenaed but I have been shown some material that I have seen
4 before, in my own reading.
5 THE COURT: All right.
6 Ms. Gowan.
7 MS. GOWAN: Yes, your Honor.
8 CROSS-EXAMINATION
9
10 BY MS. GOWAN:
11 Q. If you will just give me a moment, your Honor.
12 THE COURT: Surely.
13 CROSS-EXAMINATION
14
15 BY MS. GOWAN:
16 Q. Dr. Westhoff, have you ever seen the government's discovery
17 requests?
18 A. Yes.
19 Q. Did you read interrogatory number 10, the government's
20 first set of interrogatories and requests for documents to
21 Plaintiff Carolyn Westhoff, which was provided to your counsel
22 on November 21st, 2003, and which reads as follows:
23 "identify all documents and/or data that supports your
24 belief as stated in paragraph 26 of your declaration in this
25 case, that the risk of laceration and of damage from blind

1 insertion of instruments is decreased, not increased, by
2 removing the fetus intact."
3 Did you read that?
4 A. I'm sure I did, yes.
5 Q. Did you read government interrogatory number 11, identify
6 all documents and/or data that supports your belief as stated
7 in paragraph 26 of your declaration in this case that, "all in
8 all, I believe intact procedures are safer than the
9 alternatives."
10 Did you read that?
11 A. Yes, I did.
12 Q. Did you read document request number 1, "all documents
13 identified in response to any interrogatory set forth herein.?"
14 A. I'm sorry, repeat the last thing you asked me?
15 Q. Did you read document request number 1, "all documents
16 identified in response to any interrogatory set forth herein?"
17 A. Yes, I read that.
18 Q. Did you read document request number 11, "all written
19 materials prepared by you concerning the abortion method intact intact
20 D&E, also known as intact dilation and extraction, or D&X, as
21 stated in paragraph 39 of the complaint.?"
22 A. Yes. I know I read all of them.
23 Q. Why didn't you produce those portions of the complication
24 binder that you've testified to concerning intact D&E to the
25 government in response to these requests?

1 MR. HUT: Objection. May I approach, your Honor?
2 THE COURT: No, I'm going to allow the question.
3 MR. HUT: Well it's objection.
4 THE COURT: If it gets into I talked with lawyers.
5 MR. HUT: No, it is not privilege it's that Ms. Gowan
6 has read the document requests incompletely. Think there is an
7 essential component that's left out, I want the Court to be
8 aware of it and I don't want to signal the witness.
9 THE COURT: All right.
10
11 MR. HUT: Your Honor, the government's document.
12 THE COURT: Keep your voice down.
13 MR. HUT: The government's document requests
14 consistent with the terms of Rule 34 of the Federal Rules of
15 Civil Procedure required the witness to produce those documents
16 in her possession, custody or control.
17 To my knowledge document that Ms. Gowan is intimating
18 may be responsive to those requests are not, as you know,
19 within the witness' possession, custody or control but are in
20 fact within the possession, custody or control of the hospital.
21 THE COURT: Oh no.
22 MR. HUT: For instance such as the University. Oh yes
23 indeed, your Honor.
24 THE COURT: I'm sorry. That may be true of the
25 patient records, that is not the way she described those

1 complication binders that she and other doctors.
2 MR. HUT: I believe those were not in her possession
3 custody and control and that Ms. But but has it establish that.
4 THE COURT: I'm sure she is going there and I am sure
5 she talked about correspondence that I just finished asking
6 about and that is not things that, it would seem, are contained
7 or protected perhaps by H*EP a. These aren't necessarily part
8 of a patient's record, these are things the doctors are keeping
9 in her particular segment.
10 MR. HUT: These are hospital records, I think the
11 government will, if it inquires, establish that and I think in
12 fairness it needs to do so before pursuing this line.
13 THE COURT: I am sure she is going to pursue it
14 further but it's a different kettle I think. I didn't want to
15 O TPEBDZ barny there in that it's a cat and not a dog. I have
16 to be SEBStive to my comments.
17 MS. GOWAN: I'm a cat fan, Judge.
18 THE COURT: Sorry about HR* oh dear, sore rewill that,
19 somebody has it fall in these things.
20 MR. HUT: Will I'm not I'm at dog fan.
21 MS. GOWAN: I would like to add your Honor
22 Dr. Westhoff produced statistics from Columbia University in
23 response to the government's request and she also produced
24 consent forms.
25 So it appears --

1 THE COURT: I have already ruled. You are liable to
2 sink yourself if you keep going. When you got it in hand, take
3 it and go.
4 Go ahead.
5 (Continued on next page)
6 (In open court)
7 BY MS. GOWAN:
8 Q. Why didn't you produce those portions of the complication
9 binder that you have testified about in response to the
10 government's discovery requests?
11 MR. HUT: My objection, for the reasons noted, your
12 Honor.
13 THE COURT: Yes. Of course.
14 Go right ahead Ms. Gowan, we have just noted the
15 objection and I have overruled it.
16 THE WITNESS: There are two reasons that are both true
17 at the same time and I think the one that has become relevant
18 over time is the reports in the binder I was referring to are,
19 they're not mine, they're the hospital's and they're located on
20 the hospital unit at the Allen Pavilion so I don't actually
21 have them to produce for you.
22 But also another issue, just thinking about those
23 pieces of paper, in fact even if I had those pieces of paper --
24 I guess what I have is an absence of complication reports on
25 the patients with the intact D&E and I guess I wouldn't have --

1 I don't know how I would produce to you something that is
2 absent because it's just there are no such pieces of paper for
3 these particular patients.
4 BY MS. GOWAN:
5 Q. Why didn't you identify the book in response to the
6 interrogatories and assert, as you did, with the medical
7 records, that they were not in your custody and control?
8 A. It really -- it actually didn't occur to me to bring up the
9 existence of this binder that isn't mine. It didn't occur to
10 me to tell you about it. I mean it--I certainly looked at my
11 files and in the notebooks that we do keep in my office to
12 provide, for instance our summary statistics and so on, and but
13 it's bother sort of the negative quality of the information
14 that there were no reports relevant to these particular cases
15 but also the fact that it's in a different building a couple
16 miles away and doesn't belong to me. I didn't think of that as
17 something that I could produce.
18 Q. When did you last look at that binder?
19 A. I don't honestly recall. If I take care of a complication
20 I produce a report and give it to the head nurse to put it in
21 the binder but I don't have any recollection of the last date
22 and when I did that.
23 Q. Did you review that binder in connection with your
24 preparation for your testimony at trial here today?
25 A. No, I didn't.

page 131

1 THE COURT: Where is that binder physically kept?

2 THE WITNESS: It's at the Allen Pavilion, which is
3 about 220th Street and it's in the, at the head nurse's office
4 on three field west.

5 THE COURT: And you and your colleagues in your
6 section file reports and put them in there when you have such a
7 problem?

8 THE WITNESS: Whenever we identify a complication I
9 ask all of the doctors to fill out a form and put it in the
10 binder.

11 THE COURT: And you are free to go in and review it as
12 the head of the department, I assume you are free to go in and
13 review it any time you want, correct?

14 THE WITNESS: Correct.

15 THE COURT: Maintained in your section.

16 THE WITNESS: Correct. And I do --

17 THE COURT: Next question, Ms. Gowan.

18 BY MS. GOWAN:

19 Q. And do you review it periodically?

20 A. Yes, I do; to make sure that the reports are complete and
21 to have our fellows actually complete a summary.

22 Q. And do you review it in connection with your quality
23 assurance work that you testified about yesterday?

24 A. Yes.

25 Q. Now why didn't you produce the other documents that you

page 132

1 testified about moments ago, the papers or possibly
2 correspondence that have you in your file, relating to intact
3 D&E?

4 A. There is material that I have had at some time and I'm sure
5 at the time of the discovery request in fact counsel was very
6 explicit about being careful to, you know, comply with all the
7 requests as thoroughly as possible and that I did provide
8 everything in my possession at the time.

9 And I certainly hadn't done anything to avoid
10 providing the material. I provided whatever I had at the time.

11 MS. GOWAN: Your Honor, the government moves to strike
12 Dr. Westhoff's testimony concerning the comparison of
13 complications between intact D&E and D&E by dismemberment based
14 on the experience of service at Columbia for, number one,
15 failing to identify the existence of a complication book to the
16 government in discovery so that it could, the government could
17 independently make a determination whether or not it needed to
18 include that in its subpoena to New York Presbyterian hospital;
19 and for the further reason that Dr. Westhoff did not, herself,
20 take steps to determine whether she could provide it to the
21 government consistent with her employment at Columbia
22 University.

23 THE COURT: I am going to reserve decision on your
24 motion but I am going to order Dr. Westhoff to produce this
25 complications manual and also files she has previously

1 described as correspond dense and communications in a vague
2 description about the subject back and forth between members of
3 the medical profession which she maintains a file on.

4 MR. HUT: Your Honor --

5 THE COURT: I don't think that's protected by the
6 patient records that were previously sought which I ordered
7 produced and which are now subject of an appeal. I don't know
8 that H*EUPa attaches to any of these at all and I will order,
9 as of, it should have been produced I think for decision as to
10 whether or not there was any pro text at the time of demand for
11 discovery. However, I will give you opportunity to comply with
12 my order or I will consider Ms. Gowan's motion.

13 MR. HUT: Your Honor, respectfully may I be heard?

14 THE COURT: Of course.

15 MR. HUT: And I don't know whether you want to take
16 this up at side bar, but these are not Dr. Westhoff's documents
17 to produce, they belong, as she testified to, the hospital.

18 THE COURT: Oh I think you could probably do that but
19 I think she has access to them and I don't know that they do
20 apply. If you want to file some paper saying so, but I don't
21 know that they would be protected anyway.

22 MR. HUT: I'm not arguing the protection, your Honor,
23 I don't know. I don't know with the reach of helpa what I am
24 saying is he is doesn't own, maintain or control them or
25 possess them.

1 THE COURT: She main tapes or keeps them and her staff
2 keeps them THRFPLT I don't know who they belong to.

3 MR. HUT: I believe you have testimony forty cents
4 witness that they belonged to the hospital.

5 THE COURT: After you came to side bar and talked a
6 little too laud she picked up on it quit. I she said that she
7 kept records and they sound very much like her records.

8 MR. HUT: Your Honor, if I spoke too loudly TPOERB
9 give me it was certainly not my intention to do that that is
10 why I came to the side bar, sir.

11 THE COURT: I know but it doesn't always cure things,
12 it moves you closer to the witness. I have been doing this for
13 a long time, Mr. Hut, and so we will see what happens but at
14 the moment I am ordering it. You can file some application if
15 you want but none theless a subpoena can be issued to the
16 hospital as well is this a problem.

17 But, as I told counsel early on before, Mr. Hut, I
18 have a feeling all litigation ought to be on a level playing
19 field and I feel there is a deliberate course of action, I
20 don't know whether it was done some time ago in conjunction
21 request H*EPa or what, but it seems like people have gathered
22 all the records in the medical profession and put them behind a
23 wall, whether it be individual's personal medical records and I
24 can understand that, and that's why I went to great lengths
25 before I ordered them produced to make sure that they were

1 redacted so that the privacy of any individual could not be
2 impacted by this production.
3 But you full well knew what was going on in this
4 litigation and what was there and I really don't much care
5 about this KAOUTSy approach of a shell game of moving records
6 here, there and 'shells.
7 And I would suggest to the government that they issue
8 a subpoena immediately. That I don't know that there is any
9 protection for these records and I think a certain amount of
10 candor in this litigation probably would have been helpful to
11 say well, there are some records, you know, if you give me a
12 subpoena to the hospital, they will be responded to and
13 hopefully complied with.
14 MR. HUT: Two points, your, if I may.
15 THE COURT: You may. Of course you may.
16 MR. HUT: First, we were at pains, respectfully, to
17 identify to the government before the government issued its
18 discovery requests the concern that we had about the
19 amenability of production of these documents because they were
20 in the possession, custody or control of the hospital.
21 So with all respect, your Honor, I believe we have at
22 every stage, assiduously told the government what we have, what
23 might be responsive.
24 With respect it these --
25 THE COURT: Did you also tell them that you could find

1 them in another drawer.
2 MR. HUT: With respect to these particular documents,
3 Dr. Westhoff's testimony is that it did not occur to her and I
4 think for perfectly understandable reasons, that these were
5 responsive because it was the absence of any data that
6 suggested complications that informed the determination she's
7 made about the absence of complications. That's a very
8 difficult --
9 THE COURT: Why do you have a folder if there is
10 nothing in it? I don't think that flies, Mr. Hut. They keep a
11 binder for the very reason so that she and her staff can report
12 these things and she has just completed saying that she directs
13 the nurse to do these things so there is something there. It
14 isn't an absence.
15 MR. HUT: It is an absence, your Honor, with respect,
16 of documents that indicate complications for intact D&Es.
17 They're not there.
18 THE COURT: I think only when they're examined can we
19 know that.
20 MR. HUT: But that is why, your Honor, respectfully,
21 it may have been the case that the full universe of such
22 complication reports were not identified to the government,
23 because it is the absence of such data in Dr. Westhoff's
24 judgment, she is the only person familiar with them, that may
25 turn out to be probative.

1 Lastly your Honor, and respectfully, would you either
2 inquire of the witness whether she heard me at side bar or
3 permit me to conduct voir dire because I don't think she did.
4 THE COURT: If she would KPWH- STPHRAO whether she
5 heard me at side bar as the Court suggested may have been the
6 case or permit me to conduct voir dire on the subject?
7 THE COURT: You will be allowed to do redirect and we
8 will finish it then. I am not going to prolong this any
9 longer. If you wish to ask on redirect you are more than happy
10 to.
11 I think this is probably an appropriate time, after we
12 have all gotten exercise, to have our afternoon recess.
13 I will direct counsel not to talk to the witness,
14 however concerning this subject during the break.
15 (recess).
16 THE COURT: Please, be seated. Ms. Gowan, you may
17 inquire.
18 MR. HUT: Your Honor, before that commences, this is
19 Mr. Hut. I wonder whether responding to the observation of the
20 Court earlier it might be possible to go longer this afternoon
21 if there is any possibility of concluding the cross examination
22 of this witness. I spoke to Ms. Gowan she is uncertain whether
23 that is feasible. She indicated she had approximately two more
24 hours she thought, that would require obviously going back the
25 5:00 adjournment hour. We would request that if at all

1 possible.
2 THE COURT: I would love to accommodate you but I
3 don't think I can today. If I had known a little bit earlier
4 perhaps I could have but I don't think I can today. I
5 apologize and I'm sorry. If you give me some advance warning I
6 will be happy any time to try and accommodate you but I don't
7 believe I can do it today.
8 MR. HUT: I understand, your Honor. Thank you.
9 THE COURT: Okay, in the future if you see it coming,
10 just give me some warning but as you have seen, I have had
11 other engagements booked other days and such and occasionally I
12 go home and have dinner, but I just can't do it tonight, okay?
13 I apologize, I would love to help you out.
14 Ms. Gowan.
15 MS. GOWAN: Your Honor, at the break I placed two
16 exhibits, Government's Exhibits on the witness stand for
17 Dr. Westhoff, Government Exhibit T-7 and Government Exhibit
18 Z-8.
19 BY MS. GOWAN:
20 Q. Dr. Westhoff, first, would you please take a look at
21 Government Exhibit T-7 and tell me if you recognize that
22 document?
23 A. Yes. This document is the responses to the
24 interrogatories.
25 Q. Is it your responses to the government's first set of

1 interrogatories and requests for document?
2 A. Yes, my responses.
3 Q. And would you please turn to the last page of this document
4 and what is the last page of this document?
5 A. The last page is my signed verification. Good and what is
6 the date of your signature?
7 A. December 28th.
8 Q. 2003?
9 A. 2003, yes.
10 Q. And do you recognize Government Exhibit Z-8?
11 A. Yes. These are the objections to the interrogatories.
12 Q. And have you seen this document before?
13 A. Yes, I saw it at the time we prepared it.
14 Q. And would you turn to the last page of this document?
15 A. Yes.
16 Q. And what is the date on that page?
17 A. December 17th, 2003.
18 Q. And who has signed this document?
19 A. That's signed by TA*L could the camp.
20 Q. And who is Ms. Camp?
21 A. Ms. Camp is one of my attorneys.
22 MS. GOWAN: Your Honor, the government moves the
23 admission of Government Exhibit T-7 under federal rule of
24 evidence 801D 2A and the government moves the admission of Z-8
25 under federal rule of evidence 801D 2B.

1 MR. HUT: No objection.
2 THE COURT: They'll be received then.
3 (Government's Exhibits T-7 and Z-8 received in
4 evidence)
5 BY MS. GOWAN:
6 Q. Dr. Westhoff, let's turn for a moment to Government Exhibit
7 T-7.
8 A. Yes.
9 Q. And if you look, for a moment on the first page, will you
10 please read for us interrogatory number 1?
11 A. Yes.
12 Identify the patient medical record numbers for at
13 least 50 of the D&Es that are procedures after 19 or 20 weeks
14 by your service in the last year as stated in paragraph 7 of
15 your declaration.
16 Q. Let's turn to Government Exhibit Z-8.
17 Excuse me PWRRBGS we do that, could you please read
18 your answer to interrogatory number 1 as it appears on
19 Government Exhibit T-7?
20 A. Dr. Westhoff incorporates her objections in responses to
21 interrogatory number 1 set forth in Westhoff objections.
22 Q. Now let's turn to Government Exhibit Z-8, which is your
23 objections to the government's discovery requests, and if you
24 turn to page 3 you will see the response, the objection to
25 interrogatory number 1, correct?

1 A. Yes.
2 Q. Now, in that response an objection, you are objecting
3 because the patient medical records numbers belong to the New
4 York Presbyterian/Columbia Presbyterian medical center
5 (Columbia Presbyterian); correct?
6 A. Yes.
7 Q. Now, there is no such entity called Columbia Presbyterian,
8 is there?
9 A. I don't know what you mean.
10 MR. HUT: Objection, your Honor.
11 THE COURT: Yes.
12 MR. HUT: Columbia Presbyterian is a defined term
13 referring to the New York Presbyterian-Columbia medical center
14 as set forth in the response.
15 BY MS. GOWAN:
16 Q. Dr. Doctor my question is who rereferring to here?
17 THE COURT: I will allow the question.
18 THE WITNESS: Okay.
19 The New York Presbyterian hospital is a hospital with
20 several campuses and the campus where I work is colloquially
21 referred to as Columbia Presbyterian.
22 Q. Okay, and by calling, in the objections here by
23 denominateing that as Columbia Presbyterian, are you referring
24 to the New York Presbyterian hospital? Or are you referring to
25 Columbia University? Or are you referring to both?

1 A. No, I'm referring to New York Presbyterian hospital.
2 Q. Now, did you contact the New York Presbyterian hospital
3 prior to December 28, 2003, when you signed the verification to
4 your responses to the discovery requests?
5 A. Yes.
6 Q. And did you contact them about your, the medical records
7 for your patients?
8 A. I had general conversations with relevant administrative
9 staff at New York Presbyterian and I believe counsel had direct
10 conversation with them about obtaining records.
11 Q. Who did you have a conversation with?
12 A. I had, around the time this case began, I had conversations
13 with an attorney named Brian TPHAO*PB an and also a Mr. com
14 pano.
15 Q. And what did you say to Mr. noon an?
16 MR. HUT: Objection, your Honor, it's privileged for
17 reasons set forth yesterday in the testimony of Dr. Hammond.
18 THE COURT: I think I ruled against you yesterday.
19 Overruled.
20 THE WITNESS: Mr. noon an was just new, relatively new
21 to his position at New York Presbyterian at around the time
22 this case began and I wanted to introduce myself to him because
23 I thought that this might, this issue, prior to the
24 interrogatories that none theless areas around the case might
25 be of interest and I should meet this new person and indicate

1 my plan for us to collaborate together, as necessary, in this
2 case.
3 BY MS. GOWAN:
4 Q. What kind of collaboration did you anticipate having with
5 New York Presbyterian Hospital?
6 A. I didn't have any specific collaboration planned but since
7 the case is, concerns patients I take care of there, it
8 appeared that we would probably need to have conversations and
9 I wanted to meet a new person.
10 Q. Did you discuss with Mr. noonan, when you first spoke with
11 him, the issue of medical records possibly being at play in
12 this litigation?
13 A. I don't recall discussing that. And we met -- I would have
14 to actually check my diary to find the date we met, but I
15 believe that preceded the issuing of the interrogatories.
16 But I want to be clear to you that I did contact
17 hospital's counsel and spoke with them at the time the case
18 began.
19 Q. And what was the name of the other attorney that you spoke
20 with?
21 A. Mr. com pano, and I believe Mr. noonan RORGS to him.
22 THE COURT: Keep your voice up, please.
23 A. Mr. com pano. I don't remember his first name.
24 Q. When did you speak with Mr. com pano?
25 A. Actually on the same day.

1 Q. Did you discuss the issue of medical records with Mr. com
2 pano?
3 A. I don't believe so.
4 Q. Did you ever contact anyone at New York Presbyterian
5 Hospital prior to signing your verification in this case on
6 December 28, 2003, about medical records?
7 A. I do know for sure that counsel contacted the hospital to
8 discuss obtaining the records and I don't remember right now if
9 after the interrogatories I had separate conversations with
10 people about obtaining the records.
11 I unfortunately had to check my palm pilot at the door
12 and so checking dates on those conversations is something I
13 can't do.
14 Q. Can you recall any conversation that you have had with
15 anyone at New York Presbyterian Hospital about the issue of
16 producing medical records in response to the government's
17 discovery requests?
18 A. Yes.
19 More recently I had another conversation with Mr. no
20 Mr. noonan but I think it was after these responses, but in
21 which I wished to tell him some ideas I had about how to figure
22 out which records might be applicable, so that if the hospital
23 were willing to produce the records it would be manageable to
24 figure out which records would be appropriate.
25 Q. When did you have that conversation?

1 A. Again, I don't have the date of -- I don't have the date.
2 It was definitely after these responses and it was before trial
3 began and -- but I can't tell you the exact date I spoke to
4 him.
5 Q. Was it within the last two weeks?
6 A. I think it was probably more than two weeks ago but I'm in not
7 sure. If I referred to my diary I probably would be able to
8 figure out what date it was.
9 Q. When, to your knowledge, did your counsel first speak with
10 anyone at New York Presbyterian Hospital about the
11 identification and production of medical records?
12 A. I would have to guess it was December. I know it was
13 shortly after we received the interrogatories and discussed how
14 to respond to the interrogatories but I don't know what date
15 that conversation occurred.
16 Q. Did your counsel report to you what Presbyterian Hospital
17 had said about the production of medical records?
18 A. My general recollection is that counsel told me that the
19 hospital said the medical record numbers are their property and
20 that I wouldn't be able to have access to them. But I'm
21 probably not getting the language quite right on that
22 conversation.
23 But that simply that I wasn't going to be able to
24 proceed to identify the cases that responded to the particular
25 interrogatories by using hospital medical records.

1 MR. HUT: For the record, your Honor, I just want to
2 renew the objection to request to disclose privilege
3 information.
4 I understand you previously ruled.
5 THE COURT: Your objection will continue. You don't
6 need to do it each time.
7 BY MS. GOWAN:
8 Q. Did your counsel tell you whether New York Presbyterian
9 Hospital had said that it would not permit you to have access
10 to the medical records?
11 A. I believe that's correct.
12 Q. Do you know how many times your counsel spoke with New York
13 Presbyterian Hospital on this issue?
14 A. I have an impression there were many conversations but I
15 have no idea what the number might have been.
16 Q. Did you -- withdrawn.
17 Now, the government was advised by your counsel on
18 March 26th, 2004, that you have obtained permission from
19 Columbia University to use Columbia University's billing
20 records to create a list of second trimester abortions from
21 your service. The government was advised by your counsel that
22 the billing records are maintained by an outside service that
23 had made significant errors in the record keeping.
24 Do you know what it was that you first obtained
25 permission to look at Columbia's billing records for purposes

1 of creating a list of second trimester abortions to assist you
2 in responding to the government's discovery request?
3 A. I don't have any -- I can't possibly come up with a date
4 for you. I have been trying to think of other ways that I
5 could possibly generate information in order to be responsive
6 when I was in the situation of not having access to the records
7 and so that was, I had the idea that perhaps the billing
8 records would be useful but I can't give you any dates for when
9 Hi that idea or exactly how I pursued it.
10 Q. What was that, in the last week that you had that idea?
11 A. No, no. It was certainly more than a month ago. Certainly
12 more than a month ago.
13 Q. When did you learn that Columbia was going to give you
14 access to information in their billing records to assist you in
15 identifying patient medical record numbers that were responsive
16 to the government's requests?
17 A. I don't have any idea of what date I became aware that it
18 was okay to proceed in that way. There were conversations with
19 a lot of people to try to find a way to proceed that would be
20 acceptable.
21 Q. Well do you remember if it was this month?
22 A. No. I do think it was more TH-PB a month ago but I'm
23 not -- I'm really not sure of a date. It was not in the last
24 couple weeks.
25 Q. Do you know when it was that you were given permission to

1 access the billing records?
2 A. I don't know when it was that I had permission.
3 Q. Have you looked at the billing records?
4 A. Yes. I've gotten a printout of billing records.
5 Q. And when did you first do that?
6 A. I don't remember exactly when I got the billing records but
7 it was more than -- PH-R than a couple weeks ago, certainly.
8 Q. Who gave you permission?
9 A. It's not always clear to me who gives me permission but I
10 had discussed this issue with general counsel for the
11 University and was told in those conversations it was certainly
12 okay for me to look at billing records.
13 Q. Now, counsel's letter to the government on March 26, as I
14 said a moment ago, referenced the billing records are kept by
15 an outside service; isn't it true that Columbia University's
16 department of OB/GYN has its own billing department?
17 A. There has been a transition between outside billing and
18 internal billing that's been taking place over the last couple
19 years and so the billing records exist in more than one place.
20 I was concerned with the interrogatory that covered
21 patient records for three years and how we would possibly
22 figure out who are the relevant patients to respond to that
23 interrogatory so that required going to the outside billing
24 service in order to try to create a list.
25 Q. Are the services that you performed at the Allen Pavilion

1 billed under your name?
2 A. They're billed by the University and I believe they're
3 billed in my name or in the name of a range of different
4 doctors who provide these services.
5 Q. Are your services billed under your name, Dr. Westhoff?
6 A. Yes.
7 Q. Isn't it true that billers from the Columbia University
8 department of OB/GYN come up to the Allen Pavilion to gather
9 information from the medical records in order to prepare bills
10 for your services?
11 A. I actually don't know what the billers do.
12 We fill out billing forms when we take care of
13 patients and to my knowledge the billers use the billing forms.
14 I don't know what else they need to use.
15 Q. And those billing forms identify the patient's name, right?
16 A. Yes, they do.
17 Q. The patient's medical record number, right?
18 A. Yes.
19 Q. The service that was performed, right?
20 A. Yes.
21 Q. The date of the service?
22 A. Yes.
23 Q. And do you sign those forms?
24 A. Yes, I do.
25 Q. And are those forms kept at Columbia University, the

1 department of OB/GYN, to your knowledge?
2 A. I have no idea what happens to the forms after I'm finished
3 with them.
4 Q. To your knowledge does Columbia University department of
5 OB/GYN have a database that shows the medical record numbers
6 and the patient names and the type of services that you have
7 performed for patients at the Allen Pavilion?
8 A. Can you ask me that again?
9 THE COURT: Ms. Reporter would you read the question.
10 Would you be so kind?
11 (record read).
12 THE WITNESS: To my knowledge the medical record
13 number is not included in that database. There is a separate
14 identification number that's used in that database. But I'm
15 not -- I'm certainly not completely knowledgeable about the
16 database.
17 BY MS. GOWAN:
18 Q. The government learned on March 24th, 2004 from counsel for
19 New York Presbyterian Hospital this a list of 286 names that
20 you had identified had been sent to Presbyterian Hospital;
21 where did you get the list of the 286 names?
22 A. That was -- that was from the billing department. That
23 list of names did not contain medical record numbers but it did
24 contain names and the procedure code for D&E and some billing
25 information.

1 Q. And when did you create that list?
2 A. I don't -- I did not create that list. People in the
3 billing office created that list but I don't recall when they
4 created it.
5 Q. Was the list created at your request?
6 A. Yes.
7 Q. Do you have any idea at all when that list was created,
8 Dr. Westhoff?
9 A. It was definitely created in 2004 and during the time I was
10 exploring ways to respond to the interrogatories. But I do not
11 recall either when I requested the list or when I received the
12 list.
13 Q. Do you know why it was that the list was not sent to New
14 York Presbyterian Hospital until March 24th, 2004?
15 A. No, I can't explain.
16 Q. Do you have a copy of that list?
17 A. It's possible that my secretary has a copy of that list and
18 I could ask her if she does. I don't know if she does. I
19 don't have a copy of that list in my own files.
20 (continued on next page) TWM 4/2/04 Westhoff on cross by Gowan<]
21 Q. On March 29, 2004, the government was also advised by New
22 York Presbyterian Hospital that they had received a second list
23 of 28 medical record numbers which they had been told was
24 prepared by you based on memory in the records of Columbia
25 University. When did you prepare that list of 28 names?

1 A. I believe I prepared that list just last week.
2 Q. What did you do to prepare that list from your memory?
3 A. It was somewhat more my secretary's memory. After
4 discussions with Columbia's general counsel, I asked if I could
5 review records from faculty practice to see if that would help
6 me identify any patients responsive to the interrogatories.
7 While those records don't actually contain information about
8 the D&C per se, my secretary was able to help me recognize some
9 names of patients who had been D&E's and therefore we were able
10 to use that as a source for medical record numbers of patients
11 that were responsive to the interrogatories. As I testified
12 yesterday, my time in faculty practice is about 10 percent of
13 my time. The patients from that source represent a small
14 percentage of all the patients we take care of for D&E's. But
15 I thought it was at least something that I was able to provide,
16 although it is a small portion.
17 Q. Prior to the time that you submitted your verified response
18 to the government's interrogatories on December 28, 2003, had
19 you made any effort to review the faculty practice plan medical
20 records for your patients to determine whether there was
21 information in those records that was responsive to the
22 government's discovery requests?
23 A. No, I had not.
24 Q. Why not?
25 A. There were such a small number of patients there, and I had

1 been thinking -- I had been trying hard to think of all the
2 ways that we could come up with a comprehensive list that would
3 in fact be more completely responsive to the discovery request.
4 It turned out that basically none of the ideas I had to
5 identify patients more comprehensively were acceptable in terms
6 of looking at hospital lists, and so on. So it was a late
7 realization that I might be able to turn to this source. I
8 then discussed that with university counsel, who found that
9 acceptable.

10 At about 15 file folders of charts that we went
11 through last week and were able to find these that are
12 relevant.

13 MS. GOWAN: May I approach the witness, your Honor?
14 THE COURT: You may.

15 Q. Dr. Westhoff, I am showing you what was previously marked
16 as your deposition as Government Exhibit 35A. Do you recognize
17 that document?
18 A. Yes.
19 Q. What is it?
20 A. These are some summary statistics from the TPW* YN services
21 at the Allen Pavilion for 2002 and 2003.
22 Q. That is your services tGYN services?
23 A. Those are my services.
24 Q. Did you produce this document to the government in
25 discovery?

1 A. Yes, I did.
2 Q. What does code 59841C and E stand for?
3 A. That is the procedure code for second trimester surgical
4 abortion.
5 Q. Does this document show the number of services of surgical
6 abortion provided by your service in 2002 and 2003 on a monthly
7 basis?
8 A. Yes. These numbers were provided to me by hospital staff.
9 As we have discussed previously, I don't have any assurances
10 that they are correct or complete, but it is the best
11 information I have about the number of services we provided.
12 Q. Your second prepared this summary, is that right?
13 A. Yes.
14 Q. The numbers that are on the summary were tabulated by
15 nurses on your unit based on a patient log, right?
16 A. Yes.
17 Q. Does that patient log identify the names of patients?
18 A. Yes, it does.
19 Q. Does that patient log identify the date of service?
20 A. Yes, it does.
21 Q. Does the log identify the type of service rendered?
22 A. Yes, to some degree.
23 Q. Does it identify the provider of the service?
24 A. Yes.
25 Q. Did you review the patient log to assist you in responding

1 to the government's discovery requests in this case?
2 A. I did not, because the patient log is the property of the
3 hospital, and I was advised I was not allowed to make a list
4 from that log.
5 Q. Who told you that?
6 A. I don't remember.
7 Q. When were you told that?
8 A. I think back in December.
9 Q. Where is the log kept?
10 A. The log is kept at the hospital.
11 Q. Have you had access to the log on a regular basis since
12 this litigation has started?
13 A. I never write in the log, but I know where it is kept, yes.
14 Q. Have you had occasion to look at it since this litigation
15 has started?
16 A. I don't know that I have.
17 Q. Have you ever asked any of your patients whether they would
18 consent to release of redacted medical records in this
19 litigation?
20 A. I have had several patients tell me they do not want me to
21 release their records, but I have not systematically tried to
22 contact patients and gather that information.
23 Q. When did you have those conversations?
24 A. In the last few months.
25 Q. How did it come about that those conversations took place?

1 A. Those conversations were initiated by the patients.
2 Q. How many patients initiated such conversations?
3 A. Several of them did, but I'd say fewer than ten.
4 Q. Were the conversations about the contents, the use of the
5 records in this litigation?
6 A. Yes.
7 Q. Did you tell the patients that the government had agreed
8 voluntarily to enter into a confidentiality order to be signed
9 by this Court regarding those records?
10 A. Could you read that back?
11 (Question read)
12 A. No, I didn't make that statement to my patients.
13 Q. Did you tell your patients that in the event the medical
14 records were provided to the government, that all patient
15 identifying information would be redacted from the records?
16 A. I didn't use those words, but I did tell any patients with
17 whom I had this discussion that, yes, identifiers would be
18 removed if records were released.
19 Q. What were the words you used?
20 A. Probably the words I just used. I don't remember my exact
21 words in those discussions.
22 Q. You are an NAF member?
23 A. Yes.
24 Q. Had you had occasion to review Government Exhibit N prior
25 to -- let me back up. First of all, were you in the courtroom

1 yesterday when we were asking Dr. Hammond about the NAF
2 resource guide on legislative efforts to ban certain late-term
3 abortion procedures, any excerpt relating to NAF's statement
4 about fact and medical records?
5 A. I was in the courtroom, yes.
6 Q. Prior to yesterday had you been aware of this particular
7 document?
8 A. No, I think not. S-
9 Q. I am going to read for you that portion of Government
10 Exhibit N that we read to Dr. Hammond yesterday when you were
11 in the courtroom. "Antichoice groups have formed an
12 organization called TPA*BGT, physicians ad hoc coalition for
13 truth, to assert that the intact D&E procedure is never
14 medically necessary or appropriate. Former surgeon general C.
15 effort coop is part of this organization and has written upeds
16 in support of this legislation. Dr. Coop is known to be
17 antichoice. Moreover, neither he nor any of the TPA*BGT
18 doctors have reviewed the medical charts of patients who have
19 undergone intact D&E procedures, and therefore these doctors
20 cannot determine what medical options were most appropriate for
21 these patients. Despite the attention that a public figure
22 like Dr. Coop may garner, it is important to remember that no
23 major medical organization supports this legislation."
24 Do you agree with NAF's statement that I have just
25 read to you?

1 A. That was a long statement. Do I agree with it in its
2 entirety? Do you mean do I agree with each and every element
3 of that statement?
4 Q. Do you agree that Dr. Coop's opinion [> Koop<], FACT's
5 opinion, that the procedure is never medically necessary or
6 appropriate, is somehow defective because they have not
7 reviewed medical charts of patients who have undergone the
8 procedure as is claimed by NAF?
9 A. No.
10 Q. Did you have a discussion between yesterday and today with
11 anyone from NAF about this particular statement?
12 A. We didn't discuss the statement.
13 THE COURT: Did you have discussions with people from
14 NAF, though?
15 TH
16 THE WITNESS: Yes.
17 THE COURT: To whom did you speak?
18 THE WITNESS: The executive director of NAF, who is
19 here in the courtroom. We have chatted yesterday at the end of
20 the day about --
21 THE COURT: Who is that?
22 THE WITNESS: That is vickysy pour at a. But we did
23 not discuss the statement. We discussed other things. S-
24 THE COURT: Did you talk with anyone else from the
25 organization?

1 THE WITNESS: No, I didn't.
2 THE COURT: You may inquire, Ms. Gowan.
3 Q. Did you talk to Dr. Hammond about it?
4 A. No, we didn't talk about it.
5 Q. You testified this afternoon that in your opinion injection
6 of KCl and TKEUPBLG Exxon to cause fetal demise prior to
7 abortion is not safe, is that right?
8 MR. HUT: Objection: Mischaracterizes the testimony.
9 [> digoxin<]
10 THE COURT: If she disagrees with it, she can say so.
11 A. I testified that it doesn't have any benefit to the woman I
12 am taking care of and that it does have some risks.
13 Q. Do you disagree with the National Abortion Federation 2003
14 clinical policy guidelines that suggest -- that state as an
15 option in second trim ter abortions intraamniotic or intrafetal
16 injection may be given?
17 A. I agree it is an option.
18 Q. You have a longstanding interest in abortion care and know
19 people who are on the ackivist side of abortion issues, right?
20 A. Yes.
21 Q. You have been a member of the board of directors of Planned
22 Parenthood of New York City since 1994, right?
23 A. Yes.
24 Q. Before that, beginning in 1991, you were a member of the
25 Planned Parenthood medical advisory chi, right?

1 A. Yes.
2 Q. You are on the advisory committee of the national abortion
3 rights and action league foundation, right?
4 A. Yes.
5 Q. The national abortion rights and action league is knowns
6 NARAL, right?
7 A. Yes.
8 Q. As far as you know, NARAL is involved in advocacy work
9 regarding abortion access, right?
10 A. Yes.
11 Q. Can you identify on your curriculum vitae your consultive
12 work with the ACLU reproductive rights project from 1997 to
13 present, right [> and you<]
14 A. Yes.
15 Q. This is the third lawsuit that you have been involved with
16 concerning partial-birth abortion laws, right?
17 A. Yes.
18 Q. The first case was Evans v. Kelley, is that right?
19 A. That's right.
20 Q. Where was that case brought?
21 A. That case was in Michigan.
22 Q. Was that in 1997?
23 A. I believe that's correct.
24 Q. Was your co-plaintiff in this case Mark Evans one of the
25 lead plaintiffs in that case?

1 A. I believe so, yes.
2 Q. He was the Evans in Evans v. Kelley, right?
3 A. Yes.
4 Q. Was your co-plaintiff in this case Dr. Timothy Johnson also
5 involved in that case?
6 A. Yes, he was.
7 Q. Were you an expert witness on behalf of the plaintiffs in
8 that case?
9 A. Yes.
10 Q. You were not a plaintiff, were you?
11 A. I was not a plaintiff.
12 Q. Did you submit a sworn declaration to the court in that
13 case?
14 A. Yes.
15 Q. Was that in connection with plaintiffs' application for a
16 TRO enjoining the Michigan partial-birth abortion law?
17 A. I don't know if it was in conjunction with an application
18 for a TRO.
19 MS. GOWAN: May I approach, your Honor?
20 THE COURT: You may.
21 Q. Dr. Westhoff, I am showing you what has been mark as
22 Government Exhibit C3.
23 A. Yes.
24 Q. Would you take a moment and look at that.
25 A. Yes.

1 Q. Do you recognize it?
2 A. Yes. That is my declaration in the case in Michigan.
3 Q. If you would turn to the last page, is that your signature?
4 A. Yes, it is.
5 Q. What is the date of your declaration?
6 A. March 18, 1997.
7 Q. As of March 18, 1997, you had never performed an intact
8 D&E, right?
9 A. That's right.
10 Q. But you understood it was a specific procedure, didn't you?
11 A. I understood it was a variation of the D&E technique.
12 Q. In paragraph 13 of your declaration you state in the second
13 sentence, "Although I have not performed the procedure, I have
14 observed it, discussed it with colleagues, and reviewed the
15 testimony of my colleagues attesting to their use of the
16 procedure.?"
17 A. Yes.
18 MR. HUT: Objection, your Honor. In the interests of
19 completeness under Federal Rule 106, Federal Rule of Evidence,
20 I ask that Ms. Gowan read the first sentence, which places
21 the -- that is my objection.
22 MS. GOWAN: Certainly I will read that, your Honor.
23 The first sentence is, "for procedures later in the second
24 trimester, some physicians use a variant of D&E knowns intact
25 D&E or dilation and extraction (D&X)."

1 Q. By "procedure," in the second sentence of your declaration,
2 you meant SP-P intact D&E, dilation and extraction, D&X, right?
3 A. Yes.
4 Q. At some point before signing the declaration you went to
5 watch the procedure performed, isn't that right?
6 A. Yes.
7 Q. You had heard about it in the news, right?
8 A. That's true.
9 Q. You wanted to become informed about the technical aspects
10 of the procedure, right?
11 A. Yes.
12 Q. So you asked around to find someone who was familiar with
13 the technique, right?
14 THE COURT: I didn't hear an answer, ma'am.
15 A. Yes. I am thinking about the locution that I asked around.
16 Q. You asked your colleagues, correct?
17 A. Yes.
18 Q. I didn't mean that you asked around town. You asked your
19 colleagues if they were aware of someone who was familiar with
20 the technique so that you could go and observe it, right?
21 A. Yes. I identified a colleague who performed the technique
22 and was willing to have me come observe it.
23 Q. You went to watch that physician perform it on four or five
24 patients, right?
25 A. Yes.

1 Q. In forming your opinion as set forth in this declaration
2 that you submitted in the Michigan case, you relied on a
3 paper -- well, I should say you relied on a letter that a
4 Doctor McMahon had sent to Congress when Congress was holding
5 hearings on partial-birth abortion in a paper that Dr. McMahon
6 presented at a National Abortion Federation conference, right?
7 A. Yes.
8 Q. Who is Dr. McMahon?
9 A. He was a physician who provided abortion care and described
10 the use of this technique. I don't have a personal
11 relationship with Dr. McMahon, so I can't tell you more about
12 him.
13 Q. In preparing and forming your opinion in the Michigan case,
14 did you also rely on a paper that Dr. Haskell presented at a
15 NAF meeting?
16 A. I'm looking at item 13 in my declaration here in 1997,
17 where I said I relied on testimony of Dr. Haskell in a case in
18 Ohio. I can't remember right now in 2004 exactly what I was
19 looking at, so I would rely on my statement here in 1997 that
20 the material of Dr. Haskell I reviewed was his testimony.
21 Q. Directing your attention to your deposition taken in Evans
22 v. Kelley on May 1, 1997 at page CW0452, line 14, where you
23 were being questioned by counsel for the State of Michigan.
24 "Q. Do you have any publications, printed material, from Dr.
25 Haske

1 Haskell?
2 "A. Yes.
3 "Q. OK. Could you just identify it for me, please.
4 "A. I have an article that I think was a presentation at NAF in
5 1992 titled 'dilation and extraction for late second trimester
6 abortions.'"
7 Does that refresh your recollection as to whether you
8 relied on Dr. Haskell's paper in forming your opinions in the
9 Michigan case?
10 MR. HUT: Objection: Improper impeachment. And at
11 the outset of the reading of the question and answer I had not
12 been supplied by counsel a copy. Conditional do you want to
13 look at it? Go right ahead.
14 MS. GOWAN: This was a document that plaintiffs
15 produced to the government. They have a copy of this.
16 THE COURT: Is that correct, Mr. Hut?
17 MR. HUT: Surely. We produced about 75,000 pages of
18 documents, your Honor. We don't have them all in the
19 courtroom.
20 THE COURT: I think it is hardly one you can complain
21 about that they haven't given you a copy. You seemed a little
22 bit upset about that. The fact that you choose not to bring it
23 to court, but since it is one of your documents, I don't think
24 it is legitimate that you should be distressed about it. But I
25 will say if you wish to go back and look at it, go right ahead

1 and do so.
2 MR. HUT: Thank you, Ms. Gowan has supplied it to me.
3 This is testimony at page what?
4 MS. GOWAN: It is your Bates counsel.
5 MR. HUT: CW452 and it is lines 14 to 23? Can.
6 A. May I ask the date of that?
7 THE COURT: Of course you can. Ms. Gowan, tell tus
8 date of it. Gowan gone I identified it, Doctor WH-RBGS I
9 started to read. It is May 1, 1997.
10 THE COURT: I thought so. All right.
11 MR. HUT: I think it is improper impeachment, your
12 Honor. I object.
13 THE COURT: Overruled. Go ahead.
14 Q. Does that refresh your recollection, Doctor, as to whether
15 you relied on Dr. Haskell's paper in forming your opinion in
16 the Michigan case?
17 A. Even with hearing about the testimony and also having the
18 declaration in front of me, I can't tell you exactly when I
19 read each of those, if I read them and if there was any reason
20 I cited relying on one in the declaration and cited reRAOEUG on
21 the other at the time of testimony. If I said I read both of
22 them, I'm confident that I did read both of them in 1997 or
23 earlier, but I don't know why I referred to different documents
24 in the declaration and testimony.
25 Q. In your Michigan declaration at page 11, paragraph 31, you

1 referred to a standard D&E. By "standard D&E" you mean D&E by
2 dismemberment or disarticulation, right?
3 A. That's what I meant in that sentence, yes.
4 Q. That is also known as a conventional D&E, right?
5 A. People might refer to it as a conventional D&E. I think
6 that is possible.
7 Q. As you have testified earlier, in a D&E by dismemberment
8 you use forceps to grasp the fetal part and, through a
9 combination of traction and twisting, you disconnect that part
10 from the rest of the fetus's body, right?
11 A. Yes.
12 Q. That is different from an intact D&E, where the fetus is
13 removed intact up to the shoulders and the skull is collapsed,
14 right?
15 A. Yes.
16 Q. As of 1997 you understood that physicians performed intact
17 D&E as a planned technique after 20 weeks, didn't you, Dr.
18 Westhoff?
19 A. I don't understand what you meant.
20 Q. You understood that it was a planned technique, didn't you?
21 A. I still don't understand exactly how to answer that. I
22 don't know what you are asking, so I don't know how to answer
23 it.
24 Q. Well-
25 A. All surgery is planned except for emergency surgery. This

1 is not emergency surgery. In that sense, it is planned.
2 Q. As opposed to inadvertent procedure?
3 A. I think that usually an intact D&E is performed with
4 deliberation and intent, yes. It can occur inadvertently, but
5 I think usually it is deliberate.
6 Q. If you would turn to paragraph 4 of your Michigan
7 declaration. The second sentence of paragraph 4 of your
8 declaration reads as follows: "I have also observed intact
9 dilation and evacuations (intact D&E's) and reviewed testimony
10 of at least two physicians who use this variation of D&E."
11 In paragraph 13 of this same declaration you refer, as
12 we mentioned earlier, to dilation and extraction and D&X. Is
13 dilation and extraction the same as intact dilation and
14 evacuation?
15 A. I think "intact dilation and evacuation is the same as
16 intact dilation and extraction [> no quote<]. But for either
17 one of those terms, one can either use the modifier "intact" or
18 not. But I don't think either one of the terms D&E or D&X
19 implies intact, to me, unless the modifier "intact" is used to
20 describe it or precede it.
21 Q. Is intact D&E the same as D&X?
22 A. I think in paragraph 13 I refer to D&X as abbreviation for
23 dilation and extraction. I think it is still in second
24 trimester abortion cases we dilate and we extract. If it is
25 intact, it is probably more informative to use the modifier

1 "intact."
2 Q. When you went to observe the procedure, did you see a D&X
3 performed?
4 A. What is the usefulness of the shorthand?
5 Q. Doctor, did you see a D&X performed?
6 A. I saw D&E procedures in which the fetus was removed intact.
7 I don't necessarily think verbally that has a one-to-one
8 correspondence with the term D&X. I think D&X is a shorthand
9 that may be used to describe this procedure.
10 Q. When you went to see the procedure, did you see an intact
11 dilation and evacuation performed?
12 A. Yes.
13 Q. When you went to see the procedure, did you see a dilation
14 and extraction performed?
15 A. Yes.
16 Q. When you went to see the procedure, did you see an intact
17 D&E performed?
18 MR. HUT: Objection: Asked and answered.
19 THE COURT: Overruled.
20 A. Yes.
21 Q. In your expert report that you submitted in this case, your
22 TRO declaration, you refer in paragraph 24 to the intact D&E
23 variation.
24 A. I don't have a copy of my declaration.
25 Q. Would you like one?

1 A. Yes, please.
2 Q. I will show you what was marked as Plaintiffs' Exhibit
3 1235.
4 A. Thank you.
5 Q. Is that your expert report in this case?
6 A. Yes. Thank you.
7 Q. If you would turn to paragraph 24, which is on page 11.
8 A. Yes.
9 Q. Do you see the reference there to intact D&E variation?
10 A. Yes.
11 THE COURT: Is this exhibit in evidence?
12 MS. GOWAN: No, your Honor.
13 Q. You don't know where that term comes from, do you?
14 A. For me, it is a useful descriptive term for the procedure,
15 but I don't know where it comes TPWR in the sense of who might
16 have used it before or elsewhere, no.
17 Q. You don't know whether you first heard it from someone else
18 or if it is just a way that you came up with to talk about in
19 the declaration, right?
20 A. That's right.
21 Q. You think you might have come up inTKPEPBLT with that term
22 without hearing it from anyone else, right?
23 A. It is possible that I used it and it is definitely possible
24 I heard other people using the same term before.
25 Q. You just don't know, do you?

1 A. That's right.
2 Q. When you went back in 1997 to observe the procedure, did
3 you see an intact D&E variation?
4 A. Yes, I would describe it that way.
5 Q. In your mind, is intact D&E variation the same as intact
6 dilation and evacuation?
7 A. They are both reasonable ways to describe the procedure we
8 have been discussing, yes.
9 Q. Is it the same as dilation and extraction?
10 A. It is not the same in the sense that the words aren't
11 exactly the same, but they are all different ways --
12 THE COURT: But the procedure is the same, doctor?
13 Are we playing semantics here?
14 THE WITNESS: Well, your Honor, it sounds to me like
15 we are playing semantics.
16 THE COURT: It seems pretty simple. You keep saying
17 they are the same but then you hesitate. Is it the same or
18 isn't it? Very simple.
19 THE WITNESS: There are a variety of terms used to
20 describe this procedure.
21 THE COURT: But it is all the same procedure, is that
22 what you are saying?
23 THE WITNESS: The procedure itself has a lot of
24 individual variations, but there is a core procedure that we
25 are thinking about.

1 Q. So it is the same as D&X, too?
2 A. When people use the term D&X, they may be describing the
3 same procedure that I am talking about when I talk about the
4 intact variation of D&E.
5 Q. It is the same as intact D&E?
6 A. Yes.
7 Q. At page 8, paragraph 22 of your Michigan declaration, you
8 opine that induction abortions are safe procedures and have
9 some advantages over D&E, right?
10 A. Yes.
11 Q. In particular, the advantages that you saw were that
12 induction abortion does not require the use of sharp
13 instruments inside a woman and the induction abortion has a
14 lower rate of uterine perforation, correct?
15 A. Yes.
16 Q. You also thought as an advantage that induction abortion
17 would be indicated when an intact fetus is required for an
18 autopsy to study a fetal anomaly, right?
19 A. As I said, it may be indicated in that setting, yes.
20 Q. The second case that you were involved in was in New
21 Jersey, right?
22 A. Yes.
23 Q. What was the name of that case?
24 A. I would have to refer to it for the name of the case.
25 MS. GOWAN: May I approach, your Honor?

1 THE COURT: You may.
2 Q. I am showing you what has been marked as Government Exhibit
3 C had 46789?
4 A. Thank you.
5 Q. Take a moment and look at that. Do you recognize that?
6 A. Yes. That is my declaration in the case of Planned
7 Parenthood of central New Jersey v. Peter SRERP Bia ro.
8 Q. Is that your signature on the last page?
9 A. Yes.
10 Q. What is the date of that?
11 A. December 12, 1997.
12 Q. Was one of your co-plaintiffs in this case Dr. Gerson Weiss
13 a plaintiff in that case?
14 A. I was an expert. I don't think I was a plaintiff in that
15 case. And I think Dr. Weiss was a plaintiff in that case.
16 Q. That is the declaration that you submitted in that case in
17 support of a TRO enjoining the New Jersey partial-birth
18 abortion law?
19 A. Yes.
20 Q. Would you please turn to page 3, paragraph 4.
21 A. Yes.
22 Q. Would you please read for us [> VERNIERO<] the second
23 sentence in that paragraph.
24 A. It says, "I have also SPWAOEUF D the breech extraction
25 method of intact D&E and reviewed testimony of at least two

1 TPWEU situations who used this variant of D&E."
2 Q. Would you agree that the only difference about the text of
3 paragraph 4 in your New Jersey declaration and the text at
4 paragraph 4 in your Michigan declaration is, I should say are
5 the words "breech extraction method of intact D&E"?
6 A. Yes.
7 Q. In your Michigan declaration you called what you had
8 witnessed the intact dilation and evacuation intact D&E. Is
9 the breech extraction method of intact D&E the same as intact
10 dilation and evacuation?
11 A. In practice, not all intact D&E's will occur with a breech
12 extraction, but many of them do. The breech extraction variant
13 is a common method for intact D&E.
14 Q. As opposed to a vertex presentation, is that right, Dr.
15 Westhoff?
16 A. Yes, that's correct.
17 Q. So as you used the term in your New Jersey declaration, is
18 it the same as intact dilation and evacuation of a fetus in the
19 breech presentation?
20 A. Could you read that question back? (k) Mr. Reporter, read
21 the question back.
22 (Question read)
23 A. Yes.
24 THE COURT: Ms. Gowan, it is 5 o'clock, a little
25 after. Is this a convenient time or do you have a few more

1 questions on this subject before we break?
2 MS. GOWAN: Just a couple of more quick questions,
3 your Honor, if I may.
4 THE COURT: They can even be slow.
5 MS. GOWAN: Thank you, your Honor.
6 Q. Is the breech extraction method of intact D&E the same as
7 dilation or extraction of a fetus in the breech position?
8 A. Yes, I think that describes it, those are similar
9 descriptions.
10 Q. Is breech extraction method of intact D&E the same as D&X
11 in the breech position?
12 A. I think those are similar descriptions.
13 Q. Is breech extraction method of intact D&E the same as an
14 intact variation of D&E of a fetus in the breech position?
15 A. Yes, I think those are similar descriptions.
16 MS. GOWAN: Your Honor, I think this would be a good
17 time to stop.
18 MR. HUT: Your Honor, may I inquire with respect to
19 one brief housekeeping matter?
20 THE COURT: Surely.
21 MR. HUT: Because Dr. Westhoff has gone a little
22 longer than I at least anticipated, I wonder whether there
23 would be any possibility of beginning earlier on Monday. We
24 may encounter a logjam with our witnesses on Monday and
25 Tuesday.

1 THE COURT: I was just going to say that I received a
2 request that we break on Monday for those who wish to celebrate
3 or observe Passover. So what I was going to suggest is that we
4 try and start about 9 o'clock. But we will go through until 1
5 o'clock and we will break then and that would enable any who
6 wish to be in Washington or wherever they may be going by
7 sundown. So that would be my plan for Monday.
8 MR. HUT: Thank you, your Honor. I appreciate the
9 Court's cooperation, on both ends.
10 THE COURT: We are trying to do what we can. We will
11 do whatever needs to be done. That will give you four solid
12 hours of testimony.
13 MR. HUT: Thank you, your Honor.
14 THE COURT: Well, we will take a morning break. But
15 roughly speaking we will have four hours of testimony.
16 I hope you all have a fine weekend.
17 (Adjourned to 9:00 a.m., April 5, 2004)