

447rnat1

1 UNITED STATES DISTRICT COURT
1 SOUTHERN DISTRICT OF NEW YORK
2 -----x

2
3 NATIONAL ABORTION FEDERATION,
3 MARK I. EVANS, M.D.,
4 CAROLINE WESTHOFF, MD, MSC;
4 CASSING HAMMOND, MD,
5 MARC HELLER, MD,
5 TIMOTHY R.B. JOHNSON, MD,
6 STEPHEN CHASEN, MD,
6 GERSON WEISS, MD,
7 on behalf of themselves and
7 their patients,

8
8 Plaintiffs,

9 v.

03 Civ. 8695 (RCC)

9
10 JOHN ASHCROFT, in his official
10 capacity as Attorney General
11 of the U.S., along with his
11 officers, agents, servant,
12 employees, and successors
12 in office,
13 Defendants.

Trial

13 -----x

New York, N.Y.
April 7, 2004
9:45 a.m.

14
15 Before:

16 HON. RICHARD CONWAY CASEY

District Judge

17
18 APPEARANCES

19
20 AMERICAN CIVIL LIBERTIES UNION FOUNDATION

20 Attorneys for Plaintiffs
21 125 Broad Street, 18th floor
21 New York, New York 10004-2400
22 (212) 549-2600

22 BY: JULIE STERNBERG, ESQ.
23
24
25

447rnat1

1 APPEARANCES (continued)
2
3 THE ROGER BALDWIN FOUNDATION OF THE ACLU, INC.
4 Attorneys for Plaintiffs
5 180 N. Michigan Avenue, Suite 2300
6 Chicago, Illinois 60601
7 (312) 201-9740
8 BY: LORIE A. CHAITEN, ESQ.
9
10
11 WILMER CUTLER PICKERING LLP
12 Attorneys for Plaintiffs
13 2445 M Street, N.W.
14 Washington, D.C., 20037-14 20
15 (202) 663-6235
16 BY: A. STEPHEN HUT, JR.
17 AMY WIGMORE, ESQ.
18 KIMBERLY A. PARKER, ESQ.
19
20
21 SHEILA M. GOWAN, ESQ.
22 SEAN H. LANE, ESQ.
23 JOSEPH A. PANTOJA, ESQ.
24 ELIZABETH WOLSTEIN, ESQ.
25 Attorneys for Defendants
U.S. Department of Justice
86 Chambers Street, 3rd floor
New York, New York 10007
(212) 637-2800

447rnat1

1 (Trial resumed)

2 THE COURT: Good morning. I believe we were still
3 reading deposition transcripts when we recessed yesterday. Is
4 that correct?

5 MR. HUT: That is correct, your Honor.

6 THE COURT: Let us continue, I guess. Mr. Hut, was
7 there any effort to subpoena these association witnesses to
8 this trial or to request them?

9 MR. HUT: There was, your Honor. As far as I know,
10 Mr. Baker is outside the subpoena power of the court.

11 THE COURT: Was there any request made of him?

12 MR. HUT: Yes, I believe there was, and he was
13 unavailable.

14 THE COURT: You may proceed.

15 MR. HUT: I have now morphed into Helene Kraznoff's
16 examination, counsel for plaintiffs in the California action.
17 Ms. Liu will once again be reading the answers of Mr. Baker.
18 "Q. I'm Helene Kraznoff. I represent the plaintiffs in the
19 Northern District of California. And the plaintiffs are the
20 Planned Parenthood Association of America and the Planned
21 Parenthood Golden Gate. The defendant in the case is the same
22 as the case Ms. Wolstein works on. This is Mimi Liu, with the
23 law firm of Wilmer, Cutler & Pickering, who represents the
24 National Abortion Federation in the case pending in the
25 Southern District of New York.

447rnat1

"Baker

1 "I'm going to show you two subpoenas on each those
2 cases. Did you receive each of those subpoenas? We can mark
3 them. We are up to 5. We will mark them as Plaintiffs' Trial
4 Exhibits 73 and 64. The Northern District of California we
5 will mark as Plaintiffs' Trial Exhibit 73, that one. And the
6 Southern District of New York we will mark as Plaintiffs' Trial
7 Exhibit 64.

8 "Are you appearing today in response to those
9 subpoenas?

10 "A. Yes.

11 "Q. And that means you have been designated by APHA to testify
12 about APHA's position on the Partial-Birth Abortion Act of 2003
13 and prior federal legislation that similarly purports to ban
14 partial-birth abortion as well as the APHA, is that right?

15 "A. Yes.

16 "Q. You were designated by the organization as qualified to
17 answer questions about those topics, right?

18 "A. Yes.

19 "Q. You told us a little bit about the APHA. I want to ask you
20 a little more about it. You said it was a membership
21 organization?

22 "A. Yes.

23 "Q. And how many members does it have?

24 "A. We like to advertise that we have roughly 50,000 members.
25 Those 50,000 members, we count two different groups of people

447rnat1 "Baker
1 in arriving at that number. Of course, it changes constantly.
2 "We have people who are direct members of APHA who
3 choose to pay dues directly to us. We have roughly 30,000 of
4 those kinds of members. They are primarily individuals,
5 although some people are part of what's called an agency
6 membership for their agency, such as the Center for Disease
7 Control has purchased an agency membership, and they can attend
8 our conference and get the member rate and things like that.
9 That's a very small fraction of that 30,000, plus 5 percent.
10 "We also have people who are members of our
11 affiliates. There is -- in addition to the American Public
12 Health Association, there is a public health association in
13 every state. For instance, I worked in Maryland. There is a
14 Maryland Public Health Association.
15 "Our bylaws and constitution, unlike some
16 organizations, allow you to join our affiliates without being
17 an APHA member. There is roughly 20,000 of those individuals.
18 Again, that number is rough number. They share an interest in
19 promoting and advancing public health. So, and since our
20 affiliates are authorized, and I will use the phrase
21 "chartered" -- that perhaps is not in the correct legal
22 sense -- but let's say our constitution authorizes and charters
23 the affiliates. They are officially recognized.
24 "Each affiliate is allowed to select a couple of
25 individuals to sit on that council that I spoke about earlier

1293

447rnat1 "Baker
1 that actually determines the overall direction and overall
2 policy. So when we write a letter, we say that we represent
3 more than 50,000 individuals both our direct members and our
4 affiliate members.
5 "Q. Would it be fair to say those members are nationwide?
6 "A. Those members are not only nationwide, but a small portion
7 of them are outside the United States, in either Canada or
8 Mexico or in Europe or across the world.
9 "Q. And which public health occupations are represented by your
10 organization?
11 "A. The short answer is all of them. That would be some of the
12 larger areas. I think I mentioned physicians, nurses, health
13 educators, social workers who work in public health and perhaps
14 at a state or local level, the Health Department statisticians,
15 people who teach at schools of public health or medical schools
16 or at universities who have an interest or research interest or
17 personal interest in public health.
18 "We have even lawyers as members. In fact, we have
19 been working with a sister organization around the subspecialty
20 of public health law and perhaps going and do some joint
21 programs. There is a new organization that represent lawyers
22 who do public health. Some of them are what are referred to as
23 outreach workers. That might be a person from the community
24 who is hired part time, perhaps doesn't have formal training,
25 and encourage women in her community to, say, breast feed or

447rnat1

"Baker

1 would encourage people to stop smoking.
2 "So we have everything from very highly educated
3 professionals -- most of our members on average have two or
4 more degrees, post-high school degrees -- to perhaps uneducated
5 community members. But all work in or are interested in public
6 health.
7 "Q. And those include MD's, or medical doctors?
8 "A. Yes.
9 "Q. Would that include obstetricians and gynecologists as well?
10 "A. All types of medical doctors, yes.
11 "Q. Is the APHA a for-profit organization?
12 "A. No, we are a nonprofit organization.
13 "Q. Is it a partisan organization?
14 "A. No, it's not partisan as the word is generally used.
15 "Q. I will rephrase it. Is it affiliated with a political
16 party at all?
17 "A. No.
18 "Q. And if I say what is the leadership of APHA, what does that
19 mean to you and how would you define it? I don't know if you
20 designate. I don't know how to ask you what you consider to be
21 the leadership structure of the organization.
22 "A. The primarily leadership is, I would characterize it as a
23 representative organization that in each section selects a
24 chair. Each affiliate selects a chair or a president. The
25 association is the council that I talked about which itself is

1295

447rnat1

"Baker

1 a representative body.
2 "People selected by those sections, selected by
3 affiliates, go with their council, like an assembly. They
4 actually vote members onto a board. Likewise, as a council
5 section has a representative on the board, whoever has been
6 appointed to that education board, that chair is automatically
7 on the board.
8 "There is an elected president by the council, and
9 then of course the board hires an executive director and the
10 executive director hires staff. When you talk about the
11 leadership of an organization like that, depending on the
12 context, I might think it means our executive director, who is
13 my boss, who is day-to-day level runs themselves. Often it
14 includes our elected representative or our council who
15 ultimately makes policy and sets the direction of the
16 association, kind of like a board of directors.
17 "Q. That is like a governing council?
18 "A. Yes.
19 "Q. There is also an executive board?
20 "A. Yes, there is an executive board also. The governing
21 council meets once a year for several days at our annual
22 meeting. The executive board meets in person or, in this day
23 and age, by conference call, basically monthly.
24 "Q. And speaking to each of those, how many people are on these
25 executive boards?

447rnat1

"Baker

1 "A. The executive board is roughly 20, counting people who are
2 elected, people who are elected for each year for a four-year
3 term, for those people who are on there because of their
4 official capacity, such as -- I gave the example a second ago.
5 Our 26 or so sections have a council where they get together
6 and discuss issues, where they have an interest of all
7 sections.

8 "They select a chair, and that chair of that is called
9 the council of sections. You know, it's actually whoever is in
10 that chair seat each year is automatically on the board.
11 Likewise, our affiliates, our council affiliates, whoever is
12 their chair each year is automatically on the board. It does
13 mean the board rotates a lot.

14 "Q. And currently are there medical doctors on the board? I
15 think the question we were talking about the executive board
16 was off the record, and my question was I think was, are any of
17 the executive board members physicians?

18 "A. Currently the answer to that is yes."
19 Reading now from page 52/line 3.

20 "Q. And the governing council, how many people are on that?
21 "A. The governing council is roughly 200 people.
22 "Q. Does that include physicians?
23 "A. That includes lots of physicians. One of them is the
24 medical care section. Certainly everyone who is a member of
25 the medical care section is not a physician. That tends to be

1297

447rnat1

"Baker

1 the section that the physicians join. That tends to be people
2 who are more involved in the clinical aspects of public health
3 as opposed to epidemiology or policy resolver or statistics."
4 At page 55/line 2.

5 "Q. I want to talk a little bit again about Plaintiffs' Trial
6 Exhibit 18. Dr. Benjamin I see here himself is a medical
7 doctor, is that correct?
8 "A. Yes, he is.
9 "Q. And this document, was it prepared in the normal course of
10 APHA's business?
11 "A. Yes.
12 "Q. And do you believe that the statements in it are
13 representative of APHA's association at the time it was
14 written?
15 "A. Yes, I do.
16 "Q. And is that still -- does that still reflect APHA's policy
17 position?
18 "A. Yes.
19 "Q. But your understanding of it, the APHA had opposed prior
20 versions of prior bills that had supported the ban on partial-
21 birth abortion.
22 "A. We have written letters like this in the past on a variety
23 of issues, not just partial-birth abortions.
24 "Q. So you think there had been previous letters on partial-
25 birth abortion?

447rnat1 "Baker

1 "A. Yes."

2 THE COURT: Excuse me, Mr. Hut. Who is doing the
3 questioning again in this deposition at this moment?

4 MR. HUT: At this moment it is Helene Kraznoff,
5 counsel for plaintiffs Planned Parenthood, Federation of
6 American Planned Parenthood Golden Gate.

7 Now at page 58/line 5.

8 "Q. I'm sorry. I forgot when you were talking about,
9 Plaintiffs' Trial Exhibit 18. Is it your understanding
10 that that letter, the idea of writing that letter, originated
11 at the APHA?

12 "A. In talking to Don Hopper and reviewing the email chain
13 which you have seen, he actually says in there that we are
14 considering writing to Congress, and he was asking perhaps some
15 more organizations if they were planning to organize something
16 as opposed to us doing something perhaps unilaterally.

17 "Q. And it wasn't solicited by an outside organization?

18 "A. That's my understanding, that we were planning to do that.

19 "Q. And is it also your understanding that the letter was
20 drafted by the APHA?

21 "A. Yes.

22 "Q. And not by an outside organization?

23 "A. Yes.

24 "Q. OK. The APHA is not solely dedicated to dealing with
25 abortion, is it?

1299

447rnat1 "Baker

1 "A. No. That's one of probably hundreds of public health
2 issues we would be involved or have an interest in or our
3 members would have a concern about.

4 "Q. And those often impact federal legislation, is that right?

5 "A. It might be fair to say that federal legislation could
6 impact the provision of public health. That would be true of
7 funding bills. Also, recently we've been one over a number of
8 organizations supporting doubling the center for disease
9 control budgets, which would improve public health in this
10 country.

11 "Q. Let me state it another way. The APHA is routinely
12 involved in taking positions on things that are happening in
13 Congress?

14 "A. Yes. We often take positions on public health issues
15 before the Congress".

16 That concludes, your Honor, the plaintiffs'
17 designation in this deposition. Based on the testimony just
18 read, however, I would request the Court reconsider its ruling
19 on defendants' objection to our offer of Plaintiffs' Trial
20 Exhibit 18. I think it is now qualified as a business record
21 under 803(6).

22 THE COURT: My ruling stands. Next.

23 MR. PANTOJA: Your Honor, the government has a few
24 counter designations.

25 THE COURT: By all means.

447rnat1 "Baker

1 MR. PANTOJA: I will be doing the questioning. All of
2 the counterdesignations of the government were part of the
3 examination by Ms. Wolstein. And my colleague Ms. Wolstein
4 will be the witness on the stand.

5 THE COURT: You are going to read her lines and she is
6 going to read someone else's.

7 MR. PANTOJA: Yes.

8 THE COURT: Couldn't be better.

9 MR. PANTOJA: At page 10/line 8.

10 "Q. Who participated in preparing Baker Exhibit 1, which is
11 plaintiffs Trial Exhibit 18.

12 "A. This letter was primarily prepared by our director of
13 federal and congressional relations, Don Hopper. It was -- he
14 did that in discussion with his boss, Kelly O'Brien, who is our
15 assistant director for policy, and also in discussion with our
16 executive director, Dr. George Benjamin.

17 "Q. And what did Mr. Hopper do in order to prepare this letter,
18 Plaintiffs' Trial Exhibit 18?

19 "A. Are you asking what the process was he used to prepare the
20 letter?

21 "Q. Yes.

22 "A. There was an issue before Congress at the time, and Mr.
23 Hopper spent most time on Capitol Hill and in our office and
24 talked to other organizations that we think may be of like
25 minds on certain kinds of issues.

1301

447rnat1 "Baker

1 "During the course of discussions, phone calls,
2 running into people, and so forth, he indicated to a colleague
3 at another association that we were thinking, since this bill
4 was before Congress and we had longstanding policy we thought
5 was pertinent in this area, he indicated we were thinking of
6 perhaps writing a letter, asked if they were thinking of
7 writing a letter, or I think he used the phrase of what he
8 called a letter drop, which is where --

9 "Well, and the answer to that was, yes, that they were
10 thinking of perhaps organizing various organizations to submit
11 material. And so we generated the letter and we forwarded it
12 to them to actually forward to the Congress.

13 "Q. What is the organization you are referring to when you say
14 he forwarded to them and he spoke with the other organization?

15 "A. I would actually have to look at the material I provided
16 you to refresh my memory."

17 Page 12/line 14.

18 "Q. Why don't we mark -- well, never mind. Look through that
19 and see if that refreshes your recollection.

20 "A. I believe the question was what was the other organization?

21 "Q. Yes.

22 "A. The other organization is Pro Choice America. Our director
23 of governor relations colleague was Donna Crane.

24 "Q. What communications did Mr. Hopper have with Ms. Crane in
25 writing about the writing of Plaintiffs' Trial Exhibit 18?

447rnat1

"Baker

1 "A. Are you asking about the substance of the communication or
 2 are you asking with the methods of the communications?
 3 "Q. I'm asking about the substance.
 4 "A. OK. As I indicated earlier, this was -- the bill before
 5 the House of Representatives was of interest to us. There was
 6 an exchange back and forth whether that's of interest to them
 7 and whether or not if they were thinking of organizing perhaps
 8 what was referred to as a mail drop, a collection of letters.
 9 The answer back was yes, they were. So we -- our letter was
 10 prepared and was forwarded to them to be included.
 11 "Q. What is a mail drop?
 12 "A. My understanding of a mail drop is, instead of as many
 13 organizations as might be interested in a subject each writing
 14 to, say, the House of Representatives and distributing it to
 15 each member individually, an organization might say that they
 16 would take the lead and coordinate that activity. So they
 17 would coordinate all the material and put it together into a
 18 packet and deliver it into a packet as opposed to individual
 19 letters coming in and with all the other pieces of mail
 20 received on a given day.
 21 "Q. Did Ms. Crane's organization do a mail drop in this matter?
 22 "A. As far as we know."
 23 Page 18/line 22.
 24 "Q. In the course of preparing Plaintiffs' Trial Exhibit 18,
 25 did anyone at APHA communicate with any particular members of

1303

447rnat1

"Baker

1 APHA?
 2 "A. I don't believe so."
 3 Page 23/line 14.
 4 "Q. Other than what you have already testified to, was there
 5 any other basis for the sentence in the third paragraph of
 6 Plaintiffs' Trial Exhibit 18?
 7 "A. No, not that we have been able to determine in hindsight.
 8 But going back and asking.
 9 "Q. Well, who did you ask?
 10 "A. Let me try to perhaps provide a clearer answer. I asked
 11 Mr. Hopper why we wrote the letter. His response was we have a
 12 longstanding policy on this issue, it's important to our
 13 members.
 14 "Q. Did he say anything else in response to your question to
 15 him why APHA wrote the letter?
 16 "A. Just the fact that the issue was timely in front of
 17 Congress."
 18 Page 27/line 3.
 19 "Q. OK. So let me -- if I understand, the basis for the
 20 statement in the letter in Plaintiffs' Trial Exhibit 18 are the
 21 two policies of APHA embodied in the 1981 policy statement and
 22 the 1989 statement that we have looked at as Plaintiffs' Trial
 23 Exhibit 19, personal knowledge of Mr. Hopper and Ms. O'Brien
 24 and Dr. Benjamin?"
 25 An objection by counsel.

447rnat1 "Baker

1 "A. Yes. That's what I believe to be the best I'm able to
2 discern it."

3 Page 29/line 2.

4 "Q. I fear that I'm making this more complicated than this is.
5 I'm trying to understand the basis for the statement in
6 paragraph 3. From what I understand from your testimony, it's
7 APHA's longstanding policy concerning abortion which are
8 reflected partly in the 1981 statement and the 1989 statement,
9 as well as a general knowledge of the people who participated
10 in drafting the letter, is that correct?

11 "A. Yes.

12 "Q. Is there any other basis other than that where that
13 statement in paragraph 3 of Plaintiffs' Trial Exhibit 18?

14 "A. No."

15 Page 34/line 21.

16 "Q. The policy. So what you are referring to is the 1981
17 statement and the 1989 statement, those went through the policy
18 review process you described, correct?

19 "A. Yes."

20 Page 60/line 11.

21 "Q. It's fair to say, Mr. Baker, isn't it, that as a matter of
22 policy, APHA opposes any legislative restriction on abortion?

23 "A. Yes."

24 That concludes the government's counterdesignations,
25 your Honor.

1305

447rnat1 "Baker

1 THE COURT: Thank you. Ms. Wolstein, thank you. You
2 can return to playing yourself.

3 Mr. Hut, call your next witness.

4 MR. HUT: Plaintiffs' next witness is Dr. Gerson
5 Weiss, who will be examined by Ms. Parker.

6 THE COURT: Who is going to examine?

7 MR. HUT: Ms. Parker.

8 GERSON WEISS,

9 called as a witness by the plaintiffs,

10 having been duly sworn, testified as follows:

11 THE CLERK: Please state and spell your full name
12 slowly for the record.

13 THE WITNESS: Gerson Weiss, G-E-R-S-O-N, W-E-I-S-S.

14 THE CLERK: Thank you. Please be seated.

15 DIRECT EXAMINATION

16 BY MS. PARKER:

17 Q. Good morning, Dr. Weiss.

18 A. Good morning.

19 Q. Dr. Weiss, where are you currently employed?

20 A. I'm employed by New Jersey Medical School in Newark, New
21 Jersey.

22 Q. Are you a physician?

23 A. I am.

24 Q. Where are you licensed to practice medicine?

25 A. I am licensed to practice medicine in New Jersey and New

447rnat1

Weiss - direct

- 1 York State. I have licenses that I have relinquished in
2 Pennsylvania and California.
- 3 Q. When you say "relinquished," what do you mean by that?
- 4 A. I had the licenses, but since I was unlikely to practice in
5 those venues, I let them lapse.
- 6 Q. What position do you hold at New Jersey Medical School?
- 7 A. I am currently professor and chair of the department of
8 obstetrics, gynecology, and women's health. I am also the
9 chief of service of obstetrics and gynecology at University
10 Hospital in Newark.
- 11 Q. Is University Hospital affiliated with New Jersey Medical
12 School?
- 13 A. Yes, it is. It is affiliated and on the same campus.
- 14 Q. What are your responsibilities at New Jersey Medical
15 School?
- 16 A. My responsibilities at New Jersey Medical School is broadly
17 to run the academic department. This includes hiring of
18 residents, faculty, to establish a research program, to teach
19 medical students, residents, and teach fellows, to provide
20 service to the community and healthcare for the local community
21 in general, and subspecialty care for the northern part of the
22 state in specific.
- 23 Q. How long have you been the chair of the department of
24 obstetrics and gynecology?
- 25 A. I have been chair for 18 years.

1307

447rnat1

Weiss - direct

- 1 Q. You said that at University Hospital you also hold a
2 position?
- 3 A. That's correct.
- 4 Q. What position is that?
- 5 A. I am chief of service of obstetrics and gynecology.
- 6 Q. What are your responsibilities in that position?
- 7 A. My responsibilities there are generally to make sure that
8 the care that is provided is competent and full. Along those
9 lines, I am responsible for the establishment of care
10 protocols, the evaluation of levels of care, establishing
11 protocols to deal with the needs of the patients that we serve,
12 provide protocols and supervision of care in operating rooms,
13 clinics, out-patient surgery, in-patient care, out-patient
14 clinics as well.
- 15 In addition, we train residents, we train post-
16 residency fellows in subspecialties, and we teach obstetrics
17 and gynecology to medical students. We also teach some parts
18 of obstetrics and gynecology to nonphysicians, such as nurses
19 and other healthcare providers, and physicians in other areas
20 who need to learn the expertise of doing pelvic examinations,
21 etc.
- 22 Q. How long have you been the chief of service at University
23 Hospital?
- 24 A. Also 18 years.
- 25 Q. Dr. Weiss, are you a plaintiff in this action?

447rnat1

Weiss - direct

- 1 A. I am.
2 Q. Why did you bring this action?
3 A. I brought this action because it was my feeling that the
4 passage and the enactment of this law would do a serious
5 disservice to the patients that I am responsible for. It would
6 eliminate some of the safest procedures to give them care,
7 especially patients with medical illnesses who clearly would
8 need a pregnancy termination later in pregnancy. It would
9 hinder the practice of obstetrics and gynecology, because
10 individuals that would be providing some care would be worried
11 about providing the best possible procedures, because in doing
12 so they may inadvertently come into a conflict with the
13 requirements of the law.
14 Q. Dr. Weiss, before we get into your opinions, I want to ask
15 you a little bit about your background. From where did you
16 obtain your medical degree?
17 A. I obtained my medical degree from New York University in
18 1964.
19 Q. After you obtained your medical degree, did you receive any
20 other medical training?
21 A. Yes, I did.
22 Q. Can you describe that training for us.
23 A. Certainly. I was a fellow in the department of medicine at
24 Johns Hopkins University and an intern in internal medicine in
25 Baltimore City Hospital 1964-65. Between 1965 and 1969 I was

1309

447rnat1

Weiss - direct

- 1 resident and chief resident in obstetrics and gynecology at
2 NYU-Bellevue Medical Center. Following that, I spent two years
3 in the United States Army. When I completed my service in
4 1971, I was a research fellow in reproductive physiology at the
5 University of Pittsburgh.
6 Q. When you were in the Army, were you practicing medicine?
7 A. I was. I was practicing my specialty of obstetrics and
8 gynecology. My secondary military occupational status was
9 surgery.
10 Q. Dr. Weiss, do you currently practice medicine?
11 A. I do.
12 Q. Do you have any medical specialties?
13 A. Yes.
14 Q. What?
15 A. My specialty is obstetrics and gynecology. I also have
16 subspecialty certification in reproductive endocrinology and
17 infertility.
18 Q. Can you explain what reproductive endocrinology is.
19 A. Yes. Reproductive endocrinology is that field,
20 subspecialty, of obstetrics and gynecology that deals with
21 hormonal abnormalities in women, diseases related to hormonal
22 problems, such as abnormal puberty, abnormal bleeding, abnormal
23 menopause, natural menopause, anomalous development of the
24 reproductive tract, and infertility and all of its causes.
25 Q. For how long have you specialized in obstetrics and

447rnat1

Weiss - direct

1 gynecology?
2 A. I have specialized since I started my internship -- excuse
3 me -- my first year of residency, which was in 1965.
4 Q. Do you have any board certifications?
5 A. Yes, I do. I was initially certified by the American Board
6 of Obstetrics and Gynecology in 1971. I was certified as a
7 subspecialist in reproductive endocrinology and fertility in
8 1975. In 1983 I had my first subspecialty in general
9 obstetrics and gynecology. That was 1983. In 1993 I again
10 volunteered for subspecialization certification and passed
11 that.
12 Q. Those that you were just describing, those were
13 recertifications?
14 A. The last two were recertifications. This was a voluntary
15 process, because at the time I took my training, certification
16 was life-long. Since then, certification is time-limited.
17 Q. Why is it that you haven't been recertified more recently?
18 A. I have as of 1993 been elected to the American Board of
19 Obstetrics and Gynecology. I served as the president for the
20 American Board of Obstetrics and Gynecology for four years,
21 ending two years ago, and I am currently the chairman of the
22 American Board of Obstetrics and Gynecology. It is the
23 responsibility of the American Board of Obstetrics and
24 Gynecology to do all the certification processes. It would
25 certainly be a conflict of interest if I certified myself.

1311

447rnat1

Weiss - direct

1 Q. Dr. Weiss, do you currently treat patients?
2 A. I do.
3 Q. In what settings do you treat patients?
4 A. I treat patients in an office setting in New Jersey, in
5 Hasbruck Heights, in an office called University Reproductive
6 Associates. I also take patients who are in-patient both in
7 Hackensack University Medical Center and University Hospital in
8 Newark. I sometimes see patients in consultation with Newark
9 as well.
10 Q. What type of patients do you see?
11 A. By and large, I take care of patients with problems in
12 gynecology. I am frequently referred to complex problems from
13 other gynecologists, and I see a full range of reproductive
14 endocrinology and infertility cases as well.
15 Q. Do you perform surgery?
16 A. I do.
17 Q. How often do you perform surgery?
18 A. Roughly 50 times a year.
19 Q. What types of surgeries do you perform?
20 A. I perform a fairly broad gamut of surgery in gynecology and
21 in my subspecialty. These include office surgical procedures
22 as well as hospitalized procedures, including reparative
23 procedures, endoscopies, laparoscopies, hysteroscopies, vaginal
24 and abdominal hysterectomies.
25 Q. You mentioned hysteroscopies. Can you explain what those

447rnat1

Weiss - direct

1 are.
2 A. A hysteroscopy is an operative procedure where the cervix
3 is dilated and then the inside of the uterus is evaluated with
4 a telescope put into the uterus through the cervix. In doing
5 so, we can diagnose the presence of fibroids that are impinging
6 on the cavity, occasionally observe a malignancy, observe
7 polyps, adhesions, scars, and anomalies and things that
8 interfere with fertility. They can frequently be corrected at
9 the same time through instruments put into the scope.
10 Q. You also mentioned laparotomies. Can you explain what that
11 is.
12 A. A laparotomy is an operation where the abdomen is opened.
13 It is a generic term for any time the abdomen is opened.
14 Specifically in gynecology, laparotomies, hysterectomies,
15 abdominal hysterectomies, removal of cysts, tubal repair, tubal
16 ligation, or removal of ovarian cysts and other things that
17 need to be done through an open incision.
18 Q. Have you ever performed an abortion?
19 A. Yes.
20 Q. Approximately how many times in your career have you
21 performed an abortion?
22 A. Approximately 1500 to 2,000.
23 Q. What types of abortions have you performed?
24 A. I have performed D&C abortions, including both sharp
25 curettage and dilatation and suction. I performed D&E

1313

447rnat1

Weiss - direct

1 procedures later on in pregnancy. I have performed an occasion
2 hysterotomy. I have performed instillations. I think that
3 completes it.
4 Q. Do you currently perform abortions?
5 A. I do.
6 Q. Dr. Weiss, how did you first learn to do abortions?
7 A. When I was a resident, abortions were not permitted and
8 were illegal. When I was a chief resident in 1969 and the end
9 of 1968, the law had softened somewhat in New York State and
10 there was the ability to do abortions for women whose lives
11 were endangered by the process.
12 Before that time, what we would see in my training at
13 Bellevue Hospital were the referred complications of criminally
14 induced abortions throughout the city. So every week,
15 especially as a first-year resident, I would have to deal with
16 completion of abortions of first and second trimester
17 procedures, many times in women who had infected uteri and
18 multiple problems. This is where I learned the techniques of
19 finishing the abortion process, in dealing with the issues, in
20 dealing with the complications and the trouble caused when
21 procedures are not performed accurately and adequately.
22 When I was a chief resident, I had 12 individuals who,
23 for medical or psychiatric reasons, were deemed by an
24 independent committee of physicians and other members of the
25 community that an abortion was appropriately required. These

447rnat1

Weiss - direct

1 women were in their second trimester. Because the process was
2 a long one and even if they presented at 8 weeks, which would
3 be usual -- because you could rarely in those days diagnose a
4 pregnancy before 6 or 7 weeks -- they would have to go through
5 a committee and they would be in their second trimester.

6 Using the skills that I had developed as a junior
7 resident dealing with the many hundreds of abortions that were
8 in various stages of completion, I trained myself to perform
9 these abortions, because no one had done them beforehand and
10 there was no one that could teach the procedure. The skills
11 are not that much different from the skills I already had. So
12 I learned really in a way being self-taught at that time.

13 Q. What were some of the consequences of the illegal induced
14 abortions that you described?

15 MR. LANE: Objection, your Honor.

16 THE COURT: What, pray tell, has this got to do with
17 this case?

18 MS. PARKER: Your Honor, may I?

19 THE COURT: Yes.

20 MS. PARKER: He is just describing the way he learned
21 abortions and some of the consequences.

22 THE COURT: I think you are going far beyond that.

23 MS. PARKER: I will move on, your Honor.

24 THE COURT: Sustained.

25 Q. Dr. Weiss, approximately how many D&E procedures have you

1315

447rnat1

Weiss - direct

1 performed throughout your career?

2 A. I would estimate 20 percent of the total, so that would be
3 somewhere around 3 to 500.

4 Q. In conjunction with your work at the hospital and the
5 medical school, do you supervise other physicians' abortion
6 practices?

7 A. Yes. I am required to do quality control for all
8 procedures in the hospital. There was a period of time when
9 the abortion service, for varying reasons, was actually not
10 part of the obstetrics and gynecology department. But even at
11 that time I was responsible for the oversight of the
12 procedures, the quality assurance, and the evaluation of the
13 procedures by morbidity and mortality conferences.

14 I now see that residents are trained in these
15 procedures and evaluate the process. Specifically, we have
16 generic screens, such as readmission to the hospital for
17 removal of structures not planned for, readmission within a
18 month after procedure, that trigger what is called the
19 morbidity mortality conference, which is an evaluation of the
20 problem, why it occurred, what could be done to prevent it from
21 occurring again.

22 We have now established, I have hired someone to
23 establish, an abortion service. She has received a national
24 grant from the Ryan Foundation for I guess it is \$450,000 the
25 first year to set up training programs to teach individuals all

447rnat1 Weiss - direct

1 aspects of contraception and abortion. This is to teach
2 residents as well as medical students and other --
3 THE COURT: What foundation is this?
4 THE WITNESS: The Ryan Foundation.
5 THE COURT: How do you spell that?
6 THE WITNESS: R-Y-A-N. It is named after Kenneth
7 Ryan, who was the chairman of obstetrics and gynecology at
8 Harvard Medical School for many years and the chairman of I
9 believe it was President Nixon's ethics committee.
10 Q. Dr. Weiss, how many D&E procedures have you supervised your
11 career?
12 A. It would have to be thousands.
13 Q. Are you familiar with a variation of the D&E procedure
14 sometimes known as intact D&E?
15 A. Yes.
16 Q. Have you ever delivered a fetus intact in a D&E procedure
17 that you have performed?
18 A. Yes.
19 Q. Do you have any responsibility for training other
20 physicians?
21 A. Yes. Part of my job as the chairman of the medical school
22 department is to see that we train other physicians in the
23 subspecialty. We specifically through this grant are required
24 as a department, as recipients of this, to train individuals as
25 well.

1317

447rnat1 Weiss - direct

1 Q. Are you a member of any professional associations?
2 A. Yes, I am.
3 Q. What are those?
4 A. I am a member of the American College of Obstetrics and
5 Gynecology. I served in many capacities, including some
6 elected capacities when I was in New York State, and I chaired
7 for several years the gynecologic practice committee, which is
8 the committee that deals with gynecologic practice, including
9 abortion practice. I am a member of the New York Gynecological
10 Society and the New York Obstetrical Society. I have been
11 president of both these organizations.
12 I am a member of the American Society of Reproductive
13 Medicine. I am a member of the Council of University Chairs in
14 Obstetrics and Gynecology, and I am the immediate past
15 president of that organization. I am a member of the Endocrine
16 Society. I am a member of the Society for Gynecologic
17 Investigation and currently am its president-elect. I am a
18 member of the Society for the Study of Reproduction, SSR.
19 There may be one or two others.
20 Q. What is the Society for Gynecologic Investigation?
21 A. The Society for Gynecologic Investigation is an
22 international society founded in this country that is
23 responsible for research. That is the society involved in the
24 basic and clinical research in reproductive medicine and all
25 its aspects. Its role is to function as a clearinghouse for

447rnat1

Weiss - direct

- 1 information.
2 It has an annual meeting in which probably a thousand
3 scientists present their work and discuss their work and
4 protocols with other members. It also has an educational
5 component as well, with symposia throughout for this annual
6 meeting. It also has other, smaller, subspecialty meetings
7 that will be meeting internationally.
8 Q. Have you authored any publications?
9 A. Yes, I have.
10 Q. Approximately how many publications?
11 A. Roughly 250.
12 Q. Have any of those publications been peer-reviewed?
13 A. Most of them.
14 MS. PARKER: Your Honor, may I approach the witness to
15 hand him a binder of exhibits?
16 THE COURT: Surely.
17 Q. Dr. Weiss, please turn to tab 124 in that binder.
18 A. Yes.
19 Q. That document has been marked as Plaintiffs' Exhibit 124.
20 Do you recognize the document?
21 A. I do.
22 Q. What is it?
23 A. It is my curriculum vitae.
24 Q. Is this document an accurate summary of your education and
25 experience?

1319

447rnat1

Weiss - direct

- 1 A. It is reasonably accurate. I may have neglected to add a
2 publication or an abstract, but it is pretty much accurate.
3 MS. PARKER: Your Honor, at this time plaintiffs move
4 to offer Exhibit 124 into evidence.
5 THE COURT: Any objection?
6 MR. LANE: No objection, your Honor.
7 THE COURT: It will be received.
8 (Plaintiff's Exhibit 124 received in evidence)
9 Q. Dr. Weiss, what, if any, steps do you take to remain
10 current on developments with the field of obstetrics and
11 gynecology?
12 A. As I run a department, we run an educational system. Among
13 the things we do is we have a two-year revolving lecture series
14 of 100 lectures to keep everyone current on aspects of
15 obstetrics and gynecology. In addition, we have weekly
16 conferences, case reviews, grand rounds where we have outside
17 speakers, journal clubs where we look at articles in the
18 literature and dissect them in terms of their quality and
19 appropriateness for changing practice.
20 In addition, I subscribe to and read a fairly sizable
21 number of journals in medicine and my specialty, both general
22 and specific areas. I discuss items with my colleagues. And
23 since I am active in national and international spheres, I will
24 frequently meet with other physicians and discuss what is new
25 in the field.

447rnat1 Weiss - direct

1 Q. Aside from your work on this matter, have you offered
2 expert testimony in any other matters?
3 A. I have.
4 Q. Have any of those matters involved challenges to bans on
5 partial-birth abortion?
6 A. Yes.
7 Q. What case or cases was that?
8 A. That was the New Jersey case I guess in 1998.
9 Q. Were you also a plaintiff in that case?
10 A. I was.
11 Q. Have you been a plaintiff in any other challenges to state
12 laws?
13 A. I have.
14 Q. What were those laws?
15 A. There were editions, I recall. One was the parental
16 notification law in New Jersey, and the other was the law that
17 limited welfare payments to individuals who became pregnant
18 when they were on welfare. The issue there was if a woman
19 became pregnant, even by rape, and elected to have the child,
20 she would not receive additional welfare information to support
21 her.
22 Q. Has a court ever failed to qualify you as an expert in any
23 case?
24 A. No.
25 MS. PARKER: Your Honor, we tender Dr. Weiss as an

1321

447rnat1 Weiss - direct

1 expert in obstetrics and gynecology and abortion practice and
2 procedures pursuant to Federal Rule of Evidence 702.
3 THE COURT: Any objection?
4 MR. LANE: No objection, your Honor.
5 THE COURT: The doctor will be so recognized.
6 Q. Dr. Weiss, you testified earlier that you are familiar with
7 a variation of D&E sometimes called intact D&E, is that
8 correct?
9 A. That's correct.
10 Q. Do you have an opinion regarding the safety of removing the
11 fetus intact in a D&E procedure?
12 A. Yes, I do.
13 Q. What is that opinion?
14 A. My opinion is that removing the fetus intact is a safer
15 procedure than disarticulating or breaking the fetus into parts
16 before removing it.
17 Q. Why is that?
18 A. When you do a termination procedure, the first step is to
19 dilate the cervix and the second step is to remove the uterine
20 contents. This is done initially by suction and then by
21 putting instruments into the uterus to grasp the fetus and take
22 out whatever parts one can take out.
23 If one were doing a disarticulation procedure and not
24 taking the fetus out intact, there would be multiple attempts
25 at taking a rigid instrument out of the uterus, putting it back

447rnat1 Weiss - direct

1 into the uterus, moving around and closing it, and each one of
 2 these acts, which may be done 15 or 20 or more times, attends
 3 to the risk of perforating the uterus. The more you enter and
 4 leave the uterus, the greater the chance of inducing infection.
 5 THE COURT: Have you ever perforated the uterus
 6 performing this procedure?
 7 THE WITNESS: I have perforated the uterus --
 8 THE COURT: Performing this procedure?
 9 THE WITNESS: I'm not sure what you mean, sir. Do you
 10 mean by performing an abortion procedure --
 11 THE COURT: Yes, a D&E, where you are dismembering,
 12 tearing the fetus apart.
 13 THE WITNESS: I don't start off a procedure saying I'm
 14 going to do a --
 15 THE COURT: I didn't ask you that, Doctor. It's a
 16 simple question. In doing that procedure, have you perforated
 17 the uterus?
 18 THE WITNESS: No.
 19 THE COURT: See how simple that was? Have you ever
 20 been sued for malpractice, Doctor?
 21 THE WITNESS: Yes.
 22 THE COURT: Did any of those involve your specialty?
 23 THE WITNESS: Yes.
 24 THE COURT: Next question.
 25 Q. Doctor, you were describing why it is that you thought that

1323

447rnat1 Weiss - direct

1 removing the fetus intact in a D&E procedure was safer. Did
 2 you have more to add on that?
 3 A. Yes. When the fetus comes out intact, there is minimal
 4 manipulation inside the uterus, hence minimal chance of
 5 producing damage. You see the entire fetus. If you were
 6 dismembering the fetus, what you would have to do is take the
 7 parts, leave them aside, and then try to reconstruct the fetus
 8 from what you have done.
 9 You can reconstruct in a general sense. You can find
 10 limbs, maybe trunk, maybe head. But there is the possibility
 11 that small parts or pieces of soft tissue would be left inside
 12 the uterus, and that could serve as a nidus of infection, and
 13 that can serve as a problem in the future in which retained
 14 products would produce pain in the future, abnormal periods, or
 15 even, by functioning as a foreign body in the uterus,
 16 functioning as an intrauterine contraceptive device and
 17 therefore interfering with conception.
 18 None of this will happen when you are doing an intact
 19 D&E. When you are doing an intact D&E, the entire procedure is
 20 under visual control. What you need to do is deflate the head,
 21 but you have much control over that and it is right in front of
 22 you at the time.
 23 The time of procedure is decreased. It is clear, a
 24 vast medical literature suggests, that the complication of
 25 infection is highest the longer the procedure. During an

447rnat1

Weiss - direct

1 abortion procedure there is bleeding until the procedure is
2 completed. A briefer procedure results in less blood loss and
3 less risk, again, of infection and leaving material behind.
4 Q. Are there any risks that relate to how the fetal parts come
5 out?
6 A. If you remove the fetal parts -- the fetus intact, you have
7 full control of this issue. If on the other hand you
8 disarticulate, break the fetus apart --
9 THE COURT: Disarticulate is you tear off a piece,
10 isn't that what that means?
11 THE WITNESS: Yes.
12 THE COURT: Why don't you use those words, Doctor, so
13 the transcript will reflect vocabulary that any person could
14 understand.
15 THE WITNESS: Certainly.
16 A. When the fetus is broken apart, the long bones especially
17 that are broken may have sharp edges. These sharp edges may
18 effect laceration of the walls of the uterus and produce
19 bleeding that way. The sharp edges can also embed and
20 sometimes stick into the wall of the uterus, occasionally even
21 breaking off in the wall of the uterus and remaining there for
22 long periods of time, producing complications well into the
23 future.
24 Q. Taking those one by one, you discussed the risks of putting
25 instruments in the uterus. Why would removing a fetus intact

1325

447rnat1

Weiss - direct

1 reduce that risk?
2 A. You don't see where the end of your instrument is, because
3 it is inside the body. When you put an instrument in, you are
4 grasping without seeing what you are grasping. Each time it is
5 possible that you could grasp the wall of the uterus. Or if
6 the geometry changes because the uterus contracts, it is
7 possible that you could put the instrument through the wall of
8 the uterus. This type of perforation would not happen if you
9 don't have to go through that process.
10 Q. How common is uterine perforation among experienced
11 providers of D&E?
12 A. Essentially every provider has had uterine perforation.
13 Different providers have vastly different levels. Let me first
14 describe the difference in perforation, because that will help
15 you understand.
16 As you dilate the uterus, some people frequently will
17 sound it, use a very thin, blunt device. If you were to
18 perforate with a sound, you would never know it, because it
19 would be similar to sticking a needle in the uterus, and it
20 wouldn't have very much adverse effect.
21 On the other hand, a tear in the uterus that is three
22 inches long and would produce horrendous bleeding is also
23 called the same name, "perforation." Everyone has experienced
24 this. Occasionally it will happen and you will not know about
25 it and you will learn about it years later when there is an

447rnat1 Weiss - direct

1 operation and you see that there was a rent in the uterus and
2 that was be the reason for it. Sometimes there is a shock,
3 bleeding, or evidence of other problems that would lead you to
4 this information.
5 There was a practitioner in New Jersey who was in my
6 department when I got there who was performing late
7 terminations by D&E and had in the month before I got there
8 three very serious perforations.
9 Q. Given that perforations --
10 THE COURT: Did you stop him from performing under
11 your guidance?
12 THE WITNESS: Yes, sir, I did. I took action to not
13 only stop him from performing abortions, I stopped abortion
14 procedures beyond 18 weeks because there was no one that could
15 do it competently, and took action that resulted in his losing
16 his medical license.
17 THE COURT: Doctor, when you perform an abortion, do
18 you make complete, full disclosure to the patient?
19 THE WITNESS: I try to.
20 THE COURT: Do you explain to them the options
21 available?
22 THE WITNESS: Well, I --
23 THE COURT: In simple, clear language?
24 THE WITNESS: I explain it in language appropriate to
25 the individual I am speaking to.

1327

447rnat1 Weiss - direct

1 THE COURT: I didn't ask you that. Simple and clear
2 language that anyone, any layman, could understand.
3 THE WITNESS: Yes.
4 THE COURT: Do you explain to them that if you do a
5 D&E, you're going to tear their fetus apart and remove it in
6 pieces?
7 THE WITNESS: I explain that --
8 THE COURT: Do you tell them that? It is a simple
9 question.
10 THE WITNESS: Do I use those words? No.
11 THE COURT: Do you use similar questions that are just
12 as clear?
13 THE WITNESS: Yes.
14 THE COURT: Not "disarticulation"?
15 THE WITNESS: Yes.
16 THE COURT: You tell them you are to remove pieces,
17 pull them off?
18 THE WITNESS: Remove pieces, yes. I say it may come
19 out intact or in pieces. I do not say "pull them off."
20 THE COURT: So you don't make it clear that you are
21 pulling them off. That is what you are performing, is it not?
22 THE WITNESS: I make it clear that the fetus may be
23 removed in pieces.
24 THE COURT: Do you discuss with them fetal pain?
25 THE WITNESS: No.

447rnat1 Weiss - direct

1 THE COURT: Do you, when you tell them the various
2 procedures available, say that in an intact D&E, if you choose
3 to call it, or partial-birth abortion, that you take a pair of
4 scissors and make an incision in the base of the skull?
5 THE WITNESS: I say that we take the fluid and
6 material out of the skull.
7 THE COURT: No, Doctor. The question is simple.
8 Don't turn it around. Just do you tell them that if you do
9 that procedure you're going to take a pair of scissors and make
10 an incision at the base of their baby's skull?
11 THE WITNESS: I do not use that language.
12 THE COURT: Do you discuss with them whether or not
13 this inflicts pain on the fetus or the baby?
14 THE WITNESS: No, I do not.
15 THE COURT: Do you tell them that you are going to use
16 a suction device and suck the brain out of the baby?
17 THE WITNESS: Yes.
18 THE COURT: You use simple words and tell them that?
19 THE WITNESS: Yes.
20 THE COURT: Next question.
21 BY MS. PARKER:
22 Q. Dr. Weiss, you were explaining before that uterine
23 perforation, although every provider I think you said has had
24 one, is not very common, is that correct?
25 A. That is correct.

1329

447rnat1 Weiss - direct

1 Q. Given that it is not common, are you concerned about it in
2 performing D&E's?
3 A. Of course. If you were not concerned about it, it is
4 likely it would happen more frequently. If you didn't keep it
5 foremost in your mind, if it happens and you miss the
6 diagnosis, especially if after perforation you damage the other
7 abdominal contents, such as the bowel, you may after a day or
8 two or three have a patient with generalized peritonitis who
9 would be at risk of death. It is a very serious complication
10 which can lose the life of an individual.
11 Q. Dr. Weiss, have you ever perforated a uterus in any
12 abortion procedure you have performed?
13 A. Yes, I have.
14 Q. Would using ultrasound eliminate the risk of uterine
15 perforation in a D&E?
16 A. It would not.
17 Q. Why is that?
18 A. It would be difficult for an individual to use ultrasound
19 at the same time that they are doing the procedure, so they
20 would have to depend on someone to help them. That requires
21 communication and it requires immediacy.
22 What would happen likely is you would be looking for
23 not a perforation, but you are coming close to the wall of the
24 uterus. As the input, clearly you want to come close to the
25 wall of the uterus, because the placenta is attached to the

447rnat1

Weiss - direct

1 wall of the uterus. It might help better to diagnose the
2 problem if it occurred. I think I see your instrument outside
3 the uterus, but I don't think it would be very helpful as a
4 preventive in most cases.
5 Q. Are instruments ever used in a D&E to remove the placenta?
6 A. Yes.
7 Q. How does the use of instruments to remove the placenta in a
8 D&E compare with the use of instruments to remove the placenta
9 in an induction abortion?
10 A. It would be the same if you needed to remove the placenta
11 in that roughly one-third of induction procedures you then have
12 to do an operative procedure. The difference would be that if
13 you did it during the D&E, it would be fairly rapid and have a
14 decreased risk of infection.
15 If, on the other hand, you did it at the time of
16 induction, membranes may have now been ruptured for a day or
17 two. You are probably 6 or 12 or 18 hours after the delivery
18 of the fetus. If the placenta is still intact and you have not
19 been able to remove it, you would be increasing the risk of
20 infection, because the risk of infection occurs whenever the
21 membranes are ruptured. When that happens, the clock is
22 ticking, the intrauterine contents do not protect themselves
23 from infection, and that rate increases at a greater rate the
24 longer the membranes are ruptured.
25 Q. You mentioned that in a dismemberment procedure there is a

1331

447rnat1

Weiss - direct

1 risk to removing the fetal parts. Can you explain how an
2 intact procedure would reduce those risks.
3 A. With an intact procedure, there would be no sharp parts, so
4 there would be nothing to embed in the uterine wall or tear the
5 cervix. In an intact procedure, you would not have the
6 multiple insertions of equipment that would produce the
7 potential of uterine rupture and/or uterine damage or the
8 increased risk of infection as one did this procedure multiple
9 times.
10 THE COURT: But both do occur in intact D&E's, do they
11 not, from time to time?
12 THE WITNESS: At a lesser incidence --
13 THE COURT: But they do occur?
14 THE WITNESS: No, not all of them, sir. You would not
15 leave fragments in the uterus.
16 THE COURT: I didn't say that. They do occur
17 occasionally?
18 THE WITNESS: Excuse me. What do you mean by "they"?
19 Which they?
20 THE COURT: Perforation of the uterus.
21 THE WITNESS: Perforation can occur.
22 THE COURT: And does infection occur?
23 THE WITNESS: It can occur.
24 THE COURT: That's all I asked, Doctor.
25 Next question.

447rnat1

Weiss - direct

- 1 Q. Doctor, when you remove the fetus in a procedure involving
2 dismemberment, are the fetus's bones covered by soft tissue?
3 A. Excuse me? When you?
4 Q. Remove the fetus in a dismemberment procedure, are the
5 pieces of the fetus covered by soft tissue?
6 A. Frequently much of the bone is covered. It is quite likely
7 that the ends of the bone in the area that is broken are
8 uncovered and sharp.
9 Q. Doctor, you mentioned another advantage of removing the
10 fetus intact was that there would not be retained fetal tissue.
11 Can you explain why that would be the case.
12 A. Specifically because the fetus is intact. There is no
13 parts of the fetus that you are leaving behind. You see it
14 intact, you know it is intact. If you tear the fetus into
15 pieces, some pieces may remain inside the uterus.
16 Q. Is there a concern about pieces remaining inside?
17 A. There is certainly concern there can be. The focus of
18 infection, a foreign body left inside anywhere in the body
19 becomes an area that is likely to be infected. Specifically,
20 attached to the wall of the uterus, it can become a polyp
21 later, producing chronic pain, producing chronic bleeding, and
22 producing difficulty in getting pregnant. Occasionally, if a
23 sharp piece perforated into the uterine wall, it may even years
24 later break through or cause additional problems. This does
25 not occur if the fetus is intact.

1333

447rnat1

Weiss - direct

- 1 Q. Can you eliminate the risks of retained fetal tissue in a
2 D&E involving dismemberment by counting the fetal parts at the
3 end of the procedure?
4 A. No, you can't. You can count roughly. You can count there
5 is a limb here, I can see feet and hands, I can see skull
6 fragments, I can see trunk. But when you see little pieces, if
7 there are small pieces left behind that are torn off, you can't
8 fully reconstruct and you cannot fully count the small pieces.
9 Another way of looking at that is if you have a long
10 bone that is broken into six parts, you are only going to say I
11 see long bone parts. You will not be able to reconstruct to a
12 point of that accuracy.
13 Q. Can you eliminate the risk of retained fetal parts by
14 checking the uterus with ultrasound at the end of the
15 procedure?
16 A. You cannot. Sometimes the retained fragments are not bony.
17 Sometimes they are collagenous and you will not see them. The
18 material left inside the uterus after a procedure -- tissue
19 fragments, blood clots, and fragments of the lining of the wall
20 of the uterus that has been removed -- produces a variety of
21 confusing echoes on ultrasound, and a small piece of tissue or
22 occasionally a small piece of bone would not be detected.
23 Q. You also testified that removing the fetus intact leads to
24 a shorter procedure. Why is that the case?
25 A. The fetus, if you have it out intact, is removed in one

447rnat1 Weiss - direct

1 motion, it is removed quickly. You don't have to go back
 2 multiple times to remove several small fragments persistently.
 3 In addition, you don't have to go through the process of
 4 reconstruction, all of which takes time. Consequently, it is a
 5 shorter procedure, and shorter procedures lose less blood and
 6 decrease the risk of infection.
 7 Q. Dr. Weiss, does removing the fetus intact in a D&E offer
 8 any safety advantages for women with specific medical
 9 conditions?
 10 A. Yes, it does.
 11 Q. What might those be?
 12 A. If a woman is at specific risk of infection, for instance,
 13 if she has an immune deficiency, a faster procedure would
 14 decrease that risk and be to her benefit. If she has a
 15 coagulation problem or a problem preventing her from clotting,
 16 or if in fact she needs to be on medications that will decrease
 17 clotting, a faster procedure will save her much blood loss that
 18 otherwise she would lose.
 19 If a woman had a condition such as severe asthma or a
 20 severe cardiac problem such that anesthesia would be a problem
 21 and you would want to have as fast and as brief a procedure as
 22 possible, an intact procedure would be an advantage to that
 23 woman.
 24 MR. LANE: Your Honor, if I may, during the course of
 25 that answer I checked the expert report of Dr. Gerson Weiss,

1335

447rnat1 Weiss - direct

1 and there is no mention of specific maternal health conditions
 2 and whether this procedure would be an advantage. I therefore
 3 move to strike the answer and the question. I am certainly
 4 happy to provide the Court and counsel with a copy of Dr.
 5 Weiss's expert report.
 6 THE COURT: Is that correct, Ms. Parker?
 7 MS. PARKER: No, it is not correct, your Honor. May I
 8 read a piece of the expert report?
 9 THE COURT: Sure.
 10 MS. PARKER: The expert report says on page 2, "For
 11 these kinds of reasons, intact removal of the fetus is
 12 particularly preferable for women with certain conditions, such
 13 as women at increased risk of hemorrhage or infection."
 14 MR. LANE: Your Honor, I stand corrected. I
 15 apologize.
 16 THE COURT: Fine. It is always good to have the
 17 ability to do that. It is refreshing. Overruled.
 18 Q. Dr. Weiss, would a D&E involving intact delivery offer any
 19 advantages over a D&E involving dismemberment for a woman with
 20 any kind of uterine scar?
 21 A. The answer is yes. A woman with a uterine scar from
 22 surgery, let's say, that removed multiple fibroids may have
 23 very thin areas in the uterus which, with multiple insertions
 24 of equipment, potentially produce problems such as perforation.
 25 An intact removal is more straightforward, simple, and

447rnat1

Weiss - direct

1 definitive.

2 If a woman had a Cesarean section scar, likewise, if
3 it were a vertical scar, you would be at risk of having a thin
4 area in the uterus and having it perforated. And more
5 perforation is likely with multiple insertions of equipment.

6 (Continued on next page)

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1337

4475NAT2

Weiss - direct

1 BY MS. PARKER:

2 Q. Dr. Weiss, can you think of any maternal condition that
3 would require the use of the intact D&E variation?

4 A. I don't -- require is a difficult word.

5 You would want to do a complete, a procedure such as
6 that for conditions where you would want to shorten the
7 procedure or decrease physiological stress to the mother. The
8 problem is that you can't always do an intact D&E, you can't
9 always, even if that's what you would like to do as you would
10 like to in most cases for the advantages of that procedure, you
11 can't always do it for technical reasons.

12 So, you can't really say that it is required.

13 Q. Does the fact that you --

14 THE COURT: What are the technical reasons, Doctor?

15 THE WITNESS: You may -- again, the uterine contents
16 are not visible to you so you may not be able to bring the
17 fetus down in a longitudinal position that would allow a more
18 rapid delivery.

19 If, for instance, you grasped and grasped the trunk
20 and brought the trunk down, you would never get the fetus out
21 by its geometry which is transverse to the opening of the
22 cervix, you would never get the fetus out intact and you would
23 have to dismember.

24 Q. So when you said technical, you mean the way the fetus
25 presents?

4475NAT2

Weiss - direct

- 1 A. The way the fetus presents or the way you grasp the fetus.
2 Q. Does the fact that you can't think of a circumstance that
3 would require intact D&E affect your opinion on the safety of
4 it?
5 A. Not at all.
6 Q. Why not?
7 A. Because if you can't have it done for all of the reasons I
8 have mentioned it would be a much safer procedure. Ergo with
9 less risk of blood loss, less risk of damage to the uterus,
10 less risk of infection -- in all situations it would be
11 preferable if it could occur.
12 Q. Doctor, you testified that in your own practice you have
13 delivered the fetus intact in a D&E procedure, is that correct?
14 A. That's correct.
15 Q. Now, up to what week of gestation do you perform D&Es?
16 A. 18 weeks.
17 Q. Are you aware of a definition of intact D&X that's been set
18 forth by the American College of Obstetricians and
19 Gynecologists?
20 A. Yes, I am.
21 Q. Is the ACOG definition that you are aware of a four-part
22 definition?
23 A. Yes.
24 Q. Is it a definition that says: One, deliberate dilation of
25 the cervix usually over a sequence of days; two, instrumental

1339

4475NAT2

Weiss - direct

- 1 conversion of the fetus to a footling breech; three, breech
2 extraction of the body excepting the head, and; four, partial
3 evacuation of the intracranial contents of a living fetus to
4 effect delivery of a dead but otherwise intact fetus?
5 A. Yes.
6 Q. That's the definition you are aware of?
7 A. Yes.
8 Q. Do you perform intact D&X procedures as described by ACOG?
9 A. I do not.
10 Q. Why is that?
11 A. I do not because that would usually be done beyond 18 weeks
12 and I do not perform procedures beyond 18 weeks.
13 Q. I would like to read you another definition of intact D&E,
14 this is a definition from Plaintiffs' complaint:
15 "Intact D&E, which is also known as intact dilation
16 and extraction or D&X is one variation of the D&E procedure,
17 generally used after 19 weeks' LMP that is intended to maximize
18 the chances of an intact or relatively intact delivery and
19 thereby minimize risk to the woman."
20 Are you familiar with that definition?
21 A. Yes.
22 Q. Do you perform intact D&E as described in that definition?
23 A. I do not.
24 Q. What is different about your practice in that definition?
25 A. I only perform procedures up to 18 weeks.

4475NAT2

Weiss - direct

1 THE COURT: Why is that, Doctor?

2 THE WITNESS: Well, I have only been trained and had
3 experience in doing them in 18 weeks. These are relatively or
4 quite rare procedures, so my feeling is that if I'm going to do
5 them without an experience behind me, I would not want to do it
6 rarely and would prefer to refer the patient to someone with
7 greater expertise who has a greater learning curve with it
8 because they have done more procedures.

9 THE COURT: How long have you done the intact D&Es up
10 to 18 weeks?

11 THE WITNESS: I have done them since 1973.

12 THE COURT: When you talk to a patient, as we were
13 talking about earlier in full disclosure, what words do you use
14 when you say you do tell them that? You don't tell them that
15 the incision at the base of the skull but you do tell them
16 about the removal of the brain; what words do you use?
17 Intercranial?

18 THE WITNESS: No.

19 THE COURT: Such as counsel just used, the
20 intercranial contents? What do you use, Doctor?

21 THE WITNESS: The term I use is puncture. I think
22 most people understand the term puncture.

23 THE COURT: And what do you say? How do you describe
24 the removal, the sucking of the brain out of the skull?

25 THE WITNESS: Suck out the contents of the skull.

1341

4475NAT2

Weiss - direct

1 THE COURT: And you use those words?

2 THE WITNESS: Yes.

3 THE COURT: And they authorize you to do it?

4 THE WITNESS: Yes.

5 THE COURT: Next question.

6 BY MS. PARKER:

7 Q. Dr. Weiss, you testified earlier that you only performed
8 procedures up to 18 weeks, is that right?

9 A. That's correct.

10 Q. And you also testified that you have brought a fetus out
11 intact in some of the D&E procedures that you have performed,
12 is that right?

13 A. That's correct.

14 Q. Is your ability to bring the fetus out intact affected by
15 the fetal tissue at that gestational age that you perform D&Es?

16 A. The earlier the pregnancy the more fragile the fetus. So,
17 grasping a fetus early on is more likely to tear it and less
18 likely to allow you to bring it out whole.

19 If the fetus were older its condition would be tougher
20 enough that it could take, you could move it into an
21 appropriate position easier.

22 Q. And you also testified that you have, when you were
23 speaking with the Judge, that you have used suction to remove
24 the brain of the fetus, is that right?

25 A. Yes.

4475NAT2 Weiss - direct

- 1 Q. Is there another way that you have removed the head in the
2 D&E procedures that you have performed?
3 A. Yes.
4 Q. What is that?
5 A. You can in a, before 18 weeks, sometimes grab the head with
6 one instrument, with a grasping instrument in one hand and use
7 a grasping instrument in the other hand to grab the rest of the
8 head. Usually with a twist you can deflate the head enough to
9 bring it through.
10 So, it's a crush --
11 THE COURT: Do you crush the head?
12 THE WITNESS: Yes, it could be a crushing; yes, early
13 on.
14 Q. Dr. Weiss, in your hospital do women ever first appear for
15 a medical examination when they are already in their second
16 trimester of pregnancy?
17 A. We serve an inner-city population. 20 percent of our
18 patients appear for delivery without any ante-partum care.
19 The majority of our pregnant women do not appear until
20 the second trimester.
21 Q. They do not appear, you mean for care?
22 A. For care at all, until the second trimester of pregnancy.
23 So, it's not unusual for women to appear at any time late,
24 including for the first time in labor.
25 Q. In labor when they're about to have a baby?

1343

4475NAT2 Weiss - direct

- 1 A. When they're about to have a baby.
2 Q. Dr. Weiss, are you aware of diagnostic tools available in
3 the first trimester for diagnosing fetal anomalies?
4 A. I am.
5 Q. In your hospital, in light of these new tools, are fetal
6 anomalies ever first diagnosed after the woman is already in
7 her second trimester?
8 A. Yes.
9 Q. Why is that the case?
10 A. Two general reasons. One is because the woman may not
11 present herself for care until that time. That's likely the
12 most common reason.
13 There are also other problems and anomalies that may
14 not be diagnosed except in the second trimester. The
15 techniques occasionally will show risk or call -- the blood
16 tests will give you relative risks and explain the patient that
17 needs further evaluation, and frequently that evaluation will
18 be in the second trimester. Hence, a patient who might be
19 diagnosed to have a condition such as trisomy-18, a uniformly
20 fatal anomaly due to an extra chromosome, you rarely have that
21 information before well into the second trimester.
22 Q. Are you familiar with chorionic villa sampling or CVS?
23 A. I am.
24 Q. What is it?
25 A. Chorionic villa sampling is a biopsy of the chorion, which

1344

4475NAT2

Weiss - direct

1 is a fetal membrane, to allow one to get tissue to evaluate the
2 number of chromosomes in it. This will allow you to detect and
3 diagnose chromosomal anomalies which may produce problems and
4 suggest nonviable fetuses, etc.

5 Q. When is CVS performed, when in pregnancy?

6 A. CVS is best performed early -- late in the first trimester
7 at around 9 weeks.

8 Q. Do you perform CVS at University Hospital in Newark?

9 A. We do not.

10 Q. Dr. Weiss, have you ever had a patient for whom induction
11 posed more risk than D&E?

12 A. Yes.

13 Q. Can you explain that circumstance?

14 A. Certainly.

15 The last procedure I did was at roughly 18 weeks, and
16 a woman in heart failure with severe valvular and cardiac
17 disease who was really quite close to death, and the objective
18 was to decrease her stress and to eliminate, to make her
19 unpregnant to avoid the physiologic risk of a pregnancy as fast
20 as possible. We were able to do this in 20 minutes.

21 Had she gone through an induction procedure it is
22 quite likely, according to our cardiologist, that that stress
23 would have killed her -- it would be the stress of labor.

24 THE COURT: You did that to save her life?

25 THE WITNESS: That's correct.

1345

4475NAT2

Weiss - direct

1 Q. Dr. Weiss, please refer, in your binder of exhibits, to
2 Plaintiff's Exhibit 69.

3 A. Yes.

4 Q. Do you recognize this document?

5 A. I do.

6 Q. What is it?

7 A. This is the so-called Partial-Birth Abortion Ban Act of
8 2003.

9 Q. Have you reviewed the act?

10 A. I have.

11 Q. Have you reviewed it in its entirety?

12 A. I have.

13 Q. Please turn to page 3-4, section 14A, of the findings of
14 the Act.

15 A. Yes.

16 Q. Would you please read the first sentence of that paragraph?

17 A. "Partial-birth abortion poses serious risk to the health of
18 a woman undergoing the procedure."

19 Q. Dr. Weiss, assuming that that sentence is referring to the
20 intact D&E procedure, do you agree with that statement?

21 A. I do not.

22 Q. Why not?

23 A. Well, all medical procedures produce risk.

24 You need to look at the risk of a pregnancy
25 termination in comparison to the other methods available at

4475NAT2 Weiss - direct

1 that time. In fact, an intact D&E poses less risk than any
2 other method.

3 THE COURT: If they all pose risks then it is not
4 inaccurate, is it? It is just what you make it relative to, to
5 other procedures it is different, isn't that correct?

6 THE WITNESS: I think not in this context, sir,
7 because the context is specifically banning one procedure and
8 not others suggesting this is worse. In fact the opposite is
9 true.

10 THE COURT: Do the words say it is worse?

11 THE WITNESS: No.

12 THE COURT: So it doesn't. This is your
13 interpretation?

14 THE WITNESS: That's correct.

15 THE COURT: Okay.

16 BY MS. PARKER:

17 Q. Looking at the next sentence which reads: "Those risks
18 include, among other things, an increase in a woman's risk of
19 suffering from cervical incompetence, a result of cervical
20 dilation making it difficult or impossible for a woman to
21 successfully carry a subsequent pregnancy to term."

22 Do you see that sentence?

23 A. I do.

24 Q. Assuming again that the sentence is referring to intact
25 D&E, do you agree with that statement?

1347

4475NAT2 Weiss - direct

1 A. I do not.

2 Q. Why not?

3 A. There is no evidence whatsoever specifically that this
4 procedure increases the risk of cervical incompetence.

5 There are multiple known causes of cervical
6 incompetence, they include tearing of the cervix or ripping of
7 the cervix frequently at the time of a term delivery. They
8 occur in some women because they have abnormalities in the
9 enzymes that cause softening of the collagen and elastic fibers
10 which maintain the integrity of the cervix. Or because they
11 have abnormal levels of the inhibitors of these processes.

12 Cervical incompetence has some control hormonally, and
13 the hormones progesterone and estrogen, oxytocin and, to a
14 greater extent, prostaglandins and the hormone relaxin, are all
15 involved in this mechanism of cervical softening and
16 incompetence.

17 This appears to be due to specific hormonal problems
18 rather than procedures. The issue of cervical incompetence
19 has, among other things, other associations. Cervical
20 incompetence is more likely in a multiple pregnancy and it may
21 be more likely in a multiple pregnancy and in pregnancies
22 induced by in vitro fertilization because there is a release of
23 hormones as relaxin that are released in higher level under
24 these circumstances.

25 So, there are many known causes. Likely there many

4475NAT2 Weiss - direct

1 unknown causes as well. This procedure is not one of them.

2 Q. Doctor, can you take a look at the next clause of that
3 sentence, the one that says, "An increased risk of uterine
4 rupture, abruption, amniotic fluid embolus and trauma to the
5 uterus as a result of converting the child to a footling breech
6 position, a position which, according to a leading obstetrics
7 textbook, there are very few if any indications for other than
8 delivery of a second twin."

9 Taking the first part of that, based on your
10 experience, Dr. Weiss, do you agree that there is an increased
11 risk of uterine rupture from a D&E involving intact delivery?

12 A. No.

13 Q. Why not?

14 A. Well, "increased" I believe, is a comparative term.

15 If you are doing a procedure that has less
16 manipulation, you are likely to have a decreased rather than
17 increased risk of rupture. Uterine rupture is a very rare
18 event, likely more rare in this procedure.

19 Q. Again, looking at that same sentence and assuming it refers
20 to intact D&E, do you agree that there is an increased risk of
21 abruption from intact D&E?

22 A. This is not clear.

23 Abruptio is the separation of the placenta before
24 delivery. If this occurs during pregnancy where the placenta
25 separates or partially separates there are two problems.

1349

4475NAT2 Weiss - direct

1 Problem number one is the placenta will not function and the
2 fetus will become asphyxiated because it doesn't get blood.
3 Problem number two is there is bleeding in this separated
4 placenta causing blood loss and the increased risk of
5 hemorrhage in the woman as she has consumed her clotting
6 factors.

7 You will be separating the pregnancy in all abortion
8 procedures as you will in a normal delivery. It's not an
9 abruption at that point, it's a separation of the placenta.

10 So, in this context, this makes no sense.

11 Q. What if it were referring to abruption and subsequent
12 pregnancies, do you think that there is an increased risk of
13 abruption in subsequent pregnancies?

14 A. There is no evidence or data or literature on this point.

15 Q. Do you agree that there is an increased risk of amniotic
16 fluid embolus from a D&E involving intact delivery?

17 A. No, there is not.

18 Q. Why not?

19 A. An amniotic fluid embolus is a very rare condition which
20 results in seizure, abnormality in blood clotting, hemorrhage
21 and shut down of many important organs in the body. It is
22 usually fatal.

23 It is related most commonly to two things -- one is an
24 association with pre-eclampsia or the specific hypertensive
25 disease of pregnancy where women have abnormal high blood

4475NAT2 Weiss - direct

1 pressure in their pregnancy. That usually occurs after 24
2 weeks of pregnancy so it clearly would not be appropriate to
3 deal with here.

4 The second reason for -- second association of
5 amniotic fluid embolus is a hectic or abrupt delivery. A woman
6 who dilates her cervix from two centimeters to delivery in 10
7 or 15 minutes and abruptly, rapidly delivers the fetus and then
8 has this complication, clearly that's irrelevant to this and
9 doesn't apply.

10 There is no literature on this, there is no data, and
11 it makes no sense to make this statement.

12 Q. Doctor, do you agree that there is an increased risk of
13 trauma to the uterus from a D&E involving intact delivery?

14 A. I do not, for the reasons we have discussed.

15 Q. What are those reasons?

16 A. The reasons are a decrease in putting instruments into the
17 uterus, less manipulation in the uterus in an alternative
18 procedure of tearing the fetus.

19 Q. Doctor, looking at the last sentence in that paragraph, do
20 you agree with the statement, again assuming it relates to
21 intact D&E, that intact D&E poses, "a risk of lacerations and
22 secondary hemorrhaging due to the doctor blindly forcing a
23 sharp instrument into the base of the unborn child's skull
24 while he or she is lodged in the birth canal."

25 Do you agree with that statement?

1351

4475NAT2 Weiss - direct

1 A. No. This makes no sense whatsoever.

2 Q. Why not?

3 A. Because this statement says -- let me find it. Blindly
4 forcing the sharp instrument. There is nothing blind about it.

5 Visualize in your mind this. The cervix has to be
6 dilated enough to allow the entire trunk of the fetus to pass
7 through it. The neck of the fetus is much smaller than the
8 shoulders and the trunk but a larger thing, the shoulders and
9 the trunk have passed through. So, not only is the neck
10 through but a portion of the skull which is vividly, you know,
11 exactly where it is and you see it, it's above the neck --

12 THE COURT: Do you always see it, Doctor?

13 THE WITNESS: Almost always, yes.

14 THE COURT: Not always then.

15 THE WITNESS: I can't think of anything that I
16 always --

17 THE COURT: You do it by feel, don't you?

18 THE WITNESS: You always feel it. It's right there
19 where your finger is.

20 THE COURT: If you feel it you can't see it.

21 THE WITNESS: Usually you see it.

22 So, when it's right there you can usually, under
23 direct vision, insert a sharp instrument into the skull or, at
24 worst, by feel, not blindly, because you know exactly where it
25 is and you feel it with your finger.

4475NAT2

Weiss - direct

1 MS. PARKER: Your Honor, I'm at the end of a line of
2 questioning, do you want to take the break now?
3 THE COURT: Sure.
4 MS. PARKER: Okay.
5 THE COURT: Fine. The Court will take a recess.
6 (Recess)
7 THE COURT: Ms. Parker, you may inquire.
8 MR. HUT: Your Honor, before the resumption of the
9 direct and with the assent of the government, I rise simply to
10 share with the Court some possible scenarios on timing today
11 with respect to the plaintiff's evidence.
12 That is, I think Ms. Parker's contemplation that the
13 direct of the doctor currently on the stand will not last past
14 the lunch hour. Depending then on the duration --
15 THE COURT: The direct is going to last up until
16 lunch?
17 MR. HUT: It may not. It will not last longer.
18 Depending then on the length of Mr. Lane's cross
19 examination, and then if the Court is prepared to rule later in
20 the day on the admissibility of the offered designated
21 depositions of Drs. Paul and Creinin.
22 THE COURT: I am still awaiting some challenged lines.
23 MR. HUT: We may or may not have proof that would take
24 the balance of the day. Our next witness, Dr. Chasen, is not
25 available until first thing in the morning.

1353

4475NAT2

Weiss - direct

1 THE COURT: I thought that was my understanding
2 yesterday but I think, if I'm not mistaken, I asked one of my
3 law clerks or the deputy, I guess Mr. Brantley, to speak with
4 the government and I am informed that I should have their
5 objections to questions -- well, I hesitate to say momentarily,
6 but soon. And if that happens we will take care of it. If it
7 is not of great number then I assume we can handle it on the
8 lunch hour and you would be free to take as long as is
9 necessary.
10 But I am not surprised that you may come up a bit
11 short, in other words, is that what you are saying because
12 Dr. Chasen won't be until tomorrow?
13 MR. HUT: That's exactly, right, your Honor.
14 THE COURT: Is he your last witness?
15 MR. HUT: It is our present contemplation, in all
16 likelihood, that he is.
17 THE COURT: Okay. Well, my invitation to Dr. Carhart
18 stands, I won't pull it back. I am a person in that fashion.
19 MR. HUT: Let me address that.
20 We have, I think three times, conveyed the Court's
21 interest in hearing Dr. Carhart, to counsel for Dr. Carhart and
22 have been told that he is not available. He is expected to be
23 present at the Nebraska trial through its duration and then has
24 a backlog of patients.
25 On the basis of what the Court placed on the record

4475NAT2

Weiss - direct

1 yesterday morning we have again relayed to Dr. Carhart the
2 Court's interest in having him testify, through counsel, and we
3 are awaiting final word.

4 THE COURT: All right. The invitation is there. He
5 can choose to do whatever he wants to do.

6 MS. GOWAN: Your Honor, if I might just give an update
7 on the objections. I know I did tell Mr. Brantley this morning
8 that I believed I would have them for the Court at the break
9 and I also told Mr. Cinoti last night that I would have them
10 first thing.

11 THE COURT: Did you lie or falsify, Ms. Gowan?

12 MS. GOWAN: I was not under oath, your Honor.

13 THE COURT: Your testament wasn't quite on the
14 numbers, huh?

15 MS. GOWAN: The problem is there are not a lot.

16 THE COURT: Can you have them for me at lunch?

17 MS. GOWAN: Yes. There are not a lot of objections,
18 the problem is counter designations, and one witness has been
19 concluded and Ms. Wolstein is hard at work now finishing up
20 with the second witness and she tells us we will have them for
21 you at noon.

22 THE COURT: If you give me the objections we can try
23 and run through it and it won't hold you people up. So, if you
24 can?

25 MS. GOWAN: We will send the paralegal now to get the

1355

4475NAT2

Weiss - direct

1 objections from them.

2 THE COURT: That would be helpful.

3 MS. GOWAN: Thank you.

4 THE COURT: All right. You may continue Ms. Parker,
5 we are still in your hands.

6 MS. PARKER: Thank you, your Honor.

7 BY MS. PARKER:

8 Q. Dr. Weiss, in performing D&Es, do you take any steps to
9 kill the fetus before beginning evacuation of the uterus?

10 A. No, I do not.

11 Q. Why not?

12 A. Because any step to kill the fetus would be an additional
13 procedure and all procedures have increased risks to the
14 mother. They will extend the time of the procedure, they will
15 require additional punctures into the mother. Each one of
16 which may produce bleeding or damages to a vessel or damage to
17 the uterus.

18 MR. LANE: Your Honor, Mr. Lane.

19 There was no mention of, in Dr. Weiss' report, of
20 feticidal techniques. At the risk of my somewhat earlier
21 somewhat embarrassing gaff I did make a point of
22 double-checking.

23 THE COURT: Ms. Parker, do you want to concede this?

24 MS. PARKER: I concede it is not in the expert report,
25 your Honor, but I am asking him about the fact of his practice

4475NAT2

Weiss - direct

1 and how he performs abortions procedures and he is a plaintiff
2 in this action listed as a fact witness. I'm not asking for
3 his expert opinion.

4 MR. LANE: Your Honor, if I may respond, I believe
5 what the doctor just testified to was the risks of such
6 feticidal techniques.

7 THE COURT: May I have the question and answer,
8 please?

9 (Record read)

10 THE COURT: I'm going to allow it.

11 MR. LANE: Thank you.

12 BY MS. PARKER:

13 Q. Dr. Weiss, do you generally, in your abortion practice when
14 you are performing a D&E, cut the umbilical cord before
15 evacuating the fetus from the uterus?

16 A. I do not.

17 Q. Why not?

18 A. The umbilical cord is frequently not available. To spend
19 separate time to look for it would be impractical, somewhat
20 difficult to do and, again, delay the procedure.

21 I wouldn't see the benefit of it.

22 Q. Dr. Weiss, I would like now to direct your attention to
23 section, to Plaintiff's Exhibit 69. Again, that's in your
24 binder.

25 A. Yes.

4475NAT2

Weiss - direct

1 Q. Specifically section 1531(b)(1) of the Act, which is page
2 3-6?

3 A. Yes.

4 Q. Are you there?

5 A. Yes.

6 Q. Could you please read that section of the Act for us,
7 starting at one?

8 A. "The term partial birth abortion means an abortion in which
9 the person performing the abortion, (A), deliberately and
10 intentionally vaginally delivers a living fetus until, in the
11 case of a head-first presentation the entire fetal head is
12 outside the body of the mother, or in the case of breech
13 presentation any part of the fetal trunk past the navel is
14 outside the body of the mother for the purpose of performing an
15 overt act that the person knows will kill the
16 partially-delivered living fetus."

17 Shall I continue?

18 Q. And read B as well, please.

19 A. "And, (B), performs the overt act other than completion of
20 delivery that kills the partially delivered fetus and; (2), the
21 term physician" --

22 Q. Okay that's fine. Thanks, Doctor.

23 Dr. Weiss, based on your understanding of the terms in
24 the Act that you just read, do you have a fear of prosecution
25 under the Act for performing a D&E involving dismemberment?

4475NAT2

Weiss - direct

1 A. Yes, I do.
2 Q. Why do you have that fear?
3 A. Because situations will occur in which in performing a
4 procedure that may even start as a dismemberment you may come
5 into difficulty with the Act. Let me give you an example.
6 Let's say that one is started and is likely to do a
7 dismemberment and possibly grasps a structure and it turns out
8 to be a fetal arm so the arm is removed, put down, and then you
9 grasp again at which point you may grasp the feet or both feet
10 and they deliver to the trunk.
11 At that point you have come into conflict with this
12 law because you will then do something that will cause the
13 death of the fetus and, finally, delivery of the fetus, meeting
14 every requirement of the Act to do what is called a
15 partial-birth abortion, hence, an illegal procedure.
16 THE COURT: Do you think as you started describing
17 what you might do, do you think tearing the arm off is part of
18 the delivery?
19 THE WITNESS: I'm not sure I understand the question.
20 THE COURT: You said that you reach in, you get one
21 structure, I think.
22 THE WITNESS: Yes.
23 THE COURT: You wouldn't want to say arm -- but then
24 you amended it and said arm -- and you remove the arm. And
25 then you said you move on to a leg.

1359

4475NAT2

Weiss - direct

1 Do you think taking that arm off was part of a
2 delivery?
3 THE WITNESS: Well --
4 THE COURT: Would you call that a delivery? Simple
5 question.
6 THE WITNESS: Yes, it would be delivery of the arm.
7 THE COURT: You really think that's what's intended by
8 the statute?
9 THE WITNESS: No. It was the second thing that would
10 fall foul.
11 I may have, in a inadvertent procedure first grasped
12 an arm because that's what was there and in the second step --
13 that would not have killed the fetus. The second step would
14 have been to bring the legs out to the trunk.
15 THE COURT: You really think those words confuse you,
16 you really think that's what's intended by the statute?
17 THE WITNESS: I believe it is stated clearly
18 because --
19 THE COURT: All right, Doctor.
20 Next question, Ms. Parker.
21 Q. Dr. Weiss, in your sample was the fetus in a breech
22 position or some other position?
23 A. When the feet were delivered the fetus was a footling
24 breech.
25 Q. And in that example that you just gave, did you deliver a

4475NAT2 Weiss - direct

1 living fetus?
2 A. Yes.
3 Q. And did you deliver it vaginally?
4 A. Yes.
5 (Continued on next page)
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1361

447rnat3 Weiss - direct

1 Q. In the example you just gave, did you vaginally deliver the
2 living fetus until a part of the fetal trunk passed the navel,
3 is outside the body of the woman?
4 A. Yes.
5 Q. What was the part of the fetal trunk past the navel in your
6 example?
7 A. That would be most of the trunk of the fetus. What would
8 happen is that --
9 THE COURT: But with one arm missing, right?
10 THE WITNESS: In that case, yes. It didn't have to
11 be.
12 THE COURT: OK. That is the example you gave. Ms.
13 Parker asked you about the example you gave.
14 THE WITNESS: Yes. One of many. The next example
15 could be without the arm being removed.
16 A. When you are doing the procedure, part of the procedure is
17 to grasp the cervix and bring it down as close to the opening
18 of the vagina as possible. Frequently, the opening of the
19 cervix is brought down to the opening of the vagina.
20 More frequently, it is brought down within a
21 centimeter, two-fifths of an inch, to the opening of the
22 vagina. Under those circumstances, if this were a 16-week
23 fetus, the trunk from the navel down would be out of the
24 woman's body, and the remainder of the upper part of the trunk
25 would remain inside.

447rnat3

Weiss - direct

- 1 Q. What is it that you are using to bring the cervix down in
2 your description?
- 3 A. You are using a grasping instrument called a tenaculum.
4 Usually they have several opposing teeth which grasp the cervix
5 and allow you to hold it without tearing.
- 6 Q. In the example you just gave, I think you said that there
7 were several things you might do that would be an act that
8 would kill the fetus. What might those things be?
- 9 A. One thing would be to simply pull the fetus out. Having
10 done that, it is likely that the fetal head would remain
11 inside, and in pulling it would have separated the head from
12 the body, and that would have resulted in the fetal death and
13 later delivery. Another possibility is that you would grasp
14 the head under those circumstances and either crush it or hold
15 it and then puncture it to deliver the head. In either case,
16 you have done an overt act after delivering the fetus to the
17 trunk.
- 18 Q. In the example you gave where you delivered the fetus up to
19 the head, is any part of the trunk past the navel outside the
20 woman's body?
- 21 A. Yes, certainly.
- 22 Q. What part?
- 23 A. Depending on the anatomy of the woman, most of the cervix
24 is dilated, so it is usually a good part of the fetus, probably
25 from the navel down in the situation when the vagina and the

1363

447rnat3

Weiss - direct

- 1 cervix are in the same plane or close to the same vertical
2 plane.
- 3 Q. In that example is any part of the trunk above the navel
4 outside?
- 5 A. It is possible that, depending on the situation, a part of
6 the fetus above the navel would be outside. It depends only on
7 the geometry of the cervix and how far the cervix is brought
8 down.
- 9 Q. In the example you gave where the head separates, is that
10 an act that you know will kill the fetus?
- 11 A. It is.
- 12 Q. Is that an act that completes the delivery of the fetus.
- 13 A. No, it is not.
- 14 Q. Why not?
- 15 A. Because you would then have to remove the head.
- 16 Q. You would have to go back --
- 17 A. You would have to go back, grasp the head, and remove it.
- 18 Q. Dr. Weiss, what is your purpose, in the example you just
19 gave, in delivering a fetus up to the head after removing an
20 arm? What is your purpose in doing that?
- 21 A. Your purpose in doing the procedure is overall to terminate
22 the pregnancy, to make the woman no longer pregnant. You want
23 to do this in as atraumatic a way as possible to the mother.
24 Hence, in removing the fetus longitudinally, one has
25 atraumatically removed most of the fetus. This is safer for

447rnat3

Weiss - direct

- 1 the mother but would come into conflict with the Act.
2 Q. Are any of the steps that you take in the example that you
3 just gave deliberate?
4 A. Every step you take is deliberate. It may not even be
5 predictable, but it is a deliberate move. Putting an
6 instrument into the cervix, into the uterus, and grasping is a
7 deliberate act. Not a very precise act, because you can't see
8 what you are doing, but certainly deliberate.
9 Q. Are any of the steps that you take --
10 THE COURT: It is not your intent, though, is it?
11 THE WITNESS: My intent is to deliver the fetus by as
12 safe a method as possible. If I were able to do it in an
13 intact way, I would. The problem is you can't in the second
14 trimester before 18 weeks.
15 Q. You can't ever do it?
16 A. You can't predictably do it.
17 Q. In your example, are any of the steps that you take
18 intentional?
19 A. Every step is intentional.
20 THE COURT: But if you intended not to deliver the
21 entire fetus, then it is accidental, isn't it?
22 THE WITNESS: The act of delivery is intentional.
23 Since you can't see what you are doing, whatever you grasp is
24 accidental. If you grasp the lower pole of the fetus, then you
25 have intentionally -- and that would be what you would like --

1365

447rnat3

Weiss - direct

- 1 delivered the entire fetus intact to the trunk. This could
2 happen at 16 weeks, this could potentially happen earlier.
3 MS. PARKER: Your Honor, I have no further questions
4 at this time.
5 THE COURT: Mr. Lane, you may cross-examine.
6 MR. LANE: Yes, your Honor. Thank you. Your Honor,
7 if I may just have a moment to arrange my materials for cross?
8 THE COURT: Of course.
9 MR. LANE: Thank you, your Honor.
10 CROSS-EXAMINATION
11 BY MR. LANE:
12 Q. Good morning, Dr. Weiss.
13 A. Good morning.
14 Q. You were on the board of directors of Planned Parenthood of
15 Essex County from 1992 through 1997, is that right?
16 A. That is correct.
17 Q. You are still a member of Planned Parenthood?
18 A. I don't know if I am a member.
19 Q. Would it surprise you to learn that your CV lists you as a
20 member of Planned Parenthood?
21 A. No. I am not sure what the dates are. I would be
22 continuing a member if I sent them a check this year, and I
23 don't recall doing so.
24 Q. As soon as the law on abortion changed in 1971, you were
25 part of a group that established a Planned Parenthood-sponsored

447rnat3 Weiss - cross

1 abortion facility in Pittsburgh, is that correct?

2 A. That is correct.

3 Q. And you have provided testimony --

4 THE COURT: What year was that?

5 MR. LANE: Excuse me, your Honor?

6 THE COURT: What year was that?

7 MR. LANE: 1971, your Honor.

8 THE WITNESS: Excuse me, sir. I misspoke. On

9 recollection, it was after the law was changed, and that was

10 January 22, 1973. So I believe it was 1973.

11 THE COURT: A date that sticks in your mind, is it,

12 Doctor?

13 THE WITNESS: Vividly.

14 Q. Doctor, you have provided testimony at trial on behalf of

15 Planned Parenthood, is that correct?

16 A. Yes.

17 Q. That case is the Planned Parenthood of Central New Jersey

18 v. Verniero case?

19 A. Yes.

20 Q. That was an action in federal district court in New Jersey

21 that went to trial in 1998?

22 A. Yes.

23 Q. That action was a challenge to the New Jersey state law

24 regarding partial-birth abortion?

25 A. Yes.

1367

447rnat3 Weiss - cross

1 Q. One of the counsel in that case was Talcott Campbell of the

2 ACLU, correct?

3 A. Yes.

4 Q. She in fact is the individual who signed the complaint that

5 was filed on your behalf in this case before Judge Casey?

6 A. Yes.

7 Q. Dr. Westhoff testified in the Verniero case as well, is

8 that correct?

9 A. I believe so.

10 Q. And she is a plaintiff with you in this case?

11 A. Yes.

12 Q. You have known her for close to a decade?

13 A. Yes.

14 Q. Doctor, there are three subspecialties for obstetrics and

15 gynecology that are boarded and approved, isn't that correct?

16 A. That is correct.

17 Q. You have a specialty in one of those, which is reproductive

18 endocrinology?

19 A. That is correct. That is a subspecialty. I am a

20 specialist in obstetrics and gynecology. In addition,

21 subspecializing in reproductive endocrinology and infertility.

22 Q. In your field, the referrals you get include women who

23 suffer from hormonal problems and some who are infertile?

24 A. Those are some of the issues, yes.

25 Q. The most common surgical procedures that you conduct are

447rnat3

Weiss - cross

- 1 endoscopic procedures to remove fibroids, polyps, and other
2 problems that are hindrances to producing pregnancy?
3 A. Endoscopic procedures are one of the more common procedures
4 that I do.
5 Q. You currently do not do obstetrics yourself, do you?
6 A. That is correct.
7 Q. And you don't have the subspecialty of maternal fetal
8 medicine, is that right?
9 A. That is correct.
10 Q. The field of maternal fetal medicine covers high-risk
11 obstetrics?
12 A. That is correct.
13 Q. For questions of high-risk obstetrics, you certainly would
14 defer to a competent doctor who has a subspecialty in that
15 particular field, would you?
16 A. I may or may not, depending on the doctor and depending on
17 the question.
18 Q. You believe there are certain health conditions that may
19 require termination of a pregnancy?
20 A. Yes.
21 Q. You mentioned some of these, including cardiac conditions
22 and malignancy that can't be treated until a pregnancy is
23 concluded?
24 A. Yes.
25 Q. In your view, this also includes severe psychiatric

1369

447rnat3

Weiss - cross

- 1 problems where it is the impression of the psychiatrist that
2 this needs to be done, that is, an abortion procedure?
3 A. In that area I would defer to the judgment of the
4 psychiatrist.
5 Q. In any event, you can't think of a circumstance that would
6 require an intact DEA, can you?
7 A. The decision is to terminate the procedure -- the
8 pregnancy. The method, if there is a condition such as severe
9 asthma or cardiac disease that would be optimal, would be an
10 intact D&E.
11 Q. Let me ask you this question, Doctor. I understand your
12 testimony that it is your belief that intact D&E is generally
13 safer. Putting that aside, there are no particular maternal
14 conditions that require the use of that procedure, are there?
15 A. Beyond the fact that safer is better.
16 Q. Beyond the fact that as a general matter you believe the
17 procedure to be safer?
18 A. As a general matter, I know the procedure is safer by
19 virtue of the reasons I have given already.
20 Q. But you can't think of a circumstance where it would be
21 required to do an intact D&X for a maternal health condition?
22 A. That's correct.
23 Q. In your answers to interrogatories in this case, you stated
24 that you performed or supervised approximately 1,000 D&E's in
25 the past 5 years, isn't that right?

447rnat3

Weiss - cross

- 1 A. Yes.
- 2 Q. But in fact in the last 5 years you personally performed no
3 more than one abortion per year?
- 4 A. That's correct.
- 5 Q. This number includes both D&E's and suction curettage
6 procedures?
- 7 A. They were mostly midtrimester.
- 8 Q. Of the abortions that you supervised over the last year,
9 there were not very many procedures where you were actually in
10 the room when the procedure was performed?
- 11 A. Yes.
- 12 Q. Doctor, I think you told us about a D&E that you most
13 recently performed at 18 weeks, is that correct?
- 14 A. That's correct.
- 15 Q. But you testified in the Verniero case that you considered
16 yourself competent to perform early second trimester D&E's up
17 until only about 14 or 15 weeks, isn't that right?
- 18 A. I don't recall.
- 19 MR. LANE: Your Honor, if I may approach?
- 20 THE COURT: Of course.
- 21 Q. Doctor, I am handing you what is your deposition transcript
22 from the Verniero proceedings. In fact, as you may or may not
23 know, there are Bates numbers at the bottom of that document GW
24 with some numbers, and that indicates that you produced this
25 document to the government in discovery, isn't that right?

1371

447rnat3

Weiss - cross

- 1 A. Yes.
- 2 Q. I would ask you to look at page 53 of your deposition,
3 starting at line 22.
- 4 "Q. Do you consider that you still have the competence to
5 perform a second trimester abortion?
- 6 "A. I still have the competence to perform an early second
7 trimester abortion.
- 8 "Q. And would that be the D&E method?
- 9 "A. Yes.
- 10 "Q. What do you mean by early second trimester?
- 11 "A. Depending on the situation, size, etc., of 14, 15 weeks."
- 12 Did you give those answers to those questions in your
13 deposition in the Verniero case?
- 14 A. Yes, I did.
- 15 Q. In fact, Doctor, you gave exactly that same time range as
16 to your competency to perform D&E's when you testified at trial
17 in the Verniero case, didn't you?
- 18 A. I don't recall.
- 19 MR. LANE: Your Honor, may I approach?
- 20 THE COURT: Yes, of course.
- 21 Q. Doctor, you have been handed what is the hearing transcript
22 volume 1 of the Planned Parenthood of Central New Jersey v.
23 Peter G. Verniero case, testimony that took place on September
24 9, 1998. That is your testimony. I would ask you to look at
25 the trial transcript 1.117, starting at line --

447rnat3

Weiss - cross

- 1 Your Honor, can I have one moment?
 2 THE COURT: Of course.
 3 A. I'm on 117.
 4 Q. Starting at line 13, Doctor.
 5 A. Yes.
 6 Q. "Q. Do you consider yourself still competent to perform a
 7 suction curettage abortion after the first trimester?
 8 "A. Yes.
 9 "Q. What about a D&E?
 10 "A. I would now perform D&E's until about 15 weeks."
 11 Did you give those answers to those questions while at
 12 a hearing in the Verniero case in New Jersey in 1998?
 13 A. Yes, I did.
 14 Q. In fact, you have not gotten any specific training in that
 15 particular abortion since you testified in the Verniero case in
 16 1998, have you?
 17 A. That's correct. I don't believe I said anywhere that I was
 18 incompetent to perform procedures beyond that.
 19 Q. Doctor, if you could just answer my question.
 20 THE COURT: Doctor, don't argue with the lawyer. Just
 21 answer the question.
 22 THE WITNESS: Yes, sir.
 23 THE COURT: You have your lawyer to ask you questions
 24 later, if they so choose.
 25 Q. But it is true that you said in the Verniero case at trial

1373

447rnat3

Weiss - cross

- 1 that you would now perform D&E's until about 15 weeks, is that
 2 correct?
 3 A. Yes.
 4 Q. And you haven't gotten any specific training in methods of
 5 abortion in the last ten years?
 6 A. Yes.
 7 Q. Doctor, you offered some opinions this morning about the
 8 relative safety of the induction method of abortion, isn't that
 9 right?
 10 A. That's correct.
 11 Q. You have never performed an induction abortion?
 12 A. That's correct, but I have supervised many.
 13 Q. You personal have never performed an induction abortion?
 14 THE COURT: You have never done one, is that correct?
 15 THE WITNESS: That's correct.
 16 THE COURT: Next question.
 17 Q. Staying with the question of labor induction for a moment,
 18 Doctor, you would agree that there are instances during the 18-
 19 to 24-week gestation period where a labor induction method
 20 would be more advantageous to use than the D&E method?
 21 A. I don't believe so. There may be, but that would only be
 22 when labor is already in progress. In other words, if the
 23 cervix were already dilated, it would be completion of an act
 24 already present. That would be a stimulation more than an
 25 induction, but it would fall in a classification under

447rnat3

Weiss - cross

1 induction.
2 Q. Doctor, let me ask the question again, and please give me a
3 yes or no answer. Are there instances where the labor
4 induction method would be more advantageous to use than the D&E
5 method of abortion in the 18- to 24-week window?
6 A. Yes.
7 Q. These would include instances where there was a damaged
8 uterus where you would not want to do a mechanical or surgical
9 procedure, right?
10 A. No. That is a misstatement. That is not accurate. I did
11 say it; I was wrong.
12 Q. Doctor, when you said you did state that, I am assuming you
13 understand that you stated that at your deposition in this
14 case.
15 A. Yes.
16 Q. That was inaccurate?
17 A. That is correct.
18 Q. Doctor, you would agree that the labor induction method is
19 not a surgical procedure successfully completed?
20 A. What do you mean by successfully completed? A third of the
21 time --
22 Q. Do you understand what I mean by a successful completion of
23 a labor induction abortion, Doctor?
24 A. I understand what a successful completion of an induction
25 is. The abortion completion, are you talking about the entire

1375

447rnat3

Weiss - cross

1 process or the induction?
2 Q. Where you have a fetus that you are able to terminate using
3 the labor induction procedure, that procedure is not a surgical
4 procedure, correct?
5 A. Yes. But the procedure is not over.
6 Q. If you could just answer my question, Doctor.
7 THE COURT: Doctor, please don't fight with the
8 lawyer. Just answer.
9 THE WITNESS: Excuse me, your Honor. He is asking a
10 question that can't be answered, because it is in the middle of
11 the process.
12 THE COURT: Just answer the question.
13 A. Repeat the question, please.
14 Q. Doctor, let me make this a little easier for you.
15 MR. LANE: Your Honor, if I may approach?
16 THE COURT: You may.
17 Q. Doctor, I have handed you what is your deposition in this
18 case, and I would ask you to look at page 124, starting at line
19 21.
20 "Q. Are there any advantages -- well, let me back up. Is the
21 labor induction method a surgical procedure?
22 "A. The labor induction starts off as not being a surgical
23 procedure. Many times, as we have discussed, it ends up being
24 a surgical procedure, as one has to deal with the retained
25 placenta.

1376

447rnat3 Weiss - cross

1 "Q. If it's completed successfully without a retained placenta
2 or a major complication, is it a surgical procedure?
3 "A. No."
4 Were you asked those questions and did you give those
5 answers in your deposition in this case?
6 A. Yes.
7 Q. D&E is a surgical procedure, right, Doctor?
8 A. That is correct.
9 Q. As a surgical procedure, it has its own risks?
10 A. Every medical procedure has a risk.
11 Q. By virtue of being a surgical procedure, a surgical
12 procedure brings with it certain risks, isn't that right?
13 A. Surgical risks, yes.
14 Q. These include damage to the uterus from the use of
15 instruments, right?
16 A. Yes.
17 THE COURT: Keep your voice up, Doctor, would you
18 please?
19 THE WITNESS: Yes.
20 Q. Going back to the question of induction, you would agree
21 that the induction method of abortion has improved over the
22 last decade or so, wouldn't you, Doctor?
23 A. Yes.
24 Q. The agents used for induction have improved and the methods
25 for administration are better, isn't that right?

1377

447rnat3 Weiss - cross

1 A. Yes.
2 Q. Doctor, in your view, you don't set out to do a specific
3 abortion procedure, but instead set out to make a woman
4 unpregnant, isn't that right?
5 A. That's correct.
6 Q. The word "unpregnant" is your term, right, Doctor?
7 A. That's correct.
8 Q. That is a term you used here this morning as well as in
9 your deposition?
10 A. Yes.
11 Q. That is not a medical term, is it, Doctor?
12 A. No. It is a term in English.
13 THE COURT: It is a what term?
14 THE WITNESS: A term in English.
15 Q. Let me ask you about a few other terms. "Vaginal delivery"
16 is a term used in the medical profession, is it not?
17 A. Yes.
18 Q. It is a fairly common term among obstetricians and
19 gynecologists?
20 A. Yes.
21 Q. "Living fetus" is also a term used with some frequency in
22 the medical literature?
23 A. Yes.
24 Q. You have an understanding of the word "partial" and what
25 that means, don't you?

447rnat3

Weiss - cross

- 1 A. Yes.
- 2 Q. And the word "partial" is sometimes used in the medical
3 literature?
- 4 A. It is a word in English, not a medical term.
- 5 Q. Well, let me make this simple. You have used the term
6 "partial" in the medical literature yourself, haven't you?
- 7 A. I may have used it. I use language as I write English. It
8 doesn't make the term a medical term.
- 9 Q. Again, let me try to make this easier. You are one of the
10 authors of a chapter in Danforth's Obstetrics, right?
- 11 A. Yes.
- 12 Q. That would be chapter 33?
- 13 A. I don't recall.
- 14 Q. A chapter in the book?
- 15 A. I have written for Danforth's book.
- 16 Q. In the chapter that you wrote for Danforth's book, you used
17 the word "partial" when referring to partial androgenization,
18 isn't that right?
- 19 A. What was that last word?
- 20 Q. Androgenization.
- 21 A. I would have to see what you are saying. I'm not clear
22 what that is. I guess I used the word "the," too. I wouldn't
23 consider it medical terminology.
- 24 Q. If I can refer you back to your testimony at the hearing in
25 the Verniero case, page 1.145.

1379

447rnat3

Weiss - cross

- 1 A. This is the small piece?
- 2 Q. No, it is actually I think the largest of the group, and it
3 is not in the bound volume.
- 4 A. OK. What page?
- 5 Q. 1 period 145.
- 6 A. Yes.
- 7 Q. Starting at line 17.
- 8 "Q. Is it fair to say you yourself have used the term 'partial'
9 in medical literature?
- 10 "A. That I have used the term 'partial' in medical literature?
- 11 "Q. Yes.
- 12 "A. I'm afraid I can't answer that question.
- 13 "Q. Are you one of the authors of a chapter in Danforth's
14 Obstetrics, aren't you?
- 15 "A. Yes.
- 16 "Q. Would that be chapter 33?
- 17 "A. I don't recall.
- 18 "Q. Showing you what we will mark as Exhibit D15A, I ask you if
19 this refreshes your recollection whether you authored chapter
20 33 of Danforth's Obstetrics.
- 21 "A. I am.
- 22 "Q. Chapter 33 appears on pages 611 to 619?
- 23 "A. If you say so.
- 24 "Q. Do you want to verify that?
- 25 "A. I'm sure it does."

447rnat3

Weiss - cross

- 1 There is a colloquy with the court and counsel.
2 Moving down to line 18.
3 "Q. The question is, Doctor, does Exhibit D15 at page 618
4 refresh your recollection whether you yourself have used the
5 word 'partial' in your medical literature?
6 "A. I have written the word 'partial.' This refers to partial
7 androgenization. 'Partial' is a modifying word which sometimes
8 makes sense and sometimes doesn't. It is in the context of its
9 use."
10 Did you give those answers to those questions?
11 A. I did.
12 Q. Doctor, again getting back to terminology, you have never
13 referred to "vacuum aspiration" or "suction curettage" as a
14 birth, have you?
15 A. I don't recall.
16 Q. If I could ask you to keep that same document out and look
17 at page 1.147, starting at line 19.
18 A. I don't have page 1.147. I have two pages 1.146, then it
19 goes to 1.148.
20 MR. LANE: Your Honor, I am happy to provide my copy
21 to the witness.
22 THE COURT: All right, Mr. Lane, then do that.
23 Q. Doctor, could you look at the testimony on that page.
24 A. Yes.
25 Q. Does it refresh your recollection that you have never

447rnat3

Weiss - cross

- 1 referred to vacuum aspiration or suction curettage as a birth?
2 Starting at line 19, Doctor.
3 A. I said, "I never referred to it as a birth. I am not sure
4 it was necessarily appropriate or inappropriate. I think I
5 would have to mull it over and look up the definition. It is
6 not common to use that as a term referring to abortion."
7 Q. Doctor, my question for you is: You have never referred to
8 vacuum aspiration or suction curettage as a birth, is that
9 correct?
10 A. I don't recall. This is in 1998. We are in 2004.
11 THE COURT: Doctor, just answer the question.
12 A. I don't recall.
13 Q. Doctor, at the time you testified in the Verniero case in
14 1998, you had never referred to it as a birth, had you?
15 A. That's correct.
16 Q. And you can't recall any instances where you have made such
17 a reference sitting here today, can you?
18 A. That's correct.
19 Q. In fact, you have never heard the term "birth" used to
20 refer to a D&E procedure either, have you?
21 A. I can't recall.
22 Q. Doctor, if you could look at page 1.148, which I believe
23 you do have, starting at line 4.
24 "Q. And is it common use to refer to a D&E as a birth?
25 "A. No."

1382

447rnat3 Weiss - cross

- 1 Is that correct, Doctor?
2 A. That's correct.
3 Q. Did you give that testimony in the Verniero case?
4 A. That's correct.
5 Q. Doctor, getting back to your views on abortion procedures,
6 in your mind there is no distinct separation between suction
7 curettage and D&E procedures, because they both start out
8 exactly the same way, is that right?
9 A. That's correct.
10 Q. You don't set out to do a specific abortion procedure, in
11 your view, but instead set out to make the woman unpregnant?
12 A. Yes.
13 MR. LANE: If I may approach, your Honor?
14 THE COURT: You may.
15 Q. Doctor, I am handing you what has been marked as Government
16 Exhibit B in this case. Again I refer to the Bates numbers at
17 the bottom, starting with the letter GW. These are documents
18 that you produced as a plaintiff to the government in this
19 case, isn't that correct?
20 A. Yes.
21 Q. I would like you to refer to document GW000232 and 233.
22 That document is from University Hospital, isn't that right?
23 A. That's correct.
24 Q. That is the hospital where you work?
25 A. That is correct.

1383

447rnat3 Weiss - cross

- 1 Q. This document is entitled "Consent for Surgical,
2 Diagnostic, and Other Procedures," correct?
3 A. That is correct.
4 Q. Under the number 1 it says "Operation or Procedure. I
5 hereby authorize and consent to the performance of the
6 following operation or procedure," and then there are many
7 lines left -- three lines left to be filled in for a particular
8 procedure, isn't that right?
9 A. That's correct.
10 Q. On this form the procedure that has been typed and filled
11 in is suction curettage for termination of pregnancy, right?
12 A. That is what is written.
13 Q. These consent forms are sought from a patient before a
14 procedure is performed?
15 A. Consent is. These are not.
16 THE COURT: What was that answer?
17 THE WITNESS: Consent forms are. These forms are not.
18 Q. This consent form is a form that you approved in your
19 capacity as chief of the service at your hospital and chairman
20 of the department of obstetrics and gynecology, isn't that
21 right, Doctor?
22 A. That's correct.
23 Q. This consent form identifies a particular procedure:
24 Suction curettage for termination of pregnancy?
25 A. That's correct. But it was never used with a patient.

447rnat3

Weiss - cross

1 Q. Why do you say it was never used with a patient, Doctor?

2 A. I asked what forms were available and got this package. As
3 I clearly stated in my testimony, I never use these forms. I
4 write in, in consultation with the patient, what the issues
5 are.

6 When I looked at all of those forms, I saw them
7 together as being inconsistent one to the other, and when I
8 gave my deposition said that it will not be used.

9 When I got back to the office, it turns out that in
10 fact they never had been used, they were in preparation. To
11 this day I, as well as the rest of the staff, use a blank form
12 and fill out the procedure that they plan to do in discussion
13 with the patient.

14 Q. Doctor, that doesn't change the fact that, as the person in
15 charge of the hospital, you approved this form, right?

16 A. I approved the forms, but they haven't been used.

17 Q. Again, my question is you approved the form and the form
18 identifies a particular pregnancy termination procedure on it?

19 A. Yes. I stated I review the forms and said they were
20 inappropriate. They have never been used for that reason.

21 Q. But, Doctor, you approved them, isn't that right?

22 A. At one point.

23 Q. Yes, at some point before this litigation this became an
24 issue, you approved these forms as the person in your hospital
25 with the responsibility for setting the standards at your

447rnat3

Weiss - cross

1 institution?

2 A. Yes. I'm not the final approval, though. It is not clear
3 whether the institution approved them. In any event, they were
4 withdrawn.

5 Q. Doctor, I understand from your deposition you are in charge
6 of your institution's guidelines for abortions, isn't that
7 right?

8 A. I am in charge. But all forms go to a forms committee.
9 After I approve them, I think I explained that they have to
10 then go through an approval and ultimately go through the
11 executive committee of the medical board.

12 Q. Doctor, once again, my question is: You are in charge of
13 your institution's guidelines for abortion, correct?

14 A. Yes.

15 Q. In fact, you told us earlier this morning that you
16 prohibited the use of D&E's after 18 weeks at your institution
17 because of a certain circumstance that arose, isn't that right?

18 A. That is correct.

19 Q. That was your call to make?

20 A. That's correct.

21 Q. And you made it?

22 A. That's correct.

23 Q. Doctor, I would like to refer you to another document in
24 the same package, 228 through 229.

25 A. I have it.

1386

447rnat3

Weiss - cross

- 1 Q. That is another consent for surgical diagnostic and other
2 procedures?
3 A. Again, this was never used.
4 Q. Doctor, that wasn't my question. If you could just listen
5 to my question and try to answer my question. This is a
6 consent for surgical diagnostic and other procedures, isn't
7 that right?
8 A. Yes.
9 Q. This is yet another form that you approved in your capacity
10 as chairman of the department?
11 A. Yes.
12 Q. Under "Operation or Procedure" it mentions dilation and
13 extraction for termination of pregnancy?
14 A. Yes.
15 Q. Moving on to page 230, this is a third consent for surgical
16 diagnostic and other procedures.
17 A. Yes.
18 Q. That is another form that you approved?
19 A. Yes.
20 Q. That identifies under "Operation or Procedure" laminaria
21 insertion?
22 A. Yes.
23 Q. Doctor, this morning I believe you gave us some scenarios
24 as to how a first trimester abortion procedure could violate
25 the Act, in your view.

1387

447rnat3

Weiss - cross

- 1 MS. PARKER: Objection, your Honor: Mischaracterizes
2 the testimony.
3 THE COURT: Overruled.
4 A. Sorry. What was the question?
5 (Question read)
6 A. I don't believe I did.
7 Q. Doctor, let me make this simple. In your view, there are
8 certain trimester abortion procedures that can violate the Act,
9 isn't that right?
10 A. I believe it is possible that a late first trimester
11 procedure might violate the Act.
12 Q. One of those instances, in your view, would occur in a
13 10-week pregnancy when laminaria is used to dilate the cervix
14 and then a doctor grasps the cervix and pulls it down to the
15 opening of the vagina, takes the laminaria out, and right
16 behind it comes the fetus up to the head, isn't that correct?
17 A. Yes.
18 Q. You have never seen this happen, have you?
19 A. No.
20 Q. In your view, you also think it would violate the Act if
21 you were using a suction cannula and a fetal leg got caught
22 inside the suction device, isn't that correct?
23 A. That's correct.
24 Q. Could you describe again for the Court what a suction
25 cannula device is.

1388

447rnat3

Weiss - cross

- 1 A. A suction cannula device is a hollow tube, blunted at one
2 end so that it will not have a sharp part to irritate the wall
3 of the uterus. It usually has a valve in it to relieve
4 pressure. It is attached to tubing which is attached to a
5 suction pump. When the suction pump is turned on, suction is
6 applied through the tip of the tubing. If you need to decrease
7 the suction, you flip the valve or push the valve with your
8 thumb, and the suction will be released.
9 Q. Thank you, Doctor. But when you insert the vacuum cannula,
10 it goes inside the uterus to evacuate fetal tissue, isn't that
11 right?
12 A. That is correct.
13 Q. That is why you need to dilate the cervix in the first
14 place, to be able to get the suction cannula inside the uterus?
15 A. Yes.
16 Q. So the tip of the suction cannula is inside the woman's
17 body?
18 A. That is correct.
19 Q. Notwithstanding all of this, you believe that this
20 circumstance might violate the Act?
21 A. Shall I explain why?
22 Q. No. Just a yes or no answer would be fine, Doctor.
23 A. Yes.
24 Q. Thank you. In fact, you would agree that in a first
25 trimester vacuum aspiration the suction is almost invariably

1389

447rnat3

Weiss - cross

- 1 sufficient to evacuate the uterus?
2 MS. PARKER: Objection, your Honor. All of this is
3 beyond the scope of the direct examination.
4 THE COURT: Ma'am, could I help you -- no. It is
5 cross-examination. Overruled. You might check the rules of
6 evidence before your next objection.
7 Go ahead. Next question.
8 Q. If you suction out a live fetus at an early gestational
9 age, such as the first trimester, it will expire very rapidly
10 on its own?
11 A. Yes.
12 Q. That is because it is not viable?
13 A. Yes, and it is separated. That is partially the reason.
14 Q. You can't point to any medical literature that refers to
15 the suctioning out of uterine contents as delivery, and you
16 don't recall seeing that terminology used, do you?
17 A. That's correct.
18 Q. I would like to ask you a few questions about your view
19 that the Act may cover D&E procedures. I am going to use the
20 term "traditional D&E" to mean dismemberment D&E in case I
21 lapse into that term, Doctor.
22 When you do a traditional D&E abortion and you reach
23 in with the forceps and grab something in the uterus, you
24 usually remove the forceps closed at that point, is that
25 correct?

1390

447rnat3

Weiss - cross

1 A. Yes. But I can't answer that question, because the
2 determination of whether you did a traditional D&E is a
3 retrospective determination.

4 THE COURT: Doctor, why don't you just restrict your
5 answers to the questions asked.

6 THE WITNESS: It can't be answered as stated, your
7 Honor.

8 THE COURT: Then you say that.

9 A. It can't be answered as stated.

10 Q. I am happy to rephrase it, Doctor. When you reach in with
11 a forceps to grasp something in the uterus, you usually remove
12 the forceps closed at that point?

13 A. Yes.

14 Q. When the instrument is closed, there is more pressure on it
15 by virtue of closing it?

16 A. More pressure on what?

17 Q. Than leaving the instrument open if there is something that
18 has been grasped by the forceps. By virtue of closing the
19 forceps, pressure would be applied, isn't that correct?

20 A. That's correct.

21 Q. As a result, if you grasp the fetus and close the
22 instrument, you are likely to crush what you have grasped?

23 A. You may or may not, depending on how tight you grasp it and
24 how rough you are with the equipment.

25 Q. Doctor, I would like to refer you again to the Verniero

1391

447rnat3

Weiss - cross

1 trial transcript at 1.158, starting at line 16.

2 "Q. Is it fair to say, then, in most instances in the early
3 second trimester, when you close the instrument, that it has a
4 crushing event on the fetus?

5 "A. If you grasp the fetus and you close the instrument, you
6 are likely to crush what you have grasped."

7 Did you give that answer to that question in the
8 Verniero trial?

9 A. Yes.

10 THE COURT: Mr. Lane, is this a convenient time, or
11 would you like to ask a few more questions in this particular
12 line?

13 MR. LANE: Certainly, your Honor, this is a convenient
14 time.

15 THE COURT: Court will recess until 2 o'clock.
16 (Luncheon recess)

17
18
19
20
21
22
23
24
25

4475NAT4

1 A F T E R N O O N S E S S I O N
2 2:33 p.m.
3 THE COURT: Before we get started, just a couple of
4 housekeeping matters.
5 I have read the letters from the plaintiff and from
6 the government concerning the testimony of Doctors -- and
7 forgive me if I mispronounce their names, it's Dr. Bowes, is
8 that correct?
9 MS. GOWAN: Yes, your Honor.
10 THE COURT: And Dr. Sprang.
11 MS. GOWAN: Yes.
12 THE COURT: Well, let me simplify it. They're going
13 to testify and they're going to testify about whatever their
14 comments may or may not be with regard to the Chasen report.
15 I think it's important that we have a full and
16 complete hearing. There is an awful lot of who struck John on
17 both sides of the letters. And much of this -- and the urgency
18 might have been avoided, and I am still quite concerned that
19 the plaintiff was less than candid in its outrage yesterday,
20 knowing full well it had been dealt with in other courts.
21 Thus, they will testify, they will be allowed to
22 testify concerning Dr. Chasen's report. I would suggest to
23 plaintiffs, if they haven't done so, and I can't believe they
24 haven't -- to order, immediately, their transcripts of their
25 testimony. Have they both testified in California by now?

4475NAT4

1 Does anyone know?
2 MS. GOWAN: Your Honor, Dr. Sprang has testified in
3 Nebraska. I don't know if he has yet testified in California.
4 THE COURT: Well, they're testifying this week. The
5 defense case is on there, correct?
6 MS. GOWAN: That's correct; and the defense case will
7 conclude in Nebraska on Friday.
8 THE COURT: Is Dr. Bowes testifying in other venues?
9 MS. GOWAN: Yes, he has.
10 THE COURT: Well, I would order his transcript. I
11 believe that with all this disclosure that I am not going to
12 overly burden these doctors. It seems that their trial
13 transcript plus their supplemental reports to their expert
14 reports should be adequate. However, I will hear any
15 application if after -- after reviewing their transcripts. And
16 I would hope they would not be called by the government
17 immediately. But those transcripts, I assume, are available on
18 a daily basis and they ought to be either electronically or, at
19 the very least, Federal Expressed and reviewed.
20 I will hear any argument if something additional needs
21 to be covered by way of any deposition, but I'm not going to
22 order that at this stage. And I will ask if any application is
23 made after review of both the supplemental and the trial
24 testimony in the two cases in the west. I will hear some offer
25 from the plaintiff if they want to reopen the deposition but it

4475NAT4

1 better be with strong reason and not just as an abusive tactic.
2 But that is where it stands at the moment and I would place
3 your orders immediately if you haven't already done so.

4 Now, the doctors, Ms. Gowan, who has read the report
5 from Yale, what is that doctor's name?

6 MS. GOWAN: Your Honor, Dr. Lockwood.

7 THE COURT: Is he going to opine on the Chasen report?

8 MS. GOWAN: Yes, I believe he will offer some comments
9 on the Chasen report.

10 THE COURT: Well, I would suggest that you -- have you
11 submitted a supplemental to his expert report then?

12 MS. GOWAN: No, I have not.

13 He saw the report the day before his deposition --

14 THE COURT: I understand that.

15 Mr. Hut, I take great issue. This whole matter has
16 upset me because I tell you, your letter is a little less than
17 straightforward also. As you can tell I am still very, very
18 annoyed.

19 You cite to me as to the Court's ruling on expert
20 reports but you fail to say that those are experts who are, at
21 the time, testifying on the stand and the objections are made
22 at the moment. You don't play that, do you, in your letter?

23 MR. HUT: I'm not understanding the Court's question,
24 your Honor.

25 MS. GOWAN: It's addressed to me.

4475NAT4

1 THE COURT: If you have a problem with that, I will do
2 it slowly.

3 Your letter objection in this subject cites to the
4 Court its rulings when there has been objections to plaintiff's
5 expert testifying outside their expert report, but you fail to
6 mention in your letter that that is a scenario where the expert
7 is on the stand and the objection is made at the moment they
8 are testifying, a totally different -- totally different
9 situation than what we have here. We are anticipating experts
10 testifying about something in the future. You don't mention
11 that, do you, Mr. Hut?

12 MR. HUT: Your Honor --

13 THE COURT: Completeness seems to be a problem.

14 MR. HUT: With respect, I don't see the difference. I
15 think that --

16 THE COURT: If you don't, sir, then I have no hope for
17 you.

18 MR. HUT: And I assumed, frankly, in all candor, that
19 the Court also knew that the rulings with respect to those who
20 had testified were with experts on the stand and that these
21 were with experts whose reports were disclosed in advance.

22 THE COURT: You have those witnesses coming in the
23 future that you are objecting to now. The objections by the
24 government was when your witnesses -- your witnesses were
25 testifying live at the moment, and you don't see that

4475NAT4

1 distinction?

2 MR. HUT: Because we have been unable to --

3 THE COURT: You have a major problem, Mr. Hut.

4 MR. HUT: Forgive me, your Honor.

5 THE COURT: In any event, that's the ruling. You
6 should submit a supplemental also on the doctor from Yale then,
7 Ms. Gowan.

8 MS. GOWAN: Yes.

9 THE COURT: And he will be allowed to testify.

10 And I would suggest to the plaintiffs they do as they
11 wish, of course. But if they want to get the trial testimony
12 they should and then, after reviewing all of that, the
13 supplemental report and the trial testimony of these witnesses
14 I will entertain, should there be any request.

15 As I understand it the lawyers in Omaha, after hearing
16 from the witnesses, decided not to have any depositions. And
17 the lawyers perhaps who approached this a different way in
18 California decided that they would not seek depositions but
19 went ahead and, with the supplemental reports, are prepared to
20 go ahead with the testimony of these witnesses and that's how
21 we will deal with it.

22 All right?

23 MR. HUT: Your Honor, may I be heard on one additional
24 matter on this subject? Dr. Lockwood, as I understand it, who
25 Ms. Gowan just addressed, is not testifying in California.

4475NAT4

1 Dr. Lockwood is testifying, I am advised, in Nebraska on
2 Friday. His transcript will not be available Saturday, so --

3 THE COURT: They don't have daily transcript?

4 MR. HUT: It will not be available until Saturday.
5 Forgive me.

6 THE COURT: Then get it. I take it these will not be
7 your first witnesses. I'm directing you not to have them be
8 your first witnesses, Ms. Gowan.

9 MS. GOWAN: Your Honor, Dr. Lockwood is scheduled to
10 testify as the first witness on Monday.

11 THE COURT: I would change that schedule now so that
12 the transcript can be available. I asked the plaintiffs to
13 change their schedule and I'm going to have to ask you to do
14 this. I didn't -- the Court wasn't consulted when you decided
15 to have supplementals and bring these things up. I assume you
16 would rather have him here once --

17 MS. GOWAN: That's correct.

18 THE COURT: -- and not inconvenience him by having him
19 testify to part, then leave the stand and come back.

20 MS. GOWAN: That's correct.

21 THE COURT: So I would make some adjustments. A
22 little organization might have helped but sobeit.

23 I believe, Mr. Lane, you were in the middle of your
24 cross-examination?

25 MR. LANE: Yes. Thank you, your Honor.

1398

4475NAT4

1 CROSS EXAMINATION
2 BY MR. LANE:
3 Q. Good afternoon, Dr. Weiss.
4 A. Good afternoon.
5 Q. I just wanted to clarify one point from this morning
6 referring to the consent forms that are in Government Exhibit
7 B; these are the consent forms that set consent for specific
8 abortions procedures that you approved, correct?
9 A. Yes.
10 Q. And you did so, even though you believe, you never set out
11 to do a specific abortion procedure, right?
12 A. I'm not sure what you are asking me.
13 Q. I had understood your testimony this morning that you never
14 set out to do a particular abortion procedure, so when someone
15 makes the distinction between you're setting out to do a D&E or
16 a suction curettage, that distinction is not meaningful to you
17 as you set out to do the procedure, is that right?
18 A. Yes; but that's not related to these.
19 Q. Well, am I correct in your understanding that you don't set
20 out to do a particular abortion procedure?
21 A. I try to do a simple a procedure as possible and that way I
22 do determine something. If suction would not be adequate I
23 would do extraction.
24 Q. I will take that as a yes, Doctor, that you don't set out
25 to do a particular abortion procedure?

1399

4475NAT4

Weiss - cross

1 A. In some context of early procedure, clearly I know that in
2 an advance second trimester procedure I will have to do an
3 extraction.
4 Q. My reason for bringing this up is you had said that you
5 were deposed on this subject where these consent forms were
6 brought to your attention and after that you went back to your
7 institution, correct?
8 A. That's correct.
9 Q. And you made some changes, is that correct?
10 A. I didn't make any changes. I said this in discussion with
11 the staff, we all agreed that this would probably be not
12 appropriate to use the preprinted forms.
13 Q. And you came up with new forms?
14 A. We have the form as it sits without the typing.
15 Q. So then what do you put into the part of the form that says
16 procedure?
17 A. What is written, by hand, at discussion with the patient.
18 It may be transvaginal abortion, it may be transvaginal
19 abortion by suction, it may be transvaginal abortion by
20 whatever the discussion led it to be.
21 There has got to be concordance between what is
22 written and what the patient understands. It is always easier
23 to do that with a blank sheet of paper than fitting your
24 discussion into something that's preprinted.
25 In --

1400

4475NAT4

Weiss - cross

1 Q. My question for you would be in a second trimester
2 procedure if you don't set out to do a particular abortion
3 procedure at the outset, what would you put in that section?
4 A. Under what circumstances?
5 Q. After your discussion with a patient.
6 A. That would depend on the discussion.
7 I don't want to be obtuse, but if you give me an
8 example I will be glad to tell you what I write.
9 Q. Well, Doctor, if you do not know in, say, the early second
10 trimester you don't set out to do a particular abortion
11 procedure and so that distinction between D&E and suction
12 curettage is not meaningful to you, what do you put in the form
13 when you are going to do one of those procedures in the early
14 second trimester?
15 A. In the early second trimester it may simply say
16 transvaginal pregnancy termination by cervical dilatation or by
17 suction, or by suction and evacuation, whatever I think is most
18 likely after discussing with the patient. It's important that
19 the patient knows what I am doing. I don't need a preprinted
20 form for that.
21 Q. Doctor, as of 1998 you didn't think intact D&E was a
22 recognized medical term, did you?
23 A. That's correct.
24 Q. And here my understanding, and you let me know if this is
25 correct, for a D&E what you try to do is maximize the chances

1401

4475NAT4

Weiss - cross

1 of an intact or relatively intact delivery when do you it?
2 A. One hopes to get the fetus out intact. There is frequently
3 no technology to do it.
4 Q. Doctor, I don't mean to interrupt you but I'm going to. If
5 you can answer that question yes or no I would appreciate it.
6 For you, for a D&E, is it safe to say that you try to
7 maximize the chances of an intact or relatively intact
8 delivery?
9 A. Yes.
10 Q. And in fact you're one of the plaintiffs in this case and
11 there was a complaint filed on your behalf, correct?
12 A. Yes.
13 MR. LANE: Your Honor, if I may approach?
14 THE COURT: You may.
15 Q. And you have been handed what is the supplemental complaint
16 for a declaratory and injunctive relief in this case; do you
17 recognize that document?
18 A. Yes, I do.
19 Q. I would ask you to turn to page 39. I'm sorry, page 13,
20 paragraph 39. I apologize, Dr. Weiss. And that paragraph has
21 a description of the intact D&E procedures provided by
22 plaintiffs, correct?
23 A. That is correct.
24 Q. And you're one of the plaintiffs in this case?
25 A. That is correct.

1402

4475NAT4

Weiss - cross

1 Q. And it says, "Intact D&E, which is also known as intact
2 dilation and extraction, or D&X, is one variation of the D&E
3 procedure generally used after 19 weeks' LMP that is intended
4 to maximize the chances of an intact or relatively intact
5 delivery and thereby to minimize risk to the woman."

6 Did I read that correctly?

7 A. You did.

8 Q. And other than the part of that paragraph that deals with
9 'generally after 19 weeks' LMP,' you would agree that that
10 definition is the same definition you just agreed with as to
11 what you attempt to do in a D&E, correct? That is, that you
12 intend to maximize the chances of an intact or relatively
13 intact delivery, correct?

14 A. Yes.

15 Q. So it meets your definition that you just gave me of what
16 you try to do in a D&E.

17 A. Yes.

18 Q. But when I asked you at your deposition whether you had
19 ever performed an intact D&E as defined by the complaint, you
20 had said that you never performed such a procedure, isn't that
21 right?

22 A. You would have to show me what I said.

23 Q. Dr. Weiss, I would ask you to look at your deposition in
24 this case and if you have a few things up there, if you can't
25 find it I'm happy to help you out.

1403

4475NAT4

Weiss - cross

1 THE COURT: Why don't you come right up, Mr. Lane. It
2 will short circuit things if you assist the witness and show it
3 to him.

4 MR. LANE: Thank you, your Honor.

5 Q. And I would ask you to look at page 183, Dr. Weiss. I am
6 going to read a section to you and ask you if this refreshes
7 your recollection, starting at line 6:

8 "Q I just wanted to confirm that's your
9 interrogatory response that you performed or supervised no
10 abortions in the past five years using the intact D&E variation
11 of D&E, as stated in paragraph 39 of the complaint.

12 "A Yes.

13 "Q And I want to ask you, using that same definition
14 of intact D&E contained in paragraph 39 of the complaint, have
15 you ever performed an abortion that fit that description of
16 intact D&E?

17 "A No."

18 Does that refresh your recollection?

19 A. I see it here. I don't recall it but I believe it.

20 Q. So, am I to understand your testimony correctly that you
21 attempt to do exactly what is defined in paragraph 39 of the
22 complaint but when asked at your deposition you said you don't
23 perform such procedures?

24 A. There are several differences between what you said and
25 what's here.

1404

4475NAT4

Weiss - cross

1 For instance, this says after 19 weeks and I accepted
2 that and I don't do procedures after 19 weeks.
3 Q. Isn't it correct, Doctor, that what it says is generally
4 used after 19 weeks' LMP?
5 A. Yes.
6 Q. And isn't it correct that you did not at all explain that
7 in your deposition answer that I just read back to you?
8 A. That's correct.
9 Q. In fact, Doctor, as of 1998 you didn't know whether you had
10 ever delivered a live, intact fetus during a D&E abortion, did
11 you?
12 A. No.
13 Q. Is what I just said correct?
14 A. I never knew whether I delivered a -- repeat the question.
15 I'm sorry.
16 Q. Certainly. I'm happy to.
17 THE COURT: Madam reporter, read the question, please.
18 (Record read)
19 A. The question is before 1998 did I ever deliver a fetus that
20 was living when I delivered it.
21 I'm sure I have.
22 Q. A live, intact fetus was the question, Doctor.
23 A. Oh, yes.
24 Q. So, before 1998 you thought that you had delivered a live,
25 intact fetus during a D&E abortion?

1405

4475NAT4

Weiss - cross

1 A. Yes. I can specifically recall several specific instances.
2 Q. Doctor, I ask you to look at your trial testimony in the
3 Verniero case which is the largest stack of papers in front of
4 you.
5 A. Okay.
6 Q. And I would ask you to turn to page 1.178, starting at line
7 20:
8 "Q You can remove a live, intact fetus during a D&E
9 abortion?
10 "A Potentially you can, yes, early enough.
11 "Q Has that ever happened to you?
12 "A I don't know. I don't check for that if it's
13 early enough.
14 "It's like a suction procedure, if you suck out the
15 fetus intact, when you suck it out it's probably alive. Being
16 only a few weeks old it will expire very rapidly."
17 Have I correctly read your testimony in the Verniero
18 case, Doctor?
19 A. You did.
20 Q. So you testified in the Verniero case that you didn't know
21 whether you ever removed a live, intact fetus during a D&E
22 abortion?
23 A. I said it; that's correct.
24 Q. And your testimony is different here today then?
25 A. My testimony is compatible, it is not different. Here it

1406

4475NAT4 Weiss - cross

1 was not, no. That would mean that if I can recall something I
2 can recall it. I didn't say I didn't, I said I didn't know on
3 the stand, I couldn't think of an instance.
4 Also, since then, six years have transpired.
5 Q. Doctor, the Verniero case that you testified in occurred on
6 September 9th of 1998, correct?
7 A. Yes.
8 Q. And you were deposed in this case on January 28th, 2004,
9 correct?
10 A. Yes.
11 Q. And that's a period of a little more than five years,
12 correct?
13 A. Yes.
14 Q. And in this case you've told us that over the last five
15 years that you have performed approximately one abortion a
16 year, correct?
17 A. Yes.
18 Q. And that includes not only D&E but also suction curettage,
19 correct?
20 A. I believe they were mostly D&Es.
21 Q. But you have only performed five procedures in the past
22 five years, correct?
23 A. That's correct.
24 Q. As of 1998 you have encountered circumstances in a second
25 trimester abortion where you removed, through the cervical os,

1407

4475NAT4 Weiss - cross

1 the head of the fetus before removing any of the limbs,
2 correct?
3 A. Yes.
4 Q. And in those circumstances the head, upon removal, was
5 detached from the body, correct?
6 A. Yes.
7 Q. And as of 1998 in the second trimester D&E abortion, you
8 had removed the torso of the fetus' body before removing the
9 limbs through the cervical os, correct?
10 A. Yes.
11 Q. And in those circumstances, the torso of the fetus was
12 detached from the head when removed, correct?
13 A. Yes.
14 Q. Doctor, you would agree that research is an important part
15 of medicine, would you?
16 A. Yes.
17 Q. And, in fact, you're president-elect of the Society of
18 Gynecologic Investigation, is that right?
19 A. That's correct.
20 Q. And that society is the research society in the field of
21 clinical gynecology and obstetrics?
22 A. Yes.
23 Q. And it promotes research in the field?
24 A. Yes.
25 Q. And you told us this morning that part of your

1408

4475NAT4 Weiss - cross

1 responsibilities at New Jersey Medical School included setting
2 up a research department, correct?
3 A. That is correct.
4 Q. And you also mentioned that there was a journal club to
5 look at articles to determine the appropriateness of changing
6 your practices based on the information contained in the
7 articles?
8 A. Yes.
9 Q. Doctor, for intact D&E you would agree, wouldn't you, that
10 there are no published studies evaluating the potential risks
11 or benefits of intact D&E as compared with other abortion
12 procedures?
13 A. That is clearly correct.
14 Q. And you don't believe that such a study exists because you
15 don't think anyone has done a substantive series on that topic?
16 A. That is correct.
17 Q. And a substantive series, in your mind, is one large enough
18 to be able to define, in a statistically significant way,
19 occurrences and compare those occurrences to other possible
20 procedures?
21 A. That is correct.
22 Q. So, there is no published study evaluating intact D&E as
23 compared to other abortion procedures regarding the risk of
24 laceration, correct?
25 A. To my knowledge.

1409

4475NAT4 Weiss - cross

1 Q. And there is no published study regarding intact D&E as
2 compared with other abortion procedures regarding the risk of
3 perforation, correct?
4 A. To my knowledge.
5 Q. And that's also true for the risk of infection, isn't it,
6 Doctor?
7 A. Yes.
8 Q. And it's also true for whether the procedure increases or
9 decreases procedure time, correct?
10 A. I believe so; as with other abortion procedures that are
11 late and many other factors in medicine. Most of the things
12 one does in medicine does not require a published study.
13 May I give an example?
14 Q. No, Doctor. Your lawyers are here and may ask you some
15 questions on redirect.
16 But suffice it to say, your answer is that there is no
17 such published study on the increase or decrease in procedure
18 time, correct?
19 A. Yes.
20 Q. And as of 1998 you had never seen any study to show that
21 the risk of uterine perforation is lower for procedures that
22 use fewer passes with forceps, correct?
23 A. That's correct. That would be logic.
24 Q. Well, in fact you testified this morning, didn't you, that
25 perforation is an uncommon complication, correct?

1410

4475NAT4 Weiss - cross

- 1 A. That is correct.
2 Q. And that you, yourself, had never perforated a uterus doing
3 a D&E, correct?
4 A. Yes.
5 Q. In fact, Doctor, uterine perforation and cervical
6 laceration are complications of second trimester surgical
7 abortions, in general?
8 A. Yes.
9 Q. And that's also true for blood loss, correct?
10 A. Yes. Some more than others depending on the length of the
11 procedure.
12 Q. One of the reasons that you mentioned that intact D&E, in
13 your opinion, had a safety advantage involved fewer passes with
14 instruments into the uterus, correct?
15 A. Yes.
16 Q. And by that you were not referring to fewer passes of
17 instruments as compared with an induction abortion, correct?
18 A. Yes.
19 Q. In fact, there are a number of instrument passes that are
20 common to both dismemberment D&E and intact D&E, correct?
21 A. I'm not sure what you are asking me there.
22 Q. Well, let me give you an example.
23 When I am talking about common passes it is true in
24 both dismemberment D&E and intact D&E that you would have an
25 instrument pass for aspiration of amniotic fluid, correct?

1411

4475NAT4 Weiss - cross

- 1 A. You would -- what do you mean by pass? You would put into
2 the uterus, is that what you mean?
3 Q. Yes.
4 A. You would put in an instrument to remove the amniotic
5 fluid.
6 Q. And that would also be true for both procedures, that you
7 would have an instrument pass as we have just defined it, to
8 grasp the fetus with forceps?
9 A. Yes.
10 Q. And you would also have a pass in both procedures to
11 suction the delivery of the placenta?
12 A. Yes. To remove the fetus, though, the number of passes
13 would be different.
14 Q. Well, my question is about passes, that would be in common
15 with both procedures, Doctor --
16 A. In frequency? Or just the fact that you grasp in both
17 cases?
18 Q. Yes.
19 A. Yes, in both cases you do both.
20 Q. For placenta?
21 A. And for the fetus.
22 Q. And you are not offering any published studies in this case
23 to support your opinion that intact D&E involves fewer
24 instrument passes than dismemberment D&E?
25 A. That's correct. I am relying, however, on my experience in

4475NAT4

Weiss - cross

1 doing procedures, and the more intact the fetus the fewer
2 passes I did. This is also true with the observations of my
3 staff.

4 Q. Doctor, if I can, if you can just answer my questions I
5 would appreciate it. Your lawyer will get an opportunity to
6 conduct your redirect.

7 Doctor, are you aware that in an intact D&E a doctor
8 may use scissors to puncture the fetus' skull at the base of
9 the neck?

10 A. Yes.

11 Q. And you would agree, Doctor, wouldn't you, that a scissors
12 is potentially more dangerous to a woman than a forceps if a
13 mistake is made, isn't it?

14 A. That's an unnatural comparison. You need to talk about
15 where it is.

16 A scissors under the direct vision is clearly not more
17 dangerous than a forceps blindly put in the uterus. Certainly
18 that would make no sense. If you are seeing what you are doing
19 you are better off in performing the procedure.

20 Q. Well, Doctor, let me ask you: You only use blunt
21 instruments for suction curettage, correct?

22 A. Yes.

23 Q. And you only use blunt instruments for suction curettage
24 because a sharp instrument would be more dangerous and you can
25 do the job with a blunt instrument, correct?

1413

4475NAT4

Weiss - cross

1 A. Yes.

2 Q. And you would agree that a scissors is a sharper instrument
3 than a forceps, wouldn't you?

4 A. A scissors closed is not a sharper instrument. Usually the
5 edge of the scissors is blunt, not pointy. It doesn't have to
6 be.

7 Q. Doctor, in conducting procedure with the scissors, would
8 you expect the scissors to remain closed during the entire time
9 during the procedure?

10 A. No.

11 Q. Doctor, you testified on direct that intact D&E can reduce
12 the risk of fetal parts remaining in the uterus in your
13 opinion, correct?

14 A. This is clear. I think it's not an opinion, I think it's
15 an obvious fact.

16 Q. And, Doctor, you do your best to ensure that the uterus is
17 completely evacuated when are you doing a dismemberment D&E,
18 correct?

19 A. Yes.

20 Q. And you do that by assessing the fetal parts?

21 A. Yes.

22 Q. And you do that by doing a suction curette as the last step
23 in the procedure?

24 A. Yes.

25 Q. And you certainly wouldn't discharge a patient from your

4475NAT4 Weiss - cross
1 care after second trimester D&E without attempting to have
2 completely emptied the uterus, correct?
3 A. That's correct.
4 Q. And you testified this morning you were asked about whether
5 ultrasound would remove the risk of perforation?
6 A. Perforation.
7 Q. Perforation. Excuse me, Doctor.
8 And you opined that retained fetal parts produce a
9 variety of confusing echoes on ultrasound, correct?
10 A. Yes. There is a literature on this.
11 Q. But, in fact, you have never used ultrasound during an
12 abortion procedure, have you?
13 A. I may have used it on one procedure. It's not my common
14 practice.
15 THE COURT: How many years ago was that, Doctor?
16 THE WITNESS: Probably a year or two: Maybe --
17 THE COURT: You only do one a year.
18 THE WITNESS: Now, yes.
19 THE COURT: So it was a year or two, you can't
20 remember?
21 THE WITNESS: That's correct, sir.
22 THE COURT: And you are not sure whether you did or
23 you didn't, is that correct?
24 THE WITNESS: I believe -- I did it in a procedure,
25 I'm not sure exactly whether the procedure was evacuation of a

1415

4475NAT4 Weiss - cross
1 living or a dead fetus. It was intrauterine. It is an
2 abortion in any event.
3 THE COURT: And you only do one a year.
4 THE WITNESS: I do a lot of things, your Honor. My
5 day is full.
6 THE COURT: Next question.
7 Oh, I bet it is. I bet it is.
8 BY MR. LANE:
9 Q. Doctor, you understand that the intact D&E procedure can
10 include conversion of the fetus within the uterus to a footling
11 breech?
12 A. That is the definition by ACOG, yes. That's not in some
13 other definitions. But that's the requirement of the ACOG
14 definition and it's --
15 Q. And would you agree that any situation involving an
16 intrauterine manipulation in a pregnant uterus poses a risk of
17 infection, wouldn't you?
18 A. Yes.
19 Q. And the risk of infection from intrauterine manipulation in
20 a pregnant uterus holds true even if it is performed manually
21 than if it were being performed with instruments?
22 A. I think you have a risk whenever you put something in the
23 uterus.
24 Q. And when there is a manual intrauterine manipulation there
25 is a risk of perforation, correct?

4475NAT4

Weiss - cross

- 1 A. Yes.
- 2 Q. And there is also a risk of trauma to maternal tissue?
- 3 A. With all intrauterine procedures the answer would be yes.
- 4 Q. And, Doctor, when you perform an abortion you would like to
- 5 use the minimum amount of dilation necessary to do the job,
- 6 correct?
- 7 A. That's correct.
- 8 Q. And, you think that that's in the best interest of your
- 9 patient?
- 10 A. That is correct.
- 11 Q. And, for an intact D&E you would agree that you don't think
- 12 it would ever require less dilation than a dismemberment D&E?
- 13 A. That's correct.
- 14 Q. And you would also agree that it may require more dilation
- 15 depending upon the individual physician performing it?
- 16 A. I would never have dealt with it with more. My objective
- 17 is always to try to get as much as possible out and I dilate
- 18 not for one or the other. It's not a plan.
- 19 Q. Well, Doctor, my question is about the intact procedure and
- 20 you don't perform the intact D&E procedure, correct?
- 21 A. As defined by ACOG, no.
- 22 Q. Also as defined in your complaint, apparently.
- 23 A. As defined by the Act, yes.
- 24 Q. Let me try this again.
- 25 Your complaint has a definition for intact D&E,

1417

4475NAT4

Weiss - cross

- 1 correct? An that's contained in paragraph 39?
- 2 A. Yes, yes.
- 3 Q. And, according to that definition, you don't do an intact
- 4 D&E?
- 5 A. That's correct.
- 6 Q. So, I'm not asking you about your personal experience with
- 7 intact D&E because apparently you don't have it. What I am
- 8 asking you is your opinion as to whether that procedure may
- 9 require more dilation depending on the individual physician
- 10 performing it.
- 11 A. I don't think I can speak for other physicians.
- 12 MR. LANE: No further questions, your Honor.
- 13 THE COURT: Any redirect?
- 14 MS. PARKER: Yes, your Honor. Just a little bit.
- 15 REDIRECT EXAMINATION
- 16 BY MS. PARKER:
- 17 Q. I am just organizing my notes for one second.
- 18 Doctor, when Mr. Lane was asking you a question about
- 19 situations that require a study in medicine, you wanted to give
- 20 an example. What was that example that you wanted to give?
- 21 A. Yes.
- 22 The British Medical Journal recently had a study to
- 23 make and raise the points of when it is important to have data
- 24 and when it isn't. Their study was a question of gravitational
- 25 challenge.

4475NAT4 Weiss - redirect

1 They noted, (1), that people falling from great
2 heights and have not gotten hurt, on occasion. They noted,
3 (2), that people using parachutes have been injured.
4 They stated that in fact what the world needs is a
5 study to determine whether it's appropriate when you are
6 falling out of an airplane to use a parachute or not, and
7 suggested that the only way to answer this question was to do a
8 randomized controlled study but suggested that the volunteers
9 be those individuals who require a study for everything that's
10 patently obvious.
11 The reason I am using this example is that it ought to
12 be obvious that the longer -- and there is a literature -- that
13 the longer your procedure in general for most operations, for
14 all operations that have been studied, the greater the chance
15 of infection.
16 It's clear that the longer the procedure in which
17 there is persistent bleeding the longer the blood loss. If the
18 study -- if the situation isn't very common there will never be
19 a study.
20 There are rare diseases where there will never be a
21 randomized perspective double-blind controlled study because
22 there is not enough individuals and there will be individuals
23 when you can never get that answer.
24 The way medicine functions is to use not only studies
25 but a basic understanding of physiology, the history in terms

1419

4475NAT4 Weiss - redirect

1 of such obvious things as the more you put an instrument in the
2 more likely you are to damage than the less, and the longer the
3 procedure the more you are likely to have infection. And it is
4 clearly logic.
5 Decisions aren't all based on randomized perspective
6 double-blind studies, the gold standards, or there would be
7 almost no therapy for anything because most treatments do not
8 have those as their background. They would be desirable, you
9 get the best information but we frequently don't have it.
10 THE COURT: Does that mean that since there is no
11 studies on these risks you also testified they are so rare
12 they're meaningless too; does your joke apply to that too?
13 THE WITNESS: No, it doesn't, your Honor. It's not a
14 joke first of all, it is in fact a written publication in the
15 British Medical Journal. And what I think it's saying --
16 THE COURT: The British have a sense of humor.
17 THE WITNESS: They do have a sense of humor, indeed.
18 What they were saying is that sometimes you can use
19 logic and sometimes you can base it on what you know. And
20 while there hasn't been a study, it is clear that falling out
21 of an airplane you would probably be better off with a
22 parachute.
23 And it's equally clear that the longer your procedure
24 takes the more blood you are going to lose if there is
25 continuous bleeding during the procedure.

4475NAT4

Weiss - redirect

1 BY MS. PARKER:
2 Q. Doctor, do you need a study to show that fewer instrument
3 passes reduces the risk of uterine perforation?
4 A. No, you don't need a study for that. That should be
5 patently obvious to everyone that thinks about what is going on
6 and can conceptualize it.
7 Q. You testified also on cross-examination that there are
8 risks to the intact D&E procedure as defined by ACOG; is that
9 correct?
10 A. Yes.
11 Q. How do the risks of the procedure as defined by ACOG
12 compare to the risks of other abortion procedures used in the
13 second trimester?
14 THE COURT: Could I have that question read, please?
15 (Record read)
16 THE COURT: The question doesn't even say what
17 procedure.
18 MS. PARKER: Sorry, your Honor. I thought I said the
19 intact D&E procedure as defined by ACOG.
20 THE COURT: I just had the reporter read the question.
21 THE WITNESS: Compared to --
22 THE COURT: Please, Doctor. Don't do the questioning
23 too. You do quite enough testifying.
24 Would you rephrase the question.
25 MS. PARKER: I will rephrase it, your Honor. I

1421

4475NAT4

Weiss - redirect

1 apologize.
2 Q. Dr. Weiss, how do the risks of the intact D&E procedure, as
3 defined by ACOG, compare to the risks of a dismemberment D&E?
4 A. The risks of the intact procedure are less. They're less
5 because it's a faster procedure with potentially less blood
6 loss because it's faster with less risk of recurrent
7 instrumentation and with less likelihood of leaving retained
8 fragments.
9 Whatever one does when one pulls a fetus apart, one
10 can never be sure that you haven't left pieces behind, some of
11 which are stuck in the wall of the uterus and you would be
12 unable to get them out.
13 Q. Doctor, in an induction procedure might the physician have
14 to use an instrument inside the woman's body?
15 A. This is a very common occurrence.
16 Roughly a third of inductions which may take several
17 days to complete in that you have delivered the fetus, roughly
18 a third will then go on to not be able, even after several
19 hours of medication, to deliver the placenta as one.
20 So one has to then, in this one third of the
21 inductions, go into the uterus and, using instruments, remove
22 the placenta. However, there is a difference between doing it
23 under those circumstances and doing it during a D&E procedure.
24 A D&E procedure starts off sterile until the membranes
25 are ruptured and then is briefly completed. Once amniotic

4475NAT4 Weiss - redirect

- 1 fluid membranes are ruptured, there is an opening to the
2 outside and to the vagina for infection to ascend into the
3 uterus, and it does so rapidly.
4 So, most of those times the membranes have been
5 ruptured for two days. There is clear medical evidence that
6 infection increases from the time that membranes rupture and
7 become serious after 12 to 24 hours. So you would be putting
8 instruments in an infected uterus, would further increase the
9 risk.
10 Q. Doctor, on cross-examination you testified that you don't
11 refer to suction curettage procedures as a birth, is that
12 right?
13 A. Yes.
14 Q. And you also testified that you don't refer to D&E
15 procedure, abortion procedures as a birth, is that right?
16 A. Yes.
17 Q. Do you refer to intact D&E procedures as a birth?
18 A. No.
19 Q. You also testified that you don't currently do obstetrics
20 in your practice?
21 A. I do not do obstetrics in my practice but I supervise an
22 obstetrical service and set their parameters and guidelines and
23 evaluate their cases and difficulties.
24 Q. Have you ever done obstetrics in your practice?
25 A. I have done obstetrics in my practice.

1423

4475NAT4 Weiss - redirect

- 1 THE COURT: How long ago?
2 THE WITNESS: 20 years, sir.
3 THE COURT: You have been concentrating in other areas
4 since then?
5 THE WITNESS: That is correct. I have been
6 concentrating --
7 THE COURT: I didn't ask you that, sir.
8 BY MS. PARKER:
9 Q. Doctor, outside of the abortions that you performed, do you
10 ever have occasion to use ultrasound for any of the other
11 procedures that you do?
12 A. Yes, I do.
13 Q. What procedures are those?
14 A. I use ultrasound in many situations. I use ultrasound to
15 determine ovulations when we are doing ovulation induction or
16 in vitro fertilization. I use ultrasound to identify the
17 follicles that in fact contain the eggs to aspirate them using
18 ultrasound guidance.
19 I use ultrasound to determine the thickness of a
20 woman's endometrium. I use ultrasound to define the presence
21 of uterine fibroids such as endometrioma, ovarian cysts, and
22 tumors and anomalies of a uterus.
23 I will use ultrasound on occasion to evaluate abnormal
24 bleedings to see if there are retained product of pregnancy in
25 the uterus.

4475NAT4 Weiss - redirect

1 I will use ultrasound to diagnose an early pregnancy.
2 I will use ultrasound to evaluate the development of the fetus
3 through the first trimester. And I will utilize ultrasound to
4 evaluate the occurrence of an ectopic or tubal pregnancy.
5 Q. Dr. Weiss, Mr. Lane showed you a hospital consent form with
6 the term "dilation and extraction" typed on it. Do you recall
7 that?
8 A. Yes, I recall that.
9 Q. Are you familiar with the term dilation and evacuation?
10 A. Yes.
11 Q. In your understanding, the way you use the terms in your
12 practice, how does the term "dilation and evacuation" compare
13 with the term dilation and extraction?
14 A. I use them synonymously.
15 Q. Doctor, you testified that you sometimes crush a part of
16 the fetus with your instrument in a D&E, is that correct?
17 A. That is correct.
18 Q. Does whether the fetal part can be crushed depend on the
19 gestational age of the fetus?
20 A. Yes, it does.
21 Q. Why is that?
22 A. Because over time, as the fetus develops, its limbs become
23 bigger, the bones become stronger, and there is more bulk and
24 body to the tissue. The fetus, in other words, is less
25 friable, meaningless able to be broken up or fractured.

1425

4475NAT4 Weiss - redirect

1 Q. Doctor, you testified that in 1998 you had the competence
2 to perform D&Es up to 14 or 15 weeks.
3 A. Yes.
4 Q. But you also testified that you had recently performed a
5 D&E at 18 weeks.
6 A. That is correct.
7 Q. Why did you feel it was okay for you to do that procedure?
8 A. There is not much difference between the 16 and an 18 week,
9 technically.
10 I had done 18 weeks before. The occurrence recently
11 is 15 or 16. So preceding the deposition in 1998 that was in
12 reality what I had done. It did not preclude my doing it up to
13 18 weeks where I felt comfortable.
14 Q. Thank you.
15 THE COURT: Do you tell the patient that before you
16 did it, that you hadn't done one?
17 THE WITNESS: No, sir, I didn't.
18 MS. PARKER: I have no further questions.
19 THE COURT: Any recross?
20 (Continued on next page)
21
22
23
24
25

4475NAT4

Weiss - redirect

1 MR. LANE: Three brief questions, your Honor.
2 RECROSS-EXAMINATION
3 BY MR. LANE:
4 Q. Dr. Weiss, you would agree that D&E has been studied and
5 articles have been published about it in peer-reviewed
6 journals, correct?
7 A. There have been articles published, yes.
8 Q. You would agree that the labor induction abortion method
9 has been studied and there are articles about it in peer-
10 reviewed journals, correct?
11 A. There are articles, yes.
12 Q. You would also agree that D&E by dismemberment is a very
13 safe procedure?
14 A. That's correct.
15 MR. LANE: No further questions, your Honor.
16 THE COURT: You may step down, Doctor.
17 (Witness excused)
18 We will take our afternoon recess at this time.
19 (Recess)
20 THE COURT: Mr. Hut, call your next witness.
21 MR. HUT: Your Honor, we will now proceed with reading
22 depositions. This will be the deposition of Maureen Paul.
23 Before we commence, your Honor, let me raise one or
24 two scheduling or housekeeping matters. With respect to the
25 reading of the depositions consistent with the Court's rulings

1427

447rnat5

1 on Dr. Paul and Dr. Creinin, as communicated to chambers, we
2 are willing to submit the identified designations on paper. I
3 gather that the Court wishes them to be read, and we are happy
4 to do the reading.
5 THE COURT: That's correct.
6 MR. HUT: In that event, it may be that we will not
7 get to Dr. Creinin this afternoon. We are going to begin with
8 Dr. Paul. In that event, I would hope that we could, I take it
9 the Court has no problem, commence first thing with Dr. Chasen
10 in the morning.
11 THE COURT: I don't care about the order. It makes no
12 difference to me.
13 MR. HUT: Finally, I don't know what, frankly, the
14 length of either the direct or cross-examination of Dr. Chasen
15 will take, and I know this is on the eve of a holiday, so I
16 recognize that this may be impractical or impossible. I wonder
17 whether there is any possibility of going a little longer, if
18 necessary, tomorrow.
19 THE COURT: To finish your case? Yes, I will consider
20 that. In order to wrap up your case, sure. Let's see how it
21 goes.
22 MR. HUT: Thank you, your Honor.
23 THE COURT: I would be happy to consider doing
24 whatever is necessary. As I understand it, we have Dr. Chasen
25 and perhaps another transcript. Is that what is possible for

447rnat5 "Paul

1 tomorrow?

2 MR. HUT: That is correct, your Honor. Another
3 transcript being the transcript of Dr. Creinin if we don't get
4 there this afternoon.

5 THE COURT: Yes. That's what I thought you meant. If
6 we don't get in it today, we could go a little later tomorrow,
7 if necessary, in hopes of wrapping up plaintiffs' side of the
8 case. That would be fine.

9 MR. HUT: Thank you. Finally, with respect to Dr.
10 Carhart, we made a further inquiry of his counsel over lunch,
11 and he will not be able to appear here we were advised.

12 THE COURT: The invitation was there. So be it. That
13 is his choice.

14 MR. HUT: This is the deposition of Maureen Paul, MD,
15 MPH, taken by the government through Mark Quinhoven, a lawyer
16 with the Department of Justice, on Monday, March 1, 2004, in
17 San Francisco. Reading from page 13/line 4.

18 "Q. Can you recall what specific literature" --

19 THE COURT: Who is going to be answering?

20 MR. HUT: Excuse me, your Honor. This will be Mimi
21 Liu, one of our colleagues.

22 THE COURT: All right. I just wanted to know who was
23 going to be doing the answers. Go right ahead.

24 MR. HUT: "Q. Can you recall what specific literature
25 you may have reviewed for today's deposition?"

1429

447rnat5 "Paul

1 A. Literature concerning the safety of abortion, some
2 literature involving cervical incompetence. I believe that's
3 it.

4 "Q. With respect to the literature about the safety of
5 abortion, can you -- do you recall which specific studies you
6 may have reviewed?

7 "A. I reviewed studies by the CDC looking at the mortality of
8 abortion, and I looked at studies from the 1970s, also
9 conducted by the Centers for Disease Control, CDC, about
10 abortion complication rates. That's what I recall.

11 "Q. Did you review any of the expert reports submitted by the
12 government in this case?

13 "A. Yes, the seven that I referred to.

14 "Q. Let's mark this as Plaintiffs' Trial Exhibit 115. Dr.
15 Paul, I'm showing you what's been marked as Plaintiffs' Trial
16 Exhibit 115. Are you familiar with this document?

17 "A. Yes, I am.

18 "Q. Is that the expert report that you submitted in this case?

19 "A. Yes, it is.

20 Q. A copy of your curriculum vitae is attached to the expert
21 report?

22 A. That's right.

23 "Q. And mark this as Paul -- excuse me -- Plaintiffs' Trial
24 Exhibit 116. Now, if you could turn to Plaintiffs' Trial
25 Exhibit 116, are you familiar with that document?"

447rnat5 "Paul

1 "A. Yes, I am.

2 "Q. And is that the rebuttal expert report that you submitted

3 in this case?

4 "A. No, it's not.

5 "Q. Can you tell me what it is?

6 "A. It says Ph.D at the top, and mine said MPH.

7 Q. Ah. Can you turn to the third page of this document. Is

8 that your signature?

9 "A. Yes, it is ".

10 Reading at page 17/line 24.

11 "Q. With the exception of the change of MPH to Ph.D, is that

12 the text of the document which you recall signing?

13 "A. Yes, it is.

14 "Q. Does your declaration and your expert report and your

15 rebuttal expert report constitute the testimony which you

16 intend to give at trial in this case?

17 "A. Yes, it does."

18 Reading from page 20/line 5.

19 "Q. While you were at the University of Washington, did you

20 receive training in abortion methods?

21 "A. Yes.

22 "Q. If you recall, can you tell me which specific methods you

23 received instruction in.

24 "A. We did some D&E abortions, vacuum aspiration abortions.

25 And I don't recall if we did any instillation procedures. We

1431

447rnat5 "Paul

1 did do other methods of induction abortion.

2 "Q. Was this in class or in clinical training?

3 "A. In clinical training.

4 "Q. Did you perform any abortions or participate in the

5 performance of any abortions while you were at the University

6 of Washington?

7 "A. I don't recall. I believe I did under the supervision of

8 the faculty."

9 Reading from page 21/line 22.

10 "Q. While you were a resident at Tufts during that period, did

11 you receive any training in abortion methods?

12 "A. Yes.

13 "Q. Do you remember if it was in class or in clinical training?

14 "A. Clinical training.

15 "Q. Do you recall the specific methods of abortion?

16 "A. Vacuum aspiration abortions, D&E abortions, and induction

17 abortions.

18 "Q. And did you also while you were at Tufts participate in or

19 perform any abortions?

20 "A. Yes, under the supervision of the faculty.

21 "Q. And if you recall, do you recall what types or what methods

22 of abortion you used?

23 "A. Vacuum aspiration abortion, D&E abortion, and induction

24 abortion.

25 "Q. Were these abortions for both first and second trimester

447rnat5 "Paul
1 abortions?
2 "A. Yes.
3 "Q. And did you use a specific method for first trimester
4 abortions as opposed to second trimester abortions?
5 "A. Vacuum aspiration for first trimester abortions, D&E or
6 induction for second trimester abortions."
7 At page 23/line 16.
8 "Q. Did you teach abortion methods while you were an assistant
9 professor at Tufts?
10 "A. Yes.
11 "Q. I apologize if I'm asking you to repeat yourself, but,
12 again, do you remember which specific methods you taught?
13 "A. Vacuum aspiration abortion, D&E abortion, and induction
14 abortion.
15 "Q. And was this in class or clinical training?
16 "A. Clinical training.
17 "Q. And you mentioned you also had a practice. Was that
18 practice with the Tufts New England Medical Center?
19 A. It was situated at Tufts New England Medical Center, yes.
20 "Q. And in the course of that practice, did you perform any
21 abortions?
22 "A. Yes, I did.
23 "Q. If you recall, what methods of abortion did you use?
24 A. Vacuum aspiration abortion, D&E abortion, and induction
25 abortion."

1433

447rnat5 "Paul
1 Reading from page 31/line 11.
2 "Q. And then from 1993 to 2002, were you an associate professor
3 at the same institution?
4 "A. That's correct."
5 On page 32/line 5.
6 "Q. During this time did you teach students, either in class or
7 in a clinical setting, about abortion methods and techniques?
8 "A. Yes, I did.
9 "Q. And the same question: Do you recall which techniques or
10 methods you taught?
11 "A. For medical students, I taught about different methods of
12 abortion didactically.
13 "Q. What do you mean "didactically"?
14 "A. Through lectures.
15 "Q. What were those procedures?
16 "A. I taught about vacuum aspiration, D&E abortion. I don't
17 recall if I talked about induction abortion.
18 "Q. And were your teaching responsibilities any different with
19 respect to the residents?
20 "A. Yes. It involved clinical hands-on experience and
21 supervision.
22 "Q. And what abortion methods were you -- did you teach the
23 residents while at the university of Massachusetts?
24 "A. Vacuum aspiration abortion and induction abortion."
25 Reading from page 35/line 18.

447rnat5 "Paul

1 "Q. Am I correct that while you were on the faculty at the
2 University of Massachusetts, you also served as the medical
3 director of preterm health services in Brookline,
4 Massachusetts?

5 "A. Yes."

6 Page 36/line 5.

7 "Q. And did the preterm health services -- let me ask you
8 first, was that a clinic?

9 "A. Yes, it was.

10 Q. And did that clinic perform or provide abortion services?

11 "A. Yes.

12 "Q. And did it provide abortion services for first and second
13 trimester abortions?

14 "A. Yes."

15 Reading from page 41/line 7.

16 "Q. And finally, coming to your current position as chief
17 medical officer for Planned Parenthood Golden Gate, when did
18 you take that position?

19 "A. In July of 2002.

20 "Q. And briefly describe your responsibilities in that
21 position.

22 "A. Pretty much the same: To oversee the quality of the
23 medical services, to develop protocols that were in accordance
24 with Planned Parenthood Federation of America's standards and
25 guidelines, to approve physicians who want to work there, to

1435

447rnat5 "Paul

1 supervise mid-level clinicians who are carrying out gynecologic
2 services, to provide clinical services, and to monitor the
3 quality of care in various ways.

4 "Q. And I take it Planned Parenthood Golden Gate provides
5 abortion services?

6 "A. That's right."

7 From page 42/line 24.

8 "Q. And do you teach abortion methods to the residents at UC
9 San Francisco?

10 "A. I don't teach the residents at UCSF. I teach residents
11 from various residency programs throughout northern California.
12 So I do the teaching at Planned Parenthood Golden Gate clinics.

13 "Q. You instruct residents in abortion methods at Planned
14 Parenthood Golden Gate?

15 "A. Yes."

16 At page 49/line 13.

17 "Q. Can you tell me what fields you are board certified in?

18 "A. I'm board certified in obstetrics and gynecology and
19 occupational and environmental medicine."

20 Reading from page 55/line 3.

21 "Q. How about dilation and evacuation procedure; can you
22 estimate how many of those you performed over your career?

23 "A. Estimate: 2,000.

24 "Q. Have all those been in the second trimester?

25 "A. Yes."

447rnat5 "Paul
1 Reading from page 57/line 6.
2 "Q. Would you describe the D&E procedure that you performed.
3 "A. There is different variants of D&E.
4 "Q. Well, let's start with: Is there a common type of D&E or a
5 typical D&E that's ordinarily performed?
6 "A. There's different variations in which the fetus may be
7 extracted.
8 "Q. First off, how many possible variations are there?
9 "A. It's a very hard question to answer, because it's not very
10 specific. There's many things that may vary: The kind of pain
11 medication you give a person, the amount of dilation you
12 achieve, the way that the fetus is extracted, the instruments
13 that you might choose. So I'm not sure how specific you want
14 me to be.
15 "Q. Can you go through the steps of how you achieve that level
16 of dilation."
17 This is at page 60/line 5, your Honor.
18 "A. By inserting laminaria, placing the laminaria snugly in the
19 cervix without forcing, and basically you put as many laminaria
20 in as the cervix will comfortably accommodate. My goal is to
21 achieve had as much dilation as possible."
22 Page 65/line 19.
23 "Q. Is it fair to say that disarticulation or dismemberment is
24 the predominant characteristic of a D&E procedure?
25 "A. No.

1437

447rnat5 "Paul
1 "Q. Why not?
2 "A. Because a D&E can proceed in various ways, and it can
3 proceed so that the fetus is disarticulated or it may proceed
4 in such a way that the fetus is extracted intact.
5 "Q. In your experience, can you give me a rough estimate of how
6 many D&E procedures proceed by way of disarticulation or
7 dismemberment?
8 "A. In my practice?
9 "Q. In your practice. Again, I'm limiting it to Planned
10 Parenthood Golden Gate.
11 "A. Approximately 9 out of 10."
12 We are on page 72/line 14.
13 "Q. Dr. Paul, I'm showing you what's been marked as Paul
14 Exhibit 6, the first page of which is a copy of the cover of A
15 Clinician's Guide to Medical and Surgical Abortion, and then
16 the following pages are pages 123 to 138, which consist of
17 chapter 10. Are you familiar with the cover page and the
18 following pages of Paul Exhibit 6?
19 "A. Yes."
20 Page 73/line 1.
21 "Q. Let me ask you just a few questions about this textbook.
22 How did you come to be involved in editing this textbook?
23 "A. When I was on the board of the National Abortion
24 Federation, I served on the medical education committee, and we
25 decided that it was time for a new textbook on abortion. There

447rnat5 "Paul
1 hadn't been a textbook on abortion written for 16 years, a
2 comprehensive textbook, and so we decided, as a committee, that
3 we would proceed with trying to get one written and published.
4 And I volunteered to be the editor in chief.
5 "Q. Dr. Paul," this is on page 75/line 14, "turning your
6 attention to page 136 of Paul Exhibit 6, is there a section
7 entitled 'Intact Dilation and Evacuation'? Is that correct?
8 "A. That's right.
9 "Q. And does the following text accurately describe an intact
10 dilation and evacuation procedure?
11 "A. I would say it describes some scenarios, yes. There's a
12 lot of information in here, so I find the question a little bit
13 general. But it does describe some of the ways that intact
14 dilation and evacuation is achieved.
15 "Q. Have you also heard the term 'dilation and extraction' or
16 "D&X" for this procedure?
17 "A. Yes, I have.
18 "Q. Have you ever heard the term 'partial-birth abortion' used
19 for this procedure?
20 "A. I don't recognize that as a medical term, but I have heard
21 the term."
22 On page 77/line 11.
23 "Q. In your view, is an intact D&E only used in cases of
24 advanced compromised pregnancies?
25 "A. No.

1439

447rnat5 "Paul
1 "Q. What would be some of the other circumstances in which a
2 physician might use an intact D&E?
3 "A. I perform an intact D&E whenever I can, whenever cervical
4 dilation is sufficient for me to extract the fetus intact,
5 because I believe it's safer than a D&E which requires several
6 instrument passes.
7 "Q. Let me follow up with some questions on that. You have
8 performed some intact D&E procedures?
9 "A. Yes, I have.
10 "Q. Can you give me an idea of how many you performed over the
11 duration of your career.
12 "A. 1 in 10 to 1 in 20 D&E procedures that I do are intact
13 D&E's. So if I've done 2,000 D&E's, a couple hundred."
14 Reading from page 78/line 22.
15 "Q. In the case of a dismemberment or disarticulation D&E, is
16 dilation ever accomplished using multiple serial osmotic
17 dilators over two days or more?
18 "A. Ever? Sure.
19 "Q. Could you just give me some examples of when that would
20 occur."
21 Reading from page 91/line 10.
22 "Q. Can you go through the process of the intact D&E's that you
23 say you've performed.
24 "A. Describe generally?
25 "Q. Describe generally.

447rnat5 "Paul

1 "A. How I do them?

2 "Q. Yeah, exactly.

3 "A. I insert the speculum. I don't know until I'm presented
4 with the cervix whether in fact I'm going to be able to do an
5 intact procedure. I -- sometimes there will be a fetal foot
6 that will be presenting at the cervical os, which is the
7 opening. Sometimes when I put my forceps in and begin to
8 extract the fetus, generally the foot or feet, right, I will
9 notice that there's enough dilation to extract the fetus right
10 down to the neck.

11 "Generally what happens is that the calvarium, the
12 skull, will get lodged in the internal os because there's
13 insufficient dilation for the whole fetus to deliver. So I
14 have to do some kind of maneuver to reduce the size of the
15 skull in order to bring the fetus through intact. Generally, I
16 do that with a forceps, just by nipping at the skull until it
17 collapses. Then I'm able to bring the whole fetus out."

18 Reading from line 19 on page 92.

19 "Q. At what point during the procedure did you have an idea
20 that it might become an intact delivery?

21 "A. Sometimes I can see that the cervix is quite widely dilated
22 and that it's likely. Sometimes it's not until I actually
23 extracted the fetus to the neck."

24 Reading from page 96/line 8.

25 "Q. Have you ever performed an intact D&E procedure of a fetus

1441

447rnat5 "Paul

1 in the vertex position?

2 "A. Not that I recall. I -- gosh, I think I'm going to take
3 that back. Because I define intact D&E as just the -- the
4 fetus comes out intact. So I would have to say yes.

5 "Q. Can you tell me when that may have been, if you can recall?

6 "A. I think throughout my career occasionally, when the fetus
7 would present vertex, I was able to extract the whole fetus
8 intact."

9 At page 97/line 23.

10 "Q. You've mention that had in doing a D&E procedure it's
11 preferable to have the fetus delivered as intact as possible.
12 Can you tell me why that is.

13 "A. I believe it's safer because there's fewer instrument
14 passes and because I know that I've extracted the whole fetus,
15 and so the risk of having retained fetal parts or retained
16 tissue is virtually eliminated.

17 "Q. Why does the lessening of instrument passes make the
18 procedure safer, in your view?

19 "A. Because the forceps can perforate the uterus or cause a
20 cervical laceration.

21 "Q. In performing disarticulation or dismemberment D&E's, have
22 you ever had a situation in which there has been a perforation
23 by the forceps?

24 "A. Yes.

25 "Q. How many times?

447rnat5 "Paul

- 1 "A. In my entire career, two, I believe.
 2 "Q. And do you recall anything about the circumstances of those
 3 cases?
 4 "A. The one I recall most vividly is when we needed to do a
 5 hysterotomy because of it.
 6 "Q. Going back to some of your testimony earlier, is it fair to
 7 say, when you begin a D&E procedure, you do not know whether
 8 the fetus will be delivered intact or not?
 9 "A. That's fair, yes."
 10 At page 99/line 8.
 11 "Q. Is it fair to say that your judgment that it is safer is
 12 based on an intuitive assessment?
 13 "A. Yes, and my own experience.
 14 "Q. In your view, are there any risks to performing an intact
 15 D&E procedure?
 16 "A. There's risks, albeit small, to any D&E procedure.
 17 "Q. Are there any risks that would be unique to an intact D&E
 18 procedure?
 19 "A. No, I don't believe so."
 20 Line 21 of the same page.
 21 "Q. If you accomplish an intact D&E procedure, would that be
 22 something that would be listed on the medical chart?
 23 "A. No."
 24 At page 101/line 9.
 25 "Q. I want to go back to what I believe is Paul Exhibit 6,

1443

447rnat5 "Paul

- 1 which is chapter 10 of your text. If you could turn to page
 2 125, the second column, the second-to-last paragraph says,
 3 'Grieving is important for the parents of an anomalous fetus,
 4 and seeing and holding the fetus are important components of
 5 healing.'
 6 I should emphasize, your Honor, that the quotation in
 7 the text begins at the word "grieving." Continuing:
 8 "'Their needs may be better met if intact fetus,
 9 intact D&E procedure, although reconstruction in a swaddling
 10 blanket after nonintact D&E is possible in some cases.'
 11 At page 102/line 3.
 12 "Do you agree with both sentences?
 13 "A. Generally, yes. It's of course different for different
 14 patients."
 15 At page 105/line 7.
 16 "Q. If I could turn your attention to Paul Exhibit 2, which is
 17 the declaration that you've submitted in this case, and I'll
 18 direct your attention to page 5, paragraph 13. The first
 19 sentence of that paragraph reads, 'Central to safe medical
 20 practice in the context of abortion ('or any other medical
 21 matter') is the ability of the physician to exercise
 22 appropriate medical judgment in planning a medical procedure
 23 and responding to unplanned events in the course of the
 24 procedure.' Can you tell me what you mean by 'appropriate
 25 medical judgment.'

447rnat5 "Paul

1 "A. Yes. I just mean that you cannot predict in many areas of
2 medicine exactly what you'll be faced with when caring for a
3 patient. It's important that you're able to exercise your
4 medical judgment to carry out the procedure in the safest way
5 possible."

6 On page 106/line 1.

7 "Q. When a physician exercises his or her judgment, what kinds
8 of factors do they consider?

9 "A. You may consider patient preference. Certainly you would
10 consider safety. You may consider what's the most expeditious
11 way of accomplishing something. Those would be the main
12 things.

13 "Q. And one of the factors you mentioned was safety. How does
14 a physician determine whether a particular procedure is safe?

15 "A. I think from a combination of knowledge that's gathered
16 from the medical literature and clinical experience.

17 "Q. And is it typical that in gaining that information through
18 clinical experience, that information ultimately will be
19 subject to some kind of study or review?

20 "A. I would not say that that's typical. I think there's many,
21 many things that are done in medicine that are not subject to
22 study.

23 "Q. Within the field of abortion outside the context of intact
24 D&E, can you give me some examples of procedures that are not
25 studied?

1445

447rnat5 "Paul

1 "A. There's many variations that can occur, even in the course
2 of an abortion, that have not been studied, particularly, for
3 instance, comparison of different pain medicines that could be
4 given ahead of time, the use of one type of forcep versus
5 another, so choice of instruments, any kind of really
6 refinement in degrees of dilation. I mean, there are several
7 examples of, you know, just minor variants and techniques that
8 are not subject to study.

9 Page 109/line 2.

10 "Q. I'm turning your attention to the second sentence. I will
11 read that. It says, 'The Act thus denies physicians the
12 necessary discretion to provide medical care with the safety
13 and health of their patients as their foremost concern.' In
14 your experience, was there ever a situation in which an intact
15 D&E was necessary to preserve the life or health of the mother?

16 "A. I think in terms of preserving health, it's really
17 synonymous to say that you're using the safest method possible.
18 And so, yes, in my experience, when I perform an intact D&E, I
19 do think that it is preserving the health of the mother,
20 because I believe it to be the safest way to proceed at that
21 time.

22 "Q. And is it also fair to say, though, based on your earlier
23 testimony, that you do not know, when you begin a D&E
24 procedure, whether it will result in an intact D&E?

25 "A. That's right."

447rnat5 "Paul

1 At page 111/line 6.
2 "Q. Turning to paragraph 21, the last sentence reads, 'In my
3 experience, a disproportionate number of women who have
4 abortions in the second trimester do so because of maternal
5 health reasons or fetal anomalies or are pregnant as a result
6 of rape or incest.' Do you have a rough estimate -- when you
7 say 'a disproportionate number,' are you talking about 50
8 percent or 75 percent or are you able to quantify it in any
9 way?
10 "A. What I mean by this sentence is that compared to first
11 trimester abortions --
12 "Q. I understand.
13 "A. -- a disproportionate number of women having second
14 trimester abortions will do so because of those reasons.
15 "Q. If you could turn your attention to page 9, paragraph 26,
16 which discusses the lack of health exception of the Partial-
17 Birth Abortion Ban Act, mid-way through that paragraph the
18 sentence reads, 'There are other instances as well where
19 continued pregnancy might seriously compromise a woman's
20 health, perhaps permanently, but where her life might not be
21 immediately at risk.' Can you give me some of those or
22 examples of those instances where continued pregnancy might
23 compromise a woman's health but not put her life immediately at
24 risk?
25 "A. Infection.

1447

447rnat5 "Paul

1 "Q. What kind of --
2 "A. Cardiac.
3 "Q. I'm sorry?
4 "A. Some cardiac conditions. Diabetes I already mentioned in
5 there.
6 "Q. What kind of -- any specific kind of infection?
7 "A. Pelvic infection.
8 "Q. And with respect to the cardiac conditions, is there any
9 specific cardiac condition you are referring to?
10 "A. Valvular heart disease. There are a number of cardiac
11 conditions that can be taxed by pregnancy."
12 At page 114/line 22.
13 "Q. At the bottom of the page, section 1531 subsection (a), the
14 sentence reads, 'This subsection does not apply to a partial-
15 birth abortion that is necessary to save the life of a mother
16 whose life is endangered by a physical disorder, physical
17 illness, or physical injury, including a life-endangering
18 physical conditioning caused by or arising from pregnancy
19 itself.' Is that the subsection of the law that you were
20 referring to in paragraph 27?
21 "A. Yes.
22 "Q. And in the first sentence you say that under a possible
23 reading of the law the exception would never apply. And my
24 question is that by modifying the word 'reading' with the word
25 'possible,' does that mean that there are other possible

447rnat5 "Paul

1 readings or interpretations of the law?
2 "A. What it means is that the law is ambiguously written, in my
3 opinion, and especially the word 'necessary.' Who deems what's
4 necessary? The point I'm making in this paragraph is as long
5 as there are other procedures available, like even hysterotomy,
6 then one might argue that it's never necessary to do the
7 procedure as described in the law. But it's not acceptable to
8 me. What I go on to say is that hysterotomy is never an
9 acceptable alternative because it's not nearly as safe as other
10 methods.
11 "Q. Is it possible that there may be a different reading of the
12 law that would allow the decision whether to perform this
13 procedure to save the life of a mother, that that decision can
14 be based on the physician's own judgment?
15 "A. You're going to have to repeat that.
16 "Q. Let me repeat it. Is it possible that another possible
17 reading of the law is that the determination of whether this,
18 an intact D&E, can be used to save the life of the mother is
19 left to the discretion of the physician?
20 "A. It definitely does not say anything about the discretion of
21 the physician in the law. I would say that's one of the
22 problems I have with it."
23 Reading at page 120/line 7.
24 "Q. Let me ask you, in your practice, now I'm talking both at
25 Planned Parenthood Golden Gate and during your career, are you

1449

447rnat5 "Paul

1 aware of situations in which an intact D&E was necessary to
2 preserve the health of the mother?
3 "A. In the sense that the health of the mother is linked to the
4 safety of the procedure, I have performed intact D&E's because
5 I felt it was the safest way to proceed and, therefore, that
6 affected the health of the mother.
7 "Q. Is there any specific maternal condition for which you
8 think an intact D&E is safer than a dismemberment D&E or an
9 induction procedure?
10 "A. There are conditions that I could -- that I would think
11 would apply even if I haven't done them particularly in my
12 practice.
13 "Q. And what would those be?
14 "A. One is pelvic infection.
15 "Q. When we spoke earlier, I think you had said pelvic
16 infection, cardiac conditions, diabetes. Those are the
17 conditions you're talking about?
18 "A. Those are examples of some of the conditions I'm talking
19 about where this procedure of an intact D&E may be safer than
20 other alternatives."
21 At page 124/line 1.
22 "Q. Turning your attention to paragraph 60 on page 18, it
23 reads, 'When beginning a D&E or induction procedure, the
24 physicians cannot know or predict if it will proceed in a
25 manner that violates the Act.' Dr. Paul, is that consistent

447rnat5 "Paul

1 with your experience in performing intact D&E procedures?

2 "A. Yes."

3 At page 126/line 21.

4 "Q. If you can recall, did the Autry study compare labor
5 induction with the more recent methods of induction to
6 dismemberment or disarticulation D&E only, or did it also
7 compare the newer methods of labor induction to intact D&E?

8 "A. It didn't make the distinction. Because intact D&E is a
9 variant of D&E, it may have included some cases of intact. I
10 don't know. It didn't address that in the article.

11 "Q. In the last paragraph of your rebuttal expert report, you
12 note that 'In addition to painful labor contractions, many
13 women experience nausea, vomiting, diarrhea, and fever from the
14 vaginal prostaglandins used for the induction.' Is nausea or
15 vomiting or diarrhea, is that a complication of induction or
16 would that be a side effect of induction?

17 "A. It's a side effect.

18 "Q. And can you explain the difference between a complication
19 and a side effect for me.

20 "A. Some of it is probably just historical in how things got
21 categorized. But nausea, vomiting, diarrhea are adverse
22 effects from the medication. They're not complications that
23 result from the procedure itself. There's usually standard
24 complications that are looked at in regard to abortion, things
25 like hemorrhage, infection. This is clearly different. These

1451

447rnat5 "Paul

1 are side effects of the agent used to induce.

2 "Q. And are those side effects common to the use of
3 prostaglandins in labor induction?

4 "A. Yes."

5 Reading from page 133/line 1.

6 "Q. Is an amniocentesis a difficult procedure to perform?

7 "A. It can be."

8 At page 137/line 10.

9 "Q. I'm sorry. I misspoke. It's Paul Exhibit 3. If I could
10 turn your attention to page 4, paragraph 19. The first
11 sentence reads, 'The absence of controlled studies involving
12 D&E's in which the fetus is removed intact or largely intact
13 does not cast doubt on the safety of such abortions.' Do you
14 agree with that statement categorically?

15 "A. Categorically. I generally agree with that statement,
16 that's right.

17 "Q. When you say generally agree with that statement, what do
18 you mean by that?

19 "A. That there are good studies that show the safety of D&E
20 abortion, and it's my opinion that intact D&E is a variant of
21 D&E abortion in that in fact many of the studies that were
22 probably done in the past included cases of intact. I don't
23 think it's necessarily true that those studies had to have
24 mentioned intact as a separate entity, because I regard it, and
25 I think many physicians regard it, as just a variant. So I do,

447rnat5

"Paul

1 I do believe that there probably has been more research than is
2 credited on the technique.

3 "And I think in the -- if you look at evidence-based
4 medicine, that one of the tenets of evidence-based medicine is
5 that if there are no studies, no evidence that actually proves
6 that a specific procedure is superior to another procedure,
7 then it's at the discretion of the physician to use whatever
8 option he or she believes is safest and best to use in those
9 circumstances. And in my experience, intact D&E is safer.

10 "Q. Does the absence of controlled studies involving intact D&E
11 give you any pause whatsoever?

12 "A. Not in my clinical practice, no."

13 Your Honor, that concludes the reading of the
14 plaintiffs' designated portions of the Dr. Paul deposition. I
15 would like at this time to move the admission into evidence of
16 Plaintiffs' Trial Exhibit 116, which is the curriculum vitae
17 for Maureen E. Paul, MD, MPH.

18 THE COURT: Any objection?

19 MS. WOLSTEIN: No, your Honor, no objection.

20 THE COURT: It will be received.

21 (Plaintiff's Exhibit 116 received in evidence)

22 MR. HUT: At the break, shortly before the break, your
23 law clerk asked us to identify any objections we had to
24 counterdesignations by the defendant. We have no objections.

25 THE COURT: All right. If the government wants to go

1453

447rnat5

"Paul

1 ahead, read the counterdesignation.

2 MS. WOLSTEIN: Thank you, your Honor. This is
3 Elizabeth Wolstein. I will be reading the questions that were
4 asked by Mr. Quinhoven, and Ms. Amy Goldman will be reading the
5 answers. She is a paralegal in our office.

6 THE COURT: Fine. Go right ahead.

7 MS. WOLSTEIN: Your Honor, I have omitted a couple of
8 counterdesignations that appear on our list. I can either
9 inform Mr. Hut at the outset or just skip them.

10 THE COURT: You are not compelled to offer anything.
11 I have already ruled on his, he has read it. Go ahead and read
12 what you want.

13 MS. WOLSTEIN: Starting at page 18/line 8.

14 "Q. Do you intend to give testimony about any other matters
15 that are not set forth in any of those three documents?

16 "A. No, I don't."

17 Page 57/line 25.

18 "Q. Is there a standard protocol of any form for D&E's at
19 Planned Parenthood Golden Gate?

20 "A. We have a protocol for second trimester abortions, yes.

21 "Q. And what is that protocol?

22 "A. It has various components. Everything ranging from
23 preoperative evaluation and preparation of the patient, to the
24 procedure, to postoperative care."

25 Page 60/line 19.

447rnat5

"Paul

1 "Q. Do you ever try to exceed dilation beyond or in excess of,
2 slightly exceeding the diameter of the cannula?

3 "A. My goal is to get as much dilation as possible with one
4 laminaria insertion generally, and I'm happy if it exceeds the
5 16-millimeter diameter, because, in my experience, the
6 procedure is easier the greater the dilation you have."

7 Page 66/line 1011.

8 "Q. Can you tell me in that tenth case what happens that's
9 different from disarticulation or dismemberment during the D&E
10 procedure.

11 "A. What's different is that the dilation is adequate to allow
12 extraction of the fetus intact."

13 Page 66/line 21.

14 "Q. Dr. Paul, in a D&E procedure in which disarticulation or
15 dismemberment occurs, how does the disarticulation or
16 dismemberment, how does that take place?

17 "A. It takes place by extracting a fetal part, which generally
18 causes counterpressure at the internal os of the cervix, at
19 which point the piece will disarticulate."

20 Page 67/line 11.

21 "Q. Do you change your procedure during different gestational
22 ages? And let me just say during, say, weeks 14 to 16 weeks.

23 Is there a difference between how you would perform a D&E
24 during 14 and 16 weeks and, say, between 16 and 18 weeks?

25 "A. The terminology of D&E gets a little confusing, because

1455

447rnat5

"Paul

1 some people refer to a D&E for any second trimester procedure
2 in which the fetus is extracted. And I tend to use vacuum
3 aspiration without forceps at gestational ages of 14 and 15
4 weeks. Sometimes I have to use forceps, but I'm more likely to
5 be able to accomplish the abortion without the use of forceps.
6 At 16 weeks and up I must invariably use forceps."

7 Page 68/line 1.

8 "Q. And is there any difference between how you use the forceps
9 at, say, 16 weeks' gestational age versus 18 weeks' gestational
10 age?

11 "A. It's not really dependent on gestation. I may use a
12 different instrument. I may use the -- as I said earlier, I
13 may be more likely to use a Bierer forceps in the later
14 gestations over the earlier gestations over a Sopher forcep in
15 the earlier gestations."

16 Page 69/line 5.

17 "Q. And in using laminaria, how many sticks would you commonly
18 use or is it fairly dependent on the circumstances of each
19 woman's case?

20 "A. I like to use the most number of laminaria that the cervix
21 will accommodate with a snug fit.

22 "Q. Is there any typical amount of laminaria that you use?

23 "A. I have minimum criteria that I like to use. I generally
24 use -- large is my reference point. Large laminaria is my
25 reference point. So at 17 weeks and up I like to have at least

447rnat5 "Paul

1 3, and at 18 weeks I like to have at least 4 laminaria
2 inserted. For early gestations, like 15, 16 weeks, two large
3 laminaria might suffice. But that's a minimum criteria I want
4 to emphasize. My practice is to insert as many as will
5 comfortably go into the cervix."

6 Page 69/line 24.

7 "Q. What's the most number that you've ever used, say, at 17
8 weeks gestational age, if you can recall?

9 "A. I don't recall exactly. I believe 7 or 8.

10 "Q. Same question at 18 weeks' gestational age: Would it also
11 be 7 or 8?

12 "A. 7 or 8.

13 "Q. How long does dilation usually take when you're doing a
14 dismemberment or disarticulation D&E procedure?

15 "A. It depends on the gestational age and the dilating agents
16 used.

17 "Q. Let's start with using laminaria.

18 "A. Laminaria. You receive optimal dilation at greater than
19 the 6 hours, so we generally use laminaria for overnight
20 dilation."

21 Page 74/line 12.

22 "Q. And how did you go about selecting contributors for the
23 various chapters?

24 "A. Brainstorming with the committee, brainstorming with each
25 other, being aware of the medical literature and who had

1457

447rnat5 "Paul

1 written it, who were recognized experts. We also wanted to
2 involve as many NAF members as possible, because it was a NAF
3 textbook."

4 Page 76/line 14.

5 "Q. Is 'intact D&E' or 'D&X' a medical term?

6 "A. I have seen it in medical writings and textbooks.

7 "Q. In your mind, is there any differentiation between an
8 intact D&E and a D&X procedure?

9 "A. I use them synonymously."

10 Page 81/line 22.

11 "Q. And turning to the next paragraph, it talks about a
12 presentation by Dr. McMahon of a 13-year personal series of
13 1,362 intact D&E cases. Have you personally reviewed any of
14 Dr. McMahon's data?

15 "A. I've read the presentation and heard the presentation that
16 he gave at NAF.

17 "Q. To your knowledge, was that presentation ever subjected to
18 peer review?

19 "A. No.

20 "Q. Was it ever presented as part of a prospective randomized
21 study?

22 "A. I'm not sure I understand that question.

23 "Q. Was the data that he reported part of a prospective
24 randomized study?

25 "A. No.

447rnat5 "Paul
1 "Q. Was it a retrospective case or cohort study?
2 "A. It was a case series.
3 "Q. And describe -- explain to me the difference between case
4 series and a cohort study.
5 "A. There was no comparison group."
6 Page 83/line 2. This is a rereading of the record.
7 "Q. Are you aware of any prospective randomized trials that
8 compare the safety of the procedure utilized and described here
9 that was utilized by Dr. McMahon as compared to a
10 disarticulation or dismemberment D&E?
11 "A. No, I'm not."
12 Page 84/line 19.
13 "Q. I'm looking at the next paragraph and the carryover
14 sentence from page 136 to 137 that says, 'Dilation was
15 sufficient to enable most complex presentations to be converted
16 digitally or with conversion forceps to vertex or breech
17 presentation. In your experience performing disarticulation or
18 dismemberment D&E's, have you ever achieved the level of
19 dilation that's described in that sentence?
20 "A. Well, I don't convert the fetus, so I'm not sure exactly
21 what you -- what you mean by -- you know, in my practice what
22 the level of dilation would be."
23 Page 87/line 2.
24 "Q. Are you familiar with the data that Dr. Haskell is
25 reporting? Have you personally reviewed the data?

1459

447rnat5 "Paul
1 "A. No."
2 Page 91/line 1.
3 "Q. In your experience, is there a particular week of
4 gestational age at which the fetal tissue becomes more
5 difficult to break apart when doing a disarticulation or
6 dismemberment D&E?
7 "A. In my experience, it's more difficult at 18 weeks than it
8 is at 16 weeks. In general, I think it's harder as the
9 gestation advances."
10 Page 92/line 25.
11 "Q. And when you talked about when the head gets, I guess, I
12 don't know if the word is 'stuck,' but when the head is stuck
13 in the cervical os, do you ever use additional dilation to try
14 to remove the head?
15 "A. No.
16 "Q. Is there any reason why?
17 "A. Well, you really can't use additional dilation once you
18 have the fetus in the cervical canal.
19 Q. Are you aware of any other physicians who use additional
20 dilation when a head gets stuck in the cervical canal?
21 "A. At that time, no.
22 "Q. In your view, does the intact D&E procedure ordinarily
23 involve a breech presentation?
24 "A. In my practice, I do the intacts with breech
25 presentations."

447rnat5 "Paul

1 Page 95/line 6.
2 "Q. Doctor Paul, we were talking before about the intact D&E
3 procedure as performed by Dr. McMahon and the procedure
4 performed by Dr. Haskell and the procedure defined by ACOG.
5 And I think you said that you had never performed any one of
6 those procedures. Is that right?
7 "A. I think I said I'd like to talk about -- if I'm going to
8 talk about specific procedures, I'd like to have the
9 descriptions in front of me.
10 "Q. OK.
11 "A. But I did say that in terms of the description that was in
12 the textbook, that I had not performed that specific procedure.
13 "Q. OK. Thank you. Do you know anyone at Planned Parenthood
14 Golden Gate who has performed the procedure as described in
15 chapter 10 of the textbook?
16 A. No.
17 "Q. How about when you were at the University of Massachusetts;
18 were you familiar with anyone who performed the procedure as
19 described in the textbook?
20 "A. No.
21 "Q. And when you were at the University of Massachusetts, did
22 you ever perform the procedure as described in the textbook?
23 "A. Specific procedure described in the textbook, no."
24 Page 97/line 7.
25 "Q. Let me withdraw that and let me ask you, which techniques

1461

447rnat5 "Paul

1 or procedures do you use to cause fetal demise in a vertex
2 presentation?
3 "A. Collapsing the skull.
4 "Q. Is that with the forceps?
5 "A. Yes."
6 Page 99/line 1.
7 "Q. In terms of lessening the number of instrument passes in a
8 D&E procedure, are you aware of any peer-reviewed studies that
9 have discussed whether an intact D&E is safer than a
10 dismemberment or disarticulation D&E?
11 "A. There are no comparison studies that I'm aware of, no."
12 Page 100/line 3.
13 "Q. Do you list on medical charts the type of dilation that you
14 use in either an intact D&E or a dismemberment D&E?
15 "A. Yes.
16 "Q. Did the medical charts reveal how much dilation was
17 achieved prior to the beginning of the procedure?
18 "A. No."
19 Page 102/line 6.
20 "Q. Can you tell me what your experience has been in terms of
21 the need of a woman or a parent or parents to hold the fetus
22 after an abortion procedure?
23 "A. After an abortion procedure for an anomalous fetus,
24 which -- I would say this. First of all, most of the abortions
25 that I have done for anomalous fetuses have been induction

447rnat5

"Paul

1 abortions, because they occurred at the university. They were
2 hospital-based. And we don't do a lot for anomalous fetuses at
3 Planned Parenthood Golden Gate in the out-patient setting.

4 "I distinctly remember at the university that we would
5 encourage -- the social workers would actually encourage the
6 parents of wanted pregnancies who had fetuses with serious
7 malformations that, where they chose termination, to hold the
8 fetus afterwards. So I have very vivid memories of the fetus
9 being swaddled and presented to the parents as a component of
10 their healing.

11 "Q. And were those, to your recollection, all after induction
12 procedures or were there some D&E procedures as well?

13 "A. At the University of Massachusetts we didn't do D&E
14 procedures.

15 "Q. So they were all induction procedures?

16 "A. Yes."

17 Page 103/line 14.

18 "Q. The next sentence reads, "Traditionally, labor induction
19 abortion has offered the advantage of intact fetal salvage for
20 documentation of suspected genetic and structural
21 abnormalities.' Do you agree with that sentence?

22 "A. Yes. That's an advantage of induction."

23 Page 108/line 21.

24 "Q. Are you opposed in principle to legislative bodies
25 regulating which medical procedures a physician can or cannot

1463

447rnat5

"Paul

1 use?

2 "A. In general, I feel that it's not the place of legislative
3 bodies to regulate the practice of medicine in terms of to that
4 degree."

5 Page 113/line 13. I'm sorry. I just noticed a
6 mistake in our designations. It should be 113/line 13 through
7 18 rather than 13 through 16.

8 MR. HUT: We have no objection to that, your Honor.

9 MS. WOLSTEIN: I apologize for that.

10 THE COURT: There being no objection, go right ahead.

11 MS. WOLSTEIN: Page 113/line 13.

12 "Q. Are you aware of any studies comparing intact D&E with
13 either dismemberment or disarticulation D&E?

14 "A. No.

15 "Q. Or induction in terms of safety?

16 "A. No."

17 Page 121/line 7.

18 "Q. And I know I may have asked you this before, and if I have
19 I apologize. Have you ever had experience with a woman facing
20 one of those conditions in which you used an intact D&E?

21 "A. No."

22 Page 130/line 19.

23 "Q. To your knowledge, have injections of digoxin to ensure
24 fetal demise been proven to be safe for the mother?

25 "A. There are articles that testified to its -- to the safety.

447rnat5

"Paul

1 There's also -- I know I was speaking to Dr. Drey, and she told
2 me that there is a case of a serious maternal complication from
3 digoxin. I can't remember. It was recent, and I can't
4 remember exactly the nature of the case, but --

5 "Q. Let me --

6 "A. So anecdotal cases of complications. But generally in the
7 series that I've read about, it's generally safe."

8 Page 132/line 5.

9 "Q. Is an injection of potassium chloride similar to an
10 amniocentesis?

11 "A. An intraamniotic injection, yes, is similar to an
12 amniocentesis, yes."

13 Page 139/line 16.

14 "Q. If there is an existing alternative procedure available, is
15 there a greater requirement that the variant of that procedure
16 be subjected at some time to a controlled study to determine
17 whether it is safe?

18 "A. I think it's optimal to have comparison studies, but I
19 don't believe that it's unethical to use procedures before
20 those controlled studies are done."

21 Page 140/line 15.

22 "Q. You also testified that optimally a new procedure would be
23 subjected to controlled studies, is that correct?

24 "A. Ideally, yes. I would never argue against evidence-based
25 practice.

1465

447rnat5

"Paul

1 "Q. Let me ask you a couple of quick questions. Is the concept
2 of evidence-based medicine controversial in the medical field?

3 "A. I don't believe so.

4 "Q. Has it come to be accepted over the period of the last,
5 say, 10, 15, 20 years?

6 "A. I think it's accepted, increasingly accepted, yes.

7 "Q. To your knowledge, in the field of surgical procedures, has
8 the evidence-based medicine approach increasingly become
9 adopted as well?

10 "A. I think in all specialties and areas of medicine it's
11 increasingly adopted."

12 Your Honor, we have no further designations.

13 THE COURT: Fine. Mr. Hut, do you want to start
14 reading the transcript of the other doctor?

15 MR. HUT: I think it probably makes sense to, your
16 Honor, given the possible constraints on time. That will be
17 handled by Ms. Chaiten.

18 (Continued on next page)

19

20

21

22

23

24

25

4475nat6

1 THE COURT: We have already had rulings on the
2 government's objections, correct?
3 MS. CHAITEN: We have had rulings on the government's
4 objections but --
5 THE COURT: But not as to the counter-designation,
6 correct?
7 MS. CHAITEN: Yes. And, your Honor, this afternoon,
8 sitting in the courtroom, I wrote out the couple of objections
9 we have to the counter-designations and can provide them to the
10 Court and to the government at this time and we can deal with
11 them, I guess, as they come up if the Court wishes or
12 perhaps --
13 THE COURT: I don't know if we will get to that.
14 MS. CHAITEN: We may not get to them today, so.
15 THE COURT: Let's see how far we get and then we will
16 worry about that problem.
17 MS. CHAITEN: I think since they're handwritten I am
18 going to give both copies to the government and compare them to
19 make sure they don't have any problems with my submitting to
20 the Court.
21 Is that okay, your Honor?
22 THE COURT: Sure, sure. Why don't we get on with what
23 we have and we will worry about how far -- how many are there?
24 MS. CHAITEN: Only a couple. There is a couple
25 completeness objections, that's all.

1467

4475nat6

1 I also would ask that I be permitted to provide a copy
2 of the transcript, or the pages of the transcript that have
3 been designated, to the Court's law clerk.
4 THE COURT: Sure.
5 MS. CHAITEN: And the two other little points.
6 Actually, there some pages of the transcript that have
7 been designated confidential. It appears that those pages are
8 designated as confidential because the document that was in
9 front of the witness is a confidential document. So, unless
10 there is any objection and with the Court's permission, what I
11 will do is simply read those portions that are designations and
12 refer to the document as "exhibit" and that way, hopefully,
13 avoid any concerns about confidentiality.
14 MS. WOLSTEIN: That's fine, your Honor.
15 THE COURT: No objection from the government. All
16 right.
17 MS. CHAITEN: The final little housekeeping point is
18 we actually designated a couple of rebuttal designations. And
19 in light of the counter-designations of the government we do
20 want to submit them.
21 So I will, for efficiency's sake as long as it is okay
22 with the Court and there is no objection, I will simply read
23 them when I read our initial decision anyways.
24 THE COURT: Unless there is objection from the
25 government I have no way of knowing, but if there is no

4475nat6

1 objection from the government -- but are they going to have
 2 time to look at your rebuttal?
 3 MS. WOLSTEIN: I'm not sure we have seen them.
 4 MS. CHAITEN: They're in the pretrial order. They
 5 were done with the initial designations. They're the last two
 6 on the list and they say rebuttal.
 7 MS. WOLSTEIN: Okay, fine.
 8 THE COURT: No problem then?
 9 MS. WOLSTEIN: That's fine, your Honor.
 10 THE COURT: Okay.
 11 MS. CHAITEN: So I will be reading for Ms. Kaya Clark,
 12 who is a government lawyer; and my colleague, Ms. Liu, will be
 13 reading for the witness.
 14 THE COURT: Very well.
 15 MS. CHAITEN: Beginning on page 6, line 5:
 16 "Q For the record, Dr. Creinin, please state your
 17 full name.
 18 "A Mitchell D. Creinin. C-R-E-I-N-I-N."
 19 MS. CHAITEN: This deposition is actually the
 20 deposition of Mitchell D. Creinin and it occurred on February
 21 27th, 2004. I am not quickly finding where it happened on the
 22 transcript. Perhaps we can provide that later.
 23 Again, page 6, line 5:
 24 "Q For the record, Dr. Creinin, please state your
 25 full name.

1469

4475nat6

"Creinin -

1 "A Mitchell D. Creinin. C-R-E-I-N-I-N".
 2 MS. CHAITEN: Page 27, line 21:
 3 "Q Dr. Creinin, are you a board certified OB/GYN?
 4 "A Yes.
 5 "Q Currently?
 6 "A Yes.
 7 "Q Are you board certified in maternal fetal
 8 medicine?
 9 "A No.
 10 "Q Do have you any specialities?
 11 "A Yes.
 12 "Q Do you have any board certified specialties?
 13 "A No.
 14 "Q When you said yes, that you have specialities,
 15 what were you referring to?
 16 "A A speciality is within obstetrics and gynecology,
 17 that I am a specialist in family planning contraception."
 18 MS. CHAITEN: Turning to page 33, line 16:
 19 "Q I will talk to you about the number of abortions
 20 you have performed. How many abortions, approximately, do you
 21 perform on an annual basis?
 22 "A I would ask for clarification.
 23 "Q Any abortion.
 24 "A Any abortion. 500. It will vary from year to
 25 year. Roughly start with 500. It could be 400, it could be

4475nat6 "Creinin -
 1 800, depending on the year.
 2 "Q In the last year, roughly how many abortions did
 3 you provide?
 4 "A Roughly 500. I would stress roughly."
 5 MS. CHAITEN: Then proceeding to line 11:
 6 "Q How many abortions, roughly in the last year, did
 7 you perform in the first 14 weeks' gestation age?
 8 "A 400. 350 to 400, purely a guess.
 9 "Q I guess would that leave roughly 100 to 150 in
 10 the last year that you have done 15 weeks and beyond?
 11 "A Correct.
 12 "Q In the last year, approximately how many D&E
 13 abortions have you done in the 18 to 24 week time frame?
 14 "A About a hundred.
 15 "Q Would you estimate that between 15 and 18 weeks
 16 you did about 50?
 17 "A Correct.
 18 "Q In the last year, approximately how many D&E
 19 abortions did you do between 18 and 20 weeks?
 20 "A 40 to 50.
 21 "Q Roughly how many between 20 to 22 weeks?
 22 "A Very roughly 30 to 35.
 23 "Q In the last year roughly how many between 20 to
 24 24 weeks?
 25 "A You can do the math. If you want to hand me the

1471

4475nat6 "Creinin -
 1 numbers I would be happy to look at them and add them for you.
 2 You are getting to the point where I am losing track of the
 3 numbers in my head.
 4 "Q I will understand if these don't add up.
 5 "A Whatever is left over is my answer.
 6 "Q You said between 18 to 24 you had roughly 100, is
 7 that correct? So, 40 to 50 between 18 to 20 weeks, is that
 8 about 45 right there? And then 30 to 35 for the next -- we
 9 will just say 35. So we are at 80, so approximately 20 --
 10 between 20 to 24, does that sound right?
 11 "A Between 22 and 24?
 12 "Q Yes, in the last year.
 13 "A It may be slightly higher, 20 to 30 but, again,
 14 this is all a lot of guessing and estimating."
 15 MS. CHAITEN: Proceeding now to page 58, line 22.
 16 And, your Honor, this is the portion that has been
 17 designated as confidential and again, I will simply replace any
 18 reference to the actual exhibit with the word "exhibit."
 19 "Q On the exhibit that I gave you, if you turn to
 20 the bottom, PPFA 490 this is, for the record, Exhibit 5. If
 21 you read down in the paragraph that begins 'Midtrimester
 22 abortion is more complex than abortion performed earlier in
 23 pregnancy.' See that paragraph?
 24 "A On which page?
 25 "Q PPFA 491.

4475nat6 "Creinin -

1 "A Okay.
 2 "Q If you read down it says, 'Midtrimester abortion
 3 is more complex than abortion performed earlier in pregnancy.'
 4 "See that paragraph?
 5 "A Yes.
 6 "Q Then the third paragraph in that sentence states,
 7 'However, compared with the other methods available, after 16th
 8 week of pregnancy injection of saline or prostaglandins inside
 9 the uterus) there is less risk with D&E of bleeding, infection
 10 and incomplete abortion.'
 11 "See that?
 12 "A Yes.
 13 "Q Do you think D&E at seven weeks is safer than any
 14 other procedure?
 15 "A Yes.
 16 "Q What about compared to labor induction with
 17 misoprostol?
 18 "A Can you be more specific with your question?
 19 "Q I guess I'm wondering what your basis is for
 20 believing or for your opinion to be that after 16 weeks of
 21 pregnancy that D&E is safer than any other procedure including
 22 induction labor?
 23 "A You want to know the basis of my opinion? The
 24 medical literature.
 25 "Just about, if you look at any paper, if you look at

1473

4475nat6 "Creinin -

1 the bulk of the medical literature that is available that
 2 reports on either it is easy to see that D&E is safer than
 3 labor induction.
 4 "Q And would that be at any gestational age?
 5 "A Any gestational age."
 6 MS. CHAITEN: Moving to page 115, line 10.
 7 "Q You sort of seem to allude to this; is there some
 8 risk if, when the head got stuck, to wait a period of time
 9 before collapsing it?
 10 "A Yes. The uterus is bleeding this entire time.
 11 You want to completely empty the uterus so it can contract down
 12 once it is empty and stop bleeding. It is not bleeding
 13 torrentially, but if I waited half an hour the woman would lose
 14 a significant amount of blood, or potentially could lose a
 15 significant amount of blood.
 16 "Again, these are all hypotheticals.
 17 "Q If the head got stuck, would it be possible to
 18 wait for the woman to have a contraction and expel the fetus?
 19 Would that be a possibility?
 20 "A No.
 21 "Q Why not?
 22 "A For the same reasons.
 23 "Q I'm not sure, is that because of the waiting or
 24 the bleeding? What do you mean when you say for the same
 25 reasons?

4475nat6 "Creinin -

1 "A Both. Same answer I had to the previous one.
2 You are asking things that medically are inappropriate. There
3 is no way to answer. It is so medically incorrect and
4 inappropriate I can't even fathom an answer as a physician."

5 MS. CHAITEN: Turning to page 117, line 21:

6 "Q How long, once the head gets stuck there, how
7 long can you wait before there starts to be danger for the
8 woman?

9 "A I can't answer that question. Any medically
10 appropriate person wouldn't wait. So, there are no studies or
11 no experiences with somebody waiting to see how long it would
12 take for the woman to get in danger. It is medically an
13 unfathomable concept.

14 "Just like if somebody was in labor and the lower part
15 of the body wouldn't come out in a head-first presentation.
16 You wouldn't say, let's wait and see how long we can go before
17 she starts to hemorrhage. Or, let's wait and see how long we
18 can go before the newborn gets in trouble. Nobody would fathom
19 that.

20 "You would do your best to get the fetus or newborn
21 out as quickly as possible because you know the longer you wait
22 the more likely complications occur.

23 "So, there is no reasonable way to answer that
24 question."

25 MS. CHAITEN: Turning to page 119, line 13:

1475

4475nat6 "Creinin -

1 "Q In the context of discussing abortion with a
2 woman and in the context of discussing a surgical abortion with
3 a woman, does anything about an intact fetus ever come up in
4 the discussion that you have with her?

5 "A Yes.

6 "Q What is that?

7 "A That if she -- it arises in nonelective cases
8 where I discuss with her for her needs, for her grieving, if
9 she wants to see and hold her baby. Then she can have a labor
10 induction or I can refer her for an intact D&E."

11 MS. CHAITEN: Turning to page 143, line 3:

12 "Q In abortions you have performed at 20 weeks,
13 approximately what percentage of those cases was the fetus
14 disarticulated to some extent?

15 "A Over what time period, of performing the
16 abortions? All? Ever?

17 "Q Ever.

18 "A Disarticulated to some percent, 99 plus percent."

19 MS. CHAITEN: You know what? I read the wrong part
20 starting at 143, line 3. I accidentally read the
21 counter-designations. I apologize.

22 Line 143, line 21:

23 "Q Do you regard scissors in a surgical abortion
24 procedure as a potentially more dangerous instrument than a
25 forceps if a mistake is made?

4475nat6 "Creinin -

1 "A Not even close, no. Excuse me. No.
 2 "Q What is the reason for that?
 3 "A You would have to define the way in which they
 4 are used, but in my definition when I gave the answer -- do you
 5 mean the way the scissors are used for an intact D&E versus
 6 forceps to do a D&Es?
 7 "Actually, when you said 'forceps' I was thinking
 8 obstetrics forceps, so can you rephrase the question and let me
 9 answer and make it clear and I will ask for clarification?
 10 "Q Do you regard scissors as a potentially more
 11 dangerous instrument than the forceps, the kind of forceps you
 12 would use in a D&E procedure if a mistake is made when
 13 performing a surgical abortion?
 14 "A It is vague. What kinds of mistakes?
 15 "Q To the extent you can answer this, do you use
 16 scissors as a more dangerous instrument than forceps in
 17 performing surgical abortion procedure?
 18 "A Well, the limitation that the scissors are used
 19 only when doing an intact D&E to puncture the base of the
 20 skull.
 21 "Q Would the scissors be used for anything else?
 22 "A Not that I'm aware of.
 23 "Q So, let's limit scissors to puncturing the head.
 24 "A No. Because it is something that is done under
 25 direct vision with the scissors. You can see where it is going

1477

4475nat6 "Creinin -

1 as opposed to forceps, which you put them in the uterus and you
 2 have ultrasound guidance most of the time, but it is till a 2-D
 3 view of something that is really 3-D so you are using
 4 ultrasound to the best of your ability.
 5 "I can't say the forceps are definitely more dangerous
 6 but I would definitely not say that the scissors are more
 7 dangerous.
 8 "Did I make that last statement clear?
 9 "Q You think forceps aren't necessarily more
 10 dangerous than scissors, that is one point.
 11 "A And scissors -- the question is are scissors more
 12 dangerous. By my answer I am not implying forceps are more
 13 dangerous than scissors but I do not feel that scissors are
 14 more dangerous than forceps."
 15 MS. CHAITEN: Page 146, line 10:
 16 "Q Are forceps more dangerous than scissors?
 17 "A I will answer. I can't clearly answer because
 18 there is no -- it would just -- it would be my opinion and in
 19 my opinion, given the fact that because they are used for
 20 different things, you can't really directly compare.
 21 "Because the way forceps are used as part of the
 22 procedure, inherently they are used during a more dangerous
 23 part of the procedure. So, by definition, their use would be
 24 more dangerous but as an instrument they are not necessarily --
 25 neither one is more dangerous, it is what you do with them.

4475nat6 "Creinin -

1 "What you are doing is inherently more dangerous than
 2 that process you do with the forceps is more dangerous.
 3 "Q In what way are forceps dangerous in the surgical
 4 abortion procedure?
 5 "A During their use, like any surgical instrument, a
 6 complication can occur, just like with the use of any
 7 medication a complication can occur. So, when the forceps are
 8 being used, the uterus can be perforated and the cervix can be
 9 torn during those portions of the procedure.
 10 "Q How could the uterus be perforated with the
 11 forceps?
 12 "A If the instrument is pushed through the uterus.
 13 "Q And how could the forceps do damage to the
 14 cervix?
 15 "A When the -- it is not the forceps itself, it is
 16 the process of removing the tissue. It is during the use of
 17 the forceps. The cervix can tear while the tissue is being
 18 removed. Although that occurs, very rarely it can happen."
 19 MS. CHAITEN: Turning to page 155, line 2:
 20 "Q Is it your view that when you do a D&E procedure
 21 in which the fetus is intact, is that procedure safe for the
 22 woman?
 23 "A Yes.
 24 "Q And what do you base -- on what do you base that
 25 view?

1479

4475nat6 "Creinin -

1 "A Based on my general experience doing D&Es, the
 2 medical literature on D&E, the general philosophy behind how to
 3 perform D&E procedures and the relative risks between D&E and
 4 labor inductions.
 5 "Q Have you seen any studies specifically on a D&E
 6 surgical procedure in which the fetus is removed intact?
 7 "A Yes.
 8 "Q What studies would those be?
 9 "A Article by Chasen.
 10 "Q Anything else?
 11 "A You said studies. Can you define what you mean
 12 by 'studies'?
 13 "Q Have you read any articles?
 14 "A Articles? Any reports? Any written reports?
 15 "Q Yes.
 16 "A The original work by Jim McMahon and also studies
 17 and reports of other types of abortion procedures used by
 18 George Tiller in Kansas and Warren Hearn in Boulder, Colorado;
 19 to understand how their procedures are done and the statistics.
 20 "And then understanding how D&Es are done in order to
 21 be able to make a judgment."
 22 MS. CHAITEN: Turning to page 165, line 24:
 23 "Q Are there any maternal health conditions which
 24 you believe indicate a need to remove the fetus intact through
 25 a surgical abortion procedure other than hysterotomy or

4475nat6 "Creinin -

1 hysterectomy?

2 "A Yes.

3 "Q What would those maternal health conditions be?

4 "A If a woman had a current pulmonary embolus and
5 was required anti-coagulation at the current time, I would want
6 to do the procedure intact.

7 "Q Why is that?

8 "A Because her active anti-coagulation, I would not
9 want to have bony fragments traipsing through the lower uterine
10 segment in the cervical canal. And because it was a recent
11 pulmonary embolus it is not safe, or an active pulmonary
12 embolus, one that just occurred in the prior few days or even
13 weeks. I would not want to stop anti coagulations because that
14 would put her at risk of dying right then and there.

15 "Q You would not want to stop her coagulation
16 because?

17 "A Because she has a clot in her lungs. If I stop
18 it she could die. Her need for anti-coagulation is very high.

19 "In cases, as opposed to a patient where it would be
20 safe to stop the anti-coagulation for a few hours, this would
21 be a case where you would not want to stop it at all.

22 "Q When you mention the risk of bony fragments, why
23 would that be a risk?

24 "A If I am disarticulating the fetus as part of a
25 D&E, when you pull the fetus apart into fragments, into pieces,

1481

4475nat6 "Creinin -

1 there is bony fragments.

2 "Q Why would that be a particular concern with the
3 example that you provided?

4 "A She is anti-coagulated, so if there is scrapes,
5 are small tears in the lower uterine segment, which the body
6 would normally be able to stop bleeding on its own without any
7 problems and likely routinely occurs to some extent during the
8 normal D&E -- because she is anti-coagulated she could continue
9 to bleed and hemorrhage.

10 "Q And what does it mean to be anti-coagulated?

11 "A To be on blood thinners so your blood won't clot.

12 "Q Have you seen any written documentation about
13 this risk of bony fragments or is this just based on your own
14 clinical experience?

15 "A It is part of a D&E procedure.

16 "Q I'm sorry, about the risks of having bony
17 fragments in this example?

18 "A That is part of a D&E procedure. It is, when you
19 pull a fetus apart as part of a D&E, there are bony fragments.
20 That is it. Period. There is nothing more to say, say, see or
21 question.

22 "Q But the fact that, quote, in your words, 'bony
23 fragments could create a risk to the mother,' have you seen any
24 documentation that this is a specific risk to the mother?

25 "A That is one of the ways in which complications

4475nat6 "Creinin -

1 can occur. You are asking from a medical standpoint, you are
2 asking the obvious. You are asking a question that answers
3 itself."

4 MS. CHAITEN: Turning to page 169, line 16:

5 "Q Have you seen any written reports about the
6 concern about bony fragment in a woman with a condition that
7 you described?

8 "A No. But I have also taken care of patients in
9 the last year that I have never seen any written reports for
10 that you need to use your best judgment at the time."

11 MS. CHAITEN: Turning to page 177, line 12:

12 "Q The exhibit that has been identified as
13 Plaintiff's trial Exhibit 86, your expert report, if you would
14 turn to page 6, please. The first sentence states:

15 "When performing dilation and evacuation, D&E
16 abortion, extracting the fetus from the uterus so it remains as
17 intact as possible is preferable and enhances the safety of the
18 procedure."

19 "Is that correct?

20 "A Yes.

21 "Q What do you mean by this statement?

22 "A That when the goal, when the procedure is started
23 as a dilation and evacuation procedure, that the fewer pieces,
24 the better. And if it is intact that would provide the least
25 risk.

1483

4475nat6 "Creinin -

1 "Q What do you mean it would provide the least risk?

2 "A Of complications to the woman who is having the
3 procedure.

4 "Q And what complications do you have in mind?

5 "A Cervical tears, perforations, infection,
6 hemorrhage.

7 "Q And what?

8 "A Need for additional surgery."

9 MS. CHAITEN: Page 179, line 7:

10 "Q And have you seen fewer complications when a
11 fetus is removed intact than when it is dismembered?

12 "A In the estimated 50 or so where it has come out
13 intact for me, and the three where I have done an intact D&E, I
14 have had no complications from that removal.

15 "So, if the question is have I seen fewer compared to
16 my other procedures, I have had zero compared to any. So, the
17 answer would be yes."

18 MS. CHAITEN: Page 187, line 24:

19 "Q In the last sentence it states, 'In my opinion
20 there is no respect in which intact removal of the fetus during
21 a D&E is less safe than non-intact removal.'

22 "Is that correct?

23 "A Yes

24 "Q Is it your opinion that non-intact removal is as
25 safe as intact removal?

4475nat6 "Creinin -

1 "A During a D&E?

2 "Q Yes.

3 "A When the goal of the procedure is to do a D&E, if
4 the fetus comes out intact that would be preferable.

5 "In my opinion it is likely safer if we were to have
6 thousands and thousands of women to assess that since the goal
7 of a D&E is not necessarily to remove the fetus intact as
8 opposed to the goal of an intact D&E. The experience to be
9 able to prove that would be unattainable

10 "But, in my opinion, when my goal is to do a D&E, if
11 the circumstances are right and the fetus is coming out intact,
12 I would not purposely dismember it to improve the risk
13 outcome."

14 MS. CHAITEN: Page 189, line 15:

15 "Q In your opinion, generally speaking, is a
16 non-intact removal as safe as an intact removal?

17 "A Generally in my hands, yes. But if I have two
18 patients side by side and one was coming out intact and one was
19 coming out non-intact, most likely I would prefer the one
20 intact."

21 MS. CHAITEN: Page 203, line 1:

22 "Q If you turn to paragraph 16, if you look at the
23 first sentence it states, 'It is my opinion that intact removal
24 is safe and in some cases preferable.'

25 "A Yes.

1485

4475nat6 "Creinin -

1 "Q I am wondering if other people expressed to you
2 that they share your opinion that intact removal is safe and in
3 some cases preferable.

4 "A Yes.

5 "Q Approximately how many people?

6 "A 10.

7 "In the past year was your question?

8 "Q Sure.

9 "A 10 in the past year."

10 MS. CHAITEN: Turning to page 207, line 24:

11 "Q Turning to paragraph 18, you have a statement in
12 here, second sentence, 'There is data showing that such an
13 injection does not make the procedure easier or safer.' I
14 think you are referring to before an injection of any chemical
15 agents to cause intrauterine fetal demise prior to performing a
16 D&E abortion.

17 "What is the basis for this statement?

18 "A The Jackson study.

19 "Q Did you help prepare the Jackson -- did you help
20 work on the Jackson article?

21 "A No."

22 MS. CHAITEN: Turning to page 2 --

23 THE COURT: How many more pages do we have?

24 MS. CHAITEN: I have about six pages, your Honor.

25 THE COURT: Pages or lines?

4475nat6 "Creinin -

1 MS. CHAITEN: Page -- it's portions of six pages,
 2 actually, not six full pages.
 3 THE COURT: All right, we will try and complete those.
 4 MS. CHAITEN: Okay. Turning to page 210, line 11:
 5 "Q And in paragraph 18, when you refer to potential
 6 complications in the last sentence, what potential
 7 complications are you referring to?
 8 "A Putting a needle in the woman's abdomen I could
 9 hit a vessel, a maternal vessel. I could cause rupture of
 10 membranes which could increase risk of infection from her
 11 procedure since she will have a prolonged rupture of membranes.
 12 And if there is no benefit to doing so, as far as the
 13 procedure, that I get risks without benefit."
 14 MS. CHAITEN: Page 210, line 24:
 15 "Q With the puncturing of a vessel in the woman, I
 16 think that is one of the risks you articulated, is that
 17 correct?
 18 "A Correct.
 19 "Q Why would that present a risk to the mother?
 20 "A If I put a needle in one of her large blood
 21 vessels, especially if she is pregnant, like vessels that feed
 22 the uterus, and that vessel then is leaking blood into her
 23 abdomen, she would basically bleed inside her belly and lose
 24 blood inside her abdomen.
 25 "Probably after a couple liters of blood in the belly

1487

4475nat6 "Creinin -

1 she might become symptomatic and quickly approach death for an
 2 unnecessary procedure.
 3 "Q Is it your opinion that an injection of digoxin
 4 carries a risk of death by virtue of puncturing a vessel in the
 5 mother?
 6 "A It potentially carries that risk.
 7 "So, if I decide I'm going to incur that risk, that I
 8 should have a benefit that outweighs that risk. So, although
 9 the risk is small, very, very small, I don't have any benefit
 10 that balances out. So that benefit, that risk becomes all I
 11 have.
 12 "Everything is a risk/benefit comparison, not just a
 13 risk or just a benefit."
 14 MS. CHAITEN: Page 212, line 21:
 15 "Q When you discuss the other risk, the rupture of
 16 membranes, I think you articulated with respect to the digoxin
 17 injection, is that correct?
 18 "A Yes.
 19 "Q With respect to that risk, can you just
 20 explain -- can you explain further how this poses a risk to the
 21 mother?
 22 "A The amniotic membranes are a barrier between the
 23 intrauterine environment and the vagina. There are bacteria
 24 that normally live in the vagina. If the membranes are
 25 ruptured and amniotic fluid is leaking out, that barrier is

4475nat6 "Creinin -

1 broken. The amniotic fluid also provides some anti-infective
2 properties.

3 "The longer the membranes are ruptured the more time
4 there is for the bacteria to enter the uterus and cause an
5 infection inside the uterus. Putting a needle through the
6 membranes includes a risk of rupture of the membranes. The
7 longer those membranes are ruptured the more the risk
8 increases, that infection will occur.

9 "So, since procedures at this point in pregnancy would
10 require, if this was the procedure being done, an injection,
11 dilators is being placed, then waiting 24 hours. If ruptured
12 membranes occur at the time of injection you are still waiting
13 the 24 hours to do the procedure and the risk of infection
14 would be greater than if she had been waiting that 24 hours for
15 the procedure with membranes intact.

16 "Q With rupture of membranes, what could that
17 ultimately lead to? Could that ultimately lead to death for
18 the mother?

19 "A Theoretically, but very unlikely.

20 "Q What is more likely to be the result to the
21 mother?

22 "A Infection in her uterus that would require
23 antibiotics.

24 "If you have a small percentage of people who have
25 resistant infection, could get complications with the

1489

4475nat6 "Creinin -

1 antibiotics, could lead to infections in the bowel. Prolonged
2 diarrhea, dehydration, prolonged hospital stays. Could have
3 prolonged hospitalization for treatment of the intrauterine
4 infection. Treatment of infections, albeit right, can lead to
5 sterility."

6 MS. CHAITEN: Turning to page 235, line 12:

7 Your Honor, at this point we are on the two rebuttal
8 designations that I mentioned earlier and these will be our
9 last two designations that we will be reading. Line 12:

10 "Q Turning to paragraph three of your rebuttal
11 report, what do you tell your patients about fetal pain when a
12 woman undergoes abortion?

13 "A For patients that ask me about fetal pain I
14 explain exactly what is printed on my expert report rebuttal.

15 "Q I guess in your own words if a woman said to you
16 'Is my fetus going to feel pain if I undergo the surgical
17 abortion,' what is it you would explain to her?

18 "A I explain to her a fetus can't feel pain in the
19 way we as conscious human being adults understand and feel
20 pain.

21 "I explain there are studies for people who have
22 attempted to investigate whether fetuses feel pain, and the
23 best studies show that fetuses can't understand pain until 26
24 weeks. There are studies that look at autonomic reflexes like
25 if I were to hit your knee and your leg would kick, but that

4475nat6 "Creinin -

1 those are present early but that is not a level of
2 consciousness.

3 "If the patient requires further explanation I also
4 explain, ask them if, for example, if the patient had general
5 surgery, did she feel pain during the surgery because she
6 wasn't conscious and that it requires consciousness

7 "If you also understand the data -- I don't say this
8 to her literally -- if you understand the data as put together
9 in a compilation by the Royal College of OB/GYN, the pathways
10 aren't present until 26 weeks.

11 "That is the best data that is available. The best
12 understanding we have."

13 MS. CHAITEN: Page 238, line 7:

14 "Q Is it your opinion that a fetus does in fact feel
15 some pain before 26 weeks, although different from the kind of
16 pain human beings experience?

17 "A No.

18 "Q Is it your opinion that a fetus feels something
19 before 26 weeks in a surgical abortion?

20 "A I have no way of answering that question because
21 there is no way I can truly understand -- actually, I should
22 take that back. It is my opinion it doesn't, no.

23 "Do you want my opinion? No, it doesn't."

24 MS. CHAITEN: Your Honor, at this point I would ask
25 that the Court receive the Plaintiff's Exhibit 87, which is the

1491

4475nat6 "Creinin -

1 curriculum vitae of Dr. Creinin, into evidence.

2 MS. WOLSTEIN: No objection, your Honor.

3 THE COURT: It will be received.

4 (Plaintiff's Exhibit 87 received in evidence)

5 THE COURT: I think we will recess at this time.

6 Would you please give the exhibit to my law clerk? I would
7 like to see that curriculum vitae.

8 We will reconvene tomorrow morning at 9:30.

9 And the objections to the counter-designations will be
10 given to one of my clerks, is that correct?

11 MS. WOLSTEIN: Your Honor, we have compared the two
12 copies and we see that they're exactly the same.

13 THE COURT: Okay. So you give that to my clerk so we
14 can review it and be ready for the morning.

15 MS. CHAITEN: Yes, your Honor.

16 THE COURT: Okay. The Court will stand in recess.

17 (Adjourned to April 8, 2004, at 9:30 a.m.)
18
19
20
21
22
23
24
25

1
2
3
4
4
5
5
6
7
8
9
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX OF EXAMINATION

Examination of:	Page
GERSON WEISS	
Direct By Ms. Parker: . . .	1305
Cross By Mr. Lane:	1365
Cross By Mr. Lane:	1398
Redirect By Ms. Parker: . . .	1417
Recross By Mr. Lane:	1426

PLAINTIFF EXHIBITS

Exhibit No.	Received
124	1319
116	1452
87	1491